



IN THE UNITED STATES COURT OF FEDERAL CLAIMS

IN RE: CLAIMS FOR VACCINE )  
INJURIES RESULTING IN )  
AUTISM SPECTRUM DISORDER, )  
OR A SIMILAR )  
NEURODEVELOPMENTAL )  
DISORDER )  
----- )

FRED AND MYLINDA KING, )  
PARENTS OF JORDAN KING, )  
A MINOR, )  
Petitioners, )

v. )  
SECRETARY OF HEALTH AND )  
HUMAN SERVICES, )  
Respondent. )  
----- )

Docket No.: 03-584V

GEORGE AND VICTORIA MEAD, )  
PARENTS OF WILLIAM P. MEAD, )  
A MINOR, )  
Petitioners, )

v. )  
SECRETARY OF HEALTH AND )  
HUMAN SERVICES, )  
Respondent. )

Docket No. 03-215V

Courtroom 402  
National Courts Building  
717 Madison Place NW  
Washington, D.C.

Friday,  
May 23, 2008

The parties met, pursuant to notice of the  
Court, at 9:00 a.m.

BEFORE: HONORABLE GEORGE L. HASTINGS, JR.  
HONORABLE PATRICIA E. CAMPBELL-SMITH  
HONORABLE DENISE VOWELL  
Special Masters

APPEARANCES:

For the Petitioners:

THOMAS B. POWERS, Esquire  
MICHAEL L. WILLIAMS, Esquire  
Williams Love O'Leary & Powers, P.C.  
9755 S.W. Barnes Road, Suite 450  
Portland, Oregon 97225-6681  
(503) 295-2924

For the Respondent:

VINCE MATANOSKI, Esquire  
VORIS E. JOHNSON, JR., Esquire  
LYNN E. RICCIARDELLA, Esquire  
U.S. Department of Justice  
Civil Division  
Torts Branch  
Ben Franklin Station  
P.O. Box 146  
Washington, D.C. 20044-0146  
(202) 616-4136

3003

C O N T E N T S

<u>WITNESSES:</u>	<u>DIRECT</u>	<u>CROSS</u>	<u>REDIRECT</u>	<u>RECROSS</u>	<u>VOIR DIRE</u>
<u>For the Respondent:</u>					
Patricia M. Rodier	3006	3034	3054	--	--
Steven Goodman	3065	3119	--	--	--
	--	3141	--	--	--

3004

E X H I B I T S

RESPONDENT'S

EXHIBITS:      IDENTIFIED      RECEIVED      DESCRIPTION

11	3009	--	Patricia M. Rodier Slide Presentation
----	------	----	--

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

P R O C E E D I N G S

(9:00 a.m.)

SPECIAL MASTER HASTINGS: Good morning to all. Please be seated. Before we begin today's testimony -- I see Dr. Rodier is back in the witness chair -- do we know yet what the general schedule is with Dr. Goodman?

MR. MATANOSKI: Yes, sir. He is going to be available this morning, so we anticipate as soon as Dr. Rodier's testimony is done, we'll move on to -- with a short break to make the change, we'll move on to Dr. Goodman.

SPECIAL MASTER HASTINGS: Very good. Anything we need to take care of before we --

MR. POWERS: Not from the Petitioners, Special Master.

MR. MATANOSKI: Nor from the government, sir.

SPECIAL MASTER HASTINGS: Okay. Very good. With that, Dr. Rodier, you've been sworn. You're back in the witness chair. Mr. Johnson, please continue with your examination.

MR. JOHNSON: Thank you, Special Master.

//  
//

RODIER - FURTHER DIRECT

3006

1           Whereupon,

2                           PATRICIA M. RODIER

3           having been previously duly sworn, was  
4           recalled as a witness herein and was examined and  
5           testified further as follows:

6                           FURTHER DIRECT EXAMINATION

7           MR. JOHNSON: Good morning, Dr. Rodier.

8           THE WITNESS: Good morning.

9           BY MR. JOHNSON:

10          Q     We made it through some of your  
11           qualifications yesterday. There were just a couple of  
12           other points --

13                           (Music plays.)

14           SPECIAL MASTER HASTINGS: InterCall  
15           operator, are you there?

16           INTERCALL OPERATOR: You're in the room.  
17           You're ready to go.

18           SPECIAL MASTER HASTINGS: Okay, thank you  
19           very much.

20                           Please go ahead, sir.

21           MR. JOHNSON: Thank you.

22           BY MR. JOHNSON:

23          Q     Dr. Rodier, as I was saying, we discussed  
24           some of your qualifications yesterday, but there were  
25           just a couple of points that I wanted to get into the

RODIER - FURTHER DIRECT

3007

1 record. First, did you receive any honors or awards  
2 in connection with your Ph.D.?

3 A Yes. I was lucky enough to be a Woodrow  
4 Wilson fellow, and that was a national competition  
5 that paid for your graduate school for a few hundred  
6 people in the U.S.

7 Q And the second point that I wanted to cover  
8 is, do you have any NIH grants?

9 A Yes, I have two.

10 Q And what are they for?

11 A They are both for work on autism. The title  
12 of the first one, which I've had for 10 years, is  
13 "Genotype and Phenotype of Brainstem Injury in  
14 Autism," and that's a program project grant that  
15 involves a number of different universities and some  
16 foreign sites, and the second one is called "Genotype  
17 and Phenotype of Treatments of Autism," -- or  
18 "Response to Treatments of Autism," and that's a  
19 center grant that provides infrastructure and funds  
20 projects in my group at the University of Rochester.

21 Q And how much are those grants for  
22 approximately?

23 A About two-and-a-half million a year.

24 Q And do you have anybody working with you on  
25 these grants?

RODIER - FURTHER DIRECT

3008

1           A     Yes, many, many people.  There are about 30  
2     or 40 people at the M.D. or Ph.D. level who are  
3     supported by those two grants.

4           Q     And those would be individuals that you are  
5     supervising --

6           A     Yes.

7           Q     -- on their work in these projects?  Dr.  
8     Rodier, have you ever testified in a legal proceeding  
9     before?

10          A     I have testified in writing.  I've never  
11     testified in person.

12          Q     Meaning that you've submitted reports or  
13     affidavits, but you actually --

14          A     Yes.

15          Q     -- haven't testified?  What cases have you  
16     submitted reports in?

17          A     The Canadian Omnibus which was on the same  
18     subject as this one, and the Redfoot case last year.

19          Q     And was that a civil case?

20          A     Yes.

21          Q     And you said you hadn't testified.  Is there  
22     a reason that you didn't testify in those proceedings?

23          A     Both of those cases were dismissed before  
24     trial.

25          Q     Doctor, turning to your second slide -- and

RODIER - FURTHER DIRECT

3009

1 let me distribute those.

2 SPECIAL MASTER HASTINGS: I assume we should  
3 mark this as Respondent's Trial Exhibit No. 11.

4 (The document referred to was  
5 marked for identification as  
6 Respondent's Exhibit No. 11.)

7 BY MR. JOHNSON:

8 Q Doctor, looking at slide 2, can you briefly  
9 summarize the opinions that you are going to be giving  
10 here today?

11 A Yes, and I should say that we negotiated  
12 what I would talk about because there are so many  
13 experts, and I didn't want to overlap with what they  
14 were testifying about. So I think I am the only  
15 person actually in the country who has ever worked on  
16 both autism and mercury poisoning, and so I want to  
17 first revisit for you the Bernard paper of 2001, which  
18 is the only reason all of us are here today, because  
19 it claimed that the symptoms of mercury poisoning were  
20 the same as those of autism.

21 And then the second topic that I want to  
22 talk about is, when does autism begin? Is it  
23 initiated prenatally or postnatally?

24 Q And turning to slide 3, Doctor, you  
25 mentioned the Bernard article, and for the record,

RODIER - FURTHER DIRECT

3010

1 this is Petitioners' Master List No. 262. Doctor, to  
2 the best of your knowledge, is this article  
3 essentially where the hypothesis that thimerosal  
4 causes autism started?

5 A Yes.

6 Q As a scientist who works in the area of  
7 autism, do you have any criticisms of the Bernard  
8 paper?

9 A I have many that are shared by scientists  
10 who work on autism and scientists who work on mercury,  
11 and there is one published article replying to the  
12 Bernard article, and it's by two experts on autism,  
13 Nelson and Bauman, and what they were criticizing in  
14 the article was the selection of symptoms, what the  
15 authors called the symptoms of autism, which included  
16 many things that occur in everyone; for example,  
17 nausea, vomiting, irritability, temper tantrums.  
18 Those are not diagnostic symptoms of autism. They are  
19 things that happen to people with autism, but they  
20 happen to all of us.

21 So they are not useful either for diagnosis  
22 or for comparing autism to other disorders. And they  
23 also included a lot of symptoms of autism, as they  
24 called them, that were ones that occur in many people,  
25 and in some cases of autism. So, for example, mental

RODIER - FURTHER DIRECT

3011

1 retardation, depression, abnormal gait, these are  
2 things that are not normal like irritability and  
3 vomiting, but they occur in many conditions, not  
4 necessarily in autism.

5 They are not diagnostic symptoms, and so  
6 they can't be used to compare the symptoms of autism  
7 to the symptoms of another disorder.

8 Q And turning to slide 4, as a scientist who  
9 has done research with mercury, do you have criticisms  
10 of the Bernard paper?

11 A Right. When experts on mercury read this  
12 paper, what they are struck by is that the symptoms of  
13 what they call mercury poisoning have been drawn from  
14 cases of exposure to mercury vapor, which causes Mad  
15 Hatter's syndrome, and they've been drawn from  
16 exposures to inorganic mercury, which causes  
17 acrodynia, and also from both pre- and postnatal  
18 exposures to methylmercury, but there are very few  
19 references to ethylmercury, which would be the only  
20 kind of mercury poisoning that's relevant in this  
21 case, or to their hypotheses.

22 Q Because that's the form of mercury that's  
23 present in thimerosal?

24 A That's right.

25 Q Doctor, does the Bernard paper provide

RODIER - FURTHER DIRECT

3012

1 support for the claim that mercury toxicity and autism  
2 share similar symptoms?

3 A No. Well, I mean, it purports to, but I  
4 want to show you examples of the kinds of comparisons  
5 they are making and instead of just giving you my  
6 opinions, let's just actually look at what they said,  
7 okay?

8 Q And right now we are referring to slide 5.

9 A Right. So, on the first line, marked No. 1,  
10 you will see that they say there are similarities in  
11 depression, depressive traits, mood swings, flat  
12 affect and impaired face recognition.

13 Q And, excuse me, just for the record, this is  
14 in the Bernard article, which is, again, at  
15 Petitioners' Master List 262. It's in Table 1 on  
16 exhibit page number 2. And if you can just tell us  
17 the significance of those citations.

18 A Yes, okay. Well, it's true that depression  
19 is a symptom of acrodynia, exposure to inorganic  
20 mercury. They are depressed because they are so sick  
21 and they have terrible pain in their hands and feet.  
22 It's true that mood swings are characteristic of Mad  
23 Hatter's disease. It's true that flat affect is a  
24 diagnostic symptom, actually, of autism, but impaired  
25 face recognition does occur in autism; it's never been

RODIER - FURTHER DIRECT

3013

1 even tested in any kind of mercury poisoning.

2 So we have four examples here, and there is  
3 no overlap. The ones that are characteristic of  
4 different kinds of mercury poisoning don't occur in  
5 autism, and the ones that occur in autism don't occur  
6 in any kind of mercury poisoning. So you might think  
7 perhaps they don't really mean that the same symptoms  
8 occur, but just that all these symptoms are closely  
9 related, and so that means that the symptoms are  
10 alike, but that doesn't work either, because  
11 depression is not the same thing as mood swings, and  
12 flat affect is the opposite of mood swings, and  
13 impaired face recognition has nothing to do with mood,  
14 so these four things don't go together in any way.

15 And I'll just mention some of these others.  
16 Verbalizing and word retrieval problems do occur in  
17 Mad Hatter's disease, but echolalia and word use and  
18 pragmatic errors have never been reported in mercury  
19 poisoning. They occur in autism. Echolalia is the  
20 tendency to generate speech that's repetitions of  
21 things you've heard, for example, radio jingles or  
22 songs, or something that someone said to you.

23 So, for example, in one of the cases that we  
24 studied at Rochester, a little boy about 3 decided  
25 that he didn't want to be tested anymore and so he ran

RODIER - FURTHER DIRECT

3014

1 toward the door, and his father ran after him and  
2 scooped him up and said, bet you want to get out of  
3 here, buddy. And of course, that's exactly what he  
4 wanted to do. So his father brought him back into the  
5 examining area, and he made a couple more escape  
6 attempts, but each one, he shouted, bet you want to  
7 get out of here, buddy, as he did it. But he's  
8 parroting instead of generating his own language.

9 Word use, there are many classic examples of  
10 problems with word use in autism, and they occur even  
11 in people with very high functioning autism or people  
12 with Asperger's syndrome, who may have normal  
13 vocabularies and high IQs, but they use words in odd  
14 ways, and the most famous example of this, I think, is  
15 a young man who described a hole in his sock as a  
16 discontinuity of knitting.

17 So he knows what the words mean, and they  
18 are not really inappropriate, but they are not used in  
19 the typical way. And of course, neither of these  
20 conditions has ever been reported in mercury  
21 poisoning. Then the third list I selected was 'lacks  
22 eye contact,' that is a symptom of autism but not of  
23 mercury poisoning; impaired visual fixation is a  
24 symptom of methylmercury poisoning, and it means that  
25 the brain control of the eye muscles is impaired, and

RODIER - FURTHER DIRECT

3015

1 so the eye muscles aren't adjusting nicely to allow  
2 you to fixate on something.

3 Then, problems in joint attention occurs in  
4 autism, but it doesn't occur, as far as I know, in any  
5 kind of mercury poisoning, and it has nothing to do  
6 with vision. It's actually an example of a social  
7 impairment.

8 Q Did the authors of the Bernard paper also  
9 attempt to draw comparisons in biological  
10 abnormalities?

11 A They did, and --

12 Q And this is slide 6.

13 A So, for example, they pointed out that  
14 progressive microcephaly was characteristic of mercury  
15 poisoning -- that's methylmercury -- prenatal  
16 exposure, the children are born with small heads. I  
17 wouldn't call it progressive, but progressive  
18 microcephaly and macrocephaly they listed as symptoms  
19 of autism. Children with autism occasionally have  
20 microcephaly, but they are more commonly characterized  
21 by progressive macrocephaly, as we heard yesterday.

22 Macrocephaly, of course, has never been  
23 reported in mercury poisoning. Then they list that  
24 mercury poisoning causes demyelinating neuropathy.  
25 That's a chronic exposure to inorganic mercury does

RODIER - FURTHER DIRECT

3016

1 cause that, but no one has ever reported that in  
2 autism. Then demyelination in the brain they list as  
3 a characteristic of autism, but no one has ever  
4 reported that in autism, and the reference they give  
5 says nothing about that.

6 Q And for the record, those examples were from  
7 Table 2 of the Bernard article, --

8 A Right.

9 Q -- which is exhibit page number 4 of that  
10 article. Doctor, if the authors of the Bernard  
11 article are trying to show a connection between  
12 thimerosal-containing vaccines and autism, why don't  
13 they talk about the symptoms of ethylmercury  
14 poisoning?

15 A One might well ask that question, and the  
16 answer is that it doesn't make a very good story if  
17 you compare the symptoms of autism to the symptoms of  
18 ethylmercury poisoning. So on this next slide, I've  
19 used an article by Zhang from 1984, and I --

20 Q And for the record, that's Petitioners'  
21 Master List No. 232.

22 A And the reason I picked this article is  
23 because Zhang actually studied, had the chance to  
24 study 41 people who had all been exposed to  
25 ethylmercury from tainted rice, and so they were able

RODIER - FURTHER DIRECT

3017

1 to actually calculate how much they'd eaten. They  
2 knew what the dose was, in other words. They then  
3 examined them, followed the course of their disease,  
4 and were able to document their symptoms, and what  
5 they did was they actually counted how many of the  
6 people had symptom 1 and how many of the people had  
7 symptom 2, and the doses in this case ranged from very  
8 mild effects to death, and so they had a good range of  
9 different levels of symptoms to examine.

10 What they found was that the three most  
11 common symptoms were muscle weakness, loss of  
12 appetite, and dizziness. Those don't sound much like  
13 autism. The next 10 most common symptoms were nausea,  
14 abdominal pain and diarrhea, fever, numbness of the  
15 extremities, paresthesia and ataxia, vomiting, thirst,  
16 unsteady gait, ringing of the ears and headache, and  
17 again, I think you can see that none of these sound  
18 like any of the symptoms of autism that are used in  
19 diagnosis, so there is really no correspondence  
20 between the symptoms of ethylmercury poisoning and  
21 autism.

22 Q Now, Doctor, the Petitioners will argue that  
23 they are not claiming in this case that acute mercury  
24 toxicity causes autism, as hypothesized in the Bernard  
25 article. They will claim that their hypothesis is

RODIER - FURTHER DIRECT

3018

1 that low levels of inorganic mercury persist in the  
2 brain and cause either oxidative stress or an  
3 inflammatory process which results in autism. Does  
4 that hypothesis make sense to you?

5 A No, it doesn't. I think earlier this week,  
6 one of the witnesses talked about the fact that  
7 scientists make every effort to try to disprove a  
8 hypothesis. They don't just look for support for a  
9 hypothesis, and at the time that this paper was  
10 written, and today, there is one piece of evidence  
11 that absolutely refutes the hypothesis that inorganic  
12 mercury in the brain causes any symptoms, and that is,  
13 the cases of acute poisoning with ethylmercury that  
14 have been documented to cause high, high levels of  
15 brain inorganic mercury in autopsy studies, that the  
16 people who were subject to those exposures -- they  
17 occurred in medical accidents and in the case of the  
18 tainted pork, which you've probably heard about, the  
19 New Mexico pork case -- in those cases, even though  
20 the people became seriously, seriously ill with very  
21 high levels of ethylmercury and corresponding high  
22 levels of inorganic mercury after the ethylmercury  
23 washed out, they all recovered completely from their  
24 neurological symptoms. After the ethylmercury was  
25 gone and they just had inorganic mercury, they no

RODIER - FURTHER DIRECT

3019

1 longer had symptoms.

2 Q So the effects went away?

3 A Yes.

4 Q Doctor, now I'd like to turn to slide 8 and  
5 the next topic that you are going to address this  
6 morning, and that's the timing or when autism begins,  
7 and in your report, you identify five environmental  
8 risk factors for autism, and let me, now let's look at  
9 slide 9 and you can discuss those.

10 A Surely. The ones I've listed here are ones  
11 that come from population studies, and they are:  
12 exposure to rubella, thalidomide, valproic acid, which  
13 is a seizure medication, ethanol, and misoprostol, and  
14 to the right, I've listed the time that's the critical  
15 period when exposure has to occur for autism to be one  
16 of the results, and for rubella, that's before the  
17 ninth week after conception.

18 For thalidomide, it's week 3 and 4.  
19 Valproic acid, it's week 3 and 4. Ethanol, it's week  
20 3 to 5, and misoprostol, it's week 6.

21 Q What can we learn from these studies? Does  
22 this mean that all environmental factors, when they  
23 are discovered, are all going to share the same  
24 period?

25 A It certainly doesn't mean that they have to.

RODIER - FURTHER DIRECT

3020

1 Perhaps there are other times when an injury could  
2 lead to something like autism. For example, tuberous  
3 sclerosis cases don't show autistic symptoms early on,  
4 but as their brains become more and more injured from  
5 the tumors in their brains, they may show autistic  
6 symptoms. So there are probably other ways to produce  
7 those symptoms, but I think it's most likely that  
8 when, as we find more environmental factors or  
9 exposures that are involved, increasing the risk of  
10 autism, I think it's more likely that they will be in  
11 similar periods to this, in the first trimester.

12 Q Doctor, do you know what terbutaline is?

13 A Yes.

14 Q The Petitioners have raised the issue of  
15 terbutaline in this trial, and I was wondering why you  
16 didn't include terbutaline on your list of  
17 environmental risk factors.

18 A That's a good question. Because that study  
19 is actually a genetic study, the Connors study, and it  
20 is not a population study. That is, they didn't go  
21 out and find everyone they could who was exposed to  
22 terbutaline and then compare the rate of autism in  
23 those people to the rate of autism in the general  
24 population, as the studies I've listed for you did.

25 What they did instead was look for cases of

RODIER - FURTHER DIRECT

3021

1 twins where one had autism, and then they looked in  
2 those twin pairs for the ones that had been exposed to  
3 terbutaline, and the pairs that had not been exposed,  
4 and what they were looking for was whether the end  
5 result as they grew up was that both twins had autism,  
6 or only the one twin had autism, and they were  
7 ascertained for one of the twins having autism,  
8 remember.

9 When they looked at their whole sample of  
10 cases, exposed to terbutaline or not, in these twin  
11 pairs, there was no significant increase in the second  
12 twin having autism in the whole set of cases. Then  
13 they cut it down another way and looked at a smaller  
14 subset and that was not significant either. They  
15 finally cut it down to a very small subset where both  
16 of the twins in the pair were male and they had no  
17 affected other siblings, and in that very, very small  
18 group, there were more concordant cases exposed to  
19 terbutaline. So the implication of that would be that  
20 terbutaline might have had an effect on those cases.

21 However, this study suffers from a problem  
22 that we have with all studies of things like  
23 optimality in delivery or pregnancy, and that is that  
24 it's very hard to tell whether the terbutaline caused  
25 the second twin to have autism or increased the risk,

RODIER - FURTHER DIRECT

3022

1 or if whether, in fact, it was because the twin pairs  
2 that both had autism were threatening to be born too  
3 early, because that's the only reason to give them  
4 terbutaline.

5 So, is the terbutaline causing the effect or  
6 are the twins who are the most affected with autism  
7 being selected because of threatening to come too  
8 early?

9 SPECIAL MASTER VOWELL: Is what you are  
10 saying that the terbutaline preserved the pregnancy  
11 that would otherwise have been lost?

12 THE WITNESS: That's what it's given for.

13 SPECIAL MASTER VOWELL: I understand that,  
14 but then these twins are born, but had they not been  
15 given terbutaline, they might not have survived long  
16 enough to be diagnosed with autism in this period?

17 THE WITNESS: Right. I can give you an  
18 example from a paper that just appeared a few weeks  
19 ago in *Pediatrics* of the same problem, and that is, it  
20 was noted in this paper that children with very low  
21 birth weights have a higher rate of autism than  
22 children with normal birth weights, and that might  
23 mean that being born with a very low birth weight  
24 causes autism. It also could mean that an embryo and  
25 fetus that has autism is already injured, and so it

RODIER - FURTHER DIRECT

3023

1 will have a smaller weight at birth.

2 So you can't separate the two, and that's  
3 the trouble with the terbutaline studies, so that's  
4 why I didn't include it.

5 SPECIAL MASTER VOWELL: That low birth  
6 weight study that you've referred to, has that been  
7 filed as an exhibit by either side?

8 THE WITNESS: No.

9 SPECIAL MASTER VOWELL: Okay.

10 THE WITNESS: It just came out a few weeks  
11 ago, so --

12 SPECIAL MASTER VOWELL: And does that study  
13 account for the length of gestation? I mean, is it  
14 low birth weight in children carried to term, or is it  
15 low birth weight because they were born early?

16 THE WITNESS: Actually, it's low birth  
17 weights because they were born early.

18 SPECIAL MASTER VOWELL: Okay.

19 BY MR. JOHNSON:

20 Q Doctor, the Petitioners have discussed a  
21 terbutaline rat study. It's the Zeratte study at  
22 Petitioners' Master List 106. Have you ever looked at  
23 that study?

24 A I've really just glanced at it.

25 Q In that study, weren't those rats given

1       terbutaline postnatally?

2             A       Yes.

3             Q       Would that be comparable to a postnatal  
4       exposure in humans?

5             A       No, because they were given the terbutaline  
6       when they had just been born as neonates, and rats are  
7       born very immature compared to humans. You may know  
8       that their eyes haven't opened, their ears haven't  
9       opened, they have no hair, they are bare little red  
10       things, and so in fact, the period when they gave the  
11       terbutaline to those animals would correspond to late  
12       gestation in the human.

13            Q       So humans who are exposed to terbutaline, is  
14       that a prenatal exposure or postnatal exposure?

15            A       Prenatal.

16            Q       Doctor, have you studied brain samples from  
17       autistic individuals?

18            A       Yes.

19            Q       What did you find in your studies? And we  
20       are now looking at slide 10.

21            A       I found many things, but I just -- and I can  
22       tell you about those if you like, but I wanted to give  
23       you some examples of how histology can sometimes give  
24       us information about when an injury occurred, as well  
25       as showing us the pathology itself. And this is from

RODIER - FURTHER DIRECT

3025

1 one of our studies at Rochester. On the left, you see  
2 a control brain, and -- do I have a pointer?

3 THE WITNESS: Would you mind if I just went  
4 over and stood by this screen? Can you see if I do  
5 that?

6 SPECIAL MASTER HASTINGS: Not at all.  
7 Please do.

8 THE WITNESS: Anatomists love pointers.

9 SPECIAL MASTER HASTINGS: Well, the problem  
10 is that I don't know if the microphone is going to  
11 pick you up.

12 THE WITNESS: I'm used to talking to a class  
13 of about 300 people. I think I can --

14 SPECIAL MASTER HASTINGS: All right, let's  
15 see if it works.

16 THE WITNESS: -- make myself heard.

17 Okay. In the control brain, what we are  
18 looking at is views of the facial nucleus, and this is  
19 the part of the brain that controls the muscles of  
20 facial expression, so smiling, closing your eyes,  
21 etc., and in the case of autism that we examined, that  
22 child had lack of facial mobility, and we looked at  
23 the facial nucleus to see if it were normal, and when  
24 you look at the control nucleus, what I want you to  
25 see is these black dots, those are the motor neurons

RODIER - FURTHER DIRECT

3026

1 for the face.

2           There are about 120 of them in this picture.  
3 In the autistic case, there's only one at this level,  
4 but we counted the whole nucleus, and there were about  
5 4,000 in this control case, and there were about 400,  
6 a little less than 400, in the case with autism.

7           But what I want you to see is the indication  
8 that this nucleus never existed, rather than having  
9 formed and then died in the person with autism, and  
10 here's what shows you that. This nucleus is defined,  
11 not just by these big cells, but by these fibers that  
12 surround it, and in the center, it's very pale, almost  
13 lucent, and these fibers that are staining darkly are  
14 fibers going up toward the rest of the brain or coming  
15 down toward the spinal cord, and when those fibers are  
16 making their way along this route, if there is  
17 anything already present, they respect the boundaries  
18 of things like facial nucleus.

19           So they go around it, and we describe that  
20 as being a capsule, that the nucleus is surrounded by  
21 fibers, okay? If you look at the case with autism, in  
22 fact, there is no capsule, and there is no lucent area  
23 where the nucleus should have been. Instead -- if I  
24 could have the next slide. Instead, what you can see  
25 is that those dark staining fibers are just running

RODIER - FURTHER DIRECT

3027

1 willy-nilly through this area.

2 So that suggests that when they got to this  
3 region, instead of going around the nucleus, they just  
4 went any which way, because there was no nucleus  
5 there, and the facial nucleus forms very early, like  
6 the fourth and fifth week of life, and so it would  
7 have to have been present when these tracks formed, if  
8 it existed, but it didn't.

9 BY MR. JOHNSON:

10 Q And Doctor, is this kind of finding  
11 consistent with other neuropathological findings  
12 reported by other researchers?

13 A Yes. Dr. Kemper talked yesterday about the  
14 case of lack of dying off in the inferior olive, so  
15 I'm not going to cover that, but I will tell you  
16 another example of something in histology that  
17 suggests that autism begins early.

18 Q Okay, and we are now looking at slide 12?

19 A Yes. The Purkinje cells are the huge gray  
20 cells that you see here, and they have very bright  
21 nuclei with a little dot that's their nucleolus.  
22 Those are the giant cells of the cerebellum. They are  
23 actually so big that you can look at a slide and you  
24 can see them with the naked eye. You don't have to  
25 put it under the microscope, and those cells are

RODIER - FURTHER DIRECT

3028

1 characterized by being surrounded by axons of  
2 neighboring cells, and the black deposit that you see  
3 is an immunocytochemical stain for neurofilaments in  
4 those axons, and these axons actually form a basket  
5 that extends all the way around the Purkinje cell, and  
6 you can see them depicted here.

7 As you might imagine, if one of these cells  
8 died at some time shortly before we took this  
9 histological sample, it would leave an empty basket,  
10 and so pathologists have long looked for empty baskets  
11 in cases where the Purkinje cells may have degenerated  
12 to find out whether they died recently or whether they  
13 were lost earlier, or never formed at all, and in  
14 Bailey's study, he tried to find empty baskets and he  
15 couldn't find any, suggesting that the Purkinje cells  
16 weren't being lost at the present time.

17 Q Because in his study, are the baskets full?

18 A The baskets are all full. He couldn't find  
19 any empty ones.

20 Q Meaning that the Purkinje cells had died a  
21 long time before?

22 A Right.

23 Q Doctor, do some autistic patients have  
24 craniofacial dysmorphologies?

25 A They do, and I have a paper on that that I

RODIER - FURTHER DIRECT

3029

1 mentioned in the report, but many other people have  
2 shown this, that the rate of small, minor physical  
3 malformations is increased in people with autism.

4 Q Can you give us some examples? And we are  
5 now looking at slide 13.

6 A Sure. I want you to look at the embryo, and  
7 this is a picture of the embryo at 54 days, so that's  
8 like in the eighth week post-conception, and I want  
9 you to look at the ears. Can you see that the ears  
10 are down here on his neck? Okay, that's where the  
11 ears form, and then, through differential growth, as  
12 the embryo gets older, the ears go from this position  
13 and twist so that they are upright, not lying on their  
14 sides, but upright, and they move up to the position  
15 related to the eye.

16 And in the next picture, you'll see a  
17 typical malformation that's common in autism.

18 Q And this is slide 14?

19 A Right, and it's called low-set, posteriorly  
20 rotated ears, which describes the condition pretty  
21 directly, and these low-set, posteriorly rotated ears  
22 obviously did not occur postnatally. In fact, the  
23 ears are in place by around the twelfth week. So any  
24 problem where you see low-set, posteriorly rotated  
25 ears suggests that there was an insult to development

RODIER - FURTHER DIRECT

3030

1 in the embryo.

2 Q And is there another example of a  
3 dysmorphology?

4 A Yes, I put in a slide of another one, and  
5 taking you back to the embryo again, and this time I  
6 want you to look at how far apart the eyes are. The  
7 human face, and actually other animals' faces, form in  
8 such a way that it's as though the face is coming  
9 together in the middle. So the middle part is  
10 produced first, but then the rest of it comes to join  
11 the middle, and what you see in cases of autism,  
12 pretty commonly, is that the eyes are too far apart.

13 So these two little boys both have autism  
14 subsequent to exposure to valproic acid, and can you  
15 see that their eyes are just a little too far apart?  
16 That's called hypertelorism, and you can see it even  
17 more clearly if you look at how far apart their  
18 eyebrows are. In fact, they look a little bit more  
19 like the embryo I just showed you than like a normal  
20 postnatal human.

21 The fact that their eyes aren't close enough  
22 together leaves them with a wide, flat nasal bridge,  
23 and makes you wonder whether they could ever wear  
24 glasses or not, because their nasal bridge is so wide.  
25 These cases are a good example of the fact that

RODIER - FURTHER DIRECT

3031

1 physical malformations, small ones, are not just  
2 associated with genetic syndromes. They occur in  
3 children like this who have a cause of autism that's  
4 environmental.

5 Q Now, Doctor, you've discussed several  
6 factors that lead you to believe that environmental  
7 factors, to the extent they play a role in autism, are  
8 occurring early in gestation. Now, the issue in this  
9 litigation is regressive autism, and I was wondering  
10 if you could comment on whether you think that the  
11 evidence you've presented is inconsistent with  
12 regression in autism.

13 A No, actually, it's well-known in  
14 neuroscience that very early lesions of the nervous  
15 system often result in regression.

16 Q And why is that?

17 A It is believed to be because, if you look at  
18 behavior in very young animals or people -- the big  
19 study was done in monkeys -- you can find behaviors  
20 that won't occur for some time after birth. So there  
21 are tests you can do that you will find that infants  
22 do very badly at them, but as their brain matures,  
23 they are able to do those tasks. Okay?

24 So what has been done in the primate  
25 literature is to place lesions in these very late-

RODIER - FURTHER DIRECT

3032

1 maturing parts of the nervous system in a neonatal  
2 animal, and then, when you compare that animal to a  
3 control, they both do poorly at the difficult task.  
4 They look just alike, but with the one I am referring  
5 to, when the animals get to be about two years old,  
6 the controls suddenly are able to do the task with  
7 ease, but the lesioned animals not only do not do as  
8 well as the normal, control animals, they do worse  
9 than they did earlier in life.

10 That's a regression, okay, and the reason is  
11 thought to be that when the animal reaches the age  
12 when this very advanced part of the nervous system is  
13 supposed to come on line, and you can see that it has  
14 in the controls, when they reach that age, apparently,  
15 they can't re-access the part of the brain they were  
16 using to do the task before. That is, they need to  
17 switch to the new system also, but they can't because  
18 it's missing. It's been ablated.

19 So, I mean, people who are familiar with  
20 early brain injuries know that regression can follow  
21 an early injury.

22 Q Doctor, based on your knowledge, training  
23 and experience, do you believe that the evidence  
24 supports the claim that thimerosal-containing vaccines  
25 cause or contribute to autistic spectrum disorders?

RODIER - CROSS

3033

1 A I do not.

2 Q And can you briefly just summarize again the  
3 basis for that opinion?

4 A First of all --

5 SPECIAL MASTER HASTINGS: Now we are on  
6 slide 17.

7 MR. JOHNSON: Yes, Special Master.

8 THE WITNESS: I mean, I believe that for  
9 many other reasons, but the information I wanted to  
10 bring to you is just that there is no similarity  
11 between the symptoms of any kind of mercury poisoning  
12 and autism, and there's not anything like a similarity  
13 between autism and ethylmercury poisoning. And then,  
14 it's my belief that the available evidence, both from  
15 known risk factors and histology and dysmorphology,  
16 indicates that autism arises in the embryo in the  
17 first trimester of pregnancy, and there is no evidence  
18 that it arises postnatally.

19 Q And Doctor, do you hold your opinions to a  
20 reasonable degree of scientific certainty?

21 A Yes.

22 MR. JOHNSON: Thank you. I have no further  
23 questions.

24 SPECIAL MASTER HASTINGS: Thank you, Mr.  
25 Johnson. Do Petitioners have questions for this

RODIER - CROSS

3034

1 witness?

2 MR. POWERS: We will, Special Master. Could  
3 we do a quick five-minute break here between direct  
4 and cross and then be ready in five minutes?

5 SPECIAL MASTER HASTINGS: All right, let's  
6 take a five-minute break.

7 MR. POWERS: Thank you.

8 (Whereupon, a short recess was taken.)

9 SPECIAL MASTER HASTINGS: Please be seated.  
10 All right, we are about to go back on the record and  
11 Dr. Rodier is in the witness stand. Mr. Powers, go  
12 ahead when you are ready with your cross.

13 MR. POWERS: Thank you, Special Masters.

14 CROSS-EXAMINATION

15 MR. POWERS: Good morning, Doctor.

16 THE WITNESS: Good morning.

17 BY MR. POWERS:

18 Q My name is Tom Powers. Along with Mr.  
19 Williams here, we represent the Petitioner Steering  
20 Committee, as well as William Mead and Jordan King and  
21 their individual claims in this proceeding. The  
22 initial portion of your presentation today and a  
23 significant portion of the report that you filed  
24 discussed the 2001 Medical Hypothesis article,  
25 correct?

RODIER - CROSS

3035

1 A That's right.

2 Q And in your direct testimony, you described  
3 that article as the reason that we are here today,  
4 correct?

5 A That was the first suggestion anyone had  
6 ever -- the first time, to my knowledge, that anyone  
7 had ever suggested that thimerosal and autism had a  
8 relationship.

9 Q In preparation for your expert report, did  
10 you review the expert reports that were submitted by  
11 the Petitioners' experts on general causation in these  
12 cases?

13 A Yes, I did.

14 Q Did you review Dr. Deth's report?

15 A Yes. I am not a biochemist, so that one is  
16 really out of my area of expertise.

17 Q Did you review Sander Greenland's report?

18 A Yes, and again, I am not an epidemiologist,  
19 so that one is also out of my area.

20 Q Did you review Dr. Aposhian's report?

21 A I did.

22 Q In none of those reports was the article  
23 that you referenced cited or relied on. Is that  
24 correct?

25 A I don't remember whether it was or not. It

RODIER - CROSS

3036

1 wouldn't necessarily have been.

2 Q And your understanding would be that those  
3 materials laid out Petitioners' theory of general  
4 causation in these cases, correct?

5 A Yes, that the expert reports did.

6 Q Between the time that you filed your expert  
7 report and your direct testimony today, did you have a  
8 chance to review Dr. Kinsbourne's expert report  
9 submitted?

10 A Yes.

11 Q Dr. Kinsbourne's report didn't cite the  
12 Bernard article that you've been discussing at all,  
13 did it?

14 A I don't think so.

15 Q None of the general causation reports from  
16 Petitioners in these cases cite or discuss the Bernard  
17 article, correct?

18 A I'm not sure, but if you say so, I will  
19 agree.

20 Q Now, in a lot of the work that you've done,  
21 it appears that there is an effort to look at minor  
22 physical abnormalities and see if there are  
23 associations between those MPAs -- is that the right  
24 abbreviation?

25 A Yes.

RODIER - CROSS

3037

1 Q -- between MPAs and autism. Is that a fair  
2 statement of a fair amount of your work?

3 A Some of it.

4 Q You've also done brain pathology work?

5 A Uh-huh.

6 Q In the brain pathology work, how many brains  
7 have you actually examined tissue from and generated  
8 peer-reviewed published literature?

9 A Just one.

10 Q One brain?

11 (Pause.)

12 BY MR. POWERS:

13 Q In the literature that you reviewed that  
14 describes the brain pathology work of others, would  
15 that involve the same series of studies that Dr.  
16 Kemper testified about yesterday?

17 A Yes. I mean, I've read all of those, yes.

18 Q Okay, and as he described, those studies  
19 involved a total of 23 individual brains, correct?

20 A Yes.

21 Q Yes, and I was going to say, the Special  
22 Master was about to signal you. When you give an  
23 answer, you need to say --

24 A Yes.

25 Q -- yes or no. Okay. Because this is being

RODIER - CROSS

3038

1 recorded and we've got to have a good record. So  
2 again, the question was, the brain pathology  
3 literature that you are relying on is essentially the  
4 same series of reports that Dr. Kemper described based  
5 on 23 brains?

6 A Yes.

7 Q So your work in neuropathology has involved  
8 the 23 brains in those studies and the one brain that  
9 you looked at, correct?

10 A Well, my understanding of the neuropathology  
11 involves all of those, and I'm sure he was including  
12 my case in the 23.

13 Q I think he was, and he actually did describe  
14 some studies that had individual -- that were just  
15 single-brain case studies.

16 A Yes.

17 Q Now, in those neuropathology studies, there  
18 was no correlation made between the neuropathology  
19 observed and the particular symptoms that the person  
20 from whom that brain was taken presented with, was  
21 there?

22 A I don't recall any very extensive discussion  
23 of the symptoms. In the Bailey case, there was  
24 probably a little more discussion of each individual  
25 case's symptoms, but all of them were ones that met

RODIER - CROSS

3039

1 the criteria at that time for a diagnosis of autism.

2 Q So it would be fair to say that the  
3 neuropathology to date that you've reviewed and that  
4 you've relied on cannot correlate neuropathological  
5 findings with a specific mix of symptoms in any  
6 particular person, correct?

7 A You certainly can't account for all of the  
8 symptoms with the neuropathology, but I think in our  
9 report, we certainly had evidence that the things we  
10 were seeing in the brain were having effects in the  
11 person, for example, that she had poor control of the  
12 muscles of facial expression. In fact, she had what  
13 is called Moebius syndrome, which is lack of  
14 innervation to the face and to the lateral rectus  
15 muscle that moves the eye to the side, and we didn't  
16 have the tissue to look at her abducens nucleus that  
17 moves the eye to the side, but we did have the tissue  
18 to see that her facial nucleus didn't contain the  
19 normal number of cells.

20 Q So this one brain and this one particular  
21 symptomology, you had a correlation, you believe?

22 A Uh-huh.

23 Q In general, the neuropathology has not been  
24 able to describe any particular pattern of symptoms  
25 that are associated with particular pathologies,

RODIER - CROSS

3040

1 correct?

2 A In general, but I can tell you one that's  
3 from our work that I didn't include in my report.

4 Q Is this the Brazilian, the Moebius work?

5 A No. This is the eye blink conditioning that  
6 I mentioned yesterday. You know, it has been shown in  
7 many of the neuropathology studies that the number of  
8 Purkinje cells in the cerebellum are reduced, and in  
9 eye blink conditioning, we know that the Purkinje  
10 cells provide the control of the timing of the eye  
11 blink response, and the size of it, and in people with  
12 autism, they blink a little too early and they blink  
13 harder than most people.

14 And so that shows there is something amiss  
15 with the Purkinje cells.

16 Q Now, eye blinking rate and strength of eye  
17 blinking, is that part of the diagnostic criteria for  
18 autism?

19 A No.

20 Q In terms of the diagnostic criteria for  
21 autism across the three domains, did any of your work  
22 show that there is a correlation between the  
23 neuropathology and particular presentation of language  
24 skills in individuals with autism?

25 A No.

RODIER - CROSS

3041

1 Q Any work that associates particular  
2 neuropathologies with the presentation of behavioral  
3 skills in particular individuals with autism?

4 A I'm not sure what you mean by behavioral  
5 skills.

6 Q We are talking about the behavioral skills  
7 that are one of the domains that are assessed in  
8 diagnosing autism.

9 A Do you mean social behavioral skills or  
10 communicative behavioral skills?

11 Q More the play and behavioral skills.

12 A Okay, that's considered a social skill.

13 Q And there is no correlation between the  
14 neuropathology and any collection of symptoms in any  
15 individual with autism?

16 A No, because none of the symptoms that are  
17 used in diagnosis are associated with any particular  
18 region of the brain. No one knows what part of the  
19 brain controls peer relationships or imaginative play.

20 Q No correlation between the timing of onset  
21 of symptoms and any particular manifestations of the  
22 neuropathology, correct?

23 A If I understand your question, and I'm not  
24 sure I do, Dr. Kemper said yesterday and I have said  
25 today that there are many things in the pathology that

RODIER - CROSS

3042

1 suggest a very early origin of the injury.

2 Q But there is nothing that associates  
3 specific symptoms that would be diagnosed in any  
4 individual with the neuropathological findings? You  
5 are saying it suggests an early onset, but my question  
6 is, does any of the neuropathology correlate with  
7 specific patterns of symptoms of people diagnosed with  
8 autism?

9 A I would say no.

10 Q And that would be reflected in the DSM-IV  
11 criteria, correct, where there is -- we talked about  
12 this with Dr. Kemper and you were here yesterday --  
13 where no specific pattern has been identified  
14 correlating any neuropathological issues with the  
15 presentation of symptoms, correct?

16 A Not with the diagnostic symptoms, right.

17 Q You talked about neural lesions. In what  
18 percentage of human beings who have been diagnosed  
19 with regressive autism is there evidence of neural  
20 lesions in their brains?

21 A No one to the best of my knowledge has done  
22 any pathological work where they took cases who were  
23 regressive and compared them to others.

24 Q So the answer would be no, there's no  
25 instance of a person with regressive autism who has

RODIER - CROSS

3043

1 been found to have a neural lesion?

2 A No.

3 Q You talked about environmental factors that  
4 may contribute to autism. You had it in your report  
5 and you described it on direct testimony. Do you have  
6 your report in front of you?

7 A I do.

8 Q I am going to ask you to turn to page 4 of  
9 the report.

10 A Okay.

11 Q Okay. There is subheading B and it says,  
12 the known environmental risk factors for autism all  
13 act in the first trimester of pregnancy. When you say  
14 'known environmental risk factor,' what are you  
15 referring to? As you describe it here, what  
16 attributes of a risk factor are there in order for it  
17 to be known, as you describe?

18 A That it's been demonstrated in an  
19 epidemiological study, a population study.

20 Q So your description of risk factors here is  
21 limited to risk factors that have been examined in  
22 population studies, in ecological studies?

23 A Not ecological studies, but population  
24 studies.

25 Q Population studies? So are you excluding

RODIER - CROSS

3044

1 case series and case studies from your description of  
2 environmental risk factors here?

3 A I'm not, actually, I'm not aware of any of  
4 those in -- that speak to the issue of environmental  
5 factors.

6 Q Are you familiar with any case reports or  
7 case studies that associate malaria in young children  
8 with the later presentation of autistic symptoms?

9 A Actually, I have seen some of those.

10 Q Are you familiar with studies that describe  
11 the possible implication of other viruses, such as  
12 Borna virus, in the later development of autism  
13 postnatally in children?

14 A I am not familiar with that one.

15 Q Are you aware of any case studies that  
16 describe encephalopathies, acute encephalopathies  
17 postnatally, that are then associated with the later  
18 development of autistic symptoms?

19 A With the subsequent development of autistic  
20 symptoms.

21 Q Correct.

22 A There are a few of those cases in the  
23 literature, but I believe that they are examples like  
24 the example I gave you of tuberous sclerosis, where if  
25 you injure the brain enough, you will eventually

RODIER - CROSS

3045

1 produce some of the symptoms of autism.

2 Q Right, and these are cases that do describe  
3 postnatal injuries or events that produce autism,  
4 correct?

5 A With very, very severe brain damage.

6 Q As I take it from looking at your work, one  
7 of the theories that you have advanced is that there  
8 are the possible involvement of the hindbrain or the  
9 brainstem in early development and the later  
10 development of autism, is that correct?

11 A That's correct.

12 Q And in examining that hypothesis that fetal  
13 hindbrain or brainstem development might be associated  
14 with later autistic symptoms, you looked up potential  
15 genetic contributions to that etiology, is that  
16 correct?

17 A Yes, and many of the genes that have been  
18 proposed as candidate genes for autism susceptibility  
19 are early developmental genes involved in the  
20 formation of the brainstem.

21 Q Right, and my understanding is that a number  
22 of years ago, you published a paper that examined a  
23 particular genetic location, the homeobox A1?

24 A That's right.

25 Q It's a particular location on chromosome 7,

RODIER - CROSS

3046

1 is that correct?

2 A Yes.

3 Q And that particular coding section codes the  
4 proteins that help guide the early alignment and  
5 development of, is it the hindbrain or the brainstem?

6 A It's the brainstem.

7 Q So you published on that, and one of the  
8 hypotheses there was that variations in the -- is it  
9 H-O-X A1? Is that the easiest way to --

10 A Hox-A1.

11 Q Hox-A1. Thank you. The more that I can use  
12 abbreviations scientifically, the more I appreciate  
13 it. So the Hox-A1 coding site on chromosome 7, the  
14 hypothesis was that anomalies there might be  
15 associated with autism down the road, correct?

16 A Right.

17 Q And there was a series of papers that were  
18 published after your article that addressed that  
19 question, correct?

20 A Yes.

21 Q And in 2002, Professor Lee's article came  
22 out, and that article held that it's unlikely that the  
23 Hox-A1 findings play a significant role in a genetic  
24 predisposition to autism, correct?

25 A That's right.

RODIER - CROSS

3047

1 Q There was another article that came out,  
2 also in 2002, with a larger study, and that study  
3 concluded that, even though the study had enough power  
4 that they were 95% confident they could identify even  
5 a 1% contribution of Hox-A1, they concluded that the  
6 evidence didn't support an association, correct?

7 A That's right, and these are papers on the  
8 same polymorphism in Hox-A1 that we had examined.

9 Q Right. And then in 2004, a third paper came  
10 out saying that the Hox-A1 gene is unlikely to be a  
11 susceptibility gene for autism, correct?

12 A Uh-huh. And then in 2005, a group of  
13 patients were studied in Saudi Arabia and Turkey who  
14 had a larger polymorphism or mutation in Hox-A1, and  
15 it turned out that they did have autism.

16 Q And in all of these studies, it hasn't been  
17 described as causing autism, but as a genetic  
18 susceptibility or a genetic vulnerability to autism?

19 A That's right.

20 Q There was a study that you did -- where is  
21 it -- is it Nova Scotia?

22 A Yes.

23 Q Okay, and this is Respondent's Exhibit No.  
24 401, and it's going to be on your screen in just a  
25 moment.

RODIER - CROSS

3048

1 A Okay.

2 Q Okay, take a look at that screen, and does  
3 that -- oh, I'll just ask you. What does that appear  
4 to be on your screen?

5 A That's our paper from *Teratology* in 1997, I  
6 think it was.

7 Q Okay. Now, what I would like to do is turn  
8 to the -- and again, this is Respondent's Master List  
9 401. We're going to turn to page 3 of the exhibit,  
10 and Doctor, for ease of your reference, the text page  
11 in the report is 321, and there is a table there. I  
12 wanted to zoom in for a second on Table 2.

13 A Okay.

14 Q And that very first category there where it  
15 says 'ear rotation'?

16 A Uh-huh.

17 Q Is that the ear dysmorphology that you were  
18 describing in your slide presentation?

19 A Yes, it is.

20 Q Okay, and you've found that in this  
21 population of children in Nova Scotia, that 42% of the  
22 autistic children had the ear rotation that you  
23 described, correct?

24 A Yes.

25 Q Now, 18% of the controls also had that,

1 true?

2 A That's right.

3 Q So is it your hypothesis that whatever  
4 genetic coding going on in early development that  
5 produced the ear rotation malformation also produced  
6 autism?

7 A I think that -- could you rephrase that  
8 question?

9 Q Is it your theory that the same genetic  
10 coding that directed the ear rotation resulting in  
11 this malformation also caused autism?

12 A We don't know whether it was genetic or not.  
13 I showed you that these malformations can also be  
14 caused by environmental factors, so we don't know  
15 whether these were genetically caused or not, but we  
16 think that they are related, that the timing, since  
17 there is evidence that something went wrong in  
18 development, that it's most likely that that's when  
19 the autism started.

20 Q Now, the 18% of the controls that had this  
21 identical -- it's called a malformation, just the  
22 different degrees of ear rotation. 18% of the  
23 controls had that. Now, they did not have autism.

24 A That's right.

25 Q So there's something that happened

RODIER - CROSS

3050

1 differently with the controls than with the autistic  
2 children, even though they had the same dysmorphology,  
3 and they had very different outcomes, correct?

4 A Yes.

5 Q So at best, any of the early developmental  
6 processes that generate these malformations would  
7 indicate not that that process caused the autism, but  
8 that it was a susceptibility or vulnerability to the  
9 later development of autism, correct?

10 A I think it speaks more to the issue of there  
11 being some event early on that seems to be related to  
12 the autism.

13 Q And some event early on that, at least given  
14 the evidence that controls who experienced the same  
15 event don't develop the symptoms, at least leaves open  
16 the possibility that something that happened later  
17 affected the ultimate presentation of symptoms in the  
18 children that were autistic, correct?

19 A Yes, that's possible.

20 Q Okay.

21 A But in science, we strive for parsimony, and  
22 if you already know that there was an event that did  
23 something to disturb development, you don't propose  
24 that there was probably a second event, unless there  
25 is evidence for it.

RODIER - CROSS

3051

1 Q Now, would you disagree -- and we're done  
2 with the paper here. Would you disagree with anybody  
3 who presented at the Institute of Medicine meeting in  
4 April of 2007 that looked at the issue of potential  
5 environmental contributions in the etiology of autism,  
6 do you disagree that there may be environmental  
7 factors that come into play in autism?

8 A No.

9 Q You don't disagree?

10 A No.

11 Q Would you agree that it is possible and  
12 biologically plausible that in some cases, there could  
13 be postnatal environmental factors that might result  
14 in the appearance of autistic symptoms?

15 A It's a very outside possibility that a very  
16 late injury, unless it's, you know, overwhelming brain  
17 injury like with an encephalopathy, that a very late  
18 injury would give you the same behavioral effects that  
19 you see after early injuries.

20 Q Would you disagree then with the  
21 recommendation that NIH -- at least the person who  
22 was, Dr. Insel, who was presenting -- that NIH ought  
23 to be devoting research money into postnatal  
24 environmental contributions to the development of  
25 autistic symptoms? Do you think that that's a waste

1 of money?

2 A I certainly wouldn't say that no one should  
3 try to study it, but given how successful it's been so  
4 far, I would doubt that it would be very successful in  
5 the future.

6 Q Any of the work that you've done in looking  
7 at dysmorphology -- oh, actually, a question also on  
8 the dysmorphic issue. What percentage of children  
9 with regressive autism have dysmorphic physical  
10 features?

11 A I am not aware that anyone has ever looked  
12 at the -- has reported physical dysmorphologies in  
13 regressive versus non-regressive cases.

14 Q Among cases that are non-regressive, what  
15 percentage of non-regressive cases of autism have  
16 identified dysmorphologies associated with them?

17 A I think it depends on who is looking for the  
18 dysmorphologies and which ones they are looking for,  
19 but I know in our sample, we have run around 50% have  
20 dysmorphic features of some sort, and in the cases in  
21 Rome collected by Tony Persico, he says 52% in his  
22 sample.

23 Q So it sounds like about half, correct?

24 A Yes.

25 Q So, and those are specifically in the non-

RODIER - CROSS

3053

1 regressive cases, but again, in the regressive cases  
2 of autism, you are not aware of any data that even  
3 associates the appearance of physical dysmorphologies  
4 with the symptoms of regressive autism, correct?

5 A No, I am not, but both Persico's series and  
6 ours would have included some cases of regressive  
7 autism.

8 Q Do you know that?

9 SPECIAL MASTER HASTINGS: Let me interrupt.  
10 That's the point I wanted to make to your previous  
11 question, Mr. Powers. You ask her -- she said there  
12 was no difference between regressive and non -- she  
13 didn't know that anyone had looked at regressive  
14 versus non-regressive, and then you asked her, well,  
15 in the cases of non-regressive, and you said, Dr.  
16 Rodier said about 50%, but when you answered that --

17 THE WITNESS: No, he said that that was in  
18 non --

19 SPECIAL MASTER HASTINGS: I'm sorry?

20 THE WITNESS: He said that that was in non-  
21 regressive. I didn't say that.

22 SPECIAL MASTER HASTINGS: Well, actually, in  
23 the question before you answered, I think he mentioned  
24 it too, and I didn't think you picked up on that, so I  
25 want to make sure I understand. When you were talking

RODIER - REDIRECT

3054

1 about the studies that have found about 50 or 52%,  
2 those studies made no distinction between regressive  
3 or non-regressive, correct?

4 THE WITNESS: That's correct.

5 SPECIAL MASTER HASTINGS: All right. I just  
6 wanted to clarify that.

7 BY MR. POWERS:

8 Q So they made no distinction, and so the  
9 issue is that an association of physical  
10 dysmorphologies with regressive autism is an inquiry  
11 that has yet to have been made. Is that a fair  
12 statement?

13 A That's right.

14 MR. POWERS: Thanks. No further questions.

15 SPECIAL MASTER HASTINGS: Go ahead.

16 MR. JOHNSON: I have just a couple.

17 REDIRECT EXAMINATION

18 BY MR. JOHNSON:

19 Q Doctor, you were asked some questions about  
20 your own brain studies, and you were asked how many  
21 you had studied and you answered one. Based on your  
22 study of that brain, did your findings make sense  
23 biologically? In other words, did the things that you  
24 found match up with what is kind of scientifically  
25 understood about biology and development?

RODIER - REDIRECT

3055

1 A Yes.

2 Q So even though your data is limited, it is  
3 consistent with other established scientific data?

4 A Yes, and I should say, I think this is an  
5 important point. The different studies, the different  
6 histological studies that have been done all have  
7 their strengths and weaknesses. We had just one case,  
8 but we did serial sections of that case. That is, we  
9 had just part of a brain, and so it wasn't that  
10 expensive to do thin serial sections of the whole  
11 length of the tissue that we had.

12 In most studies of larger case series, in  
13 Dr. Kemper's set, their sections are incredibly thick,  
14 so they have difficulty studying small nuclei, whereas  
15 we could study that. In Bailey's case, they couldn't  
16 afford to take sections except every couple of  
17 millimeters, so they didn't even have a chance to hit  
18 most of the small nuclei that are smaller than a  
19 couple of millimeters.

20 So those studies are good because they have  
21 multiple cases, but they are bad because there are a  
22 lot of parts of the brain they couldn't study.

23 Q But in general, is the study of these brain  
24 sections, is it fairly painstaking?

25 A Yes.

RODIER - REDIRECT

3056

1 Q Okay.

2 A Especially if you are doing cell counts.

3 Q Okay, and in the studies that have been  
4 done, are the findings generally consistent between  
5 the brains that have been studied?

6 A Some of the things are fairly consistent,  
7 especially the low number of Purkinje cells, but there  
8 are other things that one person has reported and no  
9 one else has reported. But this is a very slow  
10 process. I will give you an example. One of the  
11 things we found in the brain that we studied was a  
12 complete absence of a part of the superior olive,  
13 which is an auditory relay nucleus, and it's the first  
14 one where information from both ears comes together in  
15 the nervous system, and so it's important in sound  
16 localization, okay?

17 And we reported that. It was very striking  
18 in our brain. No one else has ever reported it. No  
19 one else has said that the superior olive was normal.  
20 They just didn't look, and just two months ago, a  
21 group of people who actually studied a superior olive  
22 got hold of the superior olive from one of the brain  
23 banks for five cases, and in fact, all five of them  
24 were abnormal.

25 So that's an example of something that was

RODIER - REDIRECT

3057

1 reported in 1996. No one else saw it until 2008.

2 Q Doctor, you were also asked some questions  
3 about the environmental risk factors that you  
4 identified and why you selected the ones that you  
5 selected, and I just wanted to be clear. Did you  
6 select the ones that you presented today because there  
7 is good epidemiological data on those?

8 A Yes.

9 Q Okay, and so you weren't considering case  
10 reports and case studies because those don't really  
11 provide good evidence of a causal association?

12 A No.

13 Q And Doctor, I just want to ask you, as a  
14 scientist who studies human development, what is more  
15 likely, that the early problems in prenatal  
16 development cause problems later in development, or  
17 that a postnatal exposure causes autistic regression?

18 A Early injuries produce a cascade of further  
19 injuries in the nervous system, and this has been  
20 shown, so I would favor the idea that early injuries  
21 are the most likely to be involved.

22 MR. JOHNSON: Thank you. That's all.

23 SPECIAL MASTER HASTINGS: Mr. Powers,  
24 anything further?

25 MR. POWERS: We have no further questions,

RODIER - REDIRECT

3058

1 Special Master.

2 SPECIAL MASTER HASTINGS: Special Masters?

3 SPECIAL MASTER VOWELL: I have a question,  
4 well, probably several for you, Dr. Rodier, and my  
5 questions deal with the follow-up on some of Mr.  
6 Powers's questions. That is, we have heard testimony  
7 or read reports that involve people with postnatal  
8 exposures to certain viruses or other factors who  
9 develop autistic-like symptoms. Herpes encephalitis,  
10 for example.

11 THE WITNESS: Yes.

12 SPECIAL MASTER VOWELL: Given your testimony  
13 that the onset of the injury occurred probably in  
14 early prenatal development, how do you square that  
15 with evidence that something postnatally can cause  
16 very similar symptoms?

17 THE WITNESS: I think that in those rare  
18 cases, like the malaria cases, the herpes encephalitis  
19 cases, that what you get is tremendous brain damage,  
20 and it probably includes many symptoms besides the  
21 symptoms of autism, but if you damage the brain  
22 enough, you'll eventually damage the parts involved in  
23 autistic behavior, although it actually won't be,  
24 technically wouldn't meet the present criteria for  
25 autism unless it occurred before age 3.

RODIER - REDIRECT

3059

1           SPECIAL MASTER VOWELL: Age 3, right. So  
2 you are saying that a postnatal insult that involves  
3 incredible brain damage can mimic the symptoms,  
4 although having a very different cause from what you  
5 see in terms of classically diagnosed autism?

6           THE WITNESS: Right, and you know, I am  
7 making that distinction because I think the thing that  
8 is fascinating to scientists is that the symptoms of  
9 autism can occur in someone who has no other symptoms  
10 at all. We have a case in Rochester who has an IQ of  
11 150, but has frank autism, so many cases of autism  
12 don't look like they have overwhelming brain damage,  
13 but they have something, some alteration in their  
14 development that interferes with very specific kinds  
15 of behaviors, and I think that people who work in  
16 autism, you know, that's the thing that they are  
17 trying to understand.

18           SPECIAL MASTER VOWELL: I think in  
19 analogies, so let me give you this. Let's say that a  
20 child suffers a prenatal insult that takes away the  
21 ability to see. Does that happen?

22           THE WITNESS: Yes.

23           SPECIAL MASTER VOWELL: Okay. Then a child  
24 suffers some sort of brain inflammation or brain  
25 injury that takes away the ability to see. Those are

RODIER - REDIRECT

3060

1 similar symptoms with very different causes.

2 THE WITNESS: Right.

3 SPECIAL MASTER VOWELL: Is that what you're  
4 saying?

5 THE WITNESS: I understand...

6 SPECIAL MASTER VOWELL: What I am struggling  
7 to get at.

8 THE WITNESS: Yes, I understand the case  
9 that you've described of -- vision can be lost at any  
10 age, okay. What's different in a condition like  
11 autism is, from the vision analogy, is that most  
12 people think a big part of the problem in autism is  
13 that the connectivity of the brain is not right, and  
14 you know, you probably heard other people say that and  
15 you'll hear Casanova say that, that the connections  
16 that are formed aren't right.

17 It's not that they have big holes in their  
18 brains, you know. It's, for some reason, the  
19 connections didn't form properly, and that process,  
20 that basic process, is going on like crazy in the  
21 embryo, okay, and in the first few months in utero.  
22 By the middle of gestation, things like cell migration  
23 are complete, and now the final stages of making  
24 connections can occur.

25 There are connections being made probably

RODIER - REDIRECT

3061

1 throughout life but the basic ones are set up, you  
2 know, the basic tracks, the basic pathways, the  
3 connections between the two sides of the brain and the  
4 forebrain with the hindbrain, etc., those pathways are  
5 all present soon after birth, so that a disruption, to  
6 disrupt those pathways would have to occur early, and  
7 what I have trouble imagining, just from picturing the  
8 development, that long series of events, is that you  
9 could then go in later with some kind of global injury  
10 that would give you exactly the same misconnected  
11 brain that you can produce early.

12 SPECIAL MASTER VOWELL: So, if you took my  
13 two children, the one with the prenatal injury and the  
14 one with the postnatal, after-birth injury, and you  
15 looked at their brains, even though the symptom is the  
16 same, neither of them can see, the mechanism, what you  
17 would see in their brains would be very different?

18 THE WITNESS: Well, they wouldn't  
19 necessarily have brain problems. They wouldn't  
20 necessarily be blind because there is something wrong  
21 with the brain.

22 SPECIAL MASTER VOWELL: Well, maybe I'll  
23 come up with another example that is something wrong  
24 with the brain. Ataxia, perhaps? What I am trying to  
25 get at, and obviously doing it inarticulately, is, do

RODIER - REDIRECT

3062

1 we see similar symptoms with different brain  
2 neurophysiology? That is, you've described to us what  
3 happens, the missing baskets, the absent Purkinje  
4 cells. Can you get similar symptoms with a postnatal  
5 insult that do not necessarily involve those findings?

6 THE WITNESS: I don't think we know the  
7 answer to that.

8 SPECIAL MASTER VOWELL: Okay.

9 THE WITNESS: I think it depends, it would  
10 depend on whether the behaviors you are looking at are  
11 ones that the underlying problem is one of  
12 connectivity or just one of pure structure.

13 SPECIAL MASTER VOWELL: Absence.

14 THE WITNESS: I mean, for example, you could  
15 be blind because the retina failed to develop. You  
16 could be blind because the occipital cortex failed to  
17 develop. You could also be blind because somebody hit  
18 you on the back of the head and damaged your occipital  
19 cortex, and those would have the same -- could have  
20 the same symptoms, but through different kinds of  
21 mechanisms.

22 SPECIAL MASTER VOWELL: And so  
23 histopathologically, if you looked at the brain, you  
24 would see different, there would be different  
25 findings?

RODIER - REDIRECT

3063

1 THE WITNESS: Yes.

2 SPECIAL MASTER VOWELL: Okay. That was what  
3 I was trying to get at, that this is not the only  
4 explanation for autistic symptoms, this early -- and I  
5 am talking about autistic symptoms, not a diagnosis of  
6 autism.

7 THE WITNESS: Right. Yes, that's right.

8 SPECIAL MASTER VOWELL: So you could develop  
9 autistic symptoms postnatally without having the same  
10 histopathologic findings in the brain that you've  
11 described, through other mechanisms than those you've  
12 described?

13 THE WITNESS: Yes.

14 SPECIAL MASTER VOWELL: Okay. Those are my  
15 questions.

16 SPECIAL MASTER HASTINGS: Any questions?

17 SPECIAL MASTER CAMPBELL-SMITH: No.

18 SPECIAL MASTER HASTINGS: Any further  
19 questions based on Special Master Vowell's questions?

20 MR. POWERS: Not from the Petitioners.

21 Thank you.

22 MR. JOHNSON: Nothing from Respondent.

23 SPECIAL MASTER HASTINGS: All right, then,  
24 Dr. Rodier, we thank you very much for your testimony.

25 THE WITNESS: Thank you.

RODIER - REDIRECT

3064

1 SPECIAL MASTER HASTINGS: You are excused at  
2 this point.

3 (Witness excused.)

4 SPECIAL MASTER HASTINGS: Is Respondent  
5 ready to call the next witness, then, at this point?

6 MR. MATANOSKI: Yes, sir.

7 SPECIAL MASTER HASTINGS: Do we need a  
8 break, or?

9 MR. MATANOSKI: Could we have a brief break  
10 just to get set up, sir?

11 SPECIAL MASTER HASTINGS: Okay, how long do  
12 you need?

13 MR. MATANOSKI: Five minutes, sir.

14 SPECIAL MASTER HASTINGS: Five minutes?  
15 Okay, we're going to take a five-minute recess.

16 (Whereupon, a short recess was taken.)

17 SPECIAL MASTER HASTINGS: Please be seated,  
18 folks. We are ready to proceed with the next witness,  
19 I believe. Dr. Goodman is seated at the witness  
20 table, and Ms. Ricciardella, when you are ready,  
21 please proceed.

22 MS. RICCIARDELLA: Thank you.

23 SPECIAL MASTER HASTINGS: Oh, actually, I  
24 should swear the witness.

25 //

DR. GOODMAN - DIRECT

3065

1                   Whereupon,

2                                   STEVEN GOODMAN

3                   having been duly sworn, was called as a  
4                   witness and was examined and testified as follows:

5                   SPECIAL MASTER HASTINGS: Please go ahead,  
6                   Ms. Ricciardella.

7                   MS. RICCIARDELLA: Thank you.

8                                   DIRECT EXAMINATION

9                   BY MS. RICCIARDELLA:

10                  Q       Good morning, Dr. Goodman. Could you please  
11                  state your name for the record?

12                  A       Steven Goodman.

13                  Q       And what is your current position?

14                  A       I'm a professor of oncology, epidemiology,  
15                  biostatistics and pediatrics at the Johns Hopkins  
16                  School of Medicine.

17                  Q       And would you briefly review your  
18                  educational background post-high school?

19                  A       Post-high school? Okay. Going back a long  
20                  way. I got a B.A. from Harvard where I studied  
21                  applied math and biochemistry. I got then an M.D.  
22                  from New York University, and then trained in  
23                  pediatrics at Washington University at St. Louis, was  
24                  board certified, then got a master's degree in  
25                  biostatistics and a Ph.D. in epidemiology from the

DR. GOODMAN - DIRECT

3066

1 Johns Hopkins School of Public Health.

2 Q And are you a medical doctor?

3 A Yes.

4 Q And you're board certified?

5 A Yes.

6 Q In what?

7 A In pediatrics.

8 Q Okay, and what licenses do you hold?

9 A I no longer hold an active license. I held  
10 a licensed practice until the late 90s when I  
11 surrendered to epidemiology as my real profession.

12 Q And would you briefly describe your  
13 employment history -- academic employment history?

14 A I have been on the faculty of the Johns  
15 Hopkins School of Medicine in the Department of  
16 Oncology, with joint appointments in epidemiology and  
17 biostatistics since 1989.

18 Q And in what professional societies are you  
19 most involved?

20 A The one where I am most involved is  
21 something called the Society for Clinical Trials,  
22 where I serve on their executive board. I am  
23 currently editor of their journal, and I am also a  
24 member of the American Statistical Association and  
25 International Biometric Society, and have been a

DR. GOODMAN - DIRECT

3067

1 member of a variety of epidemiologic organizations.

2 Q Now, your CV states that you are on various  
3 advisory committees. Could you explain what your role  
4 is in those advisory committees?

5 A Yes, I am on several. I serve as a  
6 scientific advisor to what is called the technology  
7 assessment program of the National Blue Cross/Blue  
8 Shield Association. This is an expert panel of  
9 leading scientists and physicians from around the  
10 country that looks at new medical procedures,  
11 interventions, and tries to decide from emerging  
12 evidence whether there is enough evidence to conclude  
13 that it works, basically.

14 I served in a similar capacity on the  
15 Medicare Coverage Advisory Commission, where they do  
16 exactly the same thing. They look at emerging  
17 evidence of the efficacy of medical procedures and  
18 interventions to decide whether they are likely to  
19 work and whether Medicare should cover them. Let's  
20 see, the others. Those are the main ones at present.

21 Q And do you hold any teaching positions in  
22 your specialty?

23 A Yes. I teach a number of courses in the  
24 Department of Epidemiology at Johns Hopkins. I teach  
25 a three-term doctoral seminar that's required of all

DR. GOODMAN - DIRECT

3068

1       doctoral students on basic principles, not just basic,  
2       advanced principles of epidemiology and issues in  
3       inference. I teach a course in meta-analysis,  
4       systematic reviews or evidence synthesis, taught that  
5       for ten years.

6               I teach a course in clinical research  
7       methods, both during the regular term and I also teach  
8       that during the summer, and I also lecture in a number  
9       of other courses on ethics of clinical research.

10       Q     Now, are you a full professor?

11       A     Yes.

12       Q     And you mentioned that you give lectures.  
13       Do you also give lectures to professional groups and  
14       organizations?

15       A     Yes.

16       Q     What topics, usually?

17       A     They are usually on the issues of inference  
18       and evidence synthesis, that is, how to draw  
19       conclusions from data.

20       Q     And to whom would you give such a lecture?

21       A     I have been invited to many groups, the FDA,  
22       CMS, I have been invited to talks of various  
23       epidemiologic societies.

24       Q     And what is CMS?

25       A     I'm sorry. That's Medicare, the Center for

DR. GOODMAN - DIRECT

3069

1 Medicare and Medicaid Services. Groups like this.  
2 Training sessions for staff who have to synthesize the  
3 evidence.

4 Q And do you lecture internationally as well?

5 A Yes, I do.

6 Q Are you actively involved in research?

7 A Yes, I am.

8 Q Could you explain in what ways?

9 A Well, I have been a member of and now  
10 director of a division of biostatistics in our  
11 Department of Oncology, and the main role I and fellow  
12 faculty there have is to collaborate with other  
13 researchers, both in the Cancer Center, but also  
14 throughout the medical school, in virtually everything  
15 that they study. So we do everything from very basic  
16 laboratory research -- that is, we don't do it, we  
17 work with the scientists who do it -- to large-scale  
18 epidemiologic studies, and that's where I spend my  
19 time when I'm not teaching.

20 Q And is epidemiology itself a science?

21 A Yes, it certainly is.

22 Q And you've published over a hundred  
23 scientific articles? Is that accurate?

24 A Yes.

25 Q And are they all peer-reviewed?

DR. GOODMAN - DIRECT

3070

1 A Yes.

2 Q And what have been the primary subject areas  
3 of your publications, if you could distill it to a few  
4 subject areas?

5 A Well, the primary areas of the collaborative  
6 research is cancer, although I have published articles  
7 on many other disease areas as well. My own work  
8 tends to be in areas of inference, and how to, again,  
9 methods and principles underlying how to draw  
10 conclusions from usually uncertain data. I have also  
11 actually done research on peer review, believe it or  
12 not. I think that was peer-reviewed too, and those  
13 are the main areas.

14 Q And in addition, you've authored six book  
15 chapters, is that correct?

16 A Yes, that's true.

17 Q And your CV states that you wrote the lead  
18 chapter in the 2004 Surgeon General's Report on  
19 Smoking, is that right?

20 A Yes.

21 Q And what did that entail?

22 A That was the chapter that laid out the  
23 principles and categories of conclusions that were to  
24 be used in the causality assessments in the subsequent  
25 chapters, which all focused on specific diseases links

DR. GOODMAN - DIRECT

3071

1 with specific diseases to smoking, but this set out  
2 the framework by which, and the principles by which  
3 each of the contributing authors would use to make  
4 that assessment.

5 Q And do you have an editorial role in any  
6 medical journals?

7 A Yes, I have been the senior statistical  
8 editor for the *Annals of Internal Medicine*, which is  
9 one of the world's leading medical journals, since  
10 1987. I am also editor-in-chief of a journal called  
11 *Clinical Trials* that I mentioned before, which focuses  
12 quite generally on clinical research methodology.

13 Q And are you a reviewer for any journals?

14 A Yes, I am a reviewer for many many journals.  
15 I was also associate editor for another journal, the  
16 *Journal of General Internal Medicine*, for several  
17 years.

18 Q And Dr. Goodman, have you testified in a  
19 court of law before?

20 A Yes, I have.

21 Q How many times?

22 A Twice.

23 Q And could you describe what those cases were  
24 about?

25 A One was a malpractice case in Florida. It

DR. GOODMAN - DIRECT

3072

1 had to do with a misdiagnosis, and it was -- and I was  
2 called down there as someone who could comment on the  
3 evidence underlying the prognostic -- the likely fate  
4 of the patient had they not had the delayed diagnosis.  
5 It involved looking at the literature. In the other  
6 case, it was the Fen-Phen case. It was a tort case  
7 where I was brought into it after the settlement had  
8 been reached -- people in the courtroom will know the  
9 language better than I -- to advise on the fairness  
10 and whether the settlement that had been reached was  
11 equitable and fair or based in science, so I was given  
12 the underlying data they had to reach the settlement  
13 and the compensation grid, and I advised the court on  
14 whether that was reasonably based on the evidence,  
15 underlying evidence.

16 Q Have you ever testified in relation to  
17 epidemiology and autism?

18 A No, I have not.

19 Q Now, Doctor, your CV states that you have  
20 been a member of various committees of the Institute  
21 of Medicine, is that correct?

22 A Yes.

23 Q What is the Institute of Medicine?

24 A The Institute of Medicine is an independent  
25 body that I believe is chartered by Congress. It's a

DR. GOODMAN - DIRECT

3073

1 branch of the National Academy of Sciences, which was  
2 originally started in the mid-1800s. The Institute of  
3 Medicine as an independent entity within that was  
4 started I think about 1970, and it's a body that is  
5 specifically tasked with providing independent,  
6 objective, expert scientific advice to Congress and to  
7 federal agencies and other official bodies within the  
8 U.S. government, but it's completely independent of  
9 them.

10 Q And how is the Institute of Medicine  
11 regarded in the scientific community?

12 A I would say -- it's hard to speak for the  
13 entire scientific community, but I would say it's one  
14 of the most highly regarded bodies, both election to  
15 the Institute of Medicine, which is separate from  
16 serving on the committees, is one of the highest  
17 honors that a scientist, an academic scientist or  
18 practicing scientist, could achieve, and their work is  
19 generally very highly regarded, mainly by dint of the  
20 quality of the products that it's produced over the  
21 years, and the quality of the people who work on them.

22 Q Do you know how IOM -- I'm going to use the  
23 acronym IOM -- IOM committees are formed?

24 A Well, I've not been on the side of choosing  
25 the committees, but since I've been on a few, I have

DR. GOODMAN - DIRECT

3074

1 some insight. The IOM staff, which includes the  
2 leadership, possibly members of the Academy, and staff  
3 who worked on a topic, try to find people who they  
4 regard as expert or have relevant expertise in a  
5 particular area related to the report that they are  
6 constructing in panel.

7 They usually have a number of domains of  
8 expertise that they try to cover, and they -- I assume  
9 they look through the literature, they look through  
10 talking to other scientists and try to find people who  
11 they think are both of high reputation and highly  
12 respected to be able to opine on the various subjects  
13 that they address.

14 Q So committee members are selected by the  
15 IOM?

16 A Yes, they are.

17 Q And what is the role of a committee member?

18 A I think that varies by committee. On the  
19 committees that I have been, our role has been to read  
20 through all the evidence in the form of published  
21 reports. We also listen to public testimony and  
22 evidence that's presented to us in public session, and  
23 it's our job to both come up with the conclusions and  
24 drafting of critical language that's in the report.  
25 Much of the bulk of the body of the report is written

DR. GOODMAN - DIRECT

3075

1 by staff, particularly things like evidence tables,  
2 but all of the key -- but this is guided by the  
3 deliberations of the panel, and all of the language is  
4 reviewed by all the members of the panel before it's  
5 finalized.

6 Q That brings me to my next question. Would  
7 you briefly discuss the peer review process at the  
8 IOM?

9 A Yes. Again, I know this indirectly, but  
10 first of all, the reports go through many rounds of  
11 revision before it's sent out for peer review. Once  
12 it's sent out for peer review, they identify a panel  
13 of scientists. It can be as many as 10 or 15 or 20,  
14 and that report is sent to them. They send comments  
15 back, and the whole process is brokered by a review  
16 manager, who is, again, usually a respected academic.

17 It doesn't necessarily have to be a person  
18 who is an expert in that specific area, but they are  
19 an expert in being a fair judge of whether the panel  
20 adequately responds to the reviewers' comments. So  
21 the panel does not have to agree or change their  
22 conclusions on the basis of the review, but they have  
23 to offer good reasons for every change they do or do  
24 not make. They have to respond to every single  
25 comment that's made, and those reviewers are not known

DR. GOODMAN - DIRECT

3076

1 to us at the time of the review, nor is the review  
2 manager.

3 We have no idea where these are coming from,  
4 but ultimately, their names are published in the book.  
5 That's actually the first time, in the report, that's  
6 the first time we ever know who read the report. And  
7 the other thing I will say is, after that comes back  
8 to the IOM, it then goes through several further  
9 levels of review up through the leadership, up to the  
10 president of the Institute of Medicine, and it's only  
11 when it passes that level that the report is issued.

12 Q Now, your CV states that you were on the IOM  
13 Immunization Safety Review Committee. Is that  
14 correct?

15 A That's correct.

16 Q Doctor, do you know why that committee was  
17 formed?

18 A I think it was formed because of concern by  
19 both Congress and also the CDC about a variety of  
20 hypotheses that were being proposed, and it was felt  
21 that it was extraordinarily important that the  
22 evidence underlying these hypotheses be adjudicated or  
23 judged in a fair and unbiased fashion, both for the  
24 purposes of science and public health and also because  
25 of the concerns of the public. Just that.

DR. GOODMAN - DIRECT

3077

1 Q And when was it formed?

2 A This committee, well, we first met in 2001.  
3 I think obviously the process of formation preceded  
4 that by about a year.

5 Q And how many members served on the  
6 committee?

7 A Thirteen, I believe.

8 Q Okay, and how many medical institutions were  
9 represented on the committee?

10 A I'll have to go back. I think it was 13.  
11 It might have been 12.

12 Q Okay. From across the country?

13 A Yes.

14 Q And in 2001 when the IOM first met, what  
15 outcomes were they looking at? Clinical outcomes.

16 A Right. Well, you could just look at the  
17 sequence of reports. We had a whole series of  
18 reports. The very first one was MMR and autism, that  
19 is measles-mumps-rubella vaccine, and autism  
20 specifically. And the second one was on thimerosal  
21 and -- I believe it's the second one -- and  
22 developmental disorders. Then there was a whole  
23 range. There was also polio vaccine, SV40 and cancer,  
24 related to a contamination of polio vaccine, and there  
25 was a series of eight reports which, the final one was

DR. GOODMAN - DIRECT

3078

1 re-looking at the MMR hypothesis and autism, and the  
2 thimerosal hypothesis, although this time it was  
3 specifically on autism. The previous report was on  
4 more unspecified developmental problems.

5 Q Now, getting back to the membership of the  
6 Immunization Safety Review Committee, do you recall  
7 what the specialties were of the individual members,  
8 generally?

9 A They were, having recently reviewed it, we  
10 had a neurologist, pediatric neurologist, a  
11 neonatologist, immunologist, epidemiologist,  
12 biostatisticians, folks who were expert in issues of  
13 risk communication, public health, vaccine biology,  
14 and I think that may cover the territory,

15 Q There was no toxicologist on the committee,  
16 is that correct?

17 A No, there was not. When I reviewed it, I  
18 saw that there wasn't on this committee. I believe  
19 there were toxicologists who may have been among the  
20 reviewers, but we didn't have anybody specifically  
21 expert in that area.

22 Q In your opinion, the fact that there was no  
23 toxicologist in the community, did that affect the  
24 IOM's conclusion?

25 A No, I don't think it would have affected our

DR. GOODMAN - DIRECT

3079

1 conclusions at all.

2 Q Now, you've been a member of other IOM  
3 committees as well, correct?

4 A Yes.

5 Q Could you just briefly state a couple of  
6 those?

7 A The first one was Agent Orange and health  
8 outcomes in veterans. The one following my service on  
9 the immunization safety was the treatment of PTSD in  
10 veterans, which is --

11 Q Post-traumatic stress disorder?

12 A Yes, which is a big issue these days, as we  
13 know.

14 Q I would like to turn the discussion briefly  
15 to the 2001 report that the Immunization Safety  
16 Committee issued. What conclusions did the 2001  
17 committee make with regard to the hypothesis of  
18 thimerosal-containing vaccines causing autism?

19 A That conclusion was that the evidence was  
20 inadequate, basically, to make a judgment on that, and  
21 it was on developmental disorders --

22 Q Correct. I misspoke.

23 A -- generally. The reason they said that was  
24 that there were at that time no epidemiologic studies  
25 that addressed the question, and that the biologic

DR. GOODMAN - DIRECT

3080

1 studies underlying the hypothesis were, as described  
2 at the time, fragmentary.

3 Q And did the committee recommend that  
4 additional studies be done?

5 A Yes, and in fact, they were done.

6 Q Now, in 2001, the committee used the phrase  
7 'biologically plausible,' but I note that in the 2004  
8 report, they changed their phrasing. Could you  
9 explain why?

10 A Yes. The phrase 'biologic plausibility' was  
11 used, I think, in a somewhat informal and unfortunate  
12 way the first time, and it's explained in detail in  
13 the last report why it was changed.

14 Q You mean the 2004 report?

15 A Yes, the 2004 thimerosal autism report, or  
16 vaccines and autism. It was originally used in the  
17 sense of just saying that this is possible. It  
18 doesn't violate physical principles. That is, we knew  
19 that mercury is a neurotoxin. There is no question  
20 that it's a neurotoxin. So the idea that it could  
21 produce some form of neurologic disease was not  
22 impossible, not at all impossible.

23 So it was used in this sort of technical  
24 sense that, as opposed to biologically implausible,  
25 that is, or biologically impossible, that it didn't

DR. GOODMAN - DIRECT

3081

1 violate any known biologic or physical principles or  
2 rules, and that was the only sense in which it was  
3 used. It then became apparent to the committee when  
4 the report was received that this phrase was  
5 inappropriately vague and nonspecific, and that it was  
6 taken by many to be -- it was interpreted by many as  
7 saying that the hypothesis was likely or probable,  
8 which was in no way the sense that it was used.

9 So the committee decided in later reports,  
10 actually decided even in the next report, to be much  
11 more precise about how it was evaluating the biologic  
12 evidence, and it lays this out in the 2000 report.  
13 First of all, they refer specifically to biologic  
14 mechanism, and then they divide it into three  
15 categories. They divide the description of the  
16 biologic mechanism into it being theoretical only, and  
17 this might be the realm in which 'biologically  
18 plausible' could fall.

19 'Theoretical' would be an explanation that  
20 could be true, that hasn't been demonstrated in any  
21 experimental settings, but it's not impossible and  
22 could rise to the level of, now we should test it. So  
23 that would be theoretical. It's not -- you wouldn't  
24 deem something theoretical if it was completely a  
25 crackpot theory. So it had to at least rise to some

DR. GOODMAN - DIRECT

3082

1 minimal level of credibility to be theoretical, but it  
2 would still be theoretical. It would be just  
3 something that was posed as a possibility worthy of  
4 exploration.

5 Then there was 'experimental,' which is  
6 maybe pieces of the mechanism had been demonstrated  
7 but by no stretch of the imagination had the entire  
8 mechanism been demonstrated, and so we could judge  
9 something as experimental if there was enough of the  
10 causal pathway there shown in the laboratory or in  
11 other clinical experiments, and we would rate that  
12 then as weak or strong.

13 And then finally there was, I think it was  
14 'proven' or 'demonstrated,' that you actually could  
15 show in a human being that such and such an exposure  
16 caused this outcome with virtual certainty, and an  
17 example of that was Guillain-Barré syndrome shown in a  
18 person who was re-challenged with the same exposure,  
19 the same vaccine, and continually would get it. So  
20 those are the possibilities of mechanistic  
21 categorizations.

22 So we were much more explicit in all  
23 subsequent rounds to make sure that the public would  
24 understand the distinction between biologic hypotheses  
25 which were speculative, worthy of pursuit, versus

DR. GOODMAN - DIRECT

3083

1 mechanisms that were empirically shown and accepted.

2 Q Now, the committee met again in 2004, is  
3 that correct?

4 A Yes, that's right.

5 Q Why was it convened again?

6 A Well, the main reason, aside from continuing  
7 concern over these particular hypotheses, that is both  
8 the MMR and the thimerosal-autism hypothesis, one of  
9 the main reasons was that there was now a moderate  
10 amount of epidemiologic evidence that had come in  
11 since 2001. So it was felt that this subject deserved  
12 further attention. There was now more evidence, or I  
13 should say, evidence, to consider, and they wanted us  
14 to weigh in on that.

15 We, by the way, the panel itself did not  
16 decide what topics we were to address. This was  
17 decided at higher levels, and we were told that this  
18 is what you will be studying, you know, this six  
19 months.

20 Q And what was the clinical outcome that was  
21 specifically studied by the 2004 committee?

22 A Autism.

23 Q And by autism, do you mean autism spectrum  
24 disorders?

25 A Yes, both. Both that and autistic disorder.

DR. GOODMAN - DIRECT

3084

1 Q And what were the types of evidence that  
2 were presented to the 2004 committee?

3 A I think we saw quite a range of evidence.  
4 We saw the epidemiologic evidence. We were also  
5 presented with an array of laboratory animal clinical-  
6 type studies that related to the hypothesis.

7 Q Was the public invited to comment as well?

8 A Yes, we had public session.

9 Q Did you have letters presented as well?

10 A Yes, we did.

11 Q Okay. Now, Doctor, what were the possible  
12 causal conclusions that were available to the  
13 committee in 2004?

14 A Those were: a causal connection is proven;  
15 the evidence favors a causal conclusion -- I should  
16 actually look in the...the -- well, this will come  
17 very, very close. It favors a causal conclusion; the  
18 evidence is inadequate, meaning it's too weak or  
19 conflicting or sparse to make a conclusion -- oh, I  
20 left out one, that there is no evidence. So that's a  
21 possible conclusion, there is simply no evidence. So  
22 that's separate from inadequate evidence.

23 So, no evidence, establishes a causal  
24 conclusion, favors a causal conclusion, inadequate for  
25 a causal conclusion, and favors rejection of a causal

DR. GOODMAN - DIRECT

3085

1 relationship.

2 Q And what's the conclusion that the committee  
3 reached in 2004?

4 A It concluded that it favored rejection of  
5 this hypothesis.

6 Q Was that a unanimous decision among all the  
7 committee members?

8 A Yes, it was.

9 Q And when is this 'favors rejection' category  
10 typically utilized?

11 A It's used, well, this is a signal case.  
12 It's used when all the evidence points away from a  
13 causal relationship and there is no countervailing  
14 biologic or mechanistic evidence that in any way would  
15 contravene that evidence. I have to be very, very  
16 clear, and it was stated very clearly in the report.  
17 It doesn't -- it absolutely doesn't mean that it  
18 absolutely rules out the possibility of a  
19 relationship.

20 That's actually almost literally impossible  
21 to do unless you show that something is physically  
22 impossible, and we will talk more about the nature of  
23 epidemiology and epidemiologic results and why it  
24 makes it very difficult. So it's really just a  
25 verdict or a conclusion that says the weight of the

DR. GOODMAN - DIRECT

3086

1 evidence is on one side and points away from a  
2 conclusion.

3 I would say that we felt it was very, very  
4 important to say that, because we felt that we were  
5 speaking to the public as well as to the public health  
6 agencies, but there were many, many very, very  
7 concerned parents who were facing vaccination of their  
8 children at that time. Actually, I was. I had a  
9 child who was exactly that age and exactly when we  
10 were doing this report I had to decide on vaccination,  
11 and there were parents who had children who were  
12 autistic, for whom conclusions like this could have a  
13 tremendous emotional impact, because they had their  
14 child vaccinated.

15 So we were aware that we had to speak to  
16 what we think the evidence really pointed to, because  
17 there were real high stakes for the parents as well as  
18 the public health community, and we couldn't get  
19 caught up too much in technical quibbles when the  
20 evidence pointed in one direction or the other.

21 Q Do IOM committees frequently come to that  
22 conclusion?

23 A No, they generally don't. They did a few  
24 times in the Agent Orange arena, but usually the  
25 conclusions range from inadequate to favors

DR. GOODMAN - DIRECT

3087

1 acceptance. They usually don't come out and say  
2 favors rejection, but I have not done a census of all  
3 the reports.

4 Q Now, Doctor, it's been said that the IOM  
5 committee recommended that no additional resources be  
6 used to explore a causal relationship between  
7 thimerosal-containing vaccines and autism. Is that an  
8 accurate characterization of the IOM's conclusions?

9 A No, that's not accurate at all.

10 Q What did the IOM say about further  
11 resources?

12 A I could read from the report. What we felt  
13 was that the real problems in the study of autism had  
14 to do with the fact that we did not understand the  
15 biology and the risk factors for autism very well,  
16 that it was very, very hard to even design  
17 epidemiologic studies that would target any groups, if  
18 there were groups, that might be at higher risk, and  
19 that to continually go back over and over looking at  
20 these studies of general population exposure and  
21 autism outcomes was (a) likely to produce the same  
22 results that the previous studies had done, and (2),  
23 it would divert -- I mean, these studies are  
24 incredibly expensive.

25 So for every million dollars or 2 or 3

DR. GOODMAN - DIRECT

3088

1 million dollars that's spent on these large  
2 epidemiologic studies looking again at large  
3 populations, that amount of money that's not spent  
4 looking at the biology of autism, which we didn't  
5 understand, and at the risk factors for autism, that  
6 we thought would yield greater understanding.

7 We did also think that once we had more  
8 knowledge from those sorts of studies, looking at the  
9 causes and the biology of autism, we could return to  
10 the field and design more intelligent, if it was  
11 indicated, more focused epidemiologic studies, if  
12 there was a plausible route to go. So we didn't say  
13 that- we didn't say that money should be withdrawn. I  
14 think the exact language was something very close to,  
15 we think it should be funneled towards the most  
16 promising areas, and in the absence of understanding  
17 the fundamental biology of autism, it is very, very  
18 difficult to advance the science.

19 So it was an issue of prioritization.

20 Q Now, Doctor, you are an epidemiologist,  
21 correct?

22 A Yes.

23 Q What is epidemiology?

24 A It is the science of patterns -- the  
25 determinants of patterns of disease in populations and

DR. GOODMAN - DIRECT

3089

1 what we'll call the risk factors for those patterns,  
2 the determinants of those patterns.

3 Q And what role does epidemiology play in the  
4 community?

5 A Well, it's the only science that looks at an  
6 exposure and an outcome, the population patterns of  
7 exposure and outcomes in humans, and it's actually,  
8 epidemiologic studies or epidemiologic-type designs  
9 are what undergird virtually all knowledge in  
10 medicine. When you look at the medical literature,  
11 almost every paper you read short of a case report, a  
12 single case report, can be categorized as some form of  
13 observational studies.

14 Of course, epidemiology can also be said to  
15 include experimental studies. Sometimes those are  
16 separated off. Experimental meaning somebody actually  
17 manipulates the exposure.

18 Q Now, is any epidemiologic study perfect?

19 A Perfect? Well, if the question is, can they  
20 be subject to reasonable criticism, absolutely, and  
21 the reason is -- and I am going to talk about  
22 epidemiology's observational studies. So  
23 observational studies are looking at the world as it  
24 is. Looking at smokers who smoke, not who are  
25 assigned to smoke, and looking at people who don't

DR. GOODMAN - DIRECT

3090

1 smoke because they don't smoke, and try to decide  
2 whether the differences in their outcomes are due to  
3 the differences in that exposure that they either  
4 chose, was chosen for them, or just happened to them.

5 The difficulty in epidemiology in all  
6 observational designs is to figure out whether the  
7 differences you see in the outcomes are due to the  
8 difference in that exposure, or something about the  
9 people themselves that determined the exposure or is  
10 linked to the exposure. So, for example, if all tall  
11 people smoked and all short people didn't, in theory,  
12 we couldn't necessarily distinguish the effect of  
13 height from the effect of smoking when we looked at  
14 outcomes.

15 So there is always that residual question or  
16 doubt. In the example I just gave, you would begin to  
17 address it by looking at other studies where maybe  
18 there was a mix of tall and short people, or making  
19 the argument that tallness and shortness doesn't  
20 really make any sense, so I am going to down-weight  
21 that as a possible explanation, although you would of  
22 course want to do studies, measurements to confirm  
23 that, in fact, tallness or shortness was an unlikely  
24 and implausible explanation.

25 So every study can be subject to a criticism

DR. GOODMAN - DIRECT

3091

1 of this form in one way or the other, and in the end,  
2 the way epidemiologists have to approach this is doing  
3 multiple studies done in different ways, in different  
4 populations, measuring, sometimes measuring in  
5 different ways, and what you hope and what is believed  
6 in the field, in fact, quite a lot of health policy  
7 and many things we do are dependent on this, is that  
8 the kinds of what we will call bias that any one study  
9 is prone to is not reproduced in exactly the same way  
10 in all the other studies.

11 In fact, this is one of the foundational  
12 principles. So we try to do studies, each of which  
13 address a relationship in a way where the amalgam of  
14 evidence is such that alternative explanations become  
15 increasingly unlikely.

16 Q Is epidemiology about statistics only?

17 A No. Statistics are obviously a very, very  
18 central and important part, but it's a marriage of the  
19 numbers that go with accounting in the populations  
20 with an understanding of underlying biology which  
21 allows you to design the study in the first place.  
22 You wouldn't even know where to start if you didn't  
23 have some sense of, how, you know, what's a relevant  
24 exposure, what's a relevant gene, what are the  
25 mechanisms, etc., etc.

DR. GOODMAN - DIRECT

3092

1           Of course, you go into it not understanding  
2           those completely, but you have to have some sense of  
3           underlying biology. It also helps you decide when you  
4           get, you know, unexpected findings, which happens  
5           every day -- the journals are filled with them --  
6           which ones are likely to be spurious and which ones  
7           are not. The ones that are likely to be spurious are  
8           the ones for which the underlying mechanism is opaque,  
9           unlikely or completely absent.

10           Every once in a while we are maybe  
11           surprised, but there are a lot of -- but biologic  
12           understanding is in every piece of an epidemiologic  
13           study from the moment you decide on the exposure, the  
14           patients, or the subjects, the outcomes, to the other  
15           side when you are analyzing the data.

16           Q       What is required before an epidemiologist  
17           can reliably make a causal inference between an  
18           exposure and an outcome?

19           A       To make a causal determination? Well, I  
20           pretty much outlined it. You want to see a  
21           relationship that's beyond the play of chance, in a  
22           variety of studies. You want to see a relationship  
23           that's, where the, at a minimum, doesn't violate any  
24           biological or physical rules, and at a maximum,  
25           actually has a coherent biologic explanation behind

DR. GOODMAN - DIRECT

3093

1 it.

2 The larger the relationship, the less you  
3 might rely on the underlying biologic mechanism. So  
4 in the case of smoking, which is one of the signal  
5 epidemiologic triumphs, the relationship was so strong  
6 and so compelling that even though they didn't  
7 understand completely at the time exactly how smoking  
8 caused lung cancer, it was very, very hard to resist  
9 the relationship, and it was seen in so many  
10 populations, it was very hard to construct plausible,  
11 competing explanations for what was being seen, and  
12 there was some laboratory and mouse and other evidence  
13 that made it plausible.

14 But in most cases, as the relationships that  
15 you see are weaker, still, they have to be beyond the  
16 play of chance. The corresponding biologic  
17 explanation has to be that much stronger. So it's  
18 sort of a, it's a marriage between the strength of  
19 what we'll call counting evidence and the strength of  
20 the explanatory evidence behind it. The weaker the  
21 counting evidence, again, still it has to be beyond  
22 the play of chance, the stronger the underlying  
23 biologic theory has to be.

24 Q Doctor, why is epidemiology particularly  
25 suited to addressing questions such as the

DR. GOODMAN - DIRECT

3094

1 relationship between thimerosal-containing vaccines  
2 and autism?

3 A I actually want to go back to the previous  
4 question a second, but I'll answer -- restate this  
5 question?

6 Q Certainly. Why is epidemiology particularly  
7 suited to the question that's before the Court today,  
8 a relationship between thimerosal-containing vaccines  
9 and the outcome of autism?

10 A Because it's the only science where it  
11 looks, as its exposure, thimerosal exposure, and as  
12 its outcome, autism, this is the science that looks at  
13 that. Any other science is going to involve a much  
14 smaller piece of that chain and involve some sort of  
15 speculation about what the other pieces might be that  
16 aren't being studied, but it's only epidemiology,  
17 looking at the patterns in humans and human  
18 populations, where we really address the central  
19 question that we faced in the committee and that I  
20 gather is faced today, which is, if a human being is  
21 exposed to thimerosal, is there a higher risk of  
22 autism at the other end?

23 I can't think of any other methodology that  
24 one would use to address that complete question in  
25 human beings.

DR. GOODMAN - DIRECT

3095

1 Q Now, it's been said that there is a  
2 dichotomy between laboratory science and epidemiology,  
3 and I believe you said earlier that epidemiology is  
4 itself a science, correct?

5 A Yes, it is.

6 Q Okay. Now, you wanted to go back to the  
7 earlier question?

8 A Yes, I just wanted -- you said, what do we  
9 need to make a causal conclusion, so I said it had to  
10 be beyond the play of chance, and I just wanted to tie  
11 in my previous answer, and you had to effectively rule  
12 out alternative explanations for that observed  
13 relationship, which we do, as I previously explained,  
14 by doing many different kinds of studies in different  
15 ways.

16 Q Now, there was a lot of information  
17 presented to the IOM in 2004.

18 A Yes.

19 Q But how many epidemiologic studies alone did  
20 the IOM consider in 2004?

21 A I think it was five or six.

22 Q And how many epidemiologic studies have come  
23 out since the IOM in 2004 issued its report?

24 A I think it's four.

25 Q Now, getting back to the conclusion that the

DR. GOODMAN - DIRECT

3096

1 IOM made in 2004, was it the strength of the evidence  
2 that led you to conclude that it favors rejection?

3 A Yes.

4 Q Is that my understanding?

5 A Well, it was a combination of the strength  
6 of the epidemiologic evidence and the absence of any  
7 laboratory or mechanistic evidence that would  
8 controvert that conclusion.

9 Q Now, Doctor, I'd like to talk about Dr.  
10 Greenland's opinion that he has rendered in this  
11 litigation. Have you read his report that's been --

12 A Yes, I have.

13 Q -- that's been filed in the King case as  
14 Petitioners' Exhibit No. 4 and the Mead case, it's  
15 Petitioners' Exhibit No. 18?

16 A Yes.

17 Q And did you listen to his entire testimony  
18 he gave in court last week?

19 A I did.

20 Q What do you understand his opinion to be in  
21 this litigation?

22 A I think it can be, well, I'll refer first to  
23 his written report and then his testimony, simply that  
24 the existing epidemiologic studies don't rule out the  
25 possibility that there is some subgroup, a small

DR. GOODMAN - DIRECT

3097

1 subgroup, that could be at elevated risk due to  
2 thimerosal, and that the epidemiologic studies, in a  
3 sense, dilute the effect that might be seen in that  
4 subgroup by including a large number of other subjects  
5 whose risk would not be raised by thimerosal, and it  
6 really just comes down to that.

7 He just says that that is possible, and I  
8 don't disagree with that. I could have written it  
9 myself. In his testimony, he -- well, I'll let you  
10 ask the questions. That was as far as he went in his  
11 written report.

12 Q And do you understand him to now be limiting  
13 his opinion to a possible subgroup known as what he  
14 terms 'clearly regressive autism'?

15 A Yes, that's how he specified it in the  
16 report.

17 Q In his testimony as well?

18 A Yes.

19 Q Now, Doctor, in your report, you talk about  
20 mathematical bounds in epidemiology. Could you please  
21 explain what mathematical bounds are?

22 A Well, they can apply to any number. The  
23 bounds that he calculated were basically the  
24 approximate limits for how high a risk might be in  
25 that subgroup that's still compatible with the largely

DR. GOODMAN - DIRECT

3098

1 negative evidence that he actually acknowledged in his  
2 report. That was the other thing I meant to say, that  
3 he did not contest the overall summary of the evidence  
4 as put forth by the IOM committee, nor did he take  
5 issue with that in his testimony when he said,  
6 overall, he felt that the elevated risk, or the risk  
7 due to thimerosal in the general population was small  
8 or nil.

9 So he specified that, and the mathematical  
10 bounds refer to, with the residual uncertainty, there  
11 is always uncertainty in any estimate. For example,  
12 if there was zero, if we knew absolutely for sure  
13 there was zero effect, which I think is quite likely,  
14 but we don't know for sure, out of any epidemiologic  
15 studies or combination of epidemiologic studies, what  
16 we would expect to get is an effect estimate of zero,  
17 that's what we would see, with plus or minus  
18 something.

19 So someone can always look at the plus or  
20 minus and say, aha! There's room in that plus or  
21 minus for somebody to fit in there.

22 Q Is that what Dr. Greenland is doing in this  
23 litigation?

24 A Effectively, yes. So there is -- but I want  
25 to make the point, even if the point estimate, that

DR. GOODMAN - DIRECT

3099

1 is, our best guess of what the risk is, is exactly  
2 zero, there is always going to be some imprecision  
3 around that, by definition. We use finite  
4 populations. Even though the populations looked at  
5 here were in the hundreds of thousands, there is  
6 always some residual uncertainty, and so you can  
7 always, by definition, say that you haven't ruled out  
8 the possibility of a positive effect.

9 The only way you can literally rule out the  
10 possibility of a zero effect is to prove the existence  
11 of a protective effect. That's the only way,  
12 mathematically, we could do it. So it's always going  
13 to be the case, if we get mathematical estimates that  
14 include a zero effect, that that will go a little bit  
15 further and we can fit a high-risk small subgroup in  
16 that and say, ah, we couldn't see them.

17 So what he did is he took the upper limits  
18 on what are called the confidence intervals or the  
19 precision around various estimates, and he did this  
20 for studies individually, and he said, well, if  
21 clearly regressive autism only makes up a small  
22 portion of the population -- and I think he first used  
23 10% and then Dr. Fombonne suggested 6%, but it's  
24 almost, it's not that important -- you could fit in an  
25 elevated risk in that small group and still be

DR. GOODMAN - DIRECT

3100

1 consistent with the evidence because the evidence has  
2 that imprecision, even though it actually, given the  
3 confidence intervals we have right now, they don't go  
4 much above a zero effect or much above 1, 1 being the  
5 rates are equal in two groups.

6 So that's basically the argument he makes,  
7 that it's mathematically possible, and that  
8 mathematics is correct. It's algebra. I could say  
9 the same thing. So where he doesn't go, and which is  
10 really the point of my report, is from the possible to  
11 the probable. That is, it's one thing to say it could  
12 be true, but where we really want to go, and what the  
13 IOM committee felt it had to opine on, was, is it  
14 likely?

15 And that's where we came down on the other  
16 side, and he actually does not offer an opinion in his  
17 report as to whether it's likely, and when you asked  
18 him whether, what his specific opinion was, he said he  
19 had no opinion one way or the other. So he was being  
20 -- that was exactly right. He wasn't opining on  
21 whether it's likely or not, only that it was possible,  
22 so I thought his answer to that question was quite  
23 reasonable, and expected.

24 Q Now, in your report, you talk about the way  
25 in which the confidence intervals are two-sided, and I

DR. GOODMAN - DIRECT

3101

1 believe you touched on it.

2 A That's right.

3 Q But could you further explain what you mean  
4 by being two-sided?

5 A Right. So the confidence intervals are the  
6 imprecision. They give the range of true  
7 relationships that are consistent with the data. They  
8 go up above zero, or zero effect, and they go down  
9 below. So one could equally say, just based on math  
10 alone, that a strongly protective effect, or a  
11 protective effect, is also possible, it's equally as  
12 possible as a risk, as an excess risk.

13 So the math itself, just alone, doesn't  
14 differentiate between the plausibility of a strongly  
15 protective effect and a risk effect, and what's of  
16 interest is almost all the studies, the larger  
17 studies, show as their best guess, surprisingly, an  
18 effect in the protective direction, a little bit.

19 Q You are talking about the studies that have  
20 been done looking at the relationship between  
21 thimerosal and autism?

22 A Yes, the epidemiologic studies. Most of  
23 them show estimates showing some degree of protection.  
24 Now, do I necessarily believe that? No, I don't  
25 necessarily believe it's protective of autism, because

DR. GOODMAN - DIRECT

3102

1 I don't think that that's particularly -- I don't  
2 think there is any biologic basis for saying, you  
3 know, you would want -- that exposure to mercury in  
4 that form would protect you against autism.

5 So the reason we don't say it's protective  
6 is partly because we don't believe it has very strong  
7 biologic plausibility, but we say that in lieu of  
8 saying it actually favors protection, at least we  
9 don't think that it causes excess risk. That is, we  
10 think it favors no effect at all, and that's, again,  
11 what the IOM committee said.

12 Q Doctor, taken together, what do the  
13 epidemiological studies demonstrate with regard to a  
14 purported autism epidemic in this country related to  
15 thimerosal-containing vaccines?

16 A Well, again, here Dr. Greenland and I are in  
17 accord. He said that any effect due to thimerosal  
18 would be nil or small, and all the epidemiology having  
19 to do with the rates aside, the epidemiologic studies  
20 looking at the effect of thimerosal basically rule out  
21 large increases. What they don't rule out, again, are  
22 these very small subgroups, but you can't have it both  
23 ways.

24 So they make thimerosal as a possible cause  
25 of a many-fold increase in autism virtually

DR. GOODMAN - DIRECT

3103

1 impossible.

2 Q Now, in your report, you state that Dr.  
3 Greenland's argument requires that thimerosal only  
4 raises the risk of regressive autism, or --

5 A That's right.

6 Q -- even clearly regressive autism, with no  
7 effect on any other form of autism.

8 A That's right.

9 Q Could you explain what you mean by that?

10 A Yes. So this is an example of how one can  
11 do math. So he calculated these bounds saying, well,  
12 let's imagine that this exposure just raised the risk  
13 of regressive autism, which we'll say makes up  
14 something between 5 and 10% of the population. So  
15 that excess could be swamped by no effect in the rest,  
16 and so the effect estimate in the population could fit  
17 into this little bit of uncertainty about, you know,  
18 could it go slightly in the positive direction.

19 But that calculation that he did, and again,  
20 he acknowledged this in his testimony, assumed that it  
21 had all its effect only for children with clearly  
22 regressive autism, and zero effect in the other 90 to  
23 95% of the population, because if you had some of the  
24 -- you wouldn't even have to have all of the effect in  
25 the population. You could have a five-fold effect in

DR. GOODMAN - DIRECT

3104

1 one group and maybe just a two-fold effect in the rest  
2 of the population. Well, then you would see that.

3 We would have seen that in the epidemiology.  
4 So his -- this speculation, this calculation, to the  
5 extent it has an applicability to the real world,  
6 absolutely requires that most or all of the elevated  
7 risk is restricted just to this one subtype, and none  
8 of that excess risk is shared by anybody else. So he  
9 is positing really a dramatically different causal  
10 pathway, in a sense, that it's only a trigger for  
11 this, it's not a trigger for that, and again -- well,  
12 just that.

13 So that's the basis for his calculation. As  
14 soon as you start to allow a little bit of extra risk  
15 for everybody else, then that's basically ruled out by  
16 the epidemiology because that would be revealed in the  
17 general population patterns. So that distinction, as  
18 I pointed out in my report, to even be a starter  
19 requires some sort of biologic or mechanistic  
20 justification as to why in regressive autistics we  
21 would have a very, very different causal pathway, that  
22 they have a fundamentally different biology than  
23 children who don't present with that phenotype, and he  
24 doesn't present any evidence to that effect, and in  
25 his testimony he said he didn't know of any evidence

DR. GOODMAN - DIRECT

3105

1 to that effect.

2 So whether that's possible, I guess it  
3 remains, in the theoretical realm, mathematical realm,  
4 possible. It's possible. Whether it's probable,  
5 well, there was no evidence presented. And again,  
6 this is what the IOM committee had to deal with,  
7 exactly this sort of argument. This was acknowledged  
8 in the report as something that always, you know, the  
9 kind of argument that can always be made.

10 Q Now, Dr. Greenland in his report and during  
11 his testimony, he used the analogy of cancer --

12 A Yes.

13 Q -- as an example of a broad disease category  
14 within which exist distinct types of cancer that have  
15 different causes.

16 A Right.

17 Q Is this a proper analogy to use?

18 A Well, it's a great analogy to use, because  
19 it --

20 Q Why is that?

21 A -- absolutely supports my point. Well,  
22 let's just look at leukemia. He actually -- I think  
23 he mentioned leukemia. If you asked me, as you asked  
24 him, can you distinguish biologically between a child  
25 with regressive autism and a child with non-regressive

DR. GOODMAN - DIRECT

3106

1 autism, is there any test you could do, any x-ray,  
2 anything, any evidence -- now, he's not an expert in  
3 autism, but he said no, he didn't know if he could do  
4 that, and the literature does not say that we can do  
5 that.

6 So those two subtypes are not  
7 distinguishable biologically. If you said to me, can  
8 you distinguish lymphocytic leukemia from myeloid  
9 leukemia, I would say, well, yes. Lymphocytic  
10 leukemia affects lymphocytes. You can look at a  
11 slide. That's where it gets its name. Myeloid  
12 leukemia affects myeloid cells. You can look at a  
13 slide.

14 We know the biology of lymphocytes. We know  
15 the biology of myeloid cells. It's completely  
16 plausible, in fact expected, that the risk factors,  
17 the course, the treatments, all the clinical features  
18 of those two diseases would be different, and in fact,  
19 they are. We observe that they are. There are many,  
20 many aspects of those two diseases that are different.  
21 If you asked me the difference between bronchogenic  
22 carcinoma and non-small cell lung cancer, all you have  
23 to do is go to the pathologists.

24 Say, you know, if you ask the question, are  
25 they biologically different, yes. They are

DR. GOODMAN - DIRECT

3107

1 biologically different. They affect different cells  
2 in different places. So if you ask the question, are  
3 those biologically different, can you tell me  
4 biologically what's different, Doctor, about that form  
5 of cancer from that form of cancer, I would be able to  
6 explain it, and that's what makes different risk  
7 factors, different course, different treatments,  
8 completely plausible in the cancer realm.

9 We don't have the same situation in the  
10 autism realm. There may be a day when we understand  
11 it better and we do understand what the  
12 classifications are. They may have nothing to do with  
13 how it presents. It may have things to do with things  
14 that we can't even imagine today. So the key issue  
15 is, are these -- is there any evidence that these two  
16 forms -- we'll just call them regressive and non-  
17 regressive. We won't consider those with epilepsy and  
18 those with not, or those with more severe problems or  
19 those with not, we'll just consider that particular  
20 phenotype. Is it causally different?

21 That is, is there reason, strong reason to  
22 believe that there is a different, completely  
23 different causal pathway such that this alleged,  
24 purported risk factor would cause a high risk in one  
25 and zero risk in the other? Our understanding is not

DR. GOODMAN - DIRECT

3108

1 there. There is no current evidence that that is the  
2 case. I cannot predict what we will know in the  
3 future.

4 Q Doctor, your report discusses what the  
5 results would likely be if a meta-analysis of this  
6 studies had been done.

7 A Yes.

8 Q First of all, what is meta-analysis?

9 A Meta-analysis has two parts. One is a  
10 quality assessment, that is, a systematic and close  
11 look at the studies themselves, and then if you deem  
12 them to be combinable, it's really just in a sense an  
13 adding up of the studies, and the precision of the  
14 combined estimate is almost always more precise than  
15 either study taken alone. So if we have situations,  
16 like we have here, where you have lots of estimates  
17 that are just a little less precise than you want, if  
18 you can justify their pooling, then your combined  
19 estimate will be much closer, you know, the plus or  
20 minus will be a lot tighter than it would be if you  
21 just look at them separately. So that's what meta-  
22 analysis is.

23 Q So in your report where you discuss what  
24 would likely be if a meta-analysis of the  
25 epidemiological studies that have looked at

DR. GOODMAN - DIRECT

3109

1 thimerosal-containing vaccines in autism, what would a  
2 meta-analysis likely show?

3 A Well, it would because most of the point  
4 estimates in the larger studies were under 1, they  
5 would show that the upper bounds, those upper  
6 confidence limits which Dr. Greenland took separately,  
7 were probably a lot closer to 1 than they look like  
8 when you look at the individual -- the studies  
9 separately. That said, the reason the IOM committee  
10 didn't do that is because there can always be  
11 challenges to, well, exactly how comparable is this  
12 study to that study, and can you really literally add  
13 them up?

14 So as soon as you get into that exercise,  
15 you invite those criticisms, so what you can say  
16 qualitatively is, again, when you see a whole series  
17 of studies done in different ways that have limits  
18 that are only slightly above or moderately above 1,  
19 you can say that combining them will make them more  
20 precise and probably the upper limits closer to 1, or  
21 closer to a zero effect, but we didn't do that  
22 quantitatively because you would get lots of arguments  
23 over exactly where that upper limit is, and given that  
24 the evidence clearly did not show a relationship, we  
25 didn't need to do that to come up with the conclusion

DR. GOODMAN - DIRECT

3110

1 that it favored no relationship.

2 Q Now, Dr. Greenland did not file a rebuttal  
3 report in this litigation, but he did offer some  
4 criticisms of your report when he testified orally  
5 here last week, and one of the criticisms that he said  
6 was that you failed to account for potential problems  
7 of the studies that you cited in your report, that you  
8 presented no further analysis to show that the studies  
9 ruled out subtype effects. Do you have any comments  
10 as to that criticism?

11 A Well, first of all, as he said and we all  
12 know, these subtype effects were not measured in those  
13 studies, so I couldn't do those analyses, but on the  
14 other hand, no evidence was presented that would make  
15 those subtype effects likely or plausible. So it's  
16 true that one cannot literally rule out that  
17 possibility, but the question is, what is the evidence  
18 that makes it likely in the first place? So --

19 Q And did he offer any evidence as to --

20 A And he didn't offer any evidence to rebut.  
21 So it still remains, as I said before, it is possible,  
22 certainly mathematically possible. Whether it is  
23 likely or probable based on what we know, both  
24 biologically and the limitations of what we know,  
25 that's another question, upon which he did not opine.

DR. GOODMAN - DIRECT

3111

1 So he didn't, in the end, in spite of my not showing  
2 specific evidence against that possibility, when asked  
3 directly, he said he had no opinion one way or the  
4 other.

5 So he actually didn't offer that his  
6 conclusion based on the speculation that it might  
7 exist resulted in a likely or probable conclusion that  
8 it did.

9 Q Now, during his testimony last week, Dr.  
10 Greenland also took issue with the example in your  
11 report of Peto's analysis of astrologic signs. He was  
12 saying that TCVs have no resemblance to astrologic  
13 signs and he called it rhetorical nonsense. Could you  
14 explain whether you think that analogy is relevant to  
15 this litigation?

16 A Well, I don't know about the rhetorical  
17 nonsense, but I'll explain about the example he said,  
18 and he's right, that it's a famous example, and it's a  
19 famous example for very good reason. It illustrates a  
20 foundational principle about why you have to have  
21 biologic plausibility to make a finding credible. So  
22 the basic principle underlying that example -- and it  
23 was somewhat different than here, I'll explain why in  
24 a second -- was that they had this study that looked  
25 at treatments for, it was heart disease, and they did

DR. GOODMAN - DIRECT

3112

1 this analysis that showed a subgroup effect that it  
2 showed it had no effect in, I think, 11 of the  
3 astrologic signs, and all of the effect was grouped  
4 under Virgo, or I can't remember what exactly I said  
5 in my report, but one of the astrologic signs, and  
6 they did this twice, and this obviously is not true,  
7 and they presented it this way to basically discourage  
8 people from looking for subgroup effects in an overall  
9 population that didn't have any strong biologic  
10 foundation.

11 But what was different about that situation  
12 than here -- so that was the principle that was being  
13 demonstrated. It didn't matter that it was in a  
14 clinical trial. It didn't matter that there were  
15 multiple subgroups. The point was, in that situation,  
16 there was actually evidence, that is, you actually  
17 could look at the relationship under Libra or Virgo  
18 and see that it's a certain size, and look under all  
19 the others and see that they are zero, but you  
20 wouldn't believe it and you would think it is  
21 implausible because there is no biologic underpinning,  
22 there is no support.

23 In this case, it actually doesn't even  
24 advance to that point, because it isn't the case that  
25 we have evidence, empirical evidence of a relationship

DR. GOODMAN - DIRECT

3113

1 that we say we are going to believe or not believe  
2 based on the biology. We don't see anything, and the  
3 contention is, well, maybe you would see something if  
4 you divided things up, if you looked at the subgroup.  
5 So we don't even have that subgroup to look at.

6 That's acknowledged, but the question has to  
7 be, well, even before you do that, what's the  
8 strength, you know, what biologic reason would you  
9 have to make that distinction in the first place.  
10 Like, what biologic reason could you have to make  
11 between the various astrologic signs? So that was the  
12 point. The point was that the math doesn't tell the  
13 story all by itself, that you must look at the  
14 cogency, the empirical support of the mechanism  
15 underlying any patterns that you see.

16 Again, in this case, we actually don't have  
17 a pattern that we have to explain away because it's  
18 not biologically plausible. We actually don't have a  
19 pattern, and what's being claimed is, maybe there is  
20 something underneath that pattern that we don't see.  
21 Maybe there is a subgroup for which no biologic  
22 evidence is offered. So the point of the example was  
23 simply to say that it is very important to have some  
24 degree of biologic, mechanistic rationale to support a  
25 hypothesis, even to begin exploring it, and that just

DR. GOODMAN - DIRECT

3114

1 puts it in the realm of the possible, and then you  
2 look for information that puts it in the realm of the  
3 probable.

4 Q Now, Dr. Greenland also said during his  
5 testimony that it's unscientific to assert that there  
6 are no differences in mechanisms when there is no  
7 understanding of the mechanism, and he accused you of  
8 invoking fictional scientific principles, that you  
9 were presenting absence of evidence as if it were  
10 evidence of absence. Is that what you are doing here,  
11 Doctor?

12 A I don't think so. I am completely open to  
13 this being empirically demonstrated, if it ever is.  
14 He raised it as a possibility. If the scientific  
15 community takes this hypothesis seriously enough, and  
16 it might take it seriously enough simply because there  
17 is so much concern about it, for the same reason that  
18 they took the thimerosal-autism hypothesis seriously  
19 in the first place, even though there wasn't much  
20 biologic evidence to suggest that it was true, but  
21 just the concern about it often generates the need to,  
22 you know, go out and do a study that specifically  
23 addresses that.

24 But I would say that it's a curious  
25 statement when one is positing no evidence for it to

DR. GOODMAN - DIRECT

3115

1 say that it stands and is probable because somebody  
2 else cannot offer any concrete evidence against it.  
3 The fact is, we do have evidence from overall  
4 populations that shows no effect. That's very much  
5 like what we see in medicine when we do clinical  
6 trials and we try a therapy, and you know, if 60% of  
7 people survive in one group and 40% of people survive  
8 in the other group, we say that therapy works.

9           It is always possible for someone to come in  
10 and say, oh, it doesn't apply to this kind of person.  
11 Generally, what we find, particularly when there is no  
12 biologic reason to say why that person is different,  
13 almost very, very frequently in medicine we find that  
14 these claims of subgroup effects don't hold up, and  
15 this has been empirically studied. So when we have  
16 consistent demonstrations in overall populations and  
17 no compelling or demonstrated biologic distinction  
18 between members of those populations, we generally  
19 accept the population average as the most likely one.

20           It does not rule out the possibility, again,  
21 as I said from the start, that there could be a  
22 different effect among members of that population, but  
23 I don't think it's very fair to ask that there be  
24 specific rebuttal of that when there is no evidence  
25 yet for it. Whether this hypothesis is strong enough

DR. GOODMAN - DIRECT

3116

1 to merit going out and spending money and mounting the  
2 studies to explore it is a very reasonable question  
3 that I am sure the scientific community will take up,  
4 and maybe the study will be done, but I don't believe  
5 the hypothesis remains likely or probable in the  
6 absence of evidence against it, particularly with no  
7 biologic supporting evidence.

8 It remains as a hypothesis that is not  
9 specifically -- as a possible hypothesis that, as yet,  
10 is not specifically rebutted by the extant evidence  
11 that that is true. But I didn't say that it couldn't  
12 be true, or that I had specific evidence against it,  
13 except against this general background of invoking  
14 subgroup effects when there is no compelling subgroup  
15 biology as of yet.

16 Q So does Dr. Greenland in this litigation,  
17 does he state that thimerosal-containing vaccines  
18 cause clearly regressive autism?

19 A No, he did not.

20 Q Does he state that thimerosal-containing  
21 vaccines are even a most likely cause of clearly  
22 regressive autism?

23 A I don't believe he said that, no.

24 Q Does he even establish that clearly  
25 regressive autism is a disorder category that is

DR. GOODMAN - DIRECT

3117

1 recognized by the scientific community as having a  
2 different biology or different causal determinants?

3 A No, he said that he could offer no evidence  
4 to that effect.

5 Q And does Dr. Greenland offer any evidence  
6 that thimerosal-containing vaccines elevate the risk  
7 of clearly regressive autism?

8 A No, he didn't.

9 Q Finally, Doctor, why are you testifying in  
10 this litigation here today?

11 A Yes, well, some people ask why I served on  
12 that committee too. I think, I mean, this is clearly  
13 an area of tremendous concern, a tremendous amount of  
14 heat, but if scientists looking fairly at the  
15 evidence, absent the crucible of concern and -- don't  
16 speak out on what they, looking at it objectively,  
17 actually see in the evidence, I feel like in some way  
18 they are not doing their job.

19 They are not serving the vast majority of  
20 people who very much want to do the best thing for  
21 their child, and simultaneously for those parents who  
22 have autistic children, want to feel like it wasn't  
23 something they did that caused their child's autism,  
24 and so I think I feel that it would be very easy to  
25 duck these types of activities, but it wouldn't serve

DR. GOODMAN - DIRECT

3118

1 the public health, and it wouldn't serve the really  
2 very sincere, very sincere concern of parents on all  
3 sides, to not say honestly what we think is the most  
4 likely message from the evidence that occurs today,  
5 and at the same time, help -- and this is what we did  
6 through the IOM, not necessarily here -- point the way  
7 for further research that might elucidate the causes  
8 and cures or treatments of this really very, very  
9 difficult disease.

10 So I think -- just that.

11 MS. RICCIARDELLA: Thank you. I have no  
12 further questions.

13 SPECIAL MASTER HASTINGS: All right. Do the  
14 Petitioners have any questions for this witness?

15 MR. WILLIAMS: Yes, we do.

16 SPECIAL MASTER HASTINGS: Mr. Williams,  
17 please go ahead.

18 MR. WILLIAMS: Can I have just five minutes  
19 to get organized a little bit?

20 SPECIAL MASTER HASTINGS: Certainly you may.  
21 We will take a five-minute recess.

22 (Whereupon, a short recess was taken.)

23 SPECIAL MASTER HASTINGS: Please be seated.

24 All right, we have Dr. Goodman still on the stand, and  
25 Mr. Williams is going to ask some questions. Go

DR. GOODMAN - CROSS

3119

1 ahead, sir.

2 CROSS-EXAMINATION

3 MR. WILLIAMS: It's still morning. Good  
4 morning.

5 THE WITNESS: Is it? I've lost track of  
6 time.

7 //

8 BY MR. WILLIAMS:

9 Q I want to ask you first about the journal  
10 about clinical trials and clinical trials in general.  
11 You list your editor-in-chiefship of the journal on  
12 clinical trials as the first thing on your CV under  
13 your editorships, and you mentioned it today in your  
14 direct as one of your qualifications.

15 A Uh-huh.

16 Q How long have you had that position, editor-  
17 in --

18 A That, since 2004.

19 Q Your CV says since 2003.

20 A I was appointed in 2003, but didn't  
21 officially take -- well, let's see, what's today? No,  
22 I think it was since 2004, because the journal, what  
23 happened was, it's a society journal, and it changed  
24 its name and publisher in 2004, I believe, and that's  
25 when I took over. It was the same journal. But I was

DR. GOODMAN - CROSS

3120

1 appointed in 2003, so maybe there is a slight  
2 discrepancy there.

3 Q Okay, and it is the *Journal of the Society*  
4 *for Clinical Trials*?

5 A Yes.

6 Q That's the official name?

7 A Uh-huh.

8 Q Now, the Society for Clinical Trials, is  
9 that based in England, or?

10 A No, it's actually -- well, it's an  
11 international society but the main office happens to  
12 be in Baltimore, and it involves folks from  
13 government, from industry, from academia, interested  
14 in clinical research methods in general, not just  
15 clinical trials.

16 Q Do you ever have to go to meetings on behalf  
17 of your job as editor-in-chief?

18 A Yes, I just came back from one yesterday, or  
19 on Wednesday.

20 Q And does the society pay for your travel in  
21 those instances?

22 A No.

23 Q You have to pay for it yourself?

24 A Yes.

25 Q Do you get any staff support to run this

DR. GOODMAN - CROSS

3121

1 journal?

2 A I have a managing editor that is paid for by  
3 the publisher that -- just 20% of her time.

4 Q There are corporate sponsors of the journal,  
5 aren't there?

6 A No.

7 Q Of the society?

8 A No -- oh, of the society? Not formal  
9 sponsors. I think they maybe get corporate  
10 contributions to their meetings, but it's not a  
11 corporate society by any means, but I think they --  
12 when I was just at the meeting, they had a list of  
13 contributors and it included both academic and  
14 corporate sponsors.

15 Q We have a --

16 A Oh, there it is. Yes, okay.

17 Q -- page from the website here. I just  
18 wanted to point out that two of the corporate sponsors  
19 of the Society for Clinical Trials are major  
20 manufacturers of vaccine. Did you know that?

21 A I didn't -- I honestly don't pay attention  
22 to who helps support the meeting, no, but I did know  
23 that there were corporate manufacture -- I mean, I did  
24 know that they get some money to help support the  
25 meeting, yes.

DR. GOODMAN - CROSS

3122

1 Q Do you ever do consultancy with drug  
2 companies on clinical trials, independent of your role  
3 as editor-in-chief?

4 A I may have in the past, on the design of  
5 clinical trials, but honestly, I don't recall -- I  
6 might have visited a company about 8 or 10 years ago  
7 about the design of the clinical trial, but I don't  
8 honestly recall. I think I did, but I don't remember  
9 what the trial was, and I don't honestly even remember  
10 what the company was.

11 Q When, you know, the 2004 IOM report that you  
12 talked about?

13 A Uh-huh.

14 Q There's a statement in there that says that  
15 none of the members of the committee had any conflict  
16 of interest.

17 A That's right.

18 Q And you don't consider having vaccine  
19 manufacturers as the sponsor of the society that  
20 publishes your journal to be a conflict?

21 A Well, they support the -- first of all, I  
22 was -- they support the meeting. They don't support  
23 the society specifically. We are completely -- this  
24 has no bearing on the activities of the society. No.  
25 The answer is no.

DR. GOODMAN - CROSS

3123

1 Q Okay. When you picked the astrology  
2 example, we didn't have a report yet from Dr.  
3 Kinsbourne. Have you read his report?

4 A I have looked through it, yes, but I can't  
5 say that I read it carefully or that I am expert in  
6 that area.

7 Q And I take it you haven't reviewed the  
8 infant monkey study that shows the inorganic mercury  
9 goes to the brains of the --

10 A I read the study, yes. Again, I'm not  
11 expert in this area. I didn't notice that they  
12 connected this with autism. It seemed that there was  
13 a study about the entry of mercury into the brain of  
14 these infant monkeys.

15 Q Is it still your opinion today that the  
16 biological plausibility that thimerosal-containing  
17 vaccines could cause regressive autism in some kids is  
18 as silly as astrology?

19 A I never said it was as silly, but I said in  
20 order to elevate that from the possible -- I don't say  
21 that it's not -- it's not literally impossible -- to  
22 the probable takes a combination of both empirical,  
23 counting knowledge and a more complete or more  
24 accepted theory than we have today.

25 Q But you haven't analyzed Dr. Kinsbourne's

DR. GOODMAN - CROSS

3124

1 paper -- I mean his report or the underlying studies  
2 that he cites.

3 A Well, I rely on the scientific community to  
4 judge whether that hypothesis that he has published --  
5 has he published that, I'm sorry.

6 Q He hasn't published it in the --

7 A Well, the scientific community then hasn't  
8 weighed in on that. I would depend on them, and if I  
9 was part of a committee assessing the body of  
10 evidence, I would look at both his published paper and  
11 I would look at the response of the scientific  
12 community to that paper to decide how much weight to  
13 give it. I wouldn't necessarily be the expert  
14 evaluator myself.

15 Q You realize that in this vaccine court that  
16 we are in that it's very rare that the cases get  
17 written up and published in the peer-reviewed  
18 literature, don't you?

19 A What cases?

20 Q The cases that these Special Masters hear  
21 about alleged injuries from vaccines.

22 A Right, but I assume that the underlying  
23 principles upon which you are making a judgment are  
24 principles that have relevant science that appears in  
25 the scientific literature.

DR. GOODMAN - CROSS

3125

1 Q In the four or five studies that the IOM  
2 committee had in 2004, most of them were ecological  
3 studies, weren't they?

4 A There were three controlled studies. I  
5 think there were five altogether. Two or three were  
6 ecological studies, and two or three were controlled  
7 studies. Yes, that's right.

8 Q And in your report, you state that the  
9 studies taken together provide strong support that  
10 there is no causal connection between --

11 A Yes.

12 Q -- thimerosal vaccines and autism in  
13 general.

14 A Yes, right.

15 Q And you are using ecological studies as part  
16 of that strong support?

17 A Yes.

18 Q You don't have any doubts about ecological  
19 studies being --

20 A Well, I do. I mean, I think reasonable  
21 people could disagree on whether it's strong or  
22 moderate. It's still support for the hypothesis that  
23 there is no relationship. It doesn't -- any qualms  
24 one would have, I'll talk about those in a second,  
25 about those studies wouldn't magically turn it into a

DR. GOODMAN - CROSS

3126

1 positive relationship. The IOM didn't put a  
2 particular adjective on it. They said, favors a no  
3 causal association. I would absolutely subscribe to  
4 that. Personally, I find the nature of the  
5 fragmentary biologic evidence and very, very  
6 consistent evidence of continued high rates in  
7 populations that get zero thimerosal in their vaccines  
8 to be fairly compelling.

9 Now, to comment on the ecologic studies,  
10 ecologic studies are absolutely subject to a variety  
11 of criticism bias more than controlled studies, and  
12 they are not as strong as the controlled studies that  
13 we looked at. However, in the situation where the  
14 exposure from vaccines goes to zero, or effectively to  
15 zero, very close to zero, and rates still seem to  
16 continue completely unconnected with that, those are  
17 subject to less concern than the kinds of ecologic  
18 studies where you just have two phenomenon co-varying  
19 in a general sense.

20 But the general point you make is right.  
21 Those are weaker studies than the controlled studies,  
22 but it certainly is extremely interesting that the  
23 autism rates are virtually unaffected, and even seem  
24 to go up, at least as they are measured -- I won't  
25 talk about what the true rates are right now -- in a

DR. GOODMAN - CROSS

3127

1 context where thimerosal exposure has gone to zero in  
2 other countries and has been very, very low here.

3 Q And I know you admitted this on direct, but  
4 just to reiterate, none of those studies tried to  
5 measure clearly regressive autism?

6 A No, I am not aware of the fact -- I don't  
7 think they broke that out, no.

8 Q We don't really know what the rate of  
9 clearly regressive autism was in any of those  
10 countries, nor in California.

11 A No, and let me point out that there is a  
12 reason why, and the reason this doesn't lessen, the  
13 fact that that evidence doesn't exist, is that when  
14 people design studies, they measure all sorts of  
15 things. They could measure -- if we did a study in  
16 this room, we could measure the number of lights and  
17 the nature of the wood and the height of everybody at  
18 this table.

19 We could go crazy with a data set. We can  
20 measure literally everything, but you have to restrict  
21 the things you measure to the things that you a priori  
22 think are reasonably possible or plausible, and the  
23 reason that people didn't make that choice when they  
24 designed those studies is because this hypothesis was  
25 not out there and there was not a strong or even

DR. GOODMAN - CROSS

3128

1 existing biologic reason to distinguish between the  
2 two.

3 So it's not a complete accident that that  
4 information is not there. It's because there wasn't  
5 the biologic suggestion that that would be a  
6 meaningful breakout, and it would be very, very hard  
7 methodologically, as I'm sure Dr. Fombonne will  
8 testify, breakout, to make that -- to make that  
9 determination. It's hard, I believe, even in an  
10 individual case, often. You have to have a lot of  
11 documentary evidence to distinguish clearly regressive  
12 from non-clearly regressive.

13 So that would be an extraordinarily  
14 difficult study to do. I'm not saying it won't or  
15 shouldn't be done, but the reason the evidence isn't  
16 there is because there wasn't -- the designers of the  
17 studies either couldn't get the information or it  
18 would be very difficult to get the information, and  
19 there was not, and is not at the moment, a very strong  
20 biologic reason to do so.

21 Q You've talked to Dr. Fombonne about what he  
22 is going to testify?

23 A I have only read his expert report.

24 Q Okay. Do you have an opinion as to whether  
25 the increase in autism rates in California or

DR. GOODMAN - CROSS

3129

1 elsewhere is real, or is it just, you know, an  
2 artifact of the diagnostic methods?

3 A I would say that Dr. Fombonne is far more  
4 expert than I in that area. We did look at it at the  
5 IOM. I personally believe that it is -- if rates are  
6 going up at all, and I think that is a question. I  
7 think there is, without a doubt, they are not going up  
8 in the multiple, you know, fives, tens, that have been  
9 drawn on curves. That is almost certainly not the  
10 case.

11 Whether they are going up at all and exactly  
12 how fast they are going up I think is an unsettled  
13 scientific question, and I don't have enough special  
14 expertise to distinguish between going up some and  
15 going up not at all. I believe very strongly that  
16 it's not going up in the exponential, astronomical way  
17 that has been portrayed, particularly in the lay press  
18 and to some extent in other settings. I think that  
19 that's pretty certainly not the case.

20 Q In preparing for your testimony, did you  
21 review the NIEHS expert panel's report on whether and  
22 how studies could be done in the Vaccine Safety  
23 Datalink to explore the question of whether  
24 thimerosal-containing vaccines are associated with  
25 autism?

DR. GOODMAN - CROSS

3130

1 A No, I did not.

2 Q Are you aware that such a report was  
3 written?

4 A No, I am not. When was that written?

5 Q Let me show it to you. This is -- we have a  
6 copy. I can give a copy to the witness.

7 A Do you have two?

8 Q I don't have two with me.

9 SPECIAL MASTER HASTINGS: Dr. Goodman, we  
10 are starting to get a little feedback from you.

11 THE WITNESS: Oh, sorry.

12 SPECIAL MASTER HASTINGS: And I wonder if  
13 you, I'm thinking you may have gotten a little closer  
14 to the mic than you were earlier.

15 THE WITNESS: Okay. I'll sit back here and  
16 pump up the volume.

17 BY MR. WILLIAMS:

18 Q We are going to get a copy for you to look  
19 at --

20 A Okay.

21 Q -- and get the exhibit number, but let me  
22 tell you just briefly what happened, and I'll show you  
23 this in the text in a second. There was a -- the  
24 NIEHS in 2006, now almost two years ago --

25 A Okay.

DR. GOODMAN - CROSS

3131

1 Q -- convened a panel of experts on autism and  
2 epidemiology and toxicology and asked them, they  
3 actually spent a couple days meeting and listening and  
4 presenting on whether or not it made sense to do some  
5 studies using the Vaccine Safety Datalink to try to  
6 look for an association between thimerosal vaccines  
7 and autism.

8 A Right, okay.

9 Q And they actually ended up recommending that  
10 two specific studies be done.

11 A Okay.

12 Q You weren't aware of this?

13 A No, this is not my field.

14 Q Okay, well, let's --

15 A So I haven't followed it -- I followed the  
16 evidence to 2004. I've reviewed the epidemiologic  
17 studies since then. I have not followed all the  
18 things that have gone on since that time.

19 Q What you see on the screen now is the first  
20 page of this report. You see that it's signed by the  
21 director of NIH --

22 A Yes.

23 Q -- in October of 2006?

24 A Okay. It's very fuzzy on my screen, but  
25 I'll take your word for it. It does look like Elias

DR. GOODMAN - CROSS

3132

1 Zerhourri, yes. Ah, and that's his signature.

2 Q This is Petitioners' Master Reference List  
3 553 --

4 A Okay.

5 Q -- is the exhibit. Now, I want to turn to  
6 the page that lists the experts who were convened.  
7 It's about six pages from the back. I think you'll  
8 probably know some of them. Okay, here it is, and  
9 blow up the top four first, Scott, and then we'll go  
10 to the bottom four. Do you know Dr. Hertz-Picciotto?

11 A Yes, we've served on the IOM committee  
12 Veterans' Agent Orange together.

13 Q And you said you've read Dr. Burbacher's  
14 study on the monkeys, right?

15 A Yes.

16 Q And what about the other two people there?  
17 Do you know them?

18 A I don't know them specifically, no.

19 Q Okay, let me show you the other names. Dr.  
20 Davidson or Dr. Factor-Litvak?

21 A No.

22 Q All right, and then two more.

23 A Well, I know Craig Newschaffer very well.

24 Q Okay.

25 A And I don't know the other one.

DR. GOODMAN - CROSS

3133

1 Q And of the people that you know that are on  
2 there, do you respect them as epidemiologists and  
3 scientists?

4 A Yes, absolutely, yes.

5 Q Well, let me show you what they recommended  
6 be done in looking at the VSD. Now this is -- this  
7 page. The first page of the executive summary.

8 SPECIAL MASTER HASTINGS: Is that page 7 of  
9 the exhibit, I think?

10 MR. WILLIAMS: Yes, page 7 of the exhibit,  
11 and it's the last paragraph I wanted to blow up.

12 BY MR. WILLIAMS:

13 Q This is from the executive summary and it  
14 says -- first of all, they were asked, could we do an  
15 ecological study just looking at the rates of autism  
16 from one year to another, and they decided there were  
17 too many confounders to recommend doing that.

18 A Uh-huh.

19 Q But they did view positively, it says, an  
20 alternate future study design that was viewed  
21 positively among panel members was a study of a high-  
22 risk population, defined in this instance as siblings  
23 of individuals diagnosed with AD or ASD, and they go  
24 on to describe that. You are aware of these kinds of  
25 twin or siblings studies, aren't you?

DR. GOODMAN - CROSS

3134

1 A Yes, I think this is actually a good idea.

2 Q Yeah, and then there is also a  
3 recommendation in the bottom half of this paragraph  
4 for an extension. It says, another possibility that  
5 generated support by the panel, if you could highlight  
6 that, Scott, right here in the middle. Yeah, there we  
7 go. Another possibility that generated support by the  
8 panel was an expansion of the VSD study published by  
9 Verstraeten back in 2004. That's one of the studies  
10 that your IOM committee had relied on.

11 A Yes, uh-huh.

12 Q And what they say was the availability now  
13 of several additional years of VSD data provides an  
14 opportunity to provide a more powerful test of any  
15 potential association. And then they also talk about  
16 a retrospective cohort using the California MCOs or  
17 DDS. Do you agree that those studies would also be a  
18 good idea?

19 A Probably. I mean, I'm not dealing with the  
20 budgets and trying to prioritize according to what  
21 other studies would or would not be done, but those do  
22 look like very good ideas. The IOM committee itself  
23 recommended further research in high-risk populations,  
24 and this is a good high-risk population.

25 Q Now, unfortunately, the Bush Administration

DR. GOODMAN - CROSS

3135

1 has not chosen to fund these studies, and they haven't  
2 begun yet, but we wanted to show you that the  
3 Petitioners' Steering Committee did try to get access  
4 to this. Let me show you a motion that we filed in  
5 this litigation in this court back in '06, right after  
6 this expert report came out. Did you know that we had  
7 filed a motion on behalf of the families we represent,  
8 about 5,000 families?

9 A No, I didn't know.

10 Q And that we had a panel of Dr. Greenland,  
11 Dr. Kinsbourne, Eric Gershwin from UC Davis, and --

12 MR. MATANOSKI: Your Honor, I'm just going  
13 to ask for a proffer of the relevance of this. I  
14 believe this motion has already been ruled on by the  
15 Court.

16 SPECIAL MASTER HASTINGS: Well, it has.  
17 I'll give him a little latitude here.

18 BY MR. WILLIAMS:

19 Q Well, wouldn't you agree that one of the  
20 reasons we don't have epidemiological studies that  
21 address the question at hand here is because the  
22 government has blocked us from getting those studies,  
23 even though they have been recommended by an NIH  
24 expert panel?

25 A I have no basis on which to render an

DR. GOODMAN - CROSS

3136

1 opinion on that.

2 MR. MATANOSKI: And I'd actually have to  
3 object to that characterization.

4 SPECIAL MASTER HASTINGS: Well, it's already  
5 been answered, so.

6 MR. MATANOSKI: I just meant to object to  
7 that characterization of what the government has done.

8 THE WITNESS: I just want to say one thing.  
9 In my comment that I thought it was a good idea, I  
10 have no information about how much it would cost, you  
11 know, what the choices are really to be made. In  
12 theory, if we had a good study of those, you know,  
13 maybe it could provide interesting information, but I  
14 have no access to any of the real-world considerations  
15 that went into any of the things that you are  
16 discussing.

17 BY MR. WILLIAMS:

18 Q Now, you said that this postulated group of  
19 affected kids that have clearly regressive autism from  
20 thimerosal-containing vaccines would be a small group,  
21 you said?

22 A Yes, I followed exactly what Dr. Fombonne  
23 and Dr. Greenland said.

24 Q I want to just do one little --

25 A A small portion of the total group.

DR. GOODMAN - CROSS

3137

1 Q Right.

2 A Yes.

3 Q I want to do just a little arithmetic with  
4 you and then we will be done. There are roughly 4  
5 million kids born every year in this country.

6 A Uh-huh.

7 Q You accept that? I mean, it would be a  
8 little --

9 A I will believe you.

10 Q Okay, and if you take the decade of kids  
11 from 1992 to 2001 or so who got the biggest exposure  
12 from thimerosal-containing vaccines, we're talking  
13 roughly 40 million children in this country.

14 A Okay.

15 Q If the true rate of autism of all types was  
16 1 in 150, which seems to be the number you hear most -  
17 -

18 A Right.

19 Q Then, if thimerosal-containing vaccines were  
20 causing 10% of that, you know, could cause 10% of the  
21 total, which is the figure you sort of used as a  
22 compromise between Fombonne --

23 A I was just working off the numbers that are  
24 in the report.

25 Q Right, and you said it didn't really matter

DR. GOODMAN - CROSS

3138

1 whether it's 6% or 10% because that's still too small  
2 for the studies at hand to pick up?

3 A Yes, the relevant number is the proportion  
4 of the total, because we have relative risks that  
5 apply to the whole population. So you are absolutely  
6 right, that proportion could apply to, you know, many,  
7 many, many children, but it doesn't make the math  
8 related to the relative risks and what can fit in, it  
9 doesn't change that at all. It doesn't matter whether  
10 that's 10 kids or 10 million, except if it's 10  
11 million, you at least theoretically have the  
12 possibility of getting sample sizes to explore.

13 Q Well, if it was 10%, then the number of kids  
14 in the population who would have thimerosal-containing  
15 vaccine-related autism would be 1 in 1,500. Is that  
16 fair?

17 A Well, I'll just listen to your math. I'm  
18 not following all the numbers.

19 Q Okay, well, let me just summarize the math.

20 A I mean, you assume that it caused all of it,  
21 that you're just assuming --

22 Q No, no, no, now I'm assuming that -- let's  
23 take another assumption that the thimerosal-containing  
24 vaccines are only causing about one-third of this  
25 purely regressive group.

DR. GOODMAN - CROSS

3139

1 A Okay.

2 Q Okay, so now we're down to, from a  
3 population point of view, 1 in 4,500, and if you do  
4 the math, 40 million divided by 4,500, you come up  
5 with about 9,000 kids.

6 A Uh-huh.

7 Q Now, you still think that's a small group?

8 A The reference 'small' is the proportion of  
9 the total population and had to do with the  
10 mathematics of what you could detect given the  
11 relative risks that we are observing. The 'small' did  
12 not, I never meant to, nor did I make any statement  
13 about the size of the problem or the number of  
14 children affected. I think it's quite clear that  
15 autism is a very, very big problem in this country.

16 It doesn't matter, actually, whether the  
17 rates are going up or flat, you know. One in 150 or 1  
18 in 400 is actually a very high rate for a problem of  
19 this magnitude, so issues related to autism are  
20 important for exactly that reason.

21 Q And issues related to trying to figure out  
22 the etiology of autism are important.

23 A Oh, yeah.

24 MR. WILLIAMS: Thank you. That's all I  
25 have.

DR. GOODMAN - CROSS

3140

1 SPECIAL MASTER HASTINGS: Any redirect?

2 MS. RICCIARDELLA: We have no redirect.

3 SPECIAL MASTER HASTINGS: Any questions for  
4 this witness? Actually, I have one or two, Doctor.  
5 Now, you mentioned earlier that you had read the  
6 report of Dr. Kinsbourne.

7 THE WITNESS: I looked through it. I  
8 wouldn't -- read through -- I mean, it's not my area  
9 of expertise, and I was also aware --

10 SPECIAL MASTER HASTINGS: You haven't  
11 studied it is what you are saying?

12 THE WITNESS: No, I definitely have not  
13 studied it.

14 SPECIAL MASTER HASTINGS: My question is,  
15 the way I read Dr. Kinsbourne's report, you, I think,  
16 accurately characterized what Dr. Greenland said. Dr.  
17 Kinsbourne went a little further than Dr. Greenland.  
18 As I read his opinion, I don't know if he used the  
19 word 'irrelevant,' but essentially, he says, because  
20 all these studies studied autistics in general and  
21 didn't focus on regressive autism, he said the studies  
22 are totally irrelevant to the Petitioners' theory  
23 here, which is focusing on causation of regressive  
24 autism.

25 THE WITNESS: Right.

DR. GOODMAN - CROSS

3141

1           SPECIAL MASTER HASTINGS: And I want to make  
2           sure I understood what you said today in terms of that  
3           issue. If I understood your testimony, and I want to  
4           summarize it and see if I have accurately understood  
5           it, you are saying that it is relevant because the  
6           studies don't mathematically rule out the possibility  
7           that there could be a very, very small subgroup that's  
8           highly associated, but you are saying it makes it seem  
9           -- because regressive autism, we don't see anything  
10          distinctly biologically different than non-regressive  
11          autism, that it makes it seem very unlikely that there  
12          is an association with such a subgroup because we  
13          don't have any reason biologically to assume that  
14          there would be a difference?

15          THE WITNESS: That's pretty much exactly it.  
16          That is, the information on the overall relationship  
17          to autism in general and thimerosal is relevant to all  
18          children with autism until one can make and show,  
19          demonstrate empirically, that one subgroup is uniquely  
20          biologically different with respect to that causal  
21          factor.

22          So it is certainly relevant -- it is only  
23          irrelevant insofar as he can empirically demonstrate,  
24          that is, show that this is a unique biologic entity  
25          that's uniquely susceptible to thimerosal, where all

DR. GOODMAN - FURTHER CROSS

3142

1 other children with autism are not. So you described  
2 it pretty much exactly.

3 SPECIAL MASTER HASTINGS: All right,  
4 anything further based on that, Mr. Williams?

5 MR. WILLIAMS: Just one question about  
6 exactly this.

7 FURTHER CROSS-EXAMINATION

8 BY MR. WILLIAMS:

9 Q You wouldn't have to, for there to be  
10 biological plausibility of regressive autism being  
11 different, it wouldn't have to be just thimerosal that  
12 could cause it. You could have other postnatal  
13 insults that could cause regressive autism, and it  
14 still be biologically plausible, right?

15 A I'm sorry, what would be biologically  
16 plausible?

17 Q That there is a susceptible subgroup of  
18 children who develop regressive autism from postnatal  
19 exposures to agents that persist in the brain and  
20 cause neuro inflammation.

21 A First of all, I can't opine on that  
22 particular mechanism. There is a difference between  
23 biologically possible and biologically probable, so I  
24 can't opine on -- all I can say is that that  
25 particular theory is not yet out in the scientific

DR. GOODMAN - FURTHER CROSS

3143

1 literature, and other scientists haven't weighed in on  
2 it. I haven't -- I can't disprove it here, no, nor  
3 does the epidemiology disprove it. Maybe I'm not  
4 addressing your question. I'm sorry.

5 Q Well, for example, Dr. -- Sir Michael Rutter  
6 is going to come in here next week, and he's published  
7 his opinion, and he believes some cases of autism  
8 which are regressive because they are caused by  
9 postnatal infections can happen. I mean, he thinks  
10 it's biologically plausible.

11 MR. MATANOSKI: I'm not sure that's an  
12 accurate characterization of Professor Rutter's  
13 report.

14 SPECIAL MASTER HASTINGS: Let's suppose for  
15 a minute that it is. I understand the objection.

16 Did you understand the question?

17 THE WITNESS: Not entirely. Maybe I'm being  
18 dense.

19 BY MR. WILLIAMS:

20 Q Well, I thought I've been hearing you say  
21 that there just is nobody who thinks it's biologically  
22 plausible that a postnatal agent could cause  
23 regressive autism.

24 A No, I absolutely didn't say that. I said --  
25 the question that the Special Master posed was that

DR. GOODMAN - FURTHER CROSS

3144

1 Dr. Kinsbourne said that the extant evidence was, in a  
2 sense, totally irrelevant to that particular  
3 hypothesis because they hadn't broken out specifically  
4 the regressive autistic types, and I said -- and then  
5 he summarized his view of my testimony as saying it  
6 was relevant because there hadn't been a clear enough  
7 case made that this is a distinct biologic entity, and  
8 I agreed.

9 I am not testifying here today that there  
10 aren't people who say, or that it might not be true,  
11 that some environmental insult might play a part in  
12 the expression or emergence of autistic symptoms.  
13 That's actually, I don't know if documented, but it's  
14 stated repeatedly in the literature that that's one of  
15 many possibilities.

16 MR. WILLIAMS: Okay. Thanks.

17 SPECIAL MASTER HASTINGS: Anything further  
18 from Ms. Ricciardella?

19 MS. RICCIARDELLA: No, sir.

20 SPECIAL MASTER HASTINGS: Any further  
21 questions from the Special Masters? All right. I  
22 guess we are done with you, Dr. Goodman. We thank you  
23 very much for being with us today.

24 (Witness excused.)

25 SPECIAL MASTER HASTINGS: Before we go off

DR. GOODMAN - FURTHER CROSS

3145

1 the record here, I understood that counsel had some  
2 matters they wanted to raise before we broke for the  
3 weekend. Now, I'm not sure what they were, and does  
4 anyone want to do those on the record, or do you want  
5 to do it back in chambers? Tell me what's going on  
6 here.

7 MR. MATANOSKI: I was the one who raised  
8 that there were a couple of matters to take up. I  
9 don't think they need to be taken up on the record.

10 SPECIAL MASTER HASTINGS: All right. If  
11 that's the case, we are going to break for the  
12 weekend. For those listening in, we are done now  
13 until Tuesday morning at 9:00 a.m., so we will be  
14 adjourned until then. All right. Thank you all.

15 (Whereupon, at 12:30 p.m., the hearing in  
16 the above-entitled matter was adjourned, to reconvene  
17 at 9:00 a.m. on Tuesday, May 27, 2008.)

18 //

19 //

20 //

21 //

22 //

23 //

24 //

25 //

3146/3230

REPORTER'S CERTIFICATE

DOCKET NO.: 03-584V, 03-215V  
CASE TITLE: In Re: Claims for Vaccine Injuries  
HEARING DATE: May 23, 2008  
LOCATION: Washington, D.C.

I hereby certify that the proceedings and evidence are contained fully and accurately on the tapes and notes reported by me at the hearing in the above case before the United States Court of Federal Claims.

Date: May 23, 2008

---

Christina Chesley  
Official Reporter  
Heritage Reporting Corporation  
Suite 600  
1220 L Street, N.W.  
Washington, D.C. 20005-4018

Heritage Reporting Corporation  
(202) 628-4888