

UNITED STATES
COURT OF FEDERAL CLAIMS

IN RE: CLAIMS FOR VACCINE)
INJURIES RESULTING IN)
AUTISM SPECTRUM DISORDER,)
OR A SIMILAR)
NEURODEVELOPMENTAL)
DISORDER)

-----)
FRED AND MYLINDA KING,)
PARENTS OF JORDAN KING,)
A MINOR,)
Petitioners,)

v.)
SECRETARY OF HEALTH AND)
HUMAN SERVICES,)
Respondent.)

Docket No.: 03-584V

-----)
GEORGE AND VICTORIA MEAD,)
PARENTS OF WILLIAM P. MEAD,)
A MINOR,)
Petitioners,)

v.)
SECRETARY OF HEALTH AND)
HUMAN SERVICES,)
Respondent.)

Docket No.: 03-215V

REVISED AND CORRECTED COPY

Pages: 3531 through 3823/3891

Place: Washington, D.C.

Date: May 28, 2008

HERITAGE REPORTING CORPORATION

Official Reporters
1220 L Street, N.W., Suite 600
Washington, D.C. 20005-4018
(202) 628-4888
contracts@hrccourtreporters.com

IN THE UNITED STATES COURT OF FEDERAL CLAIMS

IN RE: CLAIMS FOR VACCINE)
INJURIES RESULTING IN)
AUTISM SPECTRUM DISORDER,)
OR A SIMILAR)
NEURODEVELOPMENTAL)
DISORDER)
-----)

FRED AND MYLINDA KING,)
PARENTS OF JORDAN KING,)
A MINOR,)
Petitioners,)

v.)
SECRETARY OF HEALTH AND)
HUMAN SERVICES,)
Respondent.)
-----)

Docket No.: 03-584V

GEORGE AND VICTORIA MEAD,)
PARENTS OF WILLIAM P. MEAD,)
A MINOR,)
Petitioners,)

v.)
SECRETARY OF HEALTH AND)
HUMAN SERVICES,)
Respondent.)

Docket No.: 03-215V

Courtroom 402
National Courts Building
717 Madison Place NW
Washington, D.C.

Wednesday,
May 28, 2008

The parties met, pursuant to notice of the
Court, at 9:00 a.m.

BEFORE: HONORABLE GEORGE HASTINGS
HONORABLE PATRICIA CAMPBELL-SMITH
HONORABLE DENISE VOWELL
Special Masters

Heritage Reporting Corporation
(202) 628-4888

APPEARANCES:

For the Petitioners:

THOMAS B. POWERS, Esquire
MICHAEL L. WILLIAMS, Esquire
Williams Love O'Leary & Powers, PC
9755 S.W. Barnes Road, Suite 450
Portland, Oregon 97225-6681
(503) 295-2924

For the Respondent:

VINCE MATANOSKI, Esquire
LYNN E. RICCIARDELLA, Esquire
U.S. Department of Justice
Civil Division, Torts Branch
Ben Franklin Station, P.O. Box 146
Washington, D.C. 20044-0146
(202) 616-4356

C O N T E N T S

WITNESSES: DIRECT CROSS REDIRECT RECROSS

For the Respondent:

Catherine Lord	3535	3586	3600	3603
	--	--	3605	--
Eric Fombonne	3607	3706	3812	--
	--	3781	--	--

E X H I B I T S

Respondent's
EXHIBITS:

IDENTIFIED

RECEIVED

DESCRIPTION

12

3606

--

Eric

Fombonne

Slide

Presentation

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

P R O C E E D I N G S

(9:00 a.m.)

SPECIAL MASTER VOWELL: Please be seated.

All right. We are back on the record in the Theory II General Causation cases, and the Mead and King cases. And I see Dr. Lord is on the witness stand. And if you would raise your right hand.

Whereupon,

CATHERINE LORD

having been duly sworn, was called as a witness and was examined and testified as follows:

SPECIAL MASTER VOWELL: Thank you. You may proceed, government.

DIRECT EXAMINATION

BY MS. RICCIARDELLA:

Q Good morning, Dr. Lord. Would you please state your name for the record?

A Catherine Lord.

Q And would you please state what your current position is?

A I am the director of the University of Michigan Autism and Communication Disorders Clinic, and a professor at University of Michigan.

Q And would you please briefly describe your educational background since high school?

DR. LORD, PhD - DIRECT

3536

1 A I have a bachelor's degree in psychology
2 from UCLA. I then went to graduate school at Harvard
3 and graduated from the program in psychology and
4 social relations.

5 I was an intern at the University of North
6 Carolina. And I guess that's it.

7 Q Was that a postdoctoral position?

8 A Yes.

9 Q At UNC?

10 A Yes.

11 Q And do you hold any board certifications?

12 A I have, I'm an ABPP, which is American Board
13 of Professional Psychologists in clinical psychology,
14 and part of the National Health Register for clinical
15 psychologists.

16 Q And do you hold any licenses?

17 A I'm licensed in Michigan and Illinois.

18 Q In what discipline?

19 A In clinical psychology.

20 Q And would you please briefly describe your
21 academic employment history?

22 A My first position was at University of
23 Minnesota, where I was an assistant professor in child
24 development. I then went to Canada, to University of
25 Alberta, with my husband. And then moved back to

DR. LORD, PhD - DIRECT

3537

1 North Carolina to set up a clinic at University of
2 North Carolina in Chapel Hill. Then went to
3 University of Chicago, and am now at University of
4 Michigan.

5 Q And are you a member of any professional
6 societies or organizations in your discipline?

7 A I'm a member of INSAR, the International
8 Organization for Autism Research.

9 Q Is that formerly called IMFAR?

10 A Yes. SRCD, the Society for Research in
11 Child Development. APA, American Psychological
12 Association. That's probably the main ones.

13 Q And have you been honored for your work in
14 autism specifically?

15 A Yes. I received an award from the Royal
16 Academy of Psychiatry in the UK, and an award from
17 California State, I was the chair of a National
18 Academy of Sciences Committee looking at the
19 effectiveness of early intervention in autism.

20 Q Now your report states that you are one of
21 four scientists who make up the strategic planning
22 committee for autism research for the National
23 Institutes of Health. What does that entail?

24 A As part of the Combatting Autism Act, there
25 was a committee created, or there was the statement

DR. LORD, PhD - DIRECT

3538

1 that there should be a committee created to plan how
2 NIH and the other agencies in the federal government
3 would allocate funding, not specifically for grants,
4 but to set priorities in terms of research and federal
5 funding.

6 So the federal government invited four
7 scientists, as well as community members, people
8 representing different kinds of practice and families,
9 to create a committee to try to set these goals.

10 Q Were you appointed to that committee?

11 A Yes.

12 Q Now, your report also states that you are on
13 the planning committee for autism and related
14 diagnoses for the American Psychiatric Association's
15 Diagnostic and Statistical Manual of Mental Disorders
16 V, is that correct?

17 A That's right.

18 Q Is that also known as the DSM?

19 A Yes.

20 Q And is that an appointed position?

21 A Yes.

22 Q How many people are working on that planning
23 committee?

24 A On the committee that I am a member of,
25 there's probably 12. I think there are 12 different

DR. LORD, PhD - DIRECT

3539

1 people.

2 Q And what does working on that planning
3 committee entail?

4 A Conference calls and meetings. But the goal
5 is to try to create the framework, and then test the
6 framework that will be used for diagnoses of autism
7 spectrum disorders and other developmental disorders
8 in the next round of DSM-V, which is the organization
9 that's used in the U.S. for billing for children,
10 which obviously has a huge effect on health insurance
11 and the ways in which kids are covered.

12 Q Were you also involved in the formulation of
13 the DSM-IV?

14 A Yes.

15 Q In what capacity?

16 A I was a member of that committee. And then
17 our group received funding from NIH and also the
18 American Psychiatric Association to try to test out
19 when we proposed criteria to see whether they would
20 really work, and how well clinicians could use them.

21 Q Do you hold any teaching positions in your
22 specialty? I believe you touched on that earlier.

23 A Yes. I teach at the University of Michigan.

24 Q Are you a full professor?

25 A Yes.

DR. LORD, PhD - DIRECT

3540

1 Q And what do you teach?

2 A I teach assessment. I teach, I run training
3 workshops in diagnosis. I teach developmental
4 psychopathology research design.

5 Q And who are you teaching?

6 A I'm teaching mostly graduate students,
7 although I supervise undergraduates in practical
8 placements with regard to autism and research.

9 Q And how long have you been teaching?

10 A My first teaching job was in 1976, so 32
11 years.

12 Q Do you also, do you give lectures to
13 professional groups or organizations specifically
14 about autism and autism spectrum disorders?

15 A Yes, I do.

16 Q To whom do you lecture?

17 A Oh, grand rounds at medical schools,
18 conferences, parents' groups, professional groups that
19 want training in diagnosis or information about
20 longitudinal studies, sort of looking at outcome and
21 how kids change over time.

22 Q And how often do you lecture?

23 A I try to not do it more than once a month,
24 but it probably ends up being more like 20 times a
25 year.

DR. LORD, PhD - DIRECT

3541

1 Q Do you lecture internationally, as well as
2 domestically?

3 A Yes.

4 Q And you mentioned that you lecture to family
5 groups. Do you devote time to family-based
6 associations dealing with autism?

7 A Yes. I mean, I feel like for a long time I
8 tried to work with family groups, because ultimately
9 parents are the people who are most responsible for
10 these kids. So in Michigan I work with a number of
11 parent groups. I've also had a longstanding
12 affiliation with a group, several groups in Chicago,
13 but one group in particular that designs wraparound
14 services as services after school for kids with autism
15 and adults.

16 Q I'd like to talk about your clinical
17 experience, your experience as a clinical psychologist
18 over the past 30 years, specifically as it relates to
19 autism spectrum disorders. Do you currently have a
20 clinical practice?

21 A Yes.

22 Q Could you describe your practice?

23 A I usually see one myself, usually working
24 with one other person and a child psychiatrist. I see
25 one new child coming up for a diagnosis a week, which

DR. LORD, PhD - DIRECT

3542

1 is about a 10-hour assessment, plus a school visit.

2 And then I also supervise a clinic with
3 another five PhDs and a speech pathologist and a
4 social worker, and each of them often sees a couple of
5 other new kids, as well as we follow up the kids that
6 we've seen before.

7 Q And are you affiliated with the hospital?

8 A Yes.

9 Q Which one?

10 A University of Michigan.

11 Q You mentioned that you diagnose and
12 currently treat children with autism?

13 A Yes.

14 Q And you say approximately one per week?

15 A That's right. I probably see -- I might see
16 five new kids a week, because I see kids that other
17 people are seeing as their primary assessment, too.
18 But I do the primary work for one child.

19 Q If you were to approximate how many children
20 you've diagnosed with autism throughout the course of
21 your career, what would be the number?

22 A I think the number I came up with was about
23 4,000, when you count kids not only that I've seen,
24 done all the work for, but where I've supervised other
25 people in the work and actually met the child.

DR. LORD, PhD - DIRECT

3543

1 Q Does that also include part of your
2 research?

3 A Yes.

4 Q You're diagnosing children with autism. Are
5 you currently following adults, as well, who have
6 autism?

7 A Yes.

8 Q When you see a child with autism, do you
9 follow him or her into adolescence?

10 A Yes. Our goal when we do assessments is to
11 be available to follow that child, you know, or adult,
12 as long as we can be helpful. So we have adult
13 services in our clinic, and I still know adults that I
14 met when they were two.

15 Q What are the age ranges of your patients
16 currently?

17 A Right now we have a toddler clinic which
18 goes down to 12 months, although most of the kids
19 aren't really that little; and all the way up through,
20 we have adult social groups and adult treatment
21 programs that go up. We have a 50-year-old and
22 actually a 56-year-old.

23 Q And do you meet with parents also as part of
24 your clinical practice?

25 A Yes. I mean, parents are involved every

DR. LORD, PhD - DIRECT

3544

1 step of the way.

2 Q In what capacity?

3 A So we, part of our diagnosis is talking to
4 parents about what their child is like at home and
5 also in other circumstances. How their child has
6 changed, what the parents have done, what the parents
7 are worried about, trying to figure out what we can
8 help, and also so that we're not making
9 recommendations that just tell parents to do things
10 that they've already done.

11 So we do almost everything that we do,
12 unless an adult with autism prefers not to have their
13 parents there, we do it either with parents right in
14 the room with us or parents watching through an
15 observation room.

16 Q Do you also have a research practice?

17 A Yes.

18 Q Could you please describe your practice?

19 Your research practice.

20 A We have a number of major research projects
21 going on at the time. We're involved in two early
22 intervention projects, where the idea is to identify
23 children as young as possible who are at high risk for
24 autism. And one is a very, is a sort of low-intensity
25 intervention, where parents do most of the work, and

DR. LORD, PhD - DIRECT

3545

1 we're trying to support parents and teach them things
2 that will be helpful.

3 Another is a much more high-intensity
4 intervention, where we provide people that go into the
5 home and do 20 hours a week of work with these very
6 small children. Both of these are randomized
7 controlled trials, so there's a community alternative.
8 And then we've developed something just so families
9 don't get nothing who are not randomized into the main
10 treatment, which involves parent education and a
11 toddler group.

12 We also have a longitudinal study, where we
13 follow children who are referred at age two for
14 possible autism. There are two groups of kids: a
15 group in North Carolina, which I saw when I was there,
16 and a group in Chicago, which I saw when I was there.
17 We've followed those kids, they are now 16 to 19 years
18 old. And so we are actually just preparing to see
19 them again. We saw them at two, three, five, and
20 nine, and then have had parents on the phone and
21 filling out forms for us every three months in the
22 meantime, while we tried to get money to see the kids.

23 We're involved in the development of an
24 instrument that will measure a spontaneous
25 communication. There are a lot of tests that measure

DR. LORD, PhD - DIRECT

3546

1 vocabulary and children's ability to name things, but
2 not, not a lot of good ways to look at how well kids
3 could actually communicate. So we're trying to build
4 on the diagnostic measures that we've created to do
5 that in our moving through the development of an
6 instrument to do that.

7 We have, we are the leaders of a big
8 genetics consortium. Even though I'm not a
9 geneticist, but my job is really to help the
10 geneticist define what is autism; figure out ways that
11 we can quantify different aspects of autism. That is,
12 figure out how severe a social deficit is, how severe
13 a language deficit is, and have that information
14 available to researchers -- I mean, this is a public
15 repository, so researchers will be able to apply to
16 get access to this, to do studies of different genetic
17 hypotheses, but also recruiting families into this
18 program.

19 So that as we find things, not just genetic,
20 we can go back and ask families, you know, do you want
21 to be part of this neuroimaging study, because there
22 is a finding that might be relevant to your child.

23 I think those are the main -- and we've just
24 completed development of a toddler module, where we
25 are trying to figure out if we can diagnose autism in

DR. LORD, PhD - DIRECT

3547

1 children as young as 12 to 18 months. How would you
2 do it, you know, how can you convey this and teach
3 other people to do this, given all the limitations and
4 concerns about overdiagnosing little kids.

5 Q How long have you been researching autism?

6 A I started working in an autism research
7 project as an undergraduate, so in 1969. And then, in
8 graduate school, did other things, and then circled
9 back to autism when I was in North Carolina.

10 So it's been, you know, if you count
11 undergraduate, it's almost 40 years.

12 Q As part of your research practice, do you
13 research the phenomenon of regression in autism?

14 A Yes.

15 Q And how long have you been researching
16 regression in autism?

17 A We have been keeping track and trying to, in
18 a very gross way, define regression since we began to
19 develop the standardized diagnostic instruments. So
20 that occurred in the early eighties.

21 And then I think I became more interested
22 with what, what does this mean, and also more
23 concerned that sometimes people were implying that
24 regression didn't exist. And so I began trying to
25 organize various groups that I was involved in to try

DR. LORD, PhD - DIRECT

3548

1 to get enough subjects so that we could actually look
2 at whether we can answer, is regression a figment of
3 parents' imagination, which I don't think it is. And
4 then how can we better understand it.

5 So I was involved in a series of relatively
6 large, some small-scale and then larger-scale studies,
7 looking at the prevalence of regression. And then
8 most recently we've been studying these very young
9 children who are either siblings of children with
10 autism, or whom somebody has a reason to think that
11 they might have high risk for having autism, down to,
12 you know, infants. And one of the reasons we did that
13 was because we were interested in whether, if we saw
14 kids regularly at very young ages, we might actually
15 see the regression occurring, and would have a better
16 sense of what was actually happening.

17 Q And how often are you seeing these children?

18 A Once a month.

19 Q And how long has that research been ongoing?

20 A That study has been going on now I think for
21 about three years.

22 Q You had mentioned that you are one of the
23 authors of the autism diagnostic interview, is that
24 correct?

25 A Yes.

DR. LORD, PhD - DIRECT

3549

1 Q Is the acronym ADIR?

2 A Uh-huh.

3 Q What does the R stand for? Revised?

4 A Revised.

5 Q Who are the other authors on that?

6 A Michael Rutter and Ann Le Couteur.

7 Q And could you describe what that is and how
8 it's used?

9 A The ADIR is a long, semi-structured
10 interview, which means that rather than asking people
11 yes-no questions, you ask the caregivers, usually
12 parents, to describe specific contexts in which they
13 have observed their child.

14 So the idea is that you really use the
15 parents' knowledge as a window into looking for
16 specific behaviors in children. And then the examiner
17 uses that information to try to apply what the parents
18 have said to specific criteria that would say yes,
19 this child, for example, has difficulties in eye
20 contact, or this child has unusual facial expression.

21 So rather than asking a parent does your
22 child have unusual facial expressions, the idea is to
23 get the parent to talk about facial expressions, and
24 then to actually code that information.

25 Q And who uses the ADIR?

DR. LORD, PhD - DIRECT

3550

1 A The ADIR is used around the world, primarily
2 in research. It's been translated into more than 20
3 different languages, and is used in I think tertiary
4 care clinics, university clinics primarily, as well as
5 in research projects.

6 Q And when was it first published?

7 A Oh, dear. It was first published in, the
8 first one in 1989, I believe. And then we revised it
9 and published the revised version in 1994.

10 Q And you're also one of the authors of the
11 Autism Diagnostic Observation Schedule? Is that also
12 referred to as ADOS?

13 A Yes.

14 Q Is that correct? Who are the other authors
15 of ADOS?

16 A Michael Rutter, Pamela DiLavore, who is a
17 special educator from North Carolina, and Susan Risi,
18 who is another clinical psychologist.

19 Q And what is ADOS?

20 A The ADOS is a companion instrument to the
21 ADIR, but which has actually been used, because it's
22 shorter and fits a particular clinic need, it's now
23 used independently, as well. It's a standardized
24 observation, so the idea is that the clinician works
25 with a child or an adult for about 45 minutes,

DR. LORD, PhD - DIRECT

3551

1 carrying out a standard series of activities.
2 Different activities are available for different ages
3 of kids, and also different language levels. So you
4 do different things if the child can talk very well
5 than if the child can't talk at all, or you do things,
6 different things with an adult than a teenager or a
7 child.

8 And the idea is that you create contexts for
9 different kinds of social behavior. That is, by
10 putting the situation in -- the child in a situation
11 where they would likely want to request that you do
12 something again, like blow bubbles. And then you look
13 at how the child responds.

14 And because it's standardized, you can then
15 compare how do typical kids do this, how do children
16 with intellectual disabilities who don't have autism
17 do this, how do children with autism or autism
18 spectrum disorders respond in each particular
19 situation.

20 Q And who uses the ADOS?

21 A It's used around the world by actually
22 people from all kinds of disciplines.

23 Q Primarily for research? Or is it also used
24 in the clinic?

25 A It's used a lot clinically, as well as for

DR. LORD, PhD - DIRECT

3552

1 research.

2 Q And have you authored any other diagnostic
3 instruments?

4 A I was also involved in creating the
5 screening instrument which is based on the ADIR, which
6 is a series of questions really taken from the ADIR,
7 but modified slightly, with the idea of having, you
8 know, a two-page form that parents could fill out that
9 would allow you to screen for autism.

10 And then I've also worked with a speech
11 pathologist who's a collaborator in our very early
12 intervention studies, looking at ways to define autism
13 from coding videotapes of a general communication
14 screening that she's developed.

15 Q And you've published over 125 articles
16 related to child development and psychology? Does
17 that sound about right?

18 A Yes.

19 Q Are they all peer-reviewed?

20 A I think those are, yes.

21 Q And do the majority of them pertain to
22 autism spectrum disorders?

23 A Yes.

24 Q Have you published specifically on
25 regressive autism?

DR. LORD, PhD - DIRECT

3553

1 A Yes.

2 Q In what way?

3 A We've done a number of different papers
4 about regression, looking at the different samples
5 that we were studying, both the longitudinal sample,
6 the kids from North Carolina and Chicago, and then
7 also trying to pull together data from various
8 collaborations to try to look at regression.

9 Q How long have you been looking at
10 regression?

11 A I think that we first started looking at it
12 in the early longitudinal study. So that would have
13 been around 1991, 1992.

14 Q According to your CV, you've published nine
15 books. Is that accurate?

16 A Yes.

17 Q And you've published 61 book chapters in
18 other publications that pertain to child psychology,
19 including autism spectrum disorders, is that correct?

20 A That's right.

21 Q And you currently serve on the editorial
22 board of six child psychology and autism-related
23 journals, is that correct?

24 A Yes.

25 Q And what does it mean to be on the editorial

DR. LORD, PhD - DIRECT

3554

1 advisory board?

2 A It means that you agree to review a lot of
3 papers, that you agree to review a paper at least once
4 a month for a journal. That you're identified as
5 somebody who is a specialist in certain areas. So
6 that if there are general discussions about where the
7 journal is going next, or conflicts, you will help
8 sort them out.

9 Q And the journals on which you serve, are
10 they well known in the field?

11 A Yes.

12 Q Could you name a few?

13 A Journal of Autism and Developmental
14 Disorders, Journal of Child Psychology and Psychiatry,
15 Child Development, American Journal of Mental
16 Retardation.

17 Q And are you a reviewer for any journals?

18 A Yes.

19 Q A lot?

20 A Lots.

21 Q Have you ever testified before in a court of
22 law?

23 A Yes.

24 Q How many times?

25 A I think three times.

DR. LORD, PhD - DIRECT

3555

1 Q And could you describe the cases?

2 A I testified twice in cases involving parents
3 accused, through facilitated communication, of abusing
4 their children. So I testified in order to try to
5 sort out the validity of these accusations, working
6 with families.

7 And then I testified in a case, in a case
8 where a family was suing the state to try to get
9 better services.

10 Q And why did you agree to testify for the
11 U.S. Government here today?

12 A I felt like this is such an important
13 question. And my expertise is limited in the sense
14 that I'm an expert on behavior and development in
15 autism and regression, but that is something that I've
16 been working on for years. So I felt that it was
17 important, since I was asked to come forward and be
18 able to describe this, because so much time and energy
19 and concern has gone into questions of the
20 relationship between vaccines and autism.

21 Q Do parents in your clinic come to you with
22 questions about vaccines and autism?

23 A Almost every day.

24 Q And what do you tell them?

25 A I tell them that at this point there is no

DR. LORD, PhD - DIRECT

3556

1 evidence that vaccines cause autism. And so they need
2 to really consider the fact that, although it's very,
3 that everyone wants to find a cause, and that's a very
4 emotional need, that at this point no one has been
5 able to find any clear evidence that vaccines
6 contribute to autism.

7 Q Now, before we get into a discussion of
8 regression, you had mentioned that you conduct
9 longitudinal studies. What is a longitudinal study?

10 A A longitudinal study is a study that follows
11 individuals over time. So, as opposed to comparing a
12 group of two-year-olds and then a different group of
13 five-year-olds and a different group of nine-year-
14 olds, a longitudinal study would identify children, or
15 it could be adults, at a particular age, and then
16 follow those same adults over time. So that you can
17 actually look at their development rather than make
18 interpretations about development from polling
19 different people and comparing them because they
20 happen to be different ages.

21 Q And how long does such a study usually last?

22 A Well, it's difficult to do them, because the
23 way that funding works, at least in the federal
24 government, is you tend to get five-year grants. But
25 I think that, you know, there are longitudinal studies

DR. LORD, PhD - DIRECT

3557

1 in autism, and ours is probably the longest, where we
2 follow the kids now for 17 years.

3 Our study of the toddlers has gone on for
4 three years, and we hope we'll be able to follow those
5 same kids longer.

6 Q Now, on page 2 of your report you state
7 that, "Changes in behaviors associated with autism
8 over time are predictable according to children's
9 language level, social deficits, and the frequency and
10 severity of their repetitive behaviors, as well as
11 their parents' involvement in behavioral treatment."

12 Could you just further explain what you mean
13 by that statement?

14 A That's a statement based on our longitudinal
15 work. And what we did here was look at what were the
16 characteristics of children at age two and at age
17 three and at age five, and look at things such as how
18 much language did they have at two, how much
19 repetitive behavior did they have. Judge both by our
20 observations using the ADOS, and also by their parent
21 reports on the ADI, and then also on other measures.

22 And then what we tried to do was predict
23 what would the children be like at age nine. And most
24 of the analyses have consisted of saying do the
25 children still have autism, do they have classic

DR. LORD, PhD - DIRECT

3558

1 autism, do they fall within the general area of autism
2 spectrum disorders, PDDNOS, or you could say
3 Asperger's Syndrome. And then also how well are they
4 functioning, what's their language like, what's their
5 nonverbal, what are their nonverbal skills like at age
6 nine.

7 And so we were able to say, to find
8 particular factors that, when you looked at those
9 factors, allowed you to make more accurate statements
10 than if you just randomly guessed which children would
11 still have autism, which children would fall within
12 the realm of PDDNOS or have milder characteristics, on
13 the basis of those, those features.

14 Q Dr. Lord, I'd like to now turn to a
15 discussion of regression in autism. Does regression
16 in autism exist?

17 A Absolutely.

18 Q What is regression in autism?

19 A Regression in autism is the phenomenon of
20 children who have some skills that are observable and
21 documentable over a period of time, who then don't
22 produce those skills, either stop producing them or
23 produce them on much less frequency.

24 This is, in autism, because of the way that
25 autism has been defined, these regressions have

DR. LORD, PhD - DIRECT

3559

1 typically occurred in the second year of life, maybe
2 the end of the first year of life.

3 So in autism, typically we have not
4 addressed later losses, for example, somebody changing
5 during adolescence, but focus on those really early
6 years. But there is quite a lot of research looking
7 at this, these changes in these very early years.

8 Q And is regression confined just to autistic
9 disorder proper? Or is it found within any of the
10 other spectrum disorders?

11 A There are other disorders that are -- and
12 certainly other spectrum disorders. And so in our
13 research we found that regression occurred both in
14 children with classic autism, and also children with
15 PDDNOS or milder phenomenon.

16 Q Is regression a new phenomenon?

17 A No. Regression was first described many
18 years ago, even by Leo Kanner.

19 Q When was it first described in the
20 literature? Back in the forties?

21 A Yes.

22 Q And how was it described back then?

23 A The first ways in which regression was
24 described, people tended to focus on the fact that
25 children were described by their parents as having

DR. LORD, PhD - DIRECT

3560

1 normal development, and then losing skills. So I
2 think those initial descriptions focused on that
3 normal development, which I think now we don't think
4 is the case, and probably isn't the essence of
5 regression.

6 But I think that partly came from the fact
7 that this was a new idea, and people were just
8 noticing that there was an unusual pattern here.

9 Q How is regression assessed by a clinician?
10 Or a researcher?

11 A The most typical way is by very careful
12 interview of parents. So I think that their, because
13 their regression involves two things -- it involves
14 having skills, and then losing them -- you have to
15 have very specific information about the skills that
16 the child has in order to document what they've lost.

17 And because there's huge variability even in
18 that, you know, narrow time period, say, between 12
19 and 18 or 12 and 24 months, as to how many skills kids
20 with autism spectrum disorders have, you need to very
21 carefully determine what they could do, when they
22 could do it, how specific those skills were, and then
23 figure out what they can't do any more.

24 And then, because many children start
25 getting back some of those skills, you have to figure

DR. LORD, PhD - DIRECT

3561

1 out where you are in that continuum. You know, are
2 you at a point where the child is losing skills, is
3 relatively stable, or gaining skills? That also
4 differs across skills.

5 So I think the primary method is a very
6 detailed parent interview.

7 Q And are there certain particular questions
8 that must be asked of the parents?

9 A Right. If you don't ask parents specific
10 information, you often won't get it. Because parents
11 are filled with information, but often don't know
12 what's relevant, or don't know what you're thinking
13 about.

14 Q Does it also depend on how the question is
15 asked, how it's phrased to the parent?

16 A Absolutely.

17 Q And what skills are typically lost in
18 regression?

19 A We used to think that the primary way that
20 we should define regression was loss of words. But
21 it's become apparent, through the research that we've
22 done and a number of other people have done, that
23 what's most common are the loss of social skills.

24 And in fact, in our study of toddlers right
25 now, we've found that the majority of children who

DR. LORD, PhD - DIRECT

3562

1 develop autism actually lose social skills. So in
2 fact, if you define regression by loss of social
3 skills, almost all children with autism show a pretty
4 marked documentable loss of certain social skills,
5 such as eye contact, attending to people, engaging in
6 social interaction in the course of that second year
7 of life, from 12 months to 24 months.

8 Q What are the skills that are typically first
9 recognized by parents as a sign of regression?

10 A Kids who stop talking. Kids who may have
11 had social routines, like peek-a-boo or waving or
12 going "so big," who stop doing that. Kids seeking
13 their parents out, so wanting to find people to play
14 with or to be engaged in. Smiling, sort of general
15 positive affect. Understanding sort of little jokes.
16 I mean, not being able to catch a child's eye and make
17 a face at them, and have them respond.

18 Q Now, in your report you say regressions in
19 autism follow a predictable pattern. Could you
20 explain what you mean by that?

21 A The point there is not that all children are
22 the same, but there does seem to be a pattern in which
23 children, children are acquiring skills, and then this
24 acquisition slows down. So that the sort of
25 prototypical example would be a child who at 12 months

DR. LORD, PhD - DIRECT

3563

1 says mama, dada, baby, maybe the name of their sister.

2 And if you go through a list with the parent
3 retroactively of here's 25 things that most 12-month-
4 olds can do, that child may not do all 25 things. I
5 mean, actually probably nobody does all 25 things.
6 But they might do 18 of those things.

7 And then what happens is that the child
8 doesn't progress. So they may have those few words,
9 but for months they don't acquire new words. And
10 perhaps those words begin to appear less frequently.

11 Then there comes a time where the child
12 stops talking completely, or will only say mama, but
13 doesn't say those other words. And at the same time
14 has become socially less engaged, so may spend more
15 time by themselves. May develop odd behaviors, may
16 become attached to a banana peel or suddenly want to
17 do sticks, or become fascinated with buttons on the
18 television.

19 So you have this combination of having
20 skills, you know, and being on a trajectory of
21 developing things; slowing down for a while, not
22 seeming to acquire many more skills; and then some of
23 those skills just sort of fading out.

24 The trouble is that also at the same time,
25 the child may be developing some other good skills.

DR. LORD, PhD - DIRECT

3564

1 So in our study where we're watching kids every month,
2 we need to be able to see that at the same time some
3 things are getting worse, often other things are
4 getting better.

5 And you know, the children are not on
6 timers. So it's not like everyone does something at
7 12 months, 13 months, 14 months. You may have some
8 children who slow down at 13 months, and then start
9 developing, you know, good skills at 15 months; and
10 other kids who are still slowing down at 14 months.

11 So the trajectories are similar. That is,
12 you can literally draw lines that look quite similar,
13 but they're spaced out, and the timing is shifts. You
14 know, not in a huge amount, but definitely over a six-
15 to eight-month period.

16 Q Are all regressions the same?

17 A No. I mean -- because partly you're
18 talking about in order to define a regression, you can
19 only lose what you've already got.

20 So a lot of this depends on what was the
21 child able to do before this process started, where
22 they slow down and begin to lose skills. And there's
23 huge variability.

24 There are some kids with autism who never
25 wave goodbye, you know, or don't wave goodbye in the

DR. LORD, PhD - DIRECT

3565

1 first two years of life, just don't don't figure out
2 how to do that. So they can't lose it. Other kids
3 who may learn how to do this, and lose it. Other kids
4 who may learn how to wave, and keep waving, but may
5 stop talking.

6 So it's almost like you have this
7 constellation of skills -- again, that list of, you
8 know, 25 things -- and there are similar patterns, but
9 nobody is exactly the same. The timing is different,
10 and the specific skills vary considerably in terms of
11 which of those are lost, in part because they vary
12 which of them are gained.

13 Q Do autistic children who have regression
14 typically lose motor skills, as well?

15 A No.

16 Q What about autistic children in general? Do
17 they lose motor skills?

18 A Not very often.

19 Q What has research shown to be the main
20 component of regression in autism?

21 A The main component of regression is loss of
22 social communication. So I think that we had
23 initially focused on word loss, because it's much more
24 reliably reported. That is if you ask parents years
25 later what happened in your child's early development,

DR. LORD, PhD - DIRECT

3566

1 you know, mothers and fathers agree with each other
2 more about loss of words than they do about social
3 skills.

4 But I think that when we've had detailed
5 studies that have asked more carefully about social
6 communication skills, it's apparent that there are
7 more kids who lose social skills than there are who
8 lose words. And that that loss of social skills is
9 probably, in the long run, more characteristics of
10 autism than just word loss.

11 Q And is regression a gradual process, or a
12 precipitous process? Is it an either/or?

13 A Yes, it's not an either/or. Because I
14 think, think its as I said, we're talking about a
15 moving target. I mean, loss of skills, loss of social
16 skills is more the norm in autism than the exception.

17 So if we describe kids as having a
18 regression who stop, who go from looking at people to
19 some degree when they're nine months old, to looking
20 at people less often by the time they're 15 month-
21 olds, then probably almost all children with autism
22 would have a regression.

23 If we set our threshold higher and say you
24 can't have a regression unless you've had 20 of those
25 social skills and lost 15 of them, then we get a much

DR. LORD, PhD - DIRECT

3567

1 smaller number.

2 Q Has your research found that regression is
3 always characterized by a very clear decline or loss
4 of skills?

5 A No.

6 Q Do children who lose words as part of their
7 autistic regression ever regain language?

8 A Yes, most of them do.

9 Q What language level do they typically reach?

10 A Well, our research suggests that the
11 language levels that the kids who have regression
12 reach are very similar to kids who haven't had
13 regression. There seems like, in our study, there is
14 a slight downward skewing; that is, the kids who have
15 had regressions come out with about a 10-point lower
16 score in verbal IQ when you look at them years later
17 than kids who didn't have a regression.

18 One other study found the same thing we did,
19 and several other studies have found no difference.

20 Q Is there a typical duration of time between
21 word loss and regaining language skills?

22 A No. There's a huge, there's a huge
23 variability. And that's another important aspect in
24 the definition of regression, is how long do you have
25 to have lost skills before you officially have a

DR. LORD, PhD - DIRECT

3568

1 regression.

2 When we interviewed parents of two-year-
3 olds, we found kids who had lost skills for a month,
4 and then started regaining them, as well as kids who
5 stopped talking and actually never talked again, or
6 started talking months later or years later. So there
7 is a huge range. And that probably also confounds
8 trying to figure out what regression is, because
9 parents have different memories about a child who
10 didn't talk for a month than a child who had five
11 words, and then never spoke again.

12 Q Do children with autism in general improve?

13 A Absolutely.

14 Q What percentage, do you know?

15 A I mean, I think all children with autism
16 improve in some ways, and how much is highly variable.

17 Q Would that include children who have a
18 regression in autism? Do they improve, as well?

19 A Yes.

20 Q Do we know why?

21 A No. I mean, some of the improvement seems
22 to be getting back on developmental course. I mean,
23 it's like asking why do normal kids learn to do the
24 things that they do or why --. We can describe how
25 they learn things, but that process of, you know, how

DR. LORD, PhD - DIRECT

3569

1 do kids learn to walk or talk when no one is really
2 teaching them, we don't know. And that's the same for
3 autism.

4 We know that, you know, behavioral
5 treatments make some difference. But it's a
6 relatively small amount of difference compared to just
7 that force of development.

8 Q You talked about the majority of children
9 who have suffered a loss of words, regain some level
10 of language. Do they also improve in their social
11 skills?

12 A Yes. I mean, not as, not -- with language
13 you have some children who regain language and are as
14 fluent as any of the rest of us. Not a huge number,
15 but that definitely happens.

16 In social development it would be very rare
17 for a child to not have some kind of residual social
18 deficit, but that also happens with kids who have
19 regressions or kids who didn't, in a very small
20 portion of kids with autism.

21 Q Is autism in general associated with any
22 particular ethnic group?

23 A No.

24 Q What about regressive autism? Any
25 particular ethnic group association?

DR. LORD, PhD - DIRECT

3570

1 A No.

2 Q Is regressive autism associated with any
3 particular social class?

4 A No.

5 Q Any particular gender?

6 A No.

7 Q Any particular birth order?

8 A No.

9 Q If an autistic child has regression and lost
10 skills, does that mean that the child was developing
11 entirely typically before the regression?

12 A No. I mean, I think that's one of the most
13 important things that the research has figured out.
14 That just because you have a loss doesn't mean that
15 things were normal to begin with. They're actually
16 different factors.

17 They're not independent, because obviously
18 you can't have a loss if you didn't have some skills.
19 So a child who was developing very, very slowly and
20 had very limited skills would be less likely to have a
21 loss because they don't have as many skills to lose.

22 But given that most children had some
23 skills, the presence of a loss does not mean that
24 things were normal to begin with. And it's very
25 clear, from many research studies in the last 10

DR. LORD, PhD - DIRECT

3571

1 years, that most children who have losses showed
2 deficits prior to that loss. So the loss does not, is
3 not an indication of normality or abnormality; it's a
4 separate question.

5 Q Have you ever heard of the term "clearly
6 regressive autism?"

7 A No.

8 Q Is that term discussed in the published
9 literature anywhere?

10 A Not that I know of.

11 Q Doctor, is there a distinct phenotype among
12 people with autism who had completely normal
13 development during the first year of life, and then
14 suffer a regression in the second year of life?

15 A I don't think so.

16 Q Is a review of pediatric records during the
17 first year of life a reliable way to assess whether or
18 not that child was developing entirely typically
19 during that time period?

20 A No. I mean, if you had a pediatric record
21 that indicated concerns, you would certainly take that
22 seriously. But to have a pediatric record that
23 doesn't mention anything, you have no idea if the
24 pediatrician didn't ask, if the parents said something
25 and the pediatrician didn't happen to record it, or if

DR. LORD, PhD - DIRECT

3572

1 the parent raised a concern and the pediatrician
2 ignored it.

3 So the absence of information, the absence
4 of abnormality in a pediatric record, without very
5 systematic questioning, means nothing.

6 Q Are pediatricians usually attuned to subtle
7 abnormalities that later manifest as autism?

8 A They are getting better, but in the past
9 that has been a major complaint of parents, is that
10 pediatricians don't necessarily see or take seriously
11 the kinds of difficulties that their children have.

12 Q Are parental accounts of typical development
13 during the first year of life an accurate measure of a
14 child's development during that time?

15 A I think parents' accounts are the best
16 source of information we have. I mean, with the
17 advent of videos, we also have videos, which made a
18 huge difference, as well. But people don't
19 necessarily video their children in all sorts of
20 situations, and they don't do it systematically. They
21 don't say I'm going to always video my child, you
22 know, every Monday taking a bath, and every Tuesday
23 eating a meal.

24 So I think parents, parents are our primary
25 source of information. The problem is that what you

DR. LORD, PhD - DIRECT

3573

1 get depends on what you ask. And it also, parent
2 reports are affected by memory. So you will get quite
3 different reports sometimes if you ask parents of six-
4 year-olds versus asking parents of two-year-olds what
5 they are, so that they're not, they are flawed, but I
6 think they are our best source of information.

7 Q Doctor, I'd like to turn our attention to
8 the Richler study, which is filed as Respondent's
9 Master List 397. Are you familiar with this study?

10 A Yes.

11 Q Were you one of the authors of this study?

12 A Yes.

13 Q What was your responsibility with regard to
14 this study?

15 A I was the PI for carrying out this study,
16 and I supervised --

17 Q What's a PI?

18 A Sorry. Principal investigator. So I was
19 responsible for this study. And the person who was
20 first author, who did the initial draft, was a
21 graduate student of mine, and I worked with her to
22 gather the data, analyze the data, and write up the
23 interpretation.

24 Q And what did this study investigate?

25 A This study looked at whether we could find a

DR. LORD, PhD - DIRECT

3574

1 clear regressive unit type of autism. That is, we
2 were trying to take descriptions that had come out of
3 previous research, and see if there was some validity
4 to them, and whether this phenotype was related to the
5 MMR vaccination.

6 Q And how long did this study take to compile?

7 A The study used existing data, so that we
8 took data from a number of sites around the country
9 that were all involved in different research projects,
10 but we all decided to use the same methods to diagnose
11 autism and to describe the children with autism. So
12 those studies had been going on for about five years.

13 And then we took existing data, cleaned it
14 up, which took about a year, and then did followup
15 interviews and organized the other sites to do
16 followup interviews of children identified in that
17 dataset. That probably took another two years. And
18 then analyzed the data and wrote it up.

19 Q And how did you investigate whether
20 regression is the distinct phenotype within autism?

21 A What we did was try to take the major
22 principles that people have used to define, to suggest
23 that there are, that there is a special group of
24 children with autism who have regression; and that
25 those children are different from other children with

DR. LORD, PhD - DIRECT

3575

1 autism.

2 And at the time we really started with the
3 hypothesis that they were different, and that we
4 wanted to see how they were different. And so what we
5 did was define regression. So in that study we
6 defined regression by having a loss of words. But
7 then we also had very systematic questions about loss
8 of social development.

9 And it turned out, over the course of this
10 study, that children who lost social skills were not
11 different from children who lost words and social
12 skills; and that almost all the children who lost
13 words also lost social skills.

14 We then looked at various aspects of those
15 children's development in terms of their acquisition
16 of the social skills before the loss, and compared
17 them to typically developing children. And then we
18 looked at different characteristics, such as the
19 existence of GI symptoms and things like gender,
20 ethnicity, birth order, to see if there was something
21 special about those kids who had had these losses.

22 Q And did you find any differences?

23 A We did not find much. We found minor
24 differences in the outcome, in terms of verbal IQ.
25 That is, the children who had a regression were

DR. LORD, PhD - DIRECT

3576

1 slightly lower, about 10 points, which is a real
2 difference, but not huge, at later ages. And we found
3 a slightly higher frequency of parents' reports of
4 diarrhea and constipation in the children who had had
5 regressions.

6 Q You said that you started with the
7 hypothesis that there was a difference between
8 regression and nonregression. Why did you start with
9 that hypothesis?

10 A Well, I think we had heard about regression
11 for years from parents that we worked with. We had
12 seen children, especially siblings of children, so we
13 would know a child with autism, and then meet a
14 sibling who people thought was typical, and then
15 watched that child become autistic. So I think we
16 were starting from the point of view that we wanted to
17 be sure that people didn't dismiss regression as if it
18 didn't exist.

19 And then, I mean, regression is a very
20 striking phenomenon. To watch a child gradually
21 become autistic is a heartbreaking situation, and
22 something that's very hard to forget. So we were
23 interested in what does this mean. And also a
24 question of it this, are the children who experience
25 this different in some way from children who don't.

DR. LORD, PhD - DIRECT

3577

1 What we found out is that there isn't a cut-
2 and-dried regression/nonregression. There are these
3 continuae of changes, most -- some of which seem to
4 happen for almost all children with autism, and some
5 of which don't. And the more we looked, the less we
6 found that was very clear.

7 Q What did you find with regard to the
8 regressive group's development before they had a loss
9 of skills?

10 A We found that most of the children who were
11 identified as having regression, when you went through
12 parents and asked them could your children do this,
13 this, this, this prior to age two, were actually
14 behind before their regression had occurred.

15 Q Were there children who appeared to have
16 near-typical development prior to the loss of skills?

17 A There were children whose parents reported
18 that they had more skills. So that if you just added
19 up the number of these different social skills, there
20 were children who had regressions, who had the same
21 number of social skills as a typical child.

22 Q Did those children fit the lower IQ, the
23 diarrhea profile that you found, with the other
24 children who had a loss?

25 A No, they didn't. So we didn't find any

DR. LORD, PhD - DIRECT

3578

1 clustering of the characteristics that people had
2 suggested might define this regressive subtype. As we
3 found, we did find minor differences in GI. We did
4 find that there were kids who lost, who had more
5 skills, but we didn't find that they went together.

6 Q Now, you mentioned that this study also
7 considered whether autistic regression was associated
8 with the MMR vaccine?

9 A That's right.

10 Q And what did you conclude?

11 A We could not find any relationship between
12 the regressive, between regression -- or when we
13 defined this group and said well, if there is a
14 regressive phenotype, this is who other researchers
15 would have said would be in it. We couldn't find any
16 relationship between that and having an MMR vaccine.

17 Q Doctor, does the Richler study support the
18 notion that there is a distinct phenotype in autism
19 known as regressive autism?

20 A No.

21 Q Had you ever heard the term "regressive
22 autism" back when you were first looking at the
23 phenomenon?

24 A I think my first exposure to the term
25 "regressive autism" was as it was applied to the work

DR. LORD, PhD - DIRECT

3579

1 of Andrew Wakefield and the MMR vaccine.

2 Q Before that work, how was it described or
3 considered by the autistic community?

4 A Before that, I think that most people, most
5 researchers felt like regression is one variable in
6 looking at early development.

7 Q Does any of your research or research of
8 others support a distinct subtype of regressive
9 autism?

10 A No. I mean, I think especially as we've
11 looked at the toddlers, it becomes, you know, as we
12 look at the toddlers it's clear that even these very
13 large studies, where we felt like we were asking
14 parents many, many questions in great detail, probably
15 do not get at the essence of what happens in those
16 early months. Because the changes are more subtle,
17 and our ability to observe them is so much dependent
18 on the context. It's dependent on when do you see a
19 child and what are you looking for.

20 So I think that that has moved us, and I
21 think much of the field, toward a sense that there
22 isn't a regression or not a regression; there's the
23 question is the degree and type of worsening that
24 occurs, how long it lasts, and how much, how many
25 skills a child has before that occurs.

DR. LORD, PhD - DIRECT

3580

1 Q Now, in terms of the clinical outcome of a
2 five- or six-year-old with autism, is there any marked
3 difference in the clinical outcome of a child who had
4 what I'll term early onset autism, versus a child who
5 did indeed have regression?

6 A Most studies have found no difference at
7 all. The studies that have found differences have
8 found these relatively small differences in verbal
9 skills.

10 Q You touched on earlier, Doctor, that you are
11 continuing to research the phenomenon of regression?
12 Is that correct?

13 A That's right.

14 Q And you're conducting a longitudinal study,
15 is that correct?

16 A That's right.

17 Q And what information is emerging from that
18 study with regard to regression?

19 A With that study what we've been doing is
20 seeing children who are at risk for having autism
21 either because they have a sibling with autism, so
22 they may not have any behaviors associated with
23 autism, but they have a sibling, and their parents are
24 eager to have somebody follow them -- or something has
25 occurred, or something has been seen, often identified

DR. LORD, PhD - DIRECT

3581

1 by parents, but sometimes by physicians,
2 pediatricians. For example, the child has had
3 seizures in the first year of life, and so someone is
4 concerned that this child might develop autism.

5 And we see the children once a month, have
6 parents fill out the same forms each month. And then
7 we do a standardized assessment, a toddler version of
8 the ADOS. So we do a standardized observation of the
9 child's social behavior with us and with the parents
10 every month.

11 What has come out of this is that the
12 trajectories are much less clear than we would have
13 thought from retrospective descriptions years later of
14 what the children are like. And when we have tried to
15 sort that out, I think that there are a number of
16 implications.

17 One is that different skills are changing at
18 different rates and at different times. So that you
19 have, for example eye contact is typically getting
20 worse for almost all of the children from 12 months to
21 24 months. So that, and social engagement,
22 responsiveness to somebody trying to get the child to
23 interact with them, both us and the parents, typically
24 is getting worse in children who have autism diagnoses
25 say by the time they're two and a half.

DR. LORD, PhD - DIRECT

3582

1 So those things are changing, but they
2 actually cycle back around. So they get worse for a
3 while, and then for some children they start getting
4 better again.

5 We also have other skills. For example, a
6 response to attention or response to somebody
7 pointing, or trying to get the child to look at
8 something. And that, for a number of kids, gradually
9 gets better, even at the same time that some of these
10 social skills are getting worse.

11 So I think what we've realized is that this
12 is, it's just much more complicated changes in
13 development than we thought. And that these things
14 that we used to think only happened in kids who had
15 regressions are actually happening in almost everybody
16 who has autism.

17 Because there are some children who look
18 very different from typical children at 12 months.
19 But those are few and far between. And in fact, in
20 our followup study, that isn't necessarily predicted.
21 The kids who are not making eye contact at 12 months
22 are not the most autistic kids at age three.

23 So many things change during that toddler
24 period. And I think that our conceptualizations of
25 what regression is are partly based on retroactive

DR. LORD, PhD - DIRECT

3583

1 trying to figure out what happened and didn't happen,
2 which is quite different than when we can see it
3 happening right before our very eyes.

4 Q Doctor, are you aware of any evidence
5 showing that the etiology of regression in autism is
6 different than that from nonregression, for lack of a
7 better word?

8 A No. And I think again that the idea that
9 there aren't these clear patterns makes it much harder
10 to draw conclusions about etiology. Because
11 basically, you could arbitrarily divide these kids up
12 in millions of different ways.

13 So far, no matter -- people have tried to
14 divide them up, and haven't found any differences in
15 etiology. But it's not even clear that, that we know
16 how to divide them up, or they can be divided up.

17 Q Doctor, before this litigation, had you ever
18 read in any published literature that thimerosal-
19 containing vaccines caused regressive autism only?

20 A I had not.

21 Q Are you aware of any study that has ever
22 suggested that hypothesis?

23 A No.

24 Q Doctor, did you review the report submitted
25 by Dr. Marcel Kinsbourne in this litigation?

DR. LORD, PhD - DIRECT

3584

1 A Yes.

2 Q On page 14 of his report, he states that,
3 "The late onset of the regressive subtype and the
4 subsequent remission or relapses become more
5 understandable if autism is due to disease than if it
6 is the aftermath of congenital maldevelopment."

7 Do you agree with this statement?

8 A No.

9 Q Why not?

10 A There are many different disorders where
11 onset occurs later on. I mean, we have Huntington's
12 disease and schizophrenia and sickle-cell anemia, and
13 all kinds of disorders that children, where, where we
14 in some cases we know are genetic, but which occur
15 later on. So I think we can't make a simple inference
16 that because something emerges later, that means that
17 somehow someone has caught a disease or had some kind
18 of particular environmental event that caused it.

19 Q And Dr. Kinsbourne also draws a distinction
20 between what he terms as classical or congenital
21 autism, and regressive autism. Is this a proper
22 distinction?

23 A I think the term "congenital autism" means
24 nothing. Because, I mean, as I said, it's a
25 developmental process. We can't diagnose autism in a

DR. LORD, PhD - DIRECT

3585

1 brand-new baby.

2 And so in all cases, something is developing
3 that would lead us into autism. So to make this
4 distinction between congenital and regressive is a
5 false dichotomy.

6 Q Now he's also -- And Dr. Kinsbourne has also
7 described what he terms his overarousal model as an
8 explanation for autistic behavior. Does his
9 overarousal model accurately describe what is known
10 about autistic behavior?

11 A I don't believe so. I mean, the overarousal
12 model has been around for 40 or 50 years, and used to
13 described many different disorders.

14 I think one of the hard things is that it
15 becomes very circular. I mean, children with autism
16 do respond to being overstimulated, as do many other
17 kids. And children with autism may respond in more
18 conspicuous ways, and may have a lower threshold.

19 But the problem is that often the behaviors
20 that are used to say that a child is responding by
21 overarousal -- for example, Self you know, flapping or
22 getting very physically excited or distracted -- are
23 the same behaviors that occur when a child is
24 underaroused.

25 You know, we can get children who have a lot

DR. LORD, PhD - CROSS

3586

1 of self-stimulatory behaviors, you know to do these
2 behaviors by putting them in a situation where there's
3 nothing to do. We also see children do those
4 behaviors when they're very happy, or when they're not
5 so happy.

6 So the behaviors that are used to define
7 overarousal are behaviors that occur in many different
8 contexts.

9 MS. RICCIARDELLA: Thank you. That's all I
10 have.

11 SPECIAL MASTER VOWELL: Are you prepared to
12 proceed?

13 MR. POWERS: Yes, I am. Good morning, Dr.
14 Lord. Go ahead and refill the water there.

15 CROSS-EXAMINATION

16 BY MR. POWERS:

17 Q My name is Tom Powers, along with Mr.
18 Williams at the table with me. We represent the two
19 families here, as well as the Petitioners' Steering
20 Committee.

21 I do have some questions to ask you, as you
22 might imagine, based on the report that you filed and
23 the testimony you gave today.

24 Your testimony, as I understand it, and your
25 opinion is that there is no phenotype for regressive

DR. LORD, PhD - CROSS

3587

1 autism. Or perhaps a more specific way to put that is
2 that regression in autism is not a distinct phenotype
3 within autism spectrum disorder, is that correct?

4 A Yes.

5 Q You've also described regression in autistic
6 children as a striking phenomenon. Do you remember
7 that testimony?

8 A Yes.

9 Q What is the difference between a phenotype
10 and a striking phenomenon? How would you describe the
11 difference between phenotype and striking phenomenon?

12 A My point about the striking phenomenon is
13 that it is, it is a remarkable experience to watch a
14 child who has been able to do things, not be able to
15 do those things. Or to watch a child who has been
16 relatively socially engaged become less engaged, and
17 be more and more difficult to engage or attract.

18 But I think that is different than a
19 phenotype. Because a phenotype implies that there are
20 a cluster of behaviors that are associated with each
21 other. And that there is something unique about that
22 cluster of behaviors.

23 I think regression is a real phenomenon in
24 autism, but there is a continuum of regression. It's
25 not -- and we can create a phenotype. I can say well,

DR. LORD, PhD - CROSS

3588

1 I'm only putting kids who lost words into this group,
2 and I'm going to call it the Lord phenotype. But
3 there has been no, nobody has been able to show that
4 that phenotype is associated with anything other than
5 the characteristics which I used to define the
6 phenotype.

7 Q And that would be because, as I understand
8 it, autism diagnostically is entirely a symptomatic
9 diagnosis; that is, there's not a biomarker, there's
10 no underlying pathology that one would use typically,
11 is that correct?

12 A It's not, the problems with defining the
13 phenotype aren't because autism is defined purely by
14 behavior. It's because we haven't been able to find
15 an association between any of these particular
16 phenotypes that people have pulled out, and the ways
17 in which people have pulled out the phenotype.

18 Q Now, the autism diagnosis typically covers
19 three domains. There's the communication skills,
20 social reciprocity, and play and behavioral skills, is
21 that correct?

22 A That's right.

23 Q I heard a significant amount of your
24 testimony on direct focused on the social reciprocity
25 and the communication domains. I didn't hear a lot of

DR. LORD, PhD - CROSS

3589

1 discussion about the play.

2 In your work on regression, do you have an
3 idea of what percentage of children who had
4 regression, regressed in the area of play and
5 appropriate play?

6 A That's a good question. There's probably
7 less loss of play, because many children, at the time
8 the losses occur, are not playing very much. I mean,
9 it partly depends on how you define play.

10 If you define play in terms of social play,
11 then in fact you do have regressions. And that would
12 fall under what I was talking about before, like peek-
13 a-boo and pattycake. I mean, those aspects of play.

14 If you're talking about play as using toys
15 or using materials, that, when you're looking at a 15-
16 month-old with autism, many children are not play-
17 using materials in a terribly useful way. So there's
18 less loss than you would see in the other areas.

19 Q And that actually is the type of play that I
20 was, that my question was designed to get to. Not
21 sort of the social reciprocity play, but using toys
22 appropriately. So if you have tools, you actually use
23 them as tools; or if you have trains, you actually use
24 them as trains.

25 In thinking of that kind of play, are you

DR. LORD, PhD - CROSS

3590

1 aware of any studies that demonstrate children who
2 reached a point where they were playing with toys in a
3 functionally appropriate way, who then lost those
4 skills, and played with those same toys in nontypical
5 ways?

6 A I'm trying to remember. In our studies of
7 the toddlers, we do document changes in play. What we
8 do see is an increasing amount over this period of
9 time of nonfunctional play.

10 So I think one of the things we really don't
11 know is the degree to which is the child, a child who
12 might be losing sort of imaginative play, versus
13 gaining repetitive behaviors that are more attractive
14 to them.

15 So if you think about a child who has got a
16 car and they are pushing it back and forth, a parent
17 may think, and we would probably think the same thing,
18 that they're doing something imaginative if you start
19 with that. What is more typical of the changes over
20 time is that a child may move from moving that car a
21 little bit, to then wanting to line up a number of
22 different cars. And that is typical actually of
23 children that we've seen, both who have had losses and
24 who have not had losses.

25 Q Do you have a sense of sort of the larger

DR. LORD, PhD - CROSS

3591

1 picture of things, what percentage of children in this
2 area normal development preceding loss, I think is the
3 descriptive phrase you used. If you look at the
4 number of children who do have regression, what
5 percentage of those children do you believe actually
6 were normal, neurotypical, in the period of time
7 preceding their loss?

8 A I don't think we can make a distinction. I
9 mean, I don't think we can divide kids up as to normal
10 and abnormal.

11 I think what we have to do is think about
12 how many skills they had before the autism became
13 apparent. And I think there are some kids who have
14 quite a few social communication skills before autism
15 became apparent, and other kids who had fewer.

16 But I don't think that it's probably of much
17 value to try to say who is normal and who is not
18 normal. Because we are making all these inferences
19 retroactively. And some of it is going to depend on
20 parent reporting how much parents knew, and the way in
21 which the questions are asked.

22 Q And did you hear the testimony of Dr. Rust
23 when he appeared?

24 A No.

25 Q Well, Dr. Rust described that within the

DR. LORD, PhD - CROSS

3592

1 children that he sees, the ones that are reported to
2 be regressive, he actively does this retrospective
3 analysis and attempts to identify, earlier in time,
4 earlier symptoms that might have been missed.

5 And he testified that in about 20 percent of
6 his described regressive autistic patients, he cannot
7 find anything abnormal in their early development. So
8 that he described basically the answer as 20 percent.

9 Is that answer consistent with your
10 experience, that perhaps 20 percent of children who
11 regress, even retrospectively show no abnormal signs
12 of early development?

13 A I don't know.

14 Q One of the issues in this litigation -- and
15 as you're probably aware, is discussing the causes of
16 autism now -- you would agree that genetics are a
17 significant contributing factor to the development of
18 autism?

19 A Yes.

20 Q And that heritability is something that is
21 distinctive when one is evaluating the etiology of
22 autism spectrum disorders.

23 A I think that we have to make a distinction
24 between heritability and genetics. So it seems very
25 likely that there are genetic components to autism;

DR. LORD, PhD - CROSS

3593

1 that is, genetics contributes to your risk of having
2 autism.

3 Whether the degree to which that's
4 inherited, that is, that you actually, it's passed
5 from family member to family member versus it's
6 something that happens in very early points of
7 conception which changes your genes, I think we don't
8 know. I mean. Yeah.

9 Q Well, in a lot of the testimony we've heard,
10 one of the big issues is this focus on genetic
11 contributors and looking at concordance rates,
12 particularly in twin studies. Are you familiar with
13 the concordance studies involving both monozygotic and
14 dizygotic twins?

15 A Yes.

16 Q And you would agree that the high
17 concordance rates reported in those studies is
18 evidence that there's a strong genetic component in
19 autism, correct?

20 A Yes.

21 Q Now, in your report on page 3, you describe
22 that regressions are not concordant within families,
23 correct?

24 A That's right.

25 Q So if regression cases of autism are

DR. LORD, PhD - CROSS

3594

1 nonconcordant within families, that would suggest
2 something other than a heritability factor involved in
3 the etiology of those cases, correct?

4 A I should be clear, that the paper that I was
5 citing is a paper that was presented for a PhD
6 dissertation, which has lately become quite
7 controversial. So I'm not sure now what that means.

8 Q All I'm saying is you cited it in your
9 report for the proposition that regressions are not
10 concordant within families. That's what you cite it
11 for.

12 A Right.

13 Q So are you saying now that you've changed
14 your opinion on this issue since writing your report?

15 A Yes. I'm saying that I don't know; that I
16 would not say that over again.

17 Q Is there anything else in your report that
18 you would reconsider in light of recent evidence?

19 A I don't think so.

20 Q But if it's true that autism is not
21 concordant among regressive cases, that would strongly
22 suggest that there are other nongenetic factors
23 involved, correct?

24 A Not necessarily. I think the point there
25 was that regression isn't a yes-or-no phenomenon. I

DR. LORD, PhD - CROSS

3595

1 mean, in fact, while autism spectrum disorders are
2 concordant within twins -- that is, if you have one
3 twin, the chances of an identical twin having
4 something within the range of autism -- the narrow
5 definition of autism is not concordant. So you can
6 have twins, identical twins, where one child is very
7 severely autistic and intellectually disabled and
8 nonverbal, and another child who has very mild, subtle
9 difficulties.

10 So whatever is concordant isn't this kind of
11 autism or that kind of autism. So it wouldn't be
12 surprising if the developmental pattern is not
13 concordant, as well, since we know that things like IQ
14 are not necessarily concordant within twins.

15 So it doesn't mean that it's not genetic.
16 It just means that whatever is genetic about autism is
17 a risk factor for this very broad kind of problem.

18 Q And it's a risk factor that makes one at
19 risk for a whole host of nonheritable, nongenetic
20 factors, correct?

21 A We don't know.

22 Q Well, if it's not heritable and genetic, it
23 would have to be something else, correct? I'm not
24 asking you to name what it is, but it simply would
25 have to be something else, correct?

DR. LORD, PhD - CROSS

3596

1 A But I guess I'm not saying I don't know if
2 all of autism is hereditary. I think the question
3 is, I mean it could be that it's not inherited by,
4 it's not through a particular gene, but it's a
5 combination of other genes that actually don't have
6 anything to do with autism, except they affect the way
7 that the child learns.

8 Q And they would affect, those various genetic
9 permutations within an individual would affect the way
10 that they respond to environmental stimuli, whether
11 it's a learning experience or environmental exposures
12 to substances, correct?

13 A We don't know.

14 Q I understand that we don't know, but that is
15 one of the etiologies that one would have to look at
16 in attempting to describe what caused a particular
17 case of autism, correct?

18 A Yes.

19 Q Now, in your role sitting on this NIH
20 strategic planning committee, did you participate in
21 the 2007 IOM Environmental Factors in Autism Workshop?

22 A No. This committee didn't exist then.

23 Q So this committee was formed after that?

24 A Yes.

25 Q Is the committee that you're sitting on

DR. LORD, PhD - CROSS

3597

1 currently evaluating any of the research suggestions
2 or research proposals that were generated in that 2007
3 IOM meeting?

4 A The committee that I'm sitting on doesn't
5 evaluate proposals. The committee that I'm sitting on
6 just tries to look at what directions federal funding
7 should take in the future.

8 Q Is one of the directions your committee is
9 considering spending federal research dollars to look
10 at potential environmental factors that influence the
11 development of autism?

12 A Yes.

13 Q Are you involved with the NIEHS expert panel
14 that was convened in 2006?

15 A No.

16 Q Are you, in the work that you're doing now,
17 are you considering the NIEHS expert panel
18 recommendations on additional research that could be
19 done, particularly within the vaccine safety data
20 link, to start explicating the various causes of
21 autism? Are you involved in any of that work?

22 A The committee that I'm on is looking --
23 again, it's much broader. So it's not at a level at
24 all of looking at specific proposals.

25 Q If not looking at specific proposals, are

DR. LORD, PhD - CROSS

3598

1 you looking at general proposals coming out of that
2 NIEHS workshop to look at environmental contributions
3 to autism?

4 A We're not even looking at general proposals.

5 Q In describing the role of vaccines in
6 autism, you describe the Richler study in some detail.
7 That was a study that focused on the MMR, is that
8 correct?

9 A That study was -- yes. I mean, yes.

10 Q Are there any other studies that are
11 published right now that look, as far as you know, at
12 an association between thimerosal-containing vaccines
13 and the regressive features of autism? Specifically
14 looking at regression.

15 A Not that I know of.

16 Q Are you aware of any that are ongoing, let
17 alone published?

18 A There are, I am aware that there are studies
19 on thimerosal. But that's the level of my
20 familiarity.

21 Q The longitudinal study that you were working
22 on, that you had some it sounded like anecdotal
23 interim data, is that correct?

24 A That's right.

25 Q So the findings of the longitudinal study

DR. LORD, PhD - REDIRECT

3599

1 have not yet been peer-reviewed?

2 A That's right.

3 Q Are they in the form of a manuscript that is
4 about to be peer-reviewed or submitted for
5 publication?

6 A Yes.

7 Q When do you anticipate that that's going to
8 be submitted for peer review?

9 A Some time in the next couple months.

10 Q And upon submission, it would then be peer-
11 reviewed; but up until now, this is sort of an
12 anecdotal report on preliminary findings, correct?

13 A That's right.

14 Q Is this study NIH-funded?

15 A Parts of it, yes.

16 Q You have mentioned that in a large number of
17 cases using this retrospective search, so to speak,
18 for preregression normalcy, you said that the more you
19 look, the more signs that one tends to see, is that
20 correct?

21 A The more signs of --

22 Q Of nonnormal --

23 A Yes.

24 Q -- preregressive development. But you
25 certainly don't see the lack of normalcy or latent

DR. LORD, PhD - REDIRECT

3600

1 abnormalcy in all preregressive cases, correct?

2 A No.

3 MR. POWERS: I have no further questions.

4 SPECIAL MASTER VOWELL: Any redirect?

5 MS. RICCIARDELLA: A few.

6 REDIRECT EXAMINATION

7 BY MR. POWERS:

8 Q Dr. Lord, Mr. Powers asked you, spent a lot
9 of time discussing genetics and autism. Are you a
10 geneticist?

11 A No.

12 Q Do you claim to be?

13 A No.

14 Q He also asked you about the NIH committee
15 that you sit on looking at environmental factors in
16 autism?

17 A Yes. I mean, the NIH committee that I'm
18 sitting on is looking at trying to set priorities for
19 federal funding related to autism across practice,
20 across -- well, across research that affects
21 everything, from practice to looking for etiology.

22 Q He also asked you a bunch of questions about
23 the type of play that is indicative of a loss. And
24 you distinguished between playing with toys, as
25 opposed to social play.

DR. LORD, PhD - REDIRECT

3601

1 A Uh-huh.

2 Q Is this a way to define a phenotype?

3 A Many people describe play in autism as part
4 of assessments. It turns out that using it as a way
5 of defining a phenotype has not been very helpful,
6 because there is such variability both between, or
7 among kids with autism, but also typical kids.

8 So the reality is that most typical kids can
9 use an object to pretend that it's something else by
10 the time they are 18 months old. But whether they'll
11 do that in any 45-minute interval, or the amount of
12 time that they spend doing that, is hugely variable
13 from kids who don't have a lot of imaginative play and
14 spend much more time running around, or in social play
15 in kids who are, you know, making toothbrushes into
16 dolls from very early ages.

17 So it turns out that it's a very interesting
18 phenomenon, but it hasn't been very useful in terms of
19 defining phenotypes.

20 Q And is the change in the way one plays with
21 toys a characteristic, the most characteristic loss or
22 type of skill lost in regression?

23 A No.

24 Q Now you were asked a couple questions about
25 the Richler study, and whether it focused on MMR. Was

DR. LORD, PhD - REDIRECT

3602

1 that the only point of the study?

2 A No. The focus -- I mean, the point of the
3 study that's written up in the Richler paper, which is
4 also written up in several other papers, was to see if
5 we could get consistent descriptions of regression
6 across these, you know, 10 different sites around the
7 country.

8 So it was really to say, you know, can we
9 verify that regressions occurred, using standardized
10 measures that where everyone is asking the families
11 from these different research projects the same
12 questions.

13 Q And Mr. Powers also referred to your ongoing
14 longitudinal studies. And he termed your findings
15 anecdotal.

16 Doctor, are you describing your findings in
17 that study, in your opinions here today, are you
18 basing those on anecdotal evidence, or on your
19 experience?

20 A Well, it's not anecdotal evidence, in the
21 sense that we have 50 children that who have autism
22 spectrum disorders who we have followed in a very
23 systematic way over the last three years. So I'm not
24 just describing one child that I've seen; it's data
25 that's been analyzed by a team of people. But what we

DR. LORD, PhD - RECROSS

3603

1 have not done yet is finalize a manuscript that's been
2 sent off for peer review.

3 MS. RICCIARDELLA: Thank you.

4 SPECIAL MASTER VOWELL: Recross?

5 MR. POWERS: A couple of just very quick
6 questions.

7 RECROSS-EXAMINATION

8 BY MR. POWERS:

9 Q Doctor, in getting back to this issue that
10 Ms. Ricciardella was talking about, the repetitive,
11 the play areas and the different domains. Do you have
12 a sense, what percentage of regressive cases
13 demonstrate a loss of skills across all three
14 developmental domains? Do you have an idea?

15 A Well, from the, let's see, from the toddler
16 study, the study where we are following kids, there
17 are different patterns across those areas of skill.
18 And there are actually, even within an area there are
19 different patterns.

20 So there are, so that certain losses of
21 skill are very common, and others are much less
22 common. Again partly because you can't lose a skill
23 until you have it.

24 I don't have a sense of -- well, I also
25 think that in play, the issue often isn't just loss of

DR. LORD, PhD - RECROSS

3604

1 skill; it's the beginning of repetitive behavior. And
2 so it's very hard to sort out what's lost and what's
3 something else is being acquired that supersedes the
4 thing that's there.

5 Q So would a fair answer to that question,
6 then, that you just aren't able to put a percentage on
7 the number of cases of regression in which lost,
8 acquired skills are lost in all three domains?

9 A That is something I could probably look at
10 the data that we have and figure out, but I can't do
11 it in my head.

12 Q And it's not anything that you've analyzed
13 for publication, and there's not any data that we'd be
14 able to look at right now to be able to make that
15 percentage.

16 A Not right at this minute.

17 Q Okay. And finally, did you review the
18 medical records of either of the individual child's?

19 A No.

20 Q Were you asked to do that by anybody?

21 A No.

22 MR. POWERS: No further questions.

23 MS. RICCIARDELLA: I have one followup for
24 that.

25 //

DR. LORD, PhD - RECROSS

3605

1 FURTHER REDIRECT EXAMINATION

2 BY MS. RICCIARDELLA:

3 Q Mr. Powers again asked you about the current
4 study, and whether or not you were able to come up
5 with percentages based on data collected over the past
6 few years.

7 Doctor, is your opinion in this case based
8 on data that you've collected over the past four
9 years, or your experiences over the past 35 years?

10 A Yes. I mean, the toddler study which I'm
11 alluding to is just a small part of what I'm talking
12 about. So mostly what I've been talking about has
13 been the research that's been conducted prior to that
14 study.

15 MS. RICCIARDELLA: Thank you.

16 SPECIAL MASTER VOWELL: Any questions from
17 my colleagues? Dr. Lord, I have no questions for you.
18 Mr. Powers, did you have any followup to that last
19 question?

20 MR. POWERS: No.

21 SPECIAL MASTER VOWELL: I wanted to get our
22 questions in before we asked you.

23 MR. POWERS: Yes. Well, the last time that
24 happened I was jumping up too early. But no, I have
25 no further questions, thank you.

DR. LORD, PhD - FURTHER REDIRECT

3606

1 SPECIAL MASTER VOWELL: Then, Dr. Lord, you
2 are excused.

3 (Witness excused.)

4 SPECIAL MASTER VOWELL: I take it we're
5 going -- Dr. Fombonne is present. Do you need a brief
6 --

7 MS. RICCIARDELLA: Can we have about a 15-
8 minute break?

9 SPECIAL MASTER VOWELL: It's a good time to
10 take our morning recess. My watch says it's 25 after
11 10:00, so how about we reconvene at 20 to 11:00.

12 (Whereupon, a short recess was taken.)

13 SPECIAL MASTER VOWELL: Please be seated.
14 All right, we're back on the record in the case. And
15 Dr. Fombonne is taking the stand. It looks as though,
16 before we swear him, we have what appears to be
17 Respondent's Trial Exhibit 12.

18 (The document referred to was
19 marked for identification as
20 Respondent's Exhibit 12.)

21 SPECIAL MASTER VOWELL: We're trying to get
22 enough copies for everyone up here.

23 (Pause.)

24 SPECIAL MASTER VOWELL: Dr. Fombonne, if you
25 would raise your right hand.

DR. FOMBONNE, MD - DIRECT

3607

1 Whereupon,

2 ERIC FOMBONNE, MD

3 having been duly sworn, was called as a
4 witness and was examined and testified as follows:

5 SPECIAL MASTER VOWELL: Thank you.

6 Respondent, you may proceed.

7 DIRECT EXAMINATION

8 BY MR. POWERS:

9 Q Good morning, Dr. Fombonne.

10 A Good morning.

11 Q Could you please state your name for the
12 record?

13 A Eric Fombonne.

14 Q And would you please state your current
15 academic position?

16 A I am the professor of psychiatry at McGill
17 University in Montreal, Canada.

18 Q Now, you received a baccalaureate in science
19 with distinction from the University of Paris, is that
20 correct?

21 A That's correct.

22 Q And that was followed by medical school at
23 the University of Paris, is that correct?

24 A Yes.

25 Q Do you have a medical degree?

DR. FOMBONNE, MD - DIRECT

3608

1 A Yes, I have.

2 Q And you have a master's certificate in
3 biostatistic methods and human physiology, is that
4 correct?

5 A That's correct.

6 Q Now, I know that we qualified you, we went
7 through your background in the Cedillo case, but this
8 is a new record. So we do have to do this again in
9 this case. And following medical school, where did
10 you do your residency?

11 A In Paris.

12 Q In what field did you do your residency?

13 A In general psychiatry, and then child and
14 adolescent psychiatry.

15 Q And when did you start specializing in child
16 psychiatry?

17 A I did my training between 1977 and 1981, and
18 then finished in 1982.

19 Q And do you hold any certifications in your
20 field?

21 (Away from microphone.)

22 A Yes. The equivalent of it.

23 SPECIAL MASTER VOWELL: What did you say?

24 The equivalent of what? I'm sorry.

25 THE WITNESS: The equivalent of the board

DR. FOMBONNE, MD - DIRECT

3609

1 certification in France, which is the completion of a
2 kind of a thesis, which gives you, grants you the
3 title of specialist in child and adolescent
4 psychiatry.

5 BY MS. RICCIARDELLA:

6 Q Is that the highest certification in your
7 field?

8 A Yes.

9 Q And how long have you been working in the
10 area of autism spectrum disorders, specifically?

11 A Since about 1986.

12 Q And what training have you had in
13 epidemiology?

14 A I worked during my medical years, as a
15 medical student I worked in various research projects
16 as a part-time research assistant, where I learned
17 many research skills in terms of conducting
18 epidemiological studies, and also conducting
19 randomized clinical trials.

20 I did my medical thesis, not my psychiatry
21 thesis, my medical thesis on the particular
22 statistical analysis of data in psychiatry from a
23 clinical trial. I followed different courses in
24 epidemiological methods. I went to a summer institute
25 in New England in 1986, where I followed the three-

DR. FOMBONNE, MD - DIRECT

3610

1 week course, intensive course, which was given by Ken
2 Rothman, who is the author of the book Modern
3 Epidemiology.

4 I followed various courses on genetic
5 epidemiology analysis of longitudinal data sets, and
6 other kinds of things.

7 Q Now, according to your CV, in 1989 you were
8 recruited as a tenured research scientist at INSERM?
9 What is INSERM?

10 A INSERM, it stands for the National Institute
11 for Health and Medical Research. It's a state-funded
12 research institute in France which, like the MRC in
13 England, carries out most of the biomedical research
14 in various fields of medical research in France.

15 Q And what were you researching while at
16 INSERM?

17 A Mostly epidemiology in psychiatry. That's
18 how I started my research career, by conducting the
19 first epidemiological survey of child psychiatric
20 disorders in France, in a population-based sample. It
21 was the first time that it had been, it was done.
22 That's how I developed my research career.

23 And then I did a lot of other projects in
24 the field of epidemiology of autism, and then other
25 things.

DR. FOMBONNE, MD - DIRECT

3611

1 Q And how long did you hold the position at
2 INSERM?

3 A I actually still hold it. I'm just on
4 leave, permanent leave.

5 Q Your CV states that in 1993, you were
6 offered a position at the Maudsley Hospital and
7 Institute of Psychiatry in London, is that correct?

8 A That is correct.

9 Q And what is the Maudsley Hospital and
10 Institute of Psychiatry?

11 A The Maudsley Hospital is one of the most
12 ancient psychiatric hospitals in England. It has an
13 excellent tradition for psychiatric care, both for
14 adults and children. And the Institute of Psychiatry
15 is the research institute or the academy component
16 which is linked to the Maudsley Hospital, where a lot
17 of research findings have been actually established
18 over the last 30, 40 years. Both in the fields of
19 social psychiatry, genetic psychiatry, and clinical
20 trials. It's a very esteemed place in the world where
21 many scholars have been spending time or sabbaticals.
22 It's one of the, it's a mecca of psychiatric research,
23 I would say, still now.

24 Q And did you work with Professor Sir Michael
25 Rutter?

DR. FOMBONNE, MD - DIRECT

3612

1 A Yes.

2 Q And what position did you hold there?

3 A I was initially appointed as a senior
4 lecturer.

5 Q What is that?

6 A It's an academic position where basically
7 you have a clinical appointment at the Maudsley, which
8 is you're working in the National Health Service. And
9 my clinical appointment at the time was actually to
10 run the autism program that Dr. Rutter had been
11 running for years, and take over his role in that
12 clinic, alongside with some other colleagues.

13 I also established a clinic in the field of
14 depression, in child and adolescent depression. So
15 that was my clinical, my clinical part; that's the
16 honorary appointment that academics have at the
17 Maudsley.

18 And then my research piece was attached to
19 the Medical Research Council Child Psychiatry Unit
20 that Dr. Rutter was directing at the time. And I was
21 head of the section on affective disorder research.
22 And I was also quite heavily involved in the autism
23 section of the same child psychiatric research unit.

24 Q Now, your CV also states that you are a
25 Reader in epidemiological child psychiatry at the

DR. FOMBONNE, MD - DIRECT

3613

1 University of London, is that correct?

2 A Yes.

3 Q And when approximately did you hold that
4 position?

5 A I think it was about 1997.

6 Q Could you explain to the Court what a Reader
7 position is?

8 A Yes. It's a British position. It's unique
9 to the British system. So it's really where usually
10 you are promoted from senior lecturer to professor,
11 but there's a contingent of tenured positions. So
12 they often create readership positions in recognition
13 of the particular contributions of someone. And they
14 usually, they create the position and give you the
15 specific title, which recognized the particular area
16 of expertise of the person.

17 So in my case, Kings College London, which
18 is the university which organized all that, created
19 this readership position. And they entitled it in
20 epidemiological child psychiatry in recognition of my
21 work in epidemiology and child psychiatry in general.

22 Q Now you're currently at McGill University,
23 is that correct?

24 A Yes.

25 Q Could you describe your position at McGill?

DR. FOMBONNE, MD - DIRECT

3614

1 A I have been at McGill since 2001. I am
2 there the head of the Division of Child Psychiatry for
3 the whole McGill University system, which involves
4 three hospitals which are providing child psychiatric
5 services.

6 I am also the head of the Department of
7 Psychiatry at the Montreal Children's Hospital, which
8 is the pediatric hospital of McGill University. And I
9 am the Director of the Autism Clinic within the
10 Montreal Children's Hospital. And I hold as well a,
11 what is called a Canada Research Chair, which is a
12 federal appointment, if you wish, which promotes
13 research in my field.

14 Q And are you currently a full professor of
15 medicine at McGill?

16 A Yes. I have a status of a tenured, full
17 professorship at McGill.

18 Q And who do you teach currently?

19 A I teach to McGill University medical
20 students in particularly in the domain of autism. I
21 teach residents in psychiatry, whether or not they
22 want to become child psychiatrists, but I teach a
23 range of topics about nosographies, diagnostic
24 assessments. I teach still in the field of depression
25 treatments and and the field of depression, and of

DR. FOMBONNE, MD - DIRECT

3615

1 course everything which has to do with autism.

2 I also teach quite a lot with, to
3 pediatricians in our hospital. There are different
4 research groups or clinical groups which want to learn
5 more about autism. I teach to community organizations
6 of pediatricians, of family doctors. Also, I teach in
7 the community-at-large to groups of professors or,
8 yes, mostly or community clinics.

9 Q And how long have you been teaching?

10 A Since I think 1983.

11 Q Are you affiliated with any hospital? You
12 mentioned the Montreal Children's Hospital, is that
13 correct?

14 A Yes.

15 Q Do you also give lectures outside of the
16 formal teaching arena to professional groups or
17 organizations?

18 A Yes, I do. I do give, I do grand rounds in
19 several departments of psychiatry or medicine both in
20 Canada and the U.S., and sometimes abroad. I do
21 participate in conferences in my domain of expertise
22 and particular associations to which I belong. I do
23 also lecture in various conferences which are
24 organized by family associations, which I have been
25 doing for years.

DR. FOMBONNE, MD - DIRECT

3616

1 Q Did you participate in a meeting last summer
2 called Autism Europe?

3 A Yes.

4 Q What was that?

5 A That's one of the organizations which is a
6 kind of federation of family associations. Both have
7 a chapter in each of the European countries that they
8 get together in this organization called Autism
9 Europe. And they have a conference every three or
10 four years. And they regularly invite scholars to
11 talk about topics. I was invited last year to give a
12 lecture on the topic of epidemiology and vaccines.

13 I was also helping them in terms of being a
14 member of the organizing scientific committee for
15 instance. So I do that quite regularly.

16 Q Now, you mentioned that you also lecture or
17 devote time to family-based organizations, is that
18 correct?

19 A To community-based organizations?

20 Q To community- or family-based organizations.

21 A Yes, yes.

22 Q Could you describe briefly what you do with
23 those organizations?

24 A Well, what I have been trying recently often
25 is to teach general practitioners, family doctors or

DR. FOMBONNE, MD - DIRECT

3617

1 pediatricians about early signs of autism, and how to
2 detect them early, and give them simple tools to, when
3 they assess toddlers and they interview parents, to
4 identify the red flags of autism, and try to point
5 referrals to our program. That's one emphasis.

6 The other domain in which I've been teaching
7 as well quite a lot is about the psychopharmacological
8 management of children with autism in which I have a
9 specific expertise and I run a particular
10 psychopharmacology clinic in my hospital with a
11 pediatrician for this particular group of patients.

12 Q Would you please name a few of the
13 professional organizations that you are involved with,
14 or a member?

15 A Yes. I am part of the Association of
16 Chairs, of Academic Chairs of Child Psychiatry in
17 Canada. I was the President of that organization for
18 three years, a few years ago. And I am a member of
19 the Canadian Academy of Child Psychiatry, of the
20 American Academy of Child and Adolescent Psychiatry.
21 I think others.

22 Q Were you involved in developing the
23 diagnostic criteria for ICD-10 and DSM-IV?

24 A Yes.

25 Q Can you describe your involvement?

DR. FOMBONNE, MD - DIRECT

3618

1 A I was involved in two ways. There was the
2 development of the DSM-IV criteria for autism. It
3 really followed a large empirical study, where data
4 were collected in different centers worldwide. I
5 think there were 16 centers, maybe even more. Where
6 we had actually already developed ICD-10 criteria and
7 DSM-IV criteria were being developed.

8 And we were comparing in the same children
9 the ICD-10 criteria which we had proposed, the old
10 DSM-III criteria or DSM-III-R criteria, and the
11 proposed scheme for DSM-IV. So we were collecting
12 data following assessment in our regular clinics using
13 these different schemes.

14 And these were then sent centrally, and then
15 analyzed to look at what kind of algorithm will be the
16 best, and how we could make ICD-10 and DSM-IV closer
17 in terms of the phrasing of the diagnostic criteria
18 and the development of the best possible algorithm. So
19 that was an empirically driven study, to really
20 establish a database, a foundation to develop the
21 criteria.

22 My other involvement in the DSM-IV was that
23 with Dr. Rutter, I was involved for one year in
24 negotiations, is a way to put it, on behalf of ICD-10
25 and WHO. We were working with, the working party of

Heritage Reporting Corporation
(202) 628-4888

DR. FOMBONNE, MD - DIRECT

3619

1 the American Psychiatric Association, where there were
2 about 10 or 12 American child psychiatrists who were
3 preparing DSM-IV. And it had nothing to do with why
4 autism was included, but all the other psychiatric
5 disorders were examined. And we had several meetings
6 about crosswalks, and how the two schemes were
7 developing. And we tried to make them as comparable
8 as possible, and that involved in particular a very
9 long meeting in New York at one point between the U.S.
10 group and the WHO group, which had actually three
11 persons.

12 Q Do you currently have a clinical practice?

13 A I do.

14 Q As part of your clinical practice, do you
15 diagnose and treat children with autism?

16 A Yes.

17 Q Approximately how many per year?

18 A It fluctuates, but I think my last year has
19 been quite heavy. So I probably have seen 250 or 300
20 new cases last year. It was a bit exceptional. But
21 that's what I usually -- so these are new cases. And
22 I also have a caseload of children whom I follow, who
23 for just regular followups, which sometimes extend to
24 adolescence and early adult life.

25 And I also have this particular

Heritage Reporting Corporation
(202) 628-4888

DR. FOMBONNE, MD - DIRECT

3620

1 psychopharmacology clinic, which is more for school-
2 age children or adolescents or young adults who are
3 already diagnosed, but have, present with severe
4 behavioral problems which have usually failed to
5 respond to proper behavioral interventions, and for
6 which we consider the appropriateness of the use of
7 medication to help reduce the maladaptive behaviors.
8 That's a specific, highly specific type of work that I
9 do.

10 Q Do you meet with parents as part of your
11 clinical practice?

12 A All the time.

13 Q In what capacity?

14 A I meet them during the, in the assessments
15 that I do. Currently I tend to see myself more
16 complex cases now, or the cases involving our research
17 programs, so I do the full assessment which involves
18 from A to Z, that last, you know, it's usually several
19 appointments with my team. And I do usually spend
20 three to five hours for any child, including a long
21 feedback session with the parents, which is sometimes
22 followed by a followup meeting with them to deal with
23 all the issues which arise.

24 So I do see a lot of families, young
25 families who have children with autism. And I do meet

DR. FOMBONNE, MD - DIRECT

3621

1 them, with them, in that kind of context, of course.

2 Q And you've been directly involved in
3 epidemiologic studies of autism, is that correct?

4 A Yes. Yes.

5 Q Approximately how many, can you recall?

6 A I don't know.

7 Q Does 10 sound about right?

8 A Probably, yes. There were two in France, I
9 think two or three in the UK, one or two in Canada.
10 And I'm involved in one which is conducted with other
11 colleagues in South Korea, and in the planning stage
12 of one in Mexico and probably one in Russia.

13 Q And according to your CV, you've published
14 over 160 articles related to childhood developmental
15 disorders and behavioral disorders in general, is that
16 correct?

17 A Yes.

18 Q Are those all peer-reviewed?

19 A Yes.

20 Q And you've published 34 book chapters
21 pertaining to childhood psychiatric and developmental
22 disorders, including the epidemiology of autism, is
23 that correct?

24 A Yes. Many of these chapters relate to that
25 topic.

DR. FOMBONNE, MD - DIRECT

3622

1 Q And do you currently serve on the editorial
2 board of any journals?

3 A Yes. I'm on the editorial board of I think
4 four journals: The Journal of Child and Adolescent
5 Psychopharmacology, European Journal of Child and
6 Adolescent Psychiatry, the newly formed journal, which
7 is called Autism Research, which is the new journal
8 setup by INSAR, and The Journal of Child Psychology
9 and Psychiatry.

10 Q Your CV states from 1994 to 2003, you were
11 the Associate Editor of the Journal of Autism and
12 Developmental Disorders, also called JADD. What is
13 JADD?

14 A Well, it has been the leading journal in the
15 field since 1971, when it was called the Journal of
16 Autism and Childhood Schizophrenia at the time, when
17 there was still diagnostic confusion. But it is, it
18 was really the leading journal for both researchers at
19 the time, but also practitioners. It has really a
20 very wide readership, and has still a very wide
21 readership, and covers a range of different topics,
22 from treatment interventions and more fundamental
23 basic sciences.

24 And now there are new journals which are
25 emerging, which have more scientific or biologic focus

DR. FOMBONNE, MD - DIRECT

3623

1 than JADD, which really didn't have much.

2 Q Are you currently a reviewer for any
3 journal?

4 A Oh, yes. I review for JADD still, and of
5 course the journals for which I am on the advisory
6 board, and many, many, many other journals.

7 Q Now, your CV states that you were appointed
8 by the National Institutes of Health as a permanent
9 reviewer, is that correct?

10 A Yes. That was between 2002 and 2006. I was
11 a member, a permanent member of one of the -- they
12 changed the name, so it's one of the scientific review
13 committee, one of the committees which are formed by
14 NIMH to review grant applications, and classify them,
15 and ultimately facilitate the funding of research. So
16 I was on one of this committee.

17 I've been also appointed by the NIH as, in a
18 special advisory board that they set up when they did,
19 when they funded the CPA network and the START
20 centers. A lot of the funding came in between 1996 up
21 to currently, a lot of money has been going to fund
22 and develop new research across different domains of
23 research.

24 And NIH has set up a little advisory
25 committee which has met with all the team leaders

DR. FOMBONNE, MD - DIRECT

3624

1 usually once a year, to look at the progress of the
2 science over these centers.

3 Q Did you have any responsibility for part of
4 the textbook published by the American Psychiatric
5 Association?

6 A There is one coming up textbook on autism
7 that the American Psychiatric Association is
8 preparing, in which I've been asked to write the
9 chapter on epidemiology of autism.

10 Q Are you a member of INSAR?

11 A Yes, I am.

12 Q Is that formerly known as IMFAR?

13 A Yes. INSAR is International Society for
14 Autism Research. And the meeting which is organized
15 by INSAR is called INFAR. And I have been at INFAR
16 involved initially in the publication committee, which
17 led to the development of this new autism journal.
18 And I was also part of the membership committee
19 initially.

20 Q Did you just attend the last meeting of
21 INFAR in London a couple weeks ago?

22 A Yes, I did.

23 Q You testified during the Cedillo trial,
24 isn't that correct, Dr. Fombonne?

25 A Yes.

DR. FOMBONNE, MD - DIRECT

3625

1 Q Other than that case, have you ever
2 testified in court before?

3 A Once, in the case of, should I say the name?

4 Q Was it a Daubert hearing?

5 A Yes. Can I say that?

6 Q The Easter case?

7 A Yes, yes. It was a case in Texas about the
8 same issue.

9 Q Doctor, I'd like to turn our attention to
10 epidemiology in autism. First I'd like to just lay
11 some foundations about what the different types of
12 epidemiologic study designs. What are the different
13 types of study designs?

14 A Well, epidemiology first is really the
15 scientific discipline which examines the distribution
16 of disease in human populations, and tries to identify
17 factors which modify the distribution that we call
18 risk factors. And different designs of different
19 strength.

20 One of the strongest designs, what we call
21 the ohort study, whereby you, basically you try to,
22 you use observational data. I think a key aspect of
23 the epidemiology that I do, that most people do, if we
24 exclude from epidemiology the part of epidemiology
25 which is experimental epidemiology, like randomized

DR. FOMBONNE, MD - DIRECT

3626

1 clinical trial, where we can manipulate who is exposed
2 to what. Most of the other designs rely on data which
3 are occurring naturally, or are just observed by
4 researchers in a way which we try to make meaningful
5 to test hypotheses about mechanisms of underlying
6 disease in humans.

7 And one way to do it is to have a hypothesis
8 about a particular risk factor, an exposure to some
9 kind of event, if it's a psychosocial event or a
10 biological substance, and to look if this exposure in
11 particular individuals lead to an increased risk of
12 the incidence of the disorder when you follow these
13 individuals over time.

14 So the design of these studies is really to
15 have a group of subjects which is exposed, for
16 whatever reason, to this particular risk factor of
17 interest, and have a control group which is unexposed,
18 not exposed to this particular risk factor. And then
19 you follow them up over time, and look at how many new
20 cases of disease occur in each of these two groups.

21 And then you compare the incidence in these
22 two groups, in the exposed compared to the unexposed.
23 And then you obtain some kind of measure of disease
24 occurrence, which is called a risk ratio usually, and
25 which is, if it is one, it means the incidence is not

DR. FOMBONNE, MD - DIRECT

3627

1 affected by the exposure. And if the exposure has led
2 to an increase in the risk of the outcome, you would
3 have a risk ratio which departs from one, and gets
4 higher two being sort of often the kind of risk ratio
5 that we like to have, at least. So that's one of the
6 designs.

7 Q The next kind, case control study. What is
8 that?

9 A Yes. The cohort study is not really very
10 practical if you have a very rare condition, because
11 you need to study many, many, many subjects to have
12 enough statistical power.

13 So when you deal with rare conditions, or
14 somewhat less frequent conditions, and also because
15 it's sometimes more convenient to do, we can ask the
16 question in sort of a retrospective way.

17 So here we start from finding a group of
18 people who have the disease that is of interest, and
19 we find controls which are not suffering from the
20 disease. And we ask retrospectively if they have been
21 exposed to particular risk factors and we can move on
22 to assess in if the cases have been more often than
23 the controls exposed to this risk factor in their
24 past. So that's a way to analyze the same question,
25 but the design is retrospective.

DR. FOMBONNE, MD - DIRECT

3628

1 And the key thing in case control studies is
2 really sampling, in terms of you want to have a
3 representative series of cases, and particularly you
4 want to have a control series, which is representative
5 from the underlying population which has given rise to
6 the cases. So it's the art of the case control study
7 often is in the choice of the controls.

8 Q So would it be fair to say that a cohort
9 study is based on exposure outcome, whereas a case
10 control study is based on -- I'm sorry. A cohort
11 study is based on exposure, whereas a case control is
12 based on outcome. Is that a fair definition?

13 A Yes. You design your study based on
14 unexposed or exposed in the cohort study, and then you
15 follow it for the outcome. And in the case control
16 study, your starting point is the disease status, and
17 then you look backward at what happened in the past in
18 terms of risk exposure.

19 Q The next type of study is an ecological
20 study? Or we'll go to prevalence study. What is a
21 prevalence study?

22 A Prevalence study is a bit like a case
23 control study, which is enormous and at the level of
24 the population. But in essence, it's a photograph of
25 a population at a given point in time.

DR. FOMBONNE, MD - DIRECT

3629

1 And the question which is asked usually
2 initially is to ask how many people in this population
3 have the disease which I am interested in studying.
4 So it's a very simple question. There is no passage
5 of time, and you go in the particular population with
6 techniques to sample people, assess their disease
7 status. And then you end up with a prevalen
8 proportion or prevalence rate, which gives you the
9 extent of the magnitude of the problem associated with
10 the disease in that population.

11 And then you can look at, under certain
12 circumstances you can start to look also at risk
13 factors which are associated with a disease, by using
14 that design.

15 Q The final design, the ecological study.
16 What is an ecological study?

17 A Ecological studies are usually considered to
18 be of a lower level, in terms of the ability that
19 researchers have to draw causal inferences between
20 disease and risk factors.

21 The issue here in an ecological study is
22 that usually you don't have, you contrast rates, rates
23 of the disease and rates of the exposure. So you use
24 aggregate data. So you look at trends in aggregates,
25 rather than studying individuals in terms of their

DR. FOMBONNE, MD - DIRECT

3630

1 exposure and their disease status.

2 So for instance, you could look at trends
3 over time in a particular condition. It could be
4 autism, it could be cardiovascular disease. And you
5 could look at trends in diet, for instance, and look
6 at the two trends that seem to correlate together. So
7 you can sometimes find correlations which might be
8 meaningful, but there is a lot of problems with these
9 ecological studies. In some instances but not always.

10 Q Is an ecological study the same thing as a
11 time-trend analysis? I see some studies describe
12 themselves as a time-trend analysis. Is that the same
13 thing?

14 A Yes. Time-trend or cross-national
15 comparisons would be the same.

16 Q And I know you've prepared a couple slides
17 to articulate some examples of ecological studies.
18 We're now on slide 3.

19 A Yes. On slide 3, that's, for instance,
20 studies on suicide have been using that particular
21 design. So here you see, for instance, if you are
22 interested in suicide you can see suicide rates going
23 up over a period of time.

24 And then what usually people will do, they
25 have an hypothesis about what a psychosocial situation

DR. FOMBONNE, MD - DIRECT

3631

1 might be, which might be explanatory of the trend in
2 suicide rates. Here in this particular case, you see
3 that if you look at the rates of unemployment, it is
4 going up, like the suicide rate is going up. And if
5 you calculate a correlation, you can have a positive
6 correlation.

7 And then the issue is how to interpret this
8 correlation. So there is a well-known phenomenon
9 which is called the ecological fallacy, whereby you
10 can interpret this correlation as being, as meaning
11 that it's the rise in unemployment which is leading to
12 a rise in suicide.

13 In fact, you cannot reach that conclusion,
14 because you don't know if those people who actually
15 commit suicide in these populations over time are
16 those who are unemployed. So maybe they are actually
17 applying completely differently at the individual
18 level than at the population level.

19 So that's what has been the problem and the
20 difficulty with ecological studies, when you have
21 trends which go in the same direction. Because when
22 suicide rates increase over time, you can take
23 anything which increased over time, and you will have
24 positive correlation.

25 So if you look at another indicator, for

DR. FOMBONNE, MD - DIRECT

3632

1 instance, now when you look at an increase in GDP,
2 it's increasing as well. So that you would have a
3 positive correlation, which might mislead you to
4 interpret that as being causal, because you have a
5 positive correlation.

6 Or you can have something else even. If you
7 look at that, you have a decrease of gold value during
8 the same period, then you have a negative correlation,
9 which seems to indicate that the lower the gold value,
10 the more people are at risk of suicide.

11 So these are a lot of issues which have been
12 well described in the literature of ecological
13 studies. That's when you have this kind of situation
14 when you have something which is increasing, it will
15 correlate with everything which decreased in the same
16 period, or everything which increased in the same
17 period. So there is a problem with interpretation in
18 that case.

19 This problem is alleviated in a situation
20 when you have natural experiments. So if you look at
21 the other slides. So if you are to go back to the
22 example of suicide and unemployment, for instance,
23 here we have a different situation, because
24 unemployment is not rising in a sort of linear fashion
25 over the same period of time.

DR. FOMBONNE, MD - DIRECT

3633

1 So if there was a relationship between
2 unemployment and suicide, then we should see the
3 suicide rates going up, and then plateauing, and then
4 going down. So that is a situation where we can test
5 more carefully if there is a causal connection between
6 the two.

7 Q And just for the record, Dr. Fombonne is
8 referring to slide no. 4.

9 A Yes. Then even better would be the next
10 slide, which will be kind of a natural, an experiment
11 of nature. Where here you have a risk factor, which
12 is unemployment, which fluctuates. And you can look
13 at if these fluctuations lead to corresponding
14 fluctuations in suicide rates.

15 And then you have, for some reason, a
16 complete discontinuation of the exposure. So the
17 unemployment disappears. And you can see the suicide
18 rates are keeping increasing. You can then thoroughly
19 clearly say that there is no relationship between
20 unemployment and suicide, because otherwise you would
21 predict that suicide rates would at least fall to some
22 extent when the, you have the disappearance of the
23 exposure in this population.

24 So when you have a situation of that kind,
25 which is quite rare, a natural experiment that we want

DR. FOMBONNE, MD - DIRECT

3634

1 to capitalize upon, we can actually draw inferences in
2 a much more solid way. I'm explaining that because
3 it's relevant to the existing literature on TCVs and
4 autism.

5 Q Doctor, what is meant by the term
6 "prevalence rate?" We see that a lot in the studies.

7 A Prevalence rates are just proportions of,
8 these are in studies where, at a given point in time
9 you conduct a survey on a circumscribed population,
10 and try to estimate in that population. So you have a
11 denominator. You try to estimate how many individuals
12 in this population have the disease of interest.

13 So it's the number of individuals affected
14 by the disease in a population which forms the
15 denominator population which is at risk for the
16 disease.

17 Q Is that different from the incidence rate?

18 A Yes. The prevalence rate is a proportion.
19 It goes from one to zero. Incidence is, in prevalence
20 there is no passage of time. So it's just a
21 photograph instantaneously.

22 Incidence means that you have observation
23 which evolves over time. So you can, you start with
24 people who are at risk, and then you follow them over
25 time, and you calculate the new onset of disease in

DR. FOMBONNE, MD - DIRECT

3635

1 that population at a given, at five-year followup or
2 10-year followup you calculate the proportion of
3 people who have relapsed, for instance, or have died.
4 These are incidence data.

5 There are different forms of incidence
6 rates, but I don't want to get into that now. The
7 idea of incidence that you have, you observe people
8 over time.

9 Q Turning to the area of autism diagnoses in
10 the United States, has the number of diagnoses
11 increased in the United States over the years?

12 A They have.

13 Q And we're looking at slide 6?

14 A I'm now on slide 6, which is the, represents
15 the results published in early 2007, one of the two
16 major surveys conducted by the CDC. These particular
17 slides give the results on eight-year-olds which were
18 surveyed in 2002, and therefore they were born in
19 1994. That represents incidentally the population
20 size of children who have been surveyed is about
21 410,000 children eight years old in the U.S.

22 It's a large study which is conducted in 14
23 states. And the prevalence here is indicated in the
24 little orange squares. And the average population
25 here, and here we're not talking about not autism

DR. FOMBONNE, MD - DIRECT

3636

1 narrowly defined, but we are talking about autism
2 spectrum disorders. And there was no differentiation
3 in that study between narrowly defined autistic
4 disorder and PDDNOS. They are all grouped in the same
5 case definition.

6 And the average rate in that particular
7 study is 6.6 per 1,000. Or another way to express
8 that is 66 per 10,000. And just to give some
9 equivalences, because sometimes people don't know, but
10 66 per 10,000 is 0.6 percent. It's also one child is
11 150. These are all equivalent ways to express the
12 same findings.

13 Q Slide no. 7. Slide no. 7 is 66 out of
14 10,000. Is that the current prevalence rate of ASDs
15 in the United States?

16 A Yes, that's the best estimate that we have
17 today. And this estimate is highly consistent with
18 studies which have been performed in the UK in recent
19 years, in many, many areas in the world, including
20 Denmark, including the Faroe Islands, including
21 Canada. They have all come up with research more or
22 less in the 60- to 70-per-10,000 range, with some
23 exceptions. Some studies are showing higher rates,
24 some studies are showing slightly lower rates.

25 But if we can go back to slide 6, I think

DR. FOMBONNE, MD - DIRECT

3637

1 what one issue, one interesting observation on this
2 slide is that the average of 66 per 10,000 is an
3 average. So it's an average for the years in these 14
4 states.

5 But if you look at the state's specific
6 prevalence estimate, it's actually quite variable.
7 You have an extreme on the right-hand side of New
8 Jersey, where their rate is actually 1.06 percent.
9 That is the highest rate in the U.S. in this
10 particular CDC survey. So that's high.

11 And then you have, on the third column from
12 the left, the state of Alabama, the rate is 33 per
13 10,000. So it means that in the same study, you have
14 in a state a rate which is as low as 33, and in
15 another state you have a threefold increase in the
16 rate.

17 So even at the same point in time in the
18 same country, you can have threefold variations in the
19 rate, probably and that's how the CDC explained it, is
20 because the ascertainment of cases in Alabama was
21 four, and much better in New Jersey. So it's
22 important to recognize that, because differences in
23 prevalence rates do not mean that there is an epidemic
24 of autism in New Jersey, or that living in Alabama
25 protects you against autism.

DR. FOMBONNE, MD - DIRECT

3638

1 Q Now, Doctor, I'd like to talk about the
2 studies that have been done that looked at a possible
3 causal association between thimerosal-containing
4 vaccines and autism. And on slide 8 we just put
5 together the nine studies that you discussed in your
6 report, is that correct?

7 A Yes.

8 Q I'd like to first turn to the Hviid study,
9 the 2003 study that appeared in JAMA. We filed this,
10 well, it's been filed as Petitioner's Master List 238.
11 Doctor, when was this study published?

12 A It's published in the prestigious journal
13 which is called the Journal of the American Medical
14 Association.

15 Q Is that a peer-reviewed journal?

16 A Yes.

17 SPECIAL MASTER VOWELL: One moment, please.
18 We're moving from slide 8 to slide 9 now.

19 MS. RICCIARDELLA: Yes. Thank you, ma'am.
20 We are now on slide 9.

21 BY MS. RICCIARDELLA:

22 Q Is that considered a well-respected journal?

23 A Yes. It's one of the journals, medical
24 journals which has a very high-impact factor.

25 Q And what type of study was this?

DR. FOMBONNE, MD - DIRECT

3639

1 A So this is a cohort study. It's based on
2 the National Register which exist in Denmark, where
3 they collected everybody that has a unique identifier
4 and they have large database where they have, they
5 follow people in terms of their medical diagnoses of
6 different kinds, coded in ICD-9 and 10 and before, it
7 was 8. And there are also different registers, like
8 they have a register on immunization, for instance, so
9 they could really merge these two registers and look
10 at -- and they could recreate retrospectively a cohort
11 study by looking at children who were born between
12 1990 and 1996.

13 And then because in Denmark there was a
14 discontinuation of thimerosal in 1992, you have, in
15 that sample you have children who had been exposed to
16 thimerosal-containing vaccines. And they knew exactly
17 which vaccines, what was the amount, and other
18 children who had been unexposed to these vaccines. So
19 you can then follow these two groups, exposed and
20 unexposed, and see if the incidence of autism when you
21 follow them up to the year 2000, or to diagnosis
22 occurring. See if the incidence in those who have
23 been exposed to thimerosal is higher or equal to those
24 who have been only vaccinated with thimerosal-free
25 vaccines.

DR. FOMBONNE, MD - DIRECT

3640

1 So that's the design of the study. It's
2 quite powerful, because that's the kind of strong
3 study we want to have. And just to give precision,
4 that study has in its sample size almost half a
5 million; 417,000 children if I recall well. So it's
6 really, in terms of sample size, extremely precise.

7 Q And what were the results of the study?

8 A The results of the study was that they
9 looked at the association in different ways. They
10 first compared children who had received all
11 thimerosal-free vaccines, compared to children who
12 received at least one thimerosal-containing vaccine.
13 And they found that the incidence in both groups was
14 not different.

15 And the other way that they looked at it was
16 they looked at dose response. They looked at how much
17 thimerosal-containing vaccines, children who had been
18 exposed to these vaccines received, to see if the risk
19 of autism was increasing as a function of the dose
20 received of thimerosal. And again, they looked at
21 that, they couldn't find any evidence of a dose
22 response of a threshold at which the risk would
23 suddenly increase.

24 Q Dr. Greenland criticized this study in his
25 report as being really not informative to the issue at

DR. FOMBONNE, MD - DIRECT

3641

1 hand today, because the dose of thimerosal received by
2 children in Denmark differed from the United States.
3 Do you agree that this study is irrelevant to the
4 question before the Court?

5 A You know, it is absolutely relevant, in
6 terms of it examines a range of exposure, which is
7 from zero micrograms to a maximum of 125 micrograms.
8 So in that sense, it doesn't go beyond that limit,
9 that level of exposure, and doesn't really test for
10 risk associated with higher level of exposure.

11 However, in Denmark, if you look at the
12 schedule of vaccinations, Danish children at the time
13 of thimerosal-containing vaccines, when they were at
14 three months old, were exposed at that age to what
15 American children were exposed to. In that sense, the
16 exposure up to age three months is comparable in that
17 study to what happened in the U.S. It's not, it
18 cannot be dismissed in terms of being informative.

19 And again, at the very least it tests for a
20 range of exposure, which is from up to 125 micrograms.

21 Q I noticed that in slide 9 you have a section
22 called "limitations," and you note what the maximum
23 exposure was.

24 A Yes.

25 Q Does this affect the validity of the study?

DR. FOMBONNE, MD - DIRECT

3642

1 A Not validity. It depends on what you call
2 validity. It affects what we call external validity,
3 so it does not, the findings cannot be generalized to
4 populations where the exposure has been higher than
5 that. That's what we could say.

6 There are many strengths in that study,
7 including the fact that because the children were
8 unexposed to thimerosal-containing vaccines, they were
9 not unexposed because of medical contraindications.
10 They just were unexposed because of a change in the
11 fabrication process of vaccines in Denmark. So they
12 were, in terms of indications, the same type of groups
13 as those who were exposed. That's a very important
14 aspect of that study, because it means that the
15 unexposed controls were very likely to be completely
16 similar to the exposed children.

17 Q The next study I'd like to look at is the
18 Verstraeten study. We are now on slide 10. And this
19 has been filed as Petitioner's Master List 247. When
20 was this study published?

21 A In 2003.

22 Q In what journal?

23 A In the Journal of Pediatrics, which is a
24 highly reputable journal in --

25 Q Is it a peer-reviewed journal?

DR. FOMBONNE, MD - DIRECT

3643

1 A Oh, yes.

2 Q And what type of study was the Verstraeten
3 study?

4 A Again, it's a cohort study where they used
5 the VSD to recreate retrospectively cohorts of
6 children, and look at their exposure to thimerosal,
7 and look at the incidence of autism as they follow
8 them up. So it's a cohort study.

9 The fact that the design was interesting in
10 the sense that they started with two HMOs, and they
11 wanted to look at a range of outcomes -- autism was
12 one of them, but they looked at also other
13 neurodevelopmental outcomes. And these outcomes were
14 selected a priori based on existing published findings
15 from the Faroe Islands. They really looked at what
16 was concerning people at the time.

17 So they selected their outcomes very well.
18 And they decided to look at two HMOs first, and then
19 they decided we're going to look at HMOs, and look
20 only at those conditions which occur in a sufficient
21 number of children. And they set up a criteria of
22 there must be at least 50 children presenting an
23 outcome so we can look at the association, which is
24 reasonable to do.

25 And they said if we find something, some

DR. FOMBONNE, MD - DIRECT

3644

1 kind of association in one of these two HMOs in a
2 number of children, then we will look in the third HMO
3 to replicate our findings. It was a nice design in
4 the sense of they wanted to generate findings
5 initially, and then replicate them in a separate
6 sample, which is a very nice design when it works
7 well.

8 Q What were the results of this study?

9 A They looked at it in different ways. The
10 exposure to thimerosal, they looked both at the
11 quantity of thimerosal received over the, from birth
12 to age seven months. But they looked also at levels,
13 different levels of thimerosal exposure. And both
14 ways using exposure as a continuous variable, or as a
15 categorical -- a variable. I hope I'm not too
16 technical. Maybe a bit.

17 So anyway, they couldn't find any
18 association with autism. So there was one HMO, which
19 is HMO B, where there were 202 children with autism
20 identified, where they could conduct the analysis.
21 And the analyses were negative looking both ways.

22 So I think the strengths are that the HMO B
23 had a large population, 110,000. It's VSD database
24 has been used to examine to do prospective studies to
25 look at vaccine adverse effects. And in that

DR. FOMBONNE, MD - DIRECT

3645

1 particular study, one of the advantages that they
2 could test up to levels of exposure which were
3 meaningful for the U.S. concerns, because the exposure
4 levels were up to the value of 87.5 micrograms. And
5 they also did a diagnostic confirmation on children
6 with autism in HMO A and B, and found that there was a
7 reasonable, that the electronic codes were confirmed
8 by medical record review.

9 Q Do you consider the Verstraeten study to be
10 a valid study?

11 A I do. I of course am aware of the
12 controversy which surrounded that, I think from an
13 external perspective what they have is extremely
14 reasonable and for me it's a perfectly acceptable
15 study.

16 Q I'd like to turn now to the Stehr-Green
17 study. And we're on slide 11. That has been filed as
18 Petitioner's Master List 230. When was this study
19 published?

20 A In 2003, in the American Journal of
21 Preventive Medicine.

22 Q Is that a well-respected journal?

23 A Yes.

24 Q Is it a peer-reviewed journal?

25 A Yes, it is.

DR. FOMBONNE, MD - DIRECT

3646

1 Q And what were the results -- first of all,
2 what type of study is this?

3 A This is an ecological study. And you see
4 here one of the findings, and the starting point of
5 this analysis was to look back at what was presented
6 at the Institute of Medicine Committee in 2001, when
7 someone drew a correlation between increasing levels
8 of thimerosal in California and increasing numbers of
9 children diagnosed, pretty much the two lines I showed
10 at the beginning, and showed there is a correlation.
11 And therefore, thimerosal is the causal factor of the
12 increased numbers of autism.

13 So they say well, let's look at that.
14 That's what we see in California, but let's look at
15 what happens in two Scandinavian countries where, in
16 fact, we have a different situation again, an
17 experiment of nature where in Denmark, in 1992, I
18 think it was in March or April, they discontinued the
19 use of thimerosal in the production of vaccines. So
20 there was a way to test if this discontinuation was
21 followed by a fall in the rates of autism.

22 In Sweden it was the same scenario. They
23 discontinued thimerosal in 1993 altogether. And you
24 could see here on this particular graph, it applies to
25 the inpatient population of Sweden. I think these are

DR. FOMBONNE, MD - DIRECT

3647

1 children which are age two to 10. And you can see
2 that the bars indicate the level of thimerosal, and
3 then it decreases progressively, and in 1993 onwards
4 there is no longer any thimerosal in the vaccines.

5 The same graph can be found from Denmark in
6 the same paper. And what is remarkable in these
7 particular three comparisons, Denmark, Sweden, and
8 California, is that first, the rates of ASDs started
9 to increase before there was any change in the levels
10 of thimerosal, both in Denmark and in Sweden. So
11 irrespective of if there was no change in thimerosal
12 level, and the rates started to increase. And they
13 started to increase at about the same time in Denmark,
14 Sweden, and California.

15 But then what happened is the rates of
16 increase continued throughout the period of
17 observation, even though, in Denmark and in Sweden at
18 different times there was a total discontinuation of
19 thimerosal. So that really showed you that when you
20 have variation in the exposure level, you have a much
21 more powerful test to look at these correlations than
22 you do in ecological studies. And when you have this
23 opportunity, the findings of California did not hold
24 true.

25 Q I'd like to turn now to the Madsen study,

DR. FOMBONNE, MD - DIRECT

3648

1 which is Petitioner's Master List 239. We're on slide

2 12. When was this study published?

3 A In 2003.

4 Q In what journal?

5 A In Pediatrics again, the very well-known
6 journal.

7 Q And what type of study is it?

8 A This is again an ecological study. And that
9 study looked at the rates of -- it's again relying on
10 data collected in national registers. They are coded
11 in various schemes, ICD-8 first, and then ICD-10 I
12 think in 1993 or 1994.

13 And they look at rates of autism in
14 different age groups, two to four, five to six, seven
15 to nine. I think there are two interesting findings,
16 one which is not fully appreciated maybe in the paper,
17 which is that before 1970 in Denmark, the schedule of
18 vaccinations implied that children who were exposed to
19 levels of thimerosal which were of ethyl mercury,
20 should I say, of 200 micrograms. So the level of
21 exposure in children in Denmark in the sixties, up to
22 1970, was very high, actually comparable to what
23 happened in the U.S. in the late nineties.

24 And you can see here at the beginning of the
25 period of observation, 1970 up until 1976, it's

DR. FOMBONNE, MD - DIRECT

3649

1 lagged. So basically you can see that those children,
2 some children in these age groups were exposed to high
3 levels of ethyl mercury, and there was absolutely no
4 evidence at the time of an epidemic or high rates. So
5 this is one story.

6 And then in 1992, this is where the vertical
7 line, actually the line here should have moved. But
8 in 1992, in March or April, there should have been --
9 they discontinued the use of thimerosal in vaccines.
10 And if you look before 1992, you can see the beginning
11 of the increase in the rates of ASDs in two of the
12 three age groups. And so it starts before there is
13 any change.

14 And then, when thimerosal is discontinued,
15 you can see that the rates of increase are the same.
16 There is no downward trend that you would predict if
17 there was a strong association between thimerosal
18 exposure and the risk of autism. Again, it's looking
19 at a natural experiment with the total disappearance
20 of an exposure; and therefore, if there was an
21 association, you should see some kind of effect.

22 Q What conclusions did the authors of the
23 Madsen study draw with respect to thimerosal-
24 containing vaccines in relationship to autism?

25 A Well, they concluded that there was not much

DR. FOMBONNE, MD - DIRECT

3650

1 evidence of an association between the two.

2 Q Doctor, are you familiar with the 2004 IOM
3 report that's been filed as Respondent's Master List
4 255?

5 A Yes.

6 Q And does that report contain a discussion of
7 the Hviid, the Verstraeten, the Madsen, and the Stehr-
8 Green studies that you discussed today?

9 A Yes.

10 Q And what conclusions did the 2004 IOM
11 committee draw with respect to those studies?

12 A Well, at that time they received findings
13 from these epidemiological studies. And they said
14 that these epidemiological studies were informative
15 for the debate about causation, a situation which was
16 new compared to 2001, when there were actually no
17 epidemiological studies available in humans about the
18 effects of thimerosal-containing vaccines. And that,
19 alongside other kinds of data, led the committee to
20 reject the hypothesis.

21 Q I'd like to look at some studies that came
22 out after the 2004 IOM rendered its report. I'd first
23 like to look at the Andrews study that's been filed as
24 Petitioner's Master List 4. We're now in slide 13.
25 When did this study come out?

DR. FOMBONNE, MD - DIRECT

3651

1 A In 2004, in I think September, in
2 Pediatrics.

3 Q In the Journal of Pediatrics?

4 A Yes.

5 Q Okay. And what type of study was this?

6 A Again, it was a cohort study. It's again a
7 study where you can follow up over time children where
8 you know how much immunizations they had received, and
9 look at how many developed autism, and if there is a
10 relationship between the amount of thimerosal exposure
11 and the risk of autism.

12 So it's a cohort study. It's population-
13 based, because the study sample is from a large
14 electronic database, which is called a GPRD, which
15 contains probably currently about four million people.
16 So it's really a large electronic database, which has
17 been shown to be varied in many ways.

18 And the results for autism are shown here.
19 They looked at in terms of how many children received
20 their dose by three months of age, or by four months
21 of age. And they looked at the relationship between
22 number of doses received and the risk of autism, and
23 found that there was no relationship.

24 And again, the Hazards ratio were below one,
25 and the confidence intervals were actually quite

DR. FOMBONNE, MD - DIRECT

3652

1 narrow, because the sample size is large. And when
2 the last column on the right is looking again at the
3 same exposure, but in a more continuous fashion, and
4 taking into account the age at which the child
5 received the vaccination. So that if a child received
6 the full vaccination complement at an early age, in
7 fact his dose of thimerosal considering his age and
8 weight is somewhat higher. And this factored in the
9 analyses, and it shows again no effect.

10 There also in that study, I should say which
11 is an advantage, looked separately at a sample that I
12 think had about 2,500 preterm infants.

13 Q Preterm?

14 A Preterm infants. And they couldn't find in
15 this group, as well, any association between -- and
16 the importance of the preterm group is that because
17 they are usually of low birth weight, the relative
18 dose they receive relative to their weight is higher.
19 So their exposure is, in effect, relatively higher
20 than normal-term babies.

21 Q The next study I want to look at is Jick and
22 Kaye, which has been filed as Petitioner's Master List
23 92. And we're on slide 14. When did this, when was
24 this study published?

25 A In 2004. I think it was later in the New

DR. FOMBONNE, MD - DIRECT

3653

1 England Journal of Medicine, but yes, I think that's
2 what it was.

3 Q What type of study was this?

4 A So that's another design. It's a case
5 control study. And they again used the same UK GPRD
6 database. And that case they looked at in this
7 database, in particular years, children who had a
8 diagnosis of autism, and they matched controls. And
9 the five controls for one case to increase their
10 statistical power. And they were well-matched. And
11 they looked at, you can see here under the main
12 results is that if you look at cases of autism, 96
13 percent of these children had been exposed to
14 thimerosal-containing vaccines under exactly three
15 doses of DPT vaccinations. And it was the same
16 proportion of controls who had been exposed to the
17 three DPT vaccinations.

18 There is no difference in terms of exposure
19 to the DPT vaccinations between children with autism
20 or matched controls.

21 This study is interesting, because it's a
22 case control study nested in a population-based
23 cohort, so there is a good representativeness of the
24 sample, although the sample is small. Which is a
25 limitation of that study.

DR. FOMBONNE, MD - DIRECT

3654

1 Q The next study I'd like you to look at is
2 the Heron study. We're on slide 15. The Heron study
3 has been filed as Petitioner's Master List 14. When
4 was this study published?

5 A 2004.

6 Q In which journal?

7 A In Pediatrics. And this is now slide 15.

8 Q And what type of study is this?

9 A This is called the ALSPAC study. It's done
10 in Avon, in the southwest of England. And it's a
11 population-based prospective cohort where women have
12 been, 13,000 I think women have been recruited during
13 pregnancy, and their children followed up at multiple
14 waves of data collection. And this is an ongoing
15 prospective study.

16 So the importance of that is that it
17 allowed, the data collection allowed researchers here
18 to look at the effect of multiple confounding
19 variables, which were often not available in the
20 analysis of other cohort studies or a more limited set
21 of variables could be assessed for their confounding
22 role.

23 In that study there is a range of outcomes
24 which have been looked at. And most of the outcomes
25 are actually negative, with the exception of one out

DR. FOMBONNE, MD - DIRECT

3655

1 of 69.

2 Autism was not assessed directly in this, in
3 this paper, but because I have worked in the UK, and I
4 know that children with autism are usually, have a
5 statement of their needs with the local educational
6 authorities. So the line which is here, which says
7 LEA, is a group of children which would typically
8 contain a high proportion of autistic children. We
9 don't know how high it is, but that's where they are.

10 And in a way, although it's a proxy measure
11 for autism, one can see here that irrespective of the
12 way you look at the association, there is no
13 association between this category of special needs and
14 exposure.

15 Q The next study I'd like you to look at is
16 one I'm sure you're very familiar with, because you
17 did it.

18 A Yes.

19 Q I'm referring to slide 16. It's the
20 Fombonne, et al. 2006 study, filed as Petitioner's
21 Master List 40. What journal was this published in?

22 A In Pediatrics.

23 Q And what type of a study was this?

24 A So this is again an ecological study, where
25 we identified in a school board in west Montreal, all

DR. FOMBONNE, MD - DIRECT

3656

1 children with a PDD diagnosis. And we were interested
2 in prevalence, initially. And found a prevalence of
3 65 per 10,000 in that particular population.

4 And then we looked at, we again capitalized
5 on the experimental nature in which in Quebec during
6 that period of time, there were changes in the
7 immunization schedule. And the content of thimerosal
8 of the vaccines which were used in Quebec.

9 So at the beginning of the period, from 1987
10 to 1991, there were medium levels of exposure to
11 thimerosal, around 100 or 125 micrograms. And then
12 because of the addition of new vaccines, there were
13 three or four birth cohorts exposed to levels of 200
14 micrograms, comparable to what happened to the U.S. in
15 the late nineties.

16 And then, because they changed the
17 vaccination system of production, then the last birth
18 cohorts were actually exposed to thimerosal-free
19 vaccines. So we had a nice way, in this ecological
20 study, to test whether the trend in the risk of autism
21 in that particular population was affected in any way
22 by variations in the levels of exposure, and by
23 discontinuation of thimerosal altogether. And we
24 found absolutely no relationship between the two.

25 And moreover, in those children in the last

DR. FOMBONNE, MD - DIRECT

3657

1 birth cohort, and therefore vaccinated with
2 thimerosal-free vaccines, the average prevalence in
3 that particular group of cohorts was about 80.6
4 percent -- per ten thousand, significantly higher than
5 the prevalence for all previous thimerosal-exposed
6 cohorts.

7 Q The next study I'd like to look at is
8 Schechter and Grether. We're on slide 17. That's
9 been filed as Respondent's Master List 439. Are you
10 familiar with this study, Doctor?

11 A Yes, I am.

12 Q What type of study is it?

13 A It is an ecological study.

14 Q And when was it published?

15 A In the prestigious journal called Archives
16 of General Psychiatry. It's one of the, in the field
17 of psychiatry one of the most reputable.

18 Q And when was this study published?

19 A In 2008, early -- 2008.

20 Q And what were the results of this study?

21 A So they, the idea again was to look at what
22 would happen in California. California has a unique
23 data set, which is a developmental, the DDS database,
24 I don't know what --

25 Q The Department of Developmental Service?

DR. FOMBONNE, MD - DIRECT

3658

1 A Yes. They have a database which has it's
2 own limitations, which at least allows to evaluate
3 some trends. And as everybody knows, following the
4 recommendation of 1999, there was a progressive
5 discontinuation of the use of thimerosal in the
6 vaccines which were used in the U.S. Although the
7 exact timing of the total discontinuation in vaccines
8 is difficult to ascertain, and there are no good data
9 for California in terms of exposure to thimerosal for
10 the cohorts in 2000, 2001, 2002, and 2003.

11 People were expecting that if there was an
12 effect of thimerosal in the risk of autism, we should
13 see a drop in the number of children referred to this
14 public service; and that this drop should be seen
15 starting in 2004 or 2005, where children that were
16 thought to be diagnosed would have been mostly
17 unexposed to thimerosal-containing vaccine.

18 And that's what they have done here. If one
19 looks at the lower line, the lower line is the number
20 of children with autism, or ASDS, for each quarter.
21 They use each quarter, the data are produced for each
22 quarter, so it's a number of new cases.

23 Here it looks only at children who are aged
24 three to five. So by the end of 2003, we would have
25 expected a decline if there was an association. And

DR. FOMBONNE, MD - DIRECT

3659

1 thimerosal becomes phased out. And you can see that
2 between 2004 up to 2007, there is absolutely no
3 evidence of a drop in the numbers. And in fact, the
4 rates and the slope of the increase in the numbers of
5 children referred to this service is the same as
6 before.

7 I think what another message of that study
8 is, is that the upper line is actually looking at
9 children who have developmental disabilities that
10 group includes autism, but other kinds of condition,
11 as well. And you can see actually this group
12 increases over time in the three- to five-year-old as
13 well, which seems to come out of different studies
14 which have looked at these trends over time in various
15 years data sets.

16 Q So what are the conclusions of the Schechter
17 and Grether study?

18 A That their study really does not support any
19 connection between thimerosal-containing vaccines and
20 the risk of ASD.

21 Q Now, you've included another study in your
22 report that didn't look specifically at autism. I'm
23 referring to the Thompson study that's been filed as
24 Petitioner's Master List 192. And we're now on slide
25 18. Why did you include the Thompson study in your

DR. FOMBONNE, MD - DIRECT

3660

1 report?

2 A Because it had relevance in terms of various
3 neurodevelopmental outcomes which have been postulated
4 to be increased following thimerosal-containing
5 vaccines. So there are some data which are
6 conflicting between the Seychelles and the Faroe
7 Islands study in terms of method, okay. We didn't
8 have, up to that study, a good study looking at the
9 range of neurodevelopmental outcomes following
10 thimerosal-containing vaccines.

11 So this study is unique and new in that
12 respect. It's done by the CDC. It's looking at over
13 1,000 children. This is a cohort study of children
14 who were all born between 1993 up to 1997, so that
15 guarantees that there is a range of exposure in this
16 particular cohort.

17 And they looked at, they followed them up, I
18 think up to age seven, or maybe 10. And they invited
19 the children and their families to have direct
20 assessments. So these children are assessed directly
21 by psychologists who are all blind to the amounts of
22 vaccines or thimerosal received by the children.

23 And they used actually 42 developmental
24 outcomes. And they basically looked at all the
25 possible associations, by gender and all cohort

DR. FOMBONNE, MD - DIRECT

3661

1 genders combined, and concluded that there was no
2 evidence for an association between thimerosal and
3 neurodevelopmental outcomes.

4 Autism was not part of this study. It's
5 just like other kinds of outcomes in terms of speech
6 delay, language delay, IQ, other kinds of outcomes.

7 Q In what journal --

8 A But there were a few significant findings
9 which were representing statistical random facts.

10 Q In what journal did this study appear?

11 A It's the New England Journal of Medicine.

12 It's a strong study. They have a somewhat low rate of
13 participation, which I calculated to be 54 percent.
14 But there is no reason to believe that there would be
15 a strong selection bias associated with this
16 relatively low participation rate, particularly
17 because they could show that nonparticipants in this
18 study compared to participants had the same type of
19 exposure distribution at baseline.

20 Q Doctor, we have been looking at these
21 studies individually. But do you have an opinion as
22 to what the studies say collectively as to the issue
23 before the Court here?

24 A Well, I think what has been discussed
25 before, each study has its own limitations in terms

DR. FOMBONNE, MD - DIRECT

3662

1 of, you know, how much control of confounding you can
2 have, and the range of exposure which is tested. But
3 what is quite striking is that first, no study has
4 shown that there will be a risk ratio which would
5 depart from one, suggesting that there would be even a
6 trend towards an increase in the risk of autism. All
7 studies show a risk ratio of close to one. Often,
8 actually, on the left-hand side. So there is no
9 evidence whatsoever there is a trend that could be
10 detected.

11 I think that secondly, that the findings for
12 me, although each study could be criticized, is that
13 there is consistency across different populations with
14 different study designs of the findings. And this is
15 what I think makes the state of epidemiological
16 findings in the study of this hypothesis quite robust,
17 in allowing us to further reject this hypothesis.

18 Q Okay. Now, other than the epidemiologic
19 studies that you discussed today and in your report,
20 are there other studies that you think are relevant to
21 the question of whether thimerosal-containing vaccines
22 cause autism? Now we're on slide 19.

23 A Yes. I think the number of facts that
24 should be brought in mind, the first thing is that
25 when we look at the Faroe Islands, for instance, or

DR. FOMBONNE, MD - DIRECT

3663

1 other studies which have looked at methyl mercury
2 exposure, there has been no evidence ever reported
3 that autism or PDD was an outcome of methyl mercury
4 exposure or intoxication. So that's something to bear
5 in mind.

6 The second thing is that when one looks at
7 the prevalence of PDDs in different populations, there
8 seems to be no relationship between the levels of PDDs
9 or rates of PDDs, and how much thimerosal the vaccines
10 contain. So just to give an example here, there is a
11 study now published on the Faroe Island population
12 which shows a rate of 56 per 10,000 in this
13 population, whereas we know they are exposed to high
14 levels of methyl mercury.

15 And I could give more examples of that.
16 There are some studies, for instance, like recent data
17 from Denmark where, if you look at children born after
18 1992, their rates are now in the range of 62 per
19 10,000; so again, consistent with other rates. And in
20 the thimerosal-free population zero micrograms, the
21 rate is 62. In the UK, there are multiple studies
22 where the level of exposure is 75 micrograms, multiple
23 studies showing rates of 60 or 70 or even higher than
24 that.

25 And the rates in the U.S. based on the CDC

DR. FOMBONNE, MD - DIRECT

3664

1 studies are not higher, despite the higher exposure to
2 thimerosal. So there seems to be no consistency in
3 the relationship, at least on the ecological level,
4 between what's happening in terms of thimerosal
5 exposure and the rates, appearance rates, of autism.

6 Q Okay. Doctor, are you aware of the
7 existence of epidemiological studies that purport to
8 show an association between thimerosal-containing
9 vaccines and autistic disorder?

10 A Yes.

11 Q Are those the studies done by Mark Geier?

12 A Yes. I mean, the only exception to the
13 consistency which I mentioned is the group of studies,
14 published by Geier and Geier, and including the most
15 recent one by Young, Geier, and Geier. And if one
16 looks at their earlier studies, I mean, they have been
17 reputable for having methodological flaws, which are
18 so major that their contribution to the debate has
19 been actually rejected by the IOM community, and
20 saying that their studies were actually not
21 contributing to the scientific information.

22 Q Were those studies conducted using accepted
23 epidemiological methods?

24 A No.

25 Q Do you agree with the criticisms that the

DR. FOMBONNE, MD - DIRECT

3665

1 IOM committee put in their 2004 report pertaining to
2 the studies done by the Geiers?

3 A I do.

4 Q Is it accepted practice in the epidemiologic
5 community to rely on study results that are considered
6 uninterpretable?

7 A No.

8 Q Have you reviewed the recently published
9 study by Young, Geier, and Geier that's been filed in
10 this litigation as Petitioner's Master List 665?

11 A Yes, I have.

12 Q And do you consider this to be a valid
13 study?

14 A No, it is a flawed study.

15 Q Now we're on slide 20. Could you explain
16 why you don't consider this to be a valid study?

17 A Well, there are many flaws in the study.
18 Again, I think it's using the VSD database, which is
19 actually a nice database to do cohort studies, and
20 they did not use that to do a cohort study or to do a
21 case control study, which is a mistake. A shame. And
22 instead of that, they constructed an ecological study
23 based on this dataset, which is bad.

24 There are multiple issues in that study in
25 terms of statistical analysis, but I just wanted to

DR. FOMBONNE, MD - DIRECT

3666

1 draw the attention of, on this graph, which is what
2 they showed is this black line is what they estimate
3 to be the level of thimerosal exposure in different
4 birth cohorts in that particular database.

5 The database has about over 200,000
6 subjects. And they construed their exposure data in a
7 way which is very hard to follow, and they actually do
8 not provide the detailed calculations. And again, as
9 in many of their papers, you cannot actually verify
10 what has been done.

11 But if one looks at this, they did a poisson
12 regression, which is a complex statistical analysis.
13 But it boils down to being doing a regression. So if
14 you look at the bars of the rates, what they estimated
15 as being the prevalence rates in each birth cohort in
16 that database, from between 1990 to 1996 -- so these
17 are the bars. And then the black line is the level of
18 thimerosal exposure. And they report a strong
19 correlation.

20 And if one looks at this correlation, if one
21 looks at the three left-handed bars, you can see that
22 there seems to be a strong correlation, because you
23 have a steep increase in thimerosal exposure, and the
24 prevalence is increasing during that three years.

25 Now, if you look carefully at the paper, in

DR. FOMBONNE, MD - DIRECT

3667

1 each birth cohort they had about 40,000, 50,000 -- I
2 should check the numbers -- but in '91, '92, and '93,
3 they have 15 percent of their sample is between '91 to
4 '96.

5 The bar in 1990 contains only 0.6 percent of
6 their sample. So it's based on 2,000 children at
7 most, as opposed to 40,000 in all of the other bars.

8 So we are now, they are doing like a
9 correlation where in fact the first data point which
10 serves the coalition extremely well is actually based
11 on a very limited sample size.

12 When we do correlation in general in
13 psychological sciences, when we have outliers, we try
14 to see if an outlier is actually driving the
15 correlation in one direction. We call that plots of
16 influence. And if this data point influences the
17 correlation, we remove it.

18 In that particular study, they didn't
19 recheck that. And I suspect they didn't check,
20 because if you check it and if you remove that data
21 point, what you would see is the correlation actually
22 disappears in the first four years. There is no, you
23 have a flat line, okay? That's one point. I really
24 find that it is data manipulation.

25 And if you look on the other part, on the

DR. FOMBONNE, MD - DIRECT

3668

1 three, the two bars on 1995 and 1996, if you read
2 carefully the paper, in fact, these bars are false.
3 They just are based on so-called adjustments that they
4 have made because they think that there is a truncated
5 followup, which is probably correct, but they added
6 numbers of children. So these bars are actually not
7 observed numbers of children. They added 45 cases in
8 1995, and 80 invented cases in 1996.

9 So the actual observed numbers are more like
10 what the white sections of the bar are showing. And
11 they added the red sections to make up for some kind
12 of unobserved subjects.

13 It can be sometimes useful to do some form
14 of imputation techniques to address missing data, or
15 censoring, as we call it. But this is just data
16 manipulation, again. And in fact, they just added
17 numbers which do not exist. And if you read carefully
18 their paper, that's what they are doing. And if you
19 remove these adjustments, you have no correlation at
20 the end between the thimerosal increase and the actual
21 observed.

22 So between data manipulation and the -- I
23 think this study is not acceptable at all.

24 Q Doctor, I'd like to turn briefly to the
25 issue of regressive autism. Is it restricted to

DR. FOMBONNE, MD - DIRECT

3669

1 autistic disorder only? Regression?

2 A No. No, I think that it varies. It varies
3 across studies. But in most studies which I have
4 seen, including the Dr. Lord studies and recent
5 studies by Hansen, et al., for instance, shows that
6 the rate of regression, however you define it, seems
7 to apply across PDDNOS as well as autism.

8 Q And is it a new phenomenon?

9 A No, it is absolutely not new. This is just
10 an excerpt of the British literature in 1964. And you
11 can just show the case one by --

12 Q We're on slide 21.

13 A On slide 21. And you can see descriptions.
14 This slide was chosen in particular because at that
15 time there was no measles vaccines at all in use. But
16 anyway, it's an historical slide which shows that
17 regression has been described clinically for decades,
18 and including at the beginning by Leo Kanner.

19 So it's not a new phenomenon, and it was
20 important to recognize it because of the fact that I
21 recall during my training, psychiatrists were
22 interviewing mothers who were reporting this
23 phenomenon, were actually dismissing that, and were
24 saying that the mother was fabricating this
25 experience. So some people were trained with a

DR. FOMBONNE, MD - DIRECT

3670

1 psychoanalytical mind.

2 So it's an important phenomenon to
3 recognize, because it's actually part of the
4 experience of parents, and has been a part for
5 decades.

6 Q What is the current rate of regression?

7 A It depends how you define it. I think I
8 completely agree with Dr. Lord. It will depend how
9 much, how stringent are the criteria that you use to
10 define regression.

11 If you want to be sure that in order for the
12 skill to be lost, you want the skill to have been
13 shown consistently, as we sometimes do in questions
14 which are embedded in the ADI; if you have such a
15 strict definition, we'd have a lower rate. If you
16 broaden your definition, you'd have a higher rate.

17 So the rates are anywhere between 15, 13
18 percent, 35, even more in more recent studies. I
19 think we have paid attention more to this phenomenon.
20 In the ADI, for instance, there has been improvement
21 in the questions which are looking at regression as a
22 result. The new studies are documenting in a better
23 way more subtle types of regression, and therefore the
24 rates are likely to be more around 30 percent, 40
25 percent.

DR. FOMBONNE, MD - DIRECT

3671

1 Q Now, on slide 22, you've prepared a brief
2 chart on a study published by Hansen called the CHARGE
3 study. Why did you include this study in your
4 presentation today?

5 A Because it's very recent, and also because
6 it's based on a population-based sample from
7 California. So it's just very informative again for
8 our debate.

9 Q What does the study tell us?

10 A And it has a large sample, so it's a large
11 sample of 333 children. And they used standardized
12 measures, like the ADI.

13 And the study shows very interestingly that
14 again, depending on how you define regression, you
15 have different rates. So if you look at children who
16 lose both language and social skills, the regression
17 rates are 15 percent in that study. But if you look
18 at, if you add to this 15 percent those who just lose
19 either language skills or social skills, it's another
20 26 percent. So the combined rate of losing either
21 skills or both skills in that study in particular is
22 41 percent.

23 But I think the other interests of including
24 this study -- and there are many more -- is that they
25 again looked at whether or not this regressive form of

DR. FOMBONNE, MD - DIRECT

3672

1 autism has distinctive characteristics as a phenotype
2 which might merit that it would be treated
3 differently.

4 The way we validate syndromes again or
5 phenotype syndromes in psychiatry in particular is
6 that we define clusters of behaviors. But in order
7 for these clusters of behaviors to be meaningfully
8 different, we need to look at evidence of correlates
9 which are different. So they should be correlated to
10 different family history, correlated to different
11 biological marker. They should have a different
12 treatment response.

13 So we look at these indices to see whether
14 or not these are two different phenotypes, or whether
15 or not they are just variations of the same
16 phenotypes. And that study, alongside many other
17 studies, has again failed to document that the
18 phenotype of regressive autism is different than the
19 normal regressive phenotype.

20 So they looked at gi symptoms, seizure
21 history, sleep problems. And most of the clinical
22 characteristics, adaptive behaviors, language levels,
23 there were just a few borderline significant findings
24 in terms of, as found, by the way, by Dr. Lord, that
25 their communication skills were slightly lower than

DR. FOMBONNE, MD - DIRECT

3673

1 the normal regressive type. But otherwise, they
2 looked pretty much the same.

3 And the difference in terms of expressive
4 language levels of communicative behaviors were
5 significant, but not clinically very meaningful. Like
6 two or three points on the vineland, something which
7 is not regarded as -- and that's the way they compute
8 it.

9 Q Let's turn to slide 23. It discusses a
10 study that you did in 2001, and published in the
11 journal Pediatrics. It has to do with regression.
12 What was the goal of your study?

13 A The goal of the study was to look at the
14 MMR-induced putative phenotype. But the point of
15 showing this slide today and the next three slides is
16 to look at studies where we can assess trends over
17 time in the proportion of regressive autism. So I'm
18 not interested at all here in the actual level of
19 regressive autism, because it will vary from study to
20 study based on the definition and the tools which are
21 used.

22 But within each study, the definition has
23 been maintained constantly. That's what helps us to
24 assess whether or not it has increased or not.

25 Q And what did your study conclude?

DR. FOMBONNE, MD - DIRECT

3674

1 A In that study, you could see in that study
2 there was no difference over a period of about 20
3 years in the proportion of regressive autism, in
4 children who were assessed at the Maudsley Hospital
5 using a common instrument, which was the ADI.

6 Q And slide 24 refers to a study done by
7 Honda. That also looked at whether or not rates of
8 regression have increased over time.

9 And what were the results of that study?

10 A Again, you can see the proportion in the
11 gray shaded area, which are in the lower range, are
12 the proportion of regressive autism. And they
13 fluctuate in line with the overall numbers of the
14 cases of autism. And therefore, there is no evidence
15 that over that period of time, which is eight years,
16 there is a change in the proportion of regressive
17 autism in that particular study.

18 Q Slide 25 refers to a study done by Taylor in
19 2002. What did that study find with regard to rates
20 of regression?

21 A There was a study based on, I recall, 450
22 children with autism assessed in the northern part of
23 London in the UK. And the average rate of regression
24 was 25 percent, based on, I think, on a record review.
25 But the trend over time is non significant again. So

DR. FOMBONNE, MD - DIRECT

3675

1 there are fluctuations from year to year, but there
2 was no evidence for an increase.

3 Q Slide 26 refers to another study that you
4 did.

5 A Yes.

6 Q Looking at rates of regression. And what
7 did you find in your study?

8 A That was the validation study that we
9 published based on our GPRD case control study of
10 autism and MMR. So we have looked at records on I
11 think it's what, 300 or more children, no, 178. And
12 we rated regression in that study. And the only line
13 which is important is that which starts with
14 regression. And by different periods, you can see
15 that in that record review, the rates of regression
16 fluctuate between 7.6 percent to 31.7 percent, and the
17 trend is absolutely non significant.

18 Q And finally, you include on slide 27 a CDC
19 survey in 2002 speaking to the rates of regression.
20 What did that survey find?

21 A So that's going back to the slide I
22 presented before of the CDC, with the little orange
23 squares. So the orange squares here document the
24 proportion of regressive autism in each of the sites
25 of the CDC studies.

DR. FOMBONNE, MD - DIRECT

3676

1 So for instance, in Utah you have 31.6
2 percent of the autism sample in Utah who had a
3 regressive course. So that's the regression state by
4 state, as reported in the CDC study, in the official
5 report, Table 6 can guide you. Then I was interested
6 to look at what do we know about immunization rates in
7 the U.S., to see if there is a relationship between
8 regression and immunization coverage, that we should
9 probably detect it with that particular study, which
10 has a huge sample size, and over 2,000 children with
11 autism.

12 So as you can see, the rates of regression
13 fluctuate. And I looked, these children were born in
14 1994. And the CDC performs regular surveys of
15 children aged 19 months to 35 months, where they
16 looked at how many children, state by state, are
17 covered by which kind of set of immunizations.

18 And here I just took one finding, which is
19 complete vaccine coverage in children aged 19 to 35
20 months, surveyed in 1996, because that's the year,
21 more or less, which covers the children born in 1994.
22 And these are the rates for those children who have a
23 full complement of immunization; therefore, between
24 '94 and '96, so high doses of thimerosal. And so they
25 have four DPT dose, three polio, one measles-containing

DR. FOMBONNE, MD - DIRECT

3677

1 vaccines, three Hib, and three Hep-B. So they had the
2 full complement.

3 And if you look at the relationship between
4 immunization coverage with this complete set of
5 immunizations and the reported rate of regression,
6 this is an ecological comparison. So we should be
7 looking at its limitation as it is. But there is
8 clearly no relationship between the two.

9 So if you look at the Utah, for instance,
10 which is the state which has the highest rate of
11 regression, it has also the lowest, one of the lowest
12 rates of complete immunization coverage.

13 The next state, which is West Virginia, has
14 a low immunization coverage, and a lower rate of
15 regression. If you look at states which have high
16 coverage, like South Carolina, the rate of regression
17 is actually under 20 percent. So as you can see
18 visually there is no relationship between the two, and
19 if you actually did a statistical analysis -- which is
20 simple, looking at the nonparametric correlation
21 between these two rates. And there is no significant
22 relationship, of course. But you can visually assess
23 and appreciate it.

24 Q Doctor, I'd like to turn briefly to the
25 testimony and report presented by Dr. Sander

DR. FOMBONNE, MD - DIRECT

3678

1 Greenland. Were you present for his testimony back at
2 the start of this litigation?

3 A I was.

4 Q You heard him testify?

5 A Yes, I did.

6 Q And have you read his report that he filed
7 in this case?

8 A Yes.

9 Q What did you understand to be his principal
10 argument in this litigation?

11 A Well, there are several aspects to his
12 argument. Let's deal with the simple aspect.

13 The argument is a statistical one. So he's
14 saying that you have done studies, they are all
15 negative. But you cannot hold out that there might
16 be, may be a subgroup, it might be very, very tiny,
17 which has a unique association with the risk exposure
18 that these studies have been examining.

19 And I have no problem with the calculations,
20 the rate on his calculation. Change them, and that's
21 fine. It's the kind of argument you can have for all
22 situations in medicine, where for instance if you have
23 a substance which has been used in randomized clinical
24 trials, in four trials which are all negative; show no
25 superiority of a placebo; you can always have someone

DR. FOMBONNE, MD - DIRECT

3679

1 who comes back and says, but have you tested the
2 substance in the subgroup which is characterized by
3 such height, or such particular profile. And no, we
4 didn't do it. So you cannot rule out that there is an
5 effect of this medication in that particular subgroup.
6 Yes, you can always say that when you have a range of
7 negative studies.

8 So the point is that we agree with that, we
9 can all agree with that. But if we are doing that in
10 medicine, we would be always doing studies searching
11 for putative, very rare phenotypes, and we just cannot
12 do that. Unless we have some preliminary evidence
13 that there might be such a subgroup.

14 Q On page 8 of his report, Dr. Greenland
15 states that it's been argued that MCV, which he refers
16 to as mercury-containing vaccines, may trigger
17 regressive autism in a susceptible subgroup of
18 children. And he cites the Blaxill 2004 article that
19 appeared in the journal, Medical Hypotheses as a
20 source of his information. Do you have an opinion as
21 to the source of this information?

22 A Yes. So if he was coming with a reasonable
23 argument, saying that there is some preliminary
24 evidence that this subgroup has a unique specific
25 association with thimerosal which is not found in

DR. FOMBONNE, MD - DIRECT

3680

1 other children with autism, then that would be
2 interesting.

3 The fact that it has not been studied, is
4 just reflecting the fact that this hypothesis have
5 been put forward like six months ago. So there is no
6 reason why investigators would have studied it before,
7 because there was actually no idea, even at the
8 beginning of data, to suggest that it should be
9 studied.

10 So I think you cannot blame the research
11 committee for having not done that, because there was
12 no hypothesis. And when he put forward his
13 hypothesis, which is a theoretical one, in his report,
14 the only reference he makes to the published
15 literature is an article by Blaxill, et al, in Medical
16 Hypotheses. Which is for him, I think, a bit risky,
17 because we know the quality, or lack of quality, of
18 this journal.

19 And in fact, I read his article for the
20 second time, and you find nowhere in this particular
21 article the idea that there is a clearly regressive
22 autism phenotype which is uniquely associated with
23 thimerosal-containing vaccines. All the article is
24 about the huge epidemic. It's an argument which is
25 about thimerosal vaccines increasing the rates of

DR. FOMBONNE, MD - DIRECT

3681

1 autism across the board, and there is absolutely no
2 demonstration that this subphenotype or this phenotype
3 is actually even argued for in that particular study.

4 Dr. Greenland, when he was asked during his
5 testimony to refer to medical evidence or biological
6 evidence, or any evidence, he said I don't know. He
7 had no studies to offer, no other references to offer.
8 So it's a no starter. It has never been put forward
9 before six months before.

10 And he says -- and that, I think, is an
11 important aspect of his statement -- that he keeps
12 saying it's a prespecified hypothesis, a prespecified
13 idea.

14 Q Does prespecified have a particular meaning
15 in epidemiology?

16 A Yes.

17 Q What does that mean in epidemiology?

18 A Exactly what I was trying to say. When we
19 do studies like, for instance, randomized clinical
20 trials, because we know the difficulties when we do a
21 study, the more we analyze the data, the more likely
22 we are to find spurious results. This is the
23 astrology example of Richard Peto, which is a
24 beautiful example.

25 So when you do a study and you have no,

DR. FOMBONNE, MD - DIRECT

3682

1 let's say you have no results, no association, no
2 effect of a medication, you can then look at various
3 subtypes or subgroups. So these are called post hoc
4 subgroup analyses. You go in your data. You first
5 assess your primary outcome that you have defined
6 before the data collection. And then if you find
7 nothing, you do subgroup analysis to see if there was
8 a subgroup.

9 But we know the dangers of doing that,
10 because the more you do that, the more you are likely
11 to report a positive finding which would be spurious.
12 Well known in statistics, well known in clinical
13 epidemiology, well known in observational epidemiology
14 as well.

15 There is one circumstance where these
16 subgroup analyses are actually more authoritative,
17 more accepted, is that if you have preliminary
18 evidence that a response to a treatment, for instance,
19 might be mitigated by a particular baseline
20 characteristic of the subjects. So you can say I'll
21 do a study of this drug against placebo; I'm going to
22 look at these outcomes. But then I will do a subgroup
23 analysis that I planned to do in advance.

24 It's a prespecified subgroup analysis.
25 Because I know from existing data, published

DR. FOMBONNE, MD - DIRECT

3683

1 knowledge, something which is already there
2 substantial, that maybe this subject will have these
3 characteristics might be actually different in terms
4 of the response.

5 So if you have a preliminary body of
6 knowledge which allows you to look at the subgroups
7 separately, then you have a prespecified subgroup
8 analysis. That's why you use that terminology as if
9 there was this body of knowledge or variable to
10 actually substantiate that this subgroup analysis, and
11 criticize the fact that it has not been done.

12 Q Did Dr. Greenland have this body of evidence
13 available to him when he used the term "prespecified"
14 to define what he calls clearly regressive autism?

15 A He clearly said he had no idea. He referred
16 to the other experts, and the other references cited
17 in his report, his medical hypothesis. Where there
18 was actually no reference to that particular
19 phenotype.

20 Q Speaking of the term "clearly regressive
21 autism," had you heard that term before this
22 litigation?

23 A No.

24 Q Does it appear anywhere in the literature
25 that you're familiar with?

DR. FOMBONNE, MD - DIRECT

3684

1 A No.

2 Q In fact, Dr. Greenland said in his testimony
3 that he's relying on you for his definition of clearly
4 regressive autism. Do you agree that there is such a
5 thing as a distinct phenotype known as clearly
6 regressive autism?

7 A No. I'm fully in agreement with what Dr.
8 Lord said before: the more we study regression, the
9 less clear it becomes. It can occur after normal
10 development. So I do not agree on this terminology.

11 And also, if he was, in all epidemiological
12 studies you are serious about a subgroup before you
13 actually define your subgroup, you must have a way to
14 define it, measure it. And he gave no indication of
15 how he could actually measure a clearly regressive
16 phenotype. And everybody in the field who knows what
17 we do will find it extremely difficult to measure it.

18 So if it's not measurable, it's not
19 investigatable.

20 Q Dr. Greenland also referred to the Werner
21 and Dawson article from 2005 as support for his term
22 "clearly regressive autism." Did he accurately
23 interpret that paper, Dr. Fombonne?

24 A No, I don't think so.

25 Q What does that paper say about a proposed

DR. FOMBONNE, MD - DIRECT

3685

1 clearly regressive autism?

2 A The paper documents that it's using video
3 analysis at 12 months of age and 24 months of age, of
4 groups of children with early onset autism, a group
5 who had regressed during the second year, and typical
6 children.

7 And the findings are that indeed, at 12
8 months of age the children who were regressive looked
9 more like the typical children on a range of
10 developmental indicators. And that in a way gives
11 some validity to this distinction.

12 On the other hand, although there are
13 controls that neurotypical, they are also different.
14 So he ignored one of the findings that the authors
15 cite, which is the fact that in terms of other
16 nonspecific behaviors called regulatory behaviors,
17 there were significant differences, even at 12 months,
18 between the regressive autistic children and the
19 typical controls. So this is not, he didn't pay
20 attention to this fact.

21 And then the conclusion that he drew, that
22 50 percent of children with autism might have this
23 regression or would have this clear regressive
24 phenotype is not supported by the discussion that the
25 authors offer, when they say it is possible that the

DR. FOMBONNE, MD - DIRECT

3686

1 infants with regression did have other types. And on
2 this interview, parents of children with regression
3 noted that their child had regulatory difficulties
4 before the onset of autism symptoms.

5 There is something else. They say later
6 that they cannot rule out the fact that the children
7 who regressed, let's say, at 18 months, in fact became
8 abnormal between 12 and 18 months of age. So I think
9 he overestimates or he misuses the findings.

10 Q So the authors of the Werner and Dawson
11 article even question whether or not there is indeed a
12 phenotype, or any kids who are typically developing.

13 A They conclude that there are some children
14 that regress in the second year of life, that we know,
15 which seemed like the children, normal children are
16 different from the early onset at 12 months of age.

17 But then they say we cannot know, because of
18 our methodology, what is the developmental trajectory
19 before they regress. They cannot affirm that at the
20 time when they regressed, they were entirely normal
21 still.

22 SPECIAL MASTER HASTINGS: Ms. Ricciardella,
23 can you identify for the record the reference list and
24 the page he was reading from?

25 MS. RICCIARDELLA: Certainly. We were

DR. FOMBONNE, MD - DIRECT

3687

1 referring to page, the Werner and Dawson article,
2 which I don't have. Do we know what the reference is?

3 SPECIAL MASTER VOWELL: It's down at the
4 bottom of the page, Petitioner's Master Reference List
5 0046.

6 MS. RICCIARDELLA: Okay, thank you. And
7 we're looking at page --

8 SPECIAL MASTER VOWELL: 6 of 7.

9 MS. RICCIARDELLA: Yes. And on the article
10 itself, it's pages 894 and 895. Thank you.

11 BY MS. RICCIARDELLA:

12 Q Now, Doctor, Dr. Greenland, during his oral
13 testimony in this case, he made comments about your
14 citation of the Webb study in your report. And the
15 Webb study has been filed as Respondent's Master List
16 506. Do you agree with Dr. Greenland's comments about
17 the Webb study?

18 A Yes and no. He mentioned that the sample
19 was small, with which I agree. This is not the issue.

20 The issue is that in that particular sample
21 of 28 boys, there were 11 who had the regressive
22 pattern, so if you calculate the proportion it is 39
23 percent, in line with what we just discussed. But the
24 critical information here, even though it's a small
25 sample, is that in the regressive subgroup compared to

DR. FOMBONNE, MD - DIRECT

3688

1 the early onset subgroup, they found that the
2 proportion of children who had macrocephaly by the end
3 of the first year was similar.

4 So you know, it's a very small study, I'm
5 not questioning that. But the point is that it's
6 another indication, which is consistent across
7 different studies, that if you look at correlates of
8 regressive autism, that you don't find differences in
9 terms of family history of the border autism
10 phenotype, in terms of macrocephaly occurring before
11 the first birthday. And then it's another argument to
12 not look at this phenotype as being distinct in terms
13 of its biological mechanisms and the rest.

14 And when he said that, I mean, I agree again
15 with the fact that the sample is small, this is what
16 we have, so we use what we have. But then he argued
17 during his testimony that even if there is
18 macrocephaly doesn't mean that thimerosal-containing
19 vaccines do not actually act as a double hit on these
20 children, and then precipitate autism.

21 So suddenly in his testimony, he was like
22 reintroducing the fact that it's not the clearly
23 regressive phenotype, but that it's thimerosal in
24 general that might actually precipitate autism. So
25 his theory changed in his argument in a way which I

DR. FOMBONNE, MD - DIRECT

3689

1 think is not acceptable.

2 Q Dr. Greenland also made comments about your
3 citation to the Richler study, the study that we heard
4 about from Dr. Lord this morning. Is he accurately
5 interpreting the Richler study, Doctor?

6 A No. I think what he said, and these words
7 may be not exact, but he said in the Richler study
8 there were 72 percent of children with regressive
9 autism who had previous abnormalities. And then he
10 concluded that shows that there are 28 percent who
11 were normal before.

12 This is a leap. He cannot conclude that.
13 What it shows is that in 28 percent of children who
14 have regression, we could not document in that
15 particular study with the too is that we have that
16 their development was clearly abnormal before the
17 regression.

18 And as you heard from Dr. Lord, it was more,
19 better instrumentation, better retrospective
20 assessment, or even prospective assessment of
21 children, the proportion is likely to go up from 72
22 percent to close to 100 percent, according to Dr.
23 Lord.

24 So I think in no way this study shows that
25 there is 28 percent who really are clearly regressive.

DR. FOMBONNE, MD - DIRECT

3690

1 Not at all. It's just that we are limited in the
2 sensitivity of our techniques to assess previous
3 normal development.

4 Q Dr. Greenland criticized your discussion of
5 the Lainhart study, which is Petitioner's Master List
6 91. Do you have any comments with regard to his
7 criticisms of your discussion of the Lainhart study?

8 A Yes. The Lainhart study is again another
9 way to look at whether or not there is a distinction
10 that could be drawn based on family history between
11 regressive autism and nonregressive autism. So that
12 if, again, the idea is if there is less genetic
13 determination or more environmental mediation in the
14 regressive phenotype, we should find lower rates of
15 familial loading of autism broad phenotype in the
16 regressive phenotype. So that was something that they
17 did.

18 The proportion that they report in their
19 study is 23 percent of -- no, sorry. The rate of the
20 broader autism phenotype is 33 percent in early onset
21 autism, and 28 percent in regressive autism.

22 I referred to this finding as showing that
23 it is comparable. And he said well, I find that
24 actually lower in the regressive autism, and I find
25 his conclusion to be really a far stretch, because if

DR. FOMBONNE, MD - DIRECT

3691

1 you actually perform a statistical test between these
2 two proportions, they are absolutely not significantly
3 different. Actually, the P value on the Fisher exact
4 test is .78. So it's not even .10 or .07.

5 So the fact that he said well, I see a
6 trend, I think goes against all his reasoning about
7 the confidence intervals. It's true, the sample size
8 is not great. But in that study, again, it shows that
9 a similarity of proportions in the two groups, in
10 which he certainly would not suggest that there is a
11 major difference which has been missed.

12 Q Now has Dr. Greenland ever addressed the
13 criticisms that you raised in your report about his
14 argument?

15 A No. In my report I criticized his analogy
16 with, when he says cancer is a broad category of
17 disease, and in which we have types, like skin cancer
18 and lung cancer.

19 And I said no, the analogy between skin and
20 lung cancer, and regressive and nonregressive autism,
21 doesn't hold true. Because again, skin cancer and
22 lung cancer, they are cancers, but they are completely
23 different in terms of the symptomatology, the age of
24 onset, the epidemiology, the risk factors, the
25 treatment, the outcomes. You can take any kind of

DR. FOMBONNE, MD - DIRECT

3692

1 indicator; these are different diseases.

2 Whereas we don't have this evidence in
3 regressive versus nonregressive autism. And in fact,
4 we don't even know how to really secure a robust
5 definition of the phenotype. And when we have looked
6 at the differences, we don't find any differences.

7 And what I suggested is that in fact these
8 are two different developmental trajectories,
9 different modes of onset of the same condition.
10 That's how most experts in the field would
11 characterize or would look at regression today. It's
12 just the onset is different. And the onset is
13 different in lung cancer. I took this analogy in my
14 report, where you can suddenly have lung cancer
15 because you have suddenly a hemmorage. And then you
16 bleed. And you were fine before, but then you
17 discovered the lung cancer. That's rapid onset
18 regression, if you wish. As opposed to the
19 progressive deterioration -- fatigue, loss of weight -
20 - which would be more like the early onset.

21 So these two different onsets exist in most
22 medical disease. But we do not see these different
23 types of onset or features of onset as characteristics
24 of the disease which allow us to treat them as
25 separate disease categories. This is the fallacious

DR. FOMBONNE, MD - DIRECT

3693

1 argument.

2 Q Now Doctor, I'd like to talk now about your
3 review of the records pertaining to the two children
4 involved in this litigation. I'd first like to talk
5 about Jordan King.

6 A Yes.

7 Q Did you review the medical records of Jordan
8 King that have been filed in this case?

9 A Yes.

10 Q Did you review the videotape of Jordan King
11 that was filed in this litigation?

12 A Yes.

13 Q Did you listen to the testimony of Mylinda
14 King, Jordan's mother, in this litigation?

15 A Yes, I did.

16 Q In your opinion, Doctor, did Jordan's
17 receipt of thimerosal-containing vaccines cause or
18 contribute to his autism?

19 A No.

20 Q Do you agree with the diagnosis of autism in
21 this case?

22 A Yes.

23 Q Is there anything different or unique about
24 Jordan's autism than you encounter in children in your
25 own clinical practice?

DR. FOMBONNE, MD - DIRECT

3694

1 A No.

2 Q From your review of the evidence, would you
3 characterize Jordan as having what Dr. Greenland terms
4 "clearly regressive autism?"

5 A No. I think when I reviewed his medical
6 record, and when I heard the testimony of his mother
7 the other day, I think I would not disagree with the
8 fact that this child has probably experienced a loss
9 of skills, as we often see.

10 How we date that loss of skills is very
11 difficult. As you know, there are some
12 inconsistencies in the report which I had actually
13 indicated. But if we take the mother indicated the
14 other day that he was using a few words by age 12
15 months, I think she gave example of "shoe," "juice,"
16 as I recall, a few words. He didn't really have more
17 than these few words.

18 And then he lost these words at around 18
19 months of age, if I recall correctly. That's when she
20 dates the regression or the loss of skills. And it's
21 both a loss of skills in terms of he didn't use these
22 words any more, but also new symptoms occurred in the
23 social domain. And also I think he was tip toe
24 walking, so we can agree that there is a kind of
25 change and loss of skills at around that age. And I

DR. FOMBONNE, MD - DIRECT

3695

1 would not argue really what is the exact date, because
2 it's actually very hard.

3 But if that child was actually using five
4 words or more at age 12 months, there has been clearly
5 no progression. The mother was not saying, nor in the
6 record does it appear that this child after having
7 initially spoken a few words progressed in his
8 language development. That's the kind of thing that I
9 think we, Dr. Lord explained very well, that we see
10 sometimes skills which emerge, and then there is a
11 plateauing of these skills which then can be followed
12 by the loss of skills. And it's very clear to me that
13 -- clear, I mean as far as the recorded evidence can
14 suggest. That the language did not progress most
15 likely normally between 12 months and 18 months of
16 age, which is the date of loss of skill that we can
17 record.

18 So I think it's likely that the development
19 was not entirely normal before that loss of skills.
20 But it's hard to be, it's hard to be definite about
21 these issues, because it's all based on retrospective
22 assessment. And when you look at the records, just
23 the records which are prospective recalling, even of
24 parental reports they do show a high number of
25 inconsistencies in terms of the dates. And that's

DR. FOMBONNE, MD - DIRECT

3696

1 something that we know well.

2 Q And speaking of the records, are the
3 pediatric records an accurate and reliable measure of
4 normal development the first 12 to 15 months of life?
5 Not just in Jordan, but in all children who are later
6 diagnosed with autism.

7 A No, it's not a tool that you would use to
8 detect. It depends, I think we should characterize
9 what is in the records, what we all mostly find is
10 that the records are empty, up to a point where it
11 seems to seem very significant.

12 So if they miss a lot of the early symptoms
13 in their examinations, and they are not documented
14 well in the record. However, when there is a
15 documentation of symptoms in the record, then usually
16 it's a valid observation. It's not sensitive.
17 Specific, but not sensitive.

18 Q Are pediatricians adept at recognizing
19 subtle signs of autism during the first 12 months of
20 life?

21 A No, I think they are not. I mean, the first
22 12 months of life, it's actually very difficult for
23 everyone. There are new guidelines which have been
24 offered by the American Academy of Pediatrics last
25 fall to really promote systematic detection of

DR. FOMBONNE, MD - DIRECT

3697

1 autistic symptoms in young children by pediatricians.
2 So I think it's coming.

3 But at this point in time, in most areas
4 which I know, there is still a lack of expertise by
5 general practitioners, family doctors and
6 pediatricians, to detect autism. And that's why we
7 have this unfortunate lag in most studies between
8 parents becoming aware of the symptoms or that
9 something is not right in their child, usually at 18
10 months of age or around that age. And then there is a
11 delay before the child is referred and then diagnosed,
12 which is too long. And then we are aiming at reducing
13 by our education.

14 Q Doctor, in your report you state that it's
15 impossible to draw any conclusions about the efficacy
16 of the various supplements and treatments that Jordan,
17 that comprised Jordan's treatment program. Can you
18 please explain what you mean by that?

19 A Well, when the diagnosis was made,
20 understandably -- and that's what I see in my practice
21 all the time -- parents are looking for interventions.
22 And they usually do engage simultaneously in different
23 types of interventions.

24 So in the case of Jordan King, I don't find
25 in front of me the exact -- I think he started to do

DR. FOMBONNE, MD - DIRECT

3698

1 speech therapy, and there was a form of applied
2 behavioral analysis, which has a behavior intervention
3 which was put in place. And at the same time, some
4 more biomedical treatment of the diet or other kinds
5 of supplementations were implemented.

6 So it's a situation where you have multiple
7 treatments which are initiated by different people,
8 who often do not talk to each other, often. And when
9 there is a change in the child, it's absolutely
10 impossible to ascribe the change in that child to any
11 particular treatment intervention, because you cannot
12 disentangle the effect of one, as opposed to the
13 effect of the other, and you cannot disentangle the
14 effects of intervention from the effect of natural
15 history. Because some of these children do progress
16 naturally, even in the absence of intervention.

17 So I think we cannot really, based on this
18 treatment record, draw any causal inferences about
19 which did what to his outcome.

20 Q Now I'd like to turn to the case of William
21 Mead.

22 SPECIAL MASTER HASTINGS: Ms. Ricciardella,
23 before we leave the Jordan King case, let me just ask
24 one question about the last answer of Dr. Fombonne.

25 You described there generally, Doctor, how,

DR. FOMBONNE, MD - DIRECT

3699

1 when there's a lot of different treatments going on at
2 the same time, one can't draw any causal inferences
3 from any improvement or a lack thereof.

4 Now, is that true of Jordan's specific case,
5 that he had a lot of --

6 THE WITNESS: Yes.

7 SPECIAL MASTER HASTINGS: Are you saying
8 that applies to Jordan's individual case? He had a
9 number of --

10 THE WITNESS: Yes, yes. I'm saying that
11 about him as a specific child.

12 SPECIAL MASTER HASTINGS: Okay. Thank you,
13 Ms. Ricciardella.

14 MS. RICCIARDELLA: Certainly. I'd like to
15 turn to William Mead.

16 BY MS. RICCIARDELLA:

17 Q Same questions. Did you review the medical
18 records of William Mead that have been filed in this
19 case?

20 A Yes.

21 Q Did you review the videotape of William Mead
22 that was filed?

23 A Yes.

24 Q Did you listen to the testimony of George
25 Mead, William's father, in this litigation?

DR. FOMBONNE, MD - DIRECT

3700

1 A Yes, I did.

2 Q In your opinion, did William's receipt of
3 thimerosal-containing vaccines cause or contribute to
4 his autism?

5 A No.

6 Q Do you agree with the diagnosis of autism in
7 this case?

8 A Yes, yes.

9 Q Is there anything unique or different about
10 William's autism than what you encounter in your
11 clinical practice?

12 A No. He's one of the child that I see often
13 in my practice. And I was pleased to hear from his
14 father that there were progresses made by William.
15 And although his language is still not functional, as
16 the father put it, it's still progressing very well.
17 So it was nice to hear.

18 Q And from your review of the record and the
19 other evidence in this case, could you characterize
20 that William has clearly regressive autism? Again, as
21 defined by Petitioner's experts.

22 A No, I cannot say that. Again, pretty much
23 like for the other child, I would agree that there is
24 a pattern of loss of skills, which is credible in this
25 case, particularly in terms of his language. But I

DR. FOMBONNE, MD - DIRECT

3701

1 found it very difficult to document exactly the timing
2 of regression, and to assess what happened before the
3 regression occurred. I think I -- okay, yes.

4 Q Go ahead.

5 A No, I was thinking back to Jordan. I'll
6 come back to it later.

7 Q Now, Dr. Mumper testified that when William
8 was treated for a chronic condition caused by mercury
9 by way of chelation, he improved. And therefore, she
10 concludes that thimerosal-containing vaccines are a
11 possible environmental factor that must be included on
12 William's differential diagnosis. Do you agree with
13 that line of thinking?

14 A There are multiple aspects to your question.
15 The line of thinking, do I agree with it. I think
16 again it's a situation where when you even listen to
17 the testimony of Mr. George Mead last time, it was
18 clear that when he was diagnosed, the parents, as
19 usual, looked for immediate treatments and
20 intervention.

21 They embarked immediately in the gluten-
22 free casein-free diet, while at the same time there
23 was also behavioral intervention which was started,
24 and different supplements and different interventions
25 were provided to William in sequences which again do

DR. FOMBONNE, MD - DIRECT

3702

1 not allow us to draw meaningful causal inferences
2 about what changed in that boy, and what does what.

3 And in particular, I would say that if you
4 look at the treatment by Dr. Green, there are notes
5 about William where he says progress, progress,
6 progress, progress, progress. And then at the end
7 there is no progress.

8 So you really wonder how the treaters do
9 really assess change. So it's a question which I ask
10 myself in my practice. But we have tools that we can
11 sometimes use to evaluate the improvement as a
12 function of our intervention, but none of that was
13 really used in this particular case. So it's very
14 hard to make sense of the behavioral improvements, and
15 where they come from, and what was driving the change
16 of the treatment from session to session. I think
17 it's a mixture of different interventions which are
18 striking for the fact that most of them lack evidence
19 for their efficacy.

20 Q The treatment of chelation, Dr. Mumper says
21 that she believes that it, William improved by virtue
22 of chelation; therefore, thimerosal in vaccines must
23 be included as a potential environmental factor on his
24 differential diagnosis. Do you have any opinions with
25 regard to the efficacy of chelation?

DR. FOMBONNE, MD - DIRECT

3703

1 A No, there is no evidence for the efficacy of
2 chelation therapy at all which is published. There is
3 no reason why you're actually even anecdotally
4 embarking on chelation therapy as a professional.
5 It's not part of any guidelines to treat autistic
6 children by professional bodies.

7 Q Dr. Mumper also testified that William
8 benefitted from secretin as part of his treatment for,
9 specifically for pancreatic enzymes. And she
10 testified that secretin has been shown to restore
11 neurodevelopment. Do you agree?

12 A No, I do not agree on that. And secretin
13 has been shown to actually have no efficacy in autism,
14 despite a huge enthusiasm for the compound in the mid-
15 nineties when this compound was put to a critical test
16 using the method that we use in medicine to look at
17 efficacy of intervention, which is the randomized
18 clinical trials.

19 Three separate randomized clinical trials
20 showed all that secretin did not differ from placebo
21 in terms of efficacy. So I think we have actually
22 evidence for secretin that we don't have for chelation
23 therapy, but evidence that it doesn't work.

24 So the anecdote that Dr. Buie giving a
25 secretin injection was followed by an improvement in

DR. FOMBONNE, MD - DIRECT

3704

1 William, it's an anecdote. I am not disputing that
2 observation; I'm simply observing that if, as Mr. Mead
3 said, it was actually one of the times that William
4 was actually more, I don't recall the adjective that
5 he used, but he said more present or something like
6 that. If that was the case, why it was not pursued as
7 a treatment.

8 So I think these are part of the difficult
9 aspects of the parents who have children with autism.
10 They try to do multiple things, and we understand why.
11 When you do things, you often observe things which
12 follows and you make correlations or connections that
13 will not be sustained or observed if you have a
14 rigorous experiment.

15 Q And is your opinion with regard to the
16 various treatments that comprised William's program
17 the same as it was for Jordan King, about having a
18 hard time picking out one as being efficacious?

19 A Yes.

20 Q Does it apply to William, as well?

21 A Yes.

22 MS. RICCIARDELLA: I have no further
23 questions. Thank you.

24 SPECIAL MASTER VOWELL: Well, given the
25 timeframe, it would be an appropriate time to take a

DR. FOMBONNE, MD - DIRECT

3705

1 lunch recess. So why don't we reconvene at five to?

2 (Whereupon, at 12:55 p.m., the hearing in
3 the above-entitled matter was recessed, to reconvene
4 at 1:55 p.m. this same day, Wednesday, May 28, 2008.)

5 //

6 //

7 //

8 //

9 //

10 //

11 //

12 //

13 //

14 //

15 //

16 //

17 //

18 //

19 //

20 //

21 //

22 //

23 //

24 //

25 //

DR. FOMBONNE, MD - CROSS

3707

1 Q We'll put it up on the screen. It's page
2 25.

3 A Yes.

4 Q And if you blow up the first half of the
5 paragraph, Scott, or highlight it, it would be good.
6 Actually what I want you to highlight is the
7 conservative estimates sentence.

8 Now, Dr. Fombonne, in this paragraph you
9 provide a breakdown of the prevalence rates for four
10 different subtypes of pervasive development disorder,
11 or what we've been calling ASD in this trial, correct?

12 A Yes.

13 Q And you estimate that for autistic disorder
14 itself, it's 13 per 10,000; for PDDNOS, and that's
15 pervasive developmental disorder not otherwise, what's
16 the S stand for?

17 A Otherwise specified.

18 Q Not otherwise specified. That's 20.8 per
19 10,000. For Asperger it is 2.6 per 10,000, and for
20 childhood disintegrative disorder, 0.2 per 10,000.

21 Now, those add up, you say, to a
22 conservative estimate of 36.6 per 10,000. But then
23 you go on to update that with more recent studies, and
24 what I want to ask -- and that's where you come up
25 with your, on slide 7, your 66 per 10,000. That's a

DR. FOMBONNE, MD - CROSS

3708

1 fair summary of what your views are?

2 A What's the question exactly?

3 Q The question is --

4 A Oh, on this slide, yes.

5 Q -- when you say six recent epidemiological
6 surveys yielded higher rates, in the 60- to 70-per-
7 10,000 range, you provided a slide that said it was
8 66.

9 A Yes.

10 Q That's your current best estimate of the
11 current prevalence, right?

12 A Yes, 66, 70, 65. I used in that slide the
13 estimate from the CDC because it's relevant to the
14 U.S. and it's actually consistent with most recent
15 surveys. Or so I think it's a reasonable figure. I
16 don't think it has to be taken as an absolute truth.

17 Q Right. When you give decimal-point
18 precisions of 20.8 per 10,000, are you confident about
19 those decimal points?

20 A No.

21 Q No?

22 A I mean, you have to understand the method by
23 which I arrived at these estimates. These first very
24 conservative estimates are based on a review of all
25 published studies, of which I've looked at the most

DR. FOMBONNE, MD - CROSS

3709

1 recently published surveys over the last 15 years.
2 And I aggregated them to get average estimate of the
3 prevalence of each subtype of PDD. So it's a method
4 which is, you could criticize, and I'm not looking at
5 it as absolutely perfect. It was a starting point.

6 And this is really averaging studies, which
7 are very different in designs and methods, so I know
8 it's a kind of mixing a bit apples and oranges. So
9 that was what we had up to the late nineties. We had
10 studies which were very different.

11 Then the next statement is looking at
12 studies which have been published since about 2000,
13 where new methods were developed, and more precise
14 case finding methods were used across different
15 populations, more precise case definitions were used,
16 tools to match the case definitions were modern this
17 time. So there was a new generation of study, if you
18 want, which started in England, and also in the U.S.
19 And then now most studies which have used similar
20 kinds of methods are giving a range of estimates, but
21 the range which is the most attractive, if you wish,
22 is between 60 to 70 today.

23 I'm sorry, and the two CDC surveys, the
24 survey done on the children born in the U.S. in 1992
25 and the other survey on children born in 1994 were all

DR. FOMBONNE, MD - CROSS

3710

1 surveyed at age eight, provided within the U.S. two
2 highly consistent estimates of 66 and 67, I think, per
3 10,000. And then the calculations are using the CDC
4 estimate, because it's natural to do that.

5 Q Let me suggest that we -- I'm going to try
6 to ask questions that don't require really long
7 answers.

8 A I'm sorry.

9 Q And you know, if you need to explain
10 something, you will get a chance on redirect to do
11 that. But let me show you a slide I prepared, because
12 I want to now unpack this just a little bit with you.

13 Now, this is a slide that we prepared. And
14 this has your totals that we've already gone through
15 from paragraph 64 on the left side, that added up to
16 36.6; but in your report you don't give a breakdown of
17 the prevalence rates for the four subtypes. And I
18 wonder, do you have an estimate for those subtypes
19 within your overall number of 66?

20 A No, no. It depends which study you take.
21 But for instance, the CDC surveys have not separated
22 out children with autistic disorder and children with
23 PDDNOS, which both conditions fall in the bulk of the
24 cases.

25 So we cannot really, from these particular

DR. FOMBONNE, MD - CROSS

3711

1 surveys, derive estimates for autistic disorder or
2 PDDNOS. So that's one aspect.

3 Secondly, in other surveys where it has been
4 done, it seems that the results of studies are
5 consistent for the overall estimate of the prevalence
6 of the combined formal types of PDDs. But where
7 people draw the line between autism and PDDNOS seems
8 to be less reliable. So that would be more difficult
9 to do based on recent surveys.

10 Q Well, do you have an estimate of what would
11 go in those boxes? Or are you just saying you don't
12 know what would go in those boxes?

13 A I have estimates in my own study, but they,
14 in other studies they are different.

15 Q Do you think that the proportions that were
16 present in the earlier survey would stay roughly the
17 same?

18 A They tend to be, they tend to be more or
19 less like these in most studies, but not all of them.

20 Q Well, is there any one of those that you've
21 known has changed in proportion, from what it was in
22 the first number?

23 A No. CDD is still extremely rare. Autistic
24 disorder, probably the recent surveys would be 20, 22.
25 In most studies PDDNOS is more like 30, 34, 35.

DR. FOMBONNE, MD - CROSS

3712

1 Asperger is a kind of a very elusive phenotype, which
2 I think is unlikely to persist in the next
3 classification. And CDD is extremely rare.

4 Q All right. Well, we'll leave the question
5 marks there then for now.

6 Now, you believe that this estimate of 60 to
7 70 per 10,000 for the entire spectrum, that that rate
8 is true not just of the United States, but also of
9 Canada, right?

10 A That's the rate we had -- yes, in my survey
11 which I published two years ago, we had a rate of 65
12 per 10,000.

13 Q And also in Europe?

14 A I mean, there are new studies which are in
15 progress, which show rates which are sometimes higher,
16 sometimes slightly lower. And you have to look at the
17 methods used in each survey to interpret this
18 viability and estimates.

19 Q Do you have any reason to think that the
20 prevalence rate of the total spectrum of ASD is
21 different in Europe than it is in North America?

22 A No.

23 Q No. What about the rest of the world? Is
24 it roughly the same around the world?

25 A It's a difficult question to answer. But

DR. FOMBONNE, MD - CROSS

3713

1 from what we know, firstly we find autism in most
2 countries when it has been surveyed. There are now
3 rates in Japan which are very high. They were high
4 before, but there are new studies coming up which show
5 higher rates.

6 There are new studies in England showing
7 higher rates as well. So there are studies showing
8 higher rates, and others which show somewhat lower
9 rates in this range I gave. So it's going to, it's
10 likely to change as the, in the next five to 10 years.

11 The reason is that if you look at the slide
12 of the CDC, you know, you have this high rate, for
13 instance, in one percent in New Jersey. In Alabama
14 it's like a third of that.

15 Now, it's supposed to, on the average is 66,
16 okay. So the average is an average. So if the CDC
17 goes back in the field in 10 years from now, hopefully
18 in Alabama there will be more services, more
19 awareness, and the case finding in Alabama will be
20 more efficient, so it will not decrease in New Jersey.
21 So it's very likely that this average is likely to go
22 up not as a function of change in the incidence but
23 improvement in case ascertainment.

24 Q Well, do you have any current estimate of
25 what the true prevalence rate is then in the United

DR. FOMBONNE, MD - CROSS

3714

1 States? Not just what these imperfect studies have
2 shown so far. Do you think it's higher than 66 per
3 10,000?

4 A No, I don't think it is. I don't know.

5 Q Well, I thought you just explained that you
6 expect Alabama to come up, and New Jersey not to come
7 down. Won't that raise the overall prevalence rate
8 above 66?

9 A Yes. It will not be surprising that the,
10 again, within the methodology of the CDC in the
11 future, they would show higher average estimates for
12 the U.S. But how much higher, I don't know.

13 Q Okay. Now, do you think that this
14 prevalence of the entire spectrum has been the same
15 for the last 20 or 30 years in this country? No
16 significant change in the true prevalence rate?

17 A You have to explain to me what is a true
18 prevalence rate because when we do a survey, we have
19 an estimate, an estimation. That's what we found,
20 that's the estimate. The estimate is meant to tell us
21 something about the true barometer in the underlying
22 population. So the true barometer we never know. So
23 it depends on the bias and the precision which is
24 attached to our estimate.

25 Q I understand.

DR. FOMBONNE, MD - CROSS

3715

1 A Do I know the true prevalence rates now or
2 in the past? No, I never know. I rely on estimates.

3 Q But you do believe the true rate now is
4 probably higher than 66 per 10,000.

5 A It may be slightly higher, yes. It's
6 possible.

7 Q Well, do you think that it has increased in
8 the last 20 years? The true prevalence rate in the
9 United States?

10 A It's hard, you know, it's hard to evaluate
11 these questions. That's a question about trends over
12 time. So if you are asking the questions why current
13 estimates of PDDs seem to be higher than the rates
14 which were published 20 years ago, for instance in the
15 UCLA Utah survey --

16 Q I'm not asking you what the studies show,
17 because I know you think that those studies failed to
18 ascertain all the cases. And they didn't have the
19 same broad diagnostic criteria that we now use. So
20 they were more of an underestimate than than the one
21 today.

22 A Yes.

23 Q What I'm trying to get at is, is it your
24 concept of this disease that its prevalence rate has
25 essentially stayed unchanged? However difficult it is

DR. FOMBONNE, MD - CROSS

3716

1 to measure that, has the prevalence rate essentially
2 stayed unchanged for the last 20 or 30 years?

3 A I don't know. I always I think said in what
4 I write on these questions that one of the major
5 reasons for the increase in the prevalence estimates
6 which have to do with the broadening of the concepts,
7 the change in diagnostic criteria, improved awareness,
8 better case findings. So we know that all these
9 factors could account for a large proportion of the
10 increase, and maybe all the proportion. I know we
11 cannot really be sure about that.

12 But it's still an open question as to
13 whether or not what I would call the true incidence
14 rate in the population has actually also gone up to a
15 some extent. That we cannot rule out, or in, that
16 it's the case.

17 Q In your report you actually describe some,
18 what you claim are cases of autism from historical
19 examples, hundreds of years ago, right?

20 A Yes.

21 Q Do you think that the true prevalence rate
22 was the same several hundred years ago as it is today?

23 A I don't know. It's a very, it's very hard
24 to address this question. I have not done the
25 historical studies. There were probably many children

DR. FOMBONNE, MD - CROSS

3717

1 who were autistic, and not recognized as such.

2 And as in today's populations in developed
3 countries, there are many adults who are undiagnosed.
4 That's what we know. I run an adult clinic; I can
5 tell you that I am referred very regularly usually
6 high-functioning autistic individuals who have a
7 typical history of autism and have not been diagnosed.

8 So your question is a good question. It's
9 very hard to address it with data. So I don't know
10 what was the true prevalence.

11 Q Let me take you back through evolution. Has
12 there ever been any assessment of autism in primates?
13 I mean, is there any hint at all that primates other
14 than humans have ASD?

15 A I don't think it would be -- primates do not
16 have autism, so it would be difficult to evaluate
17 that.

18 Q Primates are subject to virtually all of our
19 other diseases, aren't they?

20 A I don't know that.

21 Q Okay. Then let's talk about the
22 relationship between prevalence and incidence.

23 If the prevalence rate is staying relatively
24 steady over time, does that mean that the incidence
25 rate needs to stay steady over time, also? In other

DR. FOMBONNE, MD - CROSS

3718

1 words, if you don't have a change in prevalence, can
2 you have an increase in incidence anyway?

3 A It depends on several factors, like
4 mortality, for instance. And this is a life-long
5 handicap, so you would expect that people who have the
6 disease stay in the population, and that the
7 prevalence stays the same.

8 Now, if they die from their disease, it
9 might, the prevalence might decrease as a function of
10 that, with age, for instance. Even with incidence
11 being constant. There is some evidence that mortality
12 rates are slightly increased in autism like twice or
13 three times.

14 But other than that, yes. If the prevalence
15 is stable, you would assume that there is a constant
16 incidence rate.

17 Q And if we confine ourselves to children
18 under age 20, as you have in slide 7, you give an
19 estimate of the number of U.S. children under age 20
20 who meet the ASD criteria. As each birth cohort
21 graduates to age 21, if the incidence rate is staying,
22 if the prevalence rate is staying the same, you would
23 expect that the new birth cohort coming in will have
24 the same incidence rate, right?

25 A The same prevalence or incidence?

DR. FOMBONNE, MD - CROSS

3719

1 Q If the prevalence rate of under 20 years old
2 in the, let's call them children under 20.

3 A Okay.

4 Q If that stage has stayed the same for the
5 last 10 or 20 years, wouldn't the incidence rate in
6 that group also have had to stay the same?

7 A Yes, probably.

8 Q Okay. And is the incidence rate also, then,
9 66 per 10,000?

10 A No, that's not the way you calculate the
11 incidence rate.

12 Q I can't hear you, I'm sorry.

13 A No, it's not the way you calculate an
14 incidence rate. You have to have different measures
15 to calculate incidence. It depends which kind of
16 incidence you are talking about. Incidence are
17 referred to person years as a denominator, so it's
18 more complex than that.

19 Q Well, let's talk about newly diagnosed
20 cases. If the prevalence rate in the 20-year-olds is
21 66 per 10,000, and then they all become 21, don't you,
22 in order to keep the prevalence rate the same in that
23 next year's group of under 20, you would have to have
24 just as many new diagnoses of autism in order to
25 replace the ones that just became 21, wouldn't you?

DR. FOMBONNE, MD - CROSS

3720

1 A You mean in the 20-year-old cohort?

2 Q Yes.

3 A Yes, yes.

4 Q Okay. Now, when you calculate that the
5 prevalence is one child in 150, are you counting the
6 one-year-olds and two-year-olds in that population?

7 A No. You don't have to. This is based on
8 the CDC surveys, which are only looking at children
9 aged eight. So it means that in children aged eight
10 today in the U.S., based on the study, one child, aged
11 eight, out of 150 has an ASD.

12 Q Okay. And you believe that the age-specific
13 prevalence rate at age eight has stayed relatively
14 steady for the last 20 years or so.

15 A Not the prevalence rate, no. Because it
16 has, again, there wasn't ascertainment in the past.
17 So if you look at age-specific, like an eight-year-
18 old, 20 years ago you would have a lower prevalence
19 rate.

20 Q As to whether or not there has been an
21 epidemic of ASD in this country over the last 20
22 years, it's your opinion that there is no good
23 evidence of that, right?

24 A No. There is, I think no one can really
25 affirm that there has been an epidemic in the sense of

DR. FOMBONNE, MD - CROSS

3721

1 an increasing incidence of autism or ASD. The
2 prevalence has increased, there is no doubt about
3 that. But it's reflecting the factors which I
4 described before, and we don't know if in addition to
5 these factors, which have to do with how we
6 conceptualize and diagnose the phenotype and how we
7 identify cases, we do not know if in addition to that,
8 there might be also the contribution of a real change
9 in the incidence of the condition. That's an
10 important question. It's an important question. But
11 there is no definite answer on that.

12 Q And I think Dr. Rutter agreed with you
13 yesterday. Let me try to see if I can say this
14 precisely for you.

15 You and Dr. Rutter seem to both believe that
16 there is no good evidence of any increase in
17 prevalence or incidence of the entire spectrum, but
18 you don't know whether there was an increase. You
19 just don't think there is any evidence for that. Is
20 that a fair summary of your view?

21 A Yes, except that I need to qualify what you
22 said. It's not about prevalence, it's about
23 incidence, okay? We all agree that there has been an
24 increase in the prevalence. The real question, I
25 think, behind the epidemic hypothesis is whether or

DR. FOMBONNE, MD - CROSS

3722

1 not there has been an increase in the incidence of the
2 disorder.

3 And for that, yes, we all agree that the
4 evidence, there is no positive evidence to support
5 that at this point in time. It doesn't mean that it's
6 not happening. We cannot rule that out. So it's an
7 important question which remains to be studied.

8 Q Now, we have heard some of the experts, even
9 for the defense, agree that there have been some cases
10 of autism probably induced by things like rubella
11 infections in Mama, by thalidomide given to pregnant
12 women; perhaps by terbutaline given to pregnant women.

13 Do you think that the number, the absolute
14 number of those cases that at least were purportedly
15 induced by these environmental factors, would they be
16 so small that they would not show up in any of the
17 measures, for instance, for prevalence that we have?

18 A Clearly, the risk attached to these
19 exposures is maybe high. In relation to thalidomide,
20 I think the risk ratios or odds ratios of 20 or 30
21 have been reported, or even higher than that.

22 But even if the strength of the association
23 is high, you have to factor in the prevalence of the
24 exposure. And because these exposures are extremely
25 rare, the proportion of cases which is attributable to

DR. FOMBONNE, MD - CROSS

3723

1 these rare exposures is extremely low.

2 Q Absolute number is very small.

3 A Yes. Another way to put it, if you take
4 1,000 children with a PDD diagnosis, it's only a
5 handful of them who would have had their autism
6 through these rare exposures. That's what we could
7 conclude.

8 Q And any increase caused by those small
9 numbers would be lost in the statistical noise of the
10 measurement of the overall prevalence, right?

11 A Probably.

12 Q Now, in 1997 you published a prevalence
13 study that I want to discuss with you just briefly.
14 This is RML-149. It's a DOJ Exhibit. I have a copy to
15 give you.

16 A Thank you.

17 (Pause.)

18 Q If we could put the title and the abstract
19 up. Now, this is a survey that you did. It says the
20 objective was to estimate the prevalence of autism.
21 And that was one of your objectives in this paper,
22 right?

23 A Yes.

24 Q And then in the results section of the
25 abstract, if you could highlight the sentence, Scott,

DR. FOMBONNE, MD - CROSS

3724

1 that says the prevalence rate was? That's all.

2 Now, when you did this prevalence study back
3 in 1997, when you counted all the pervasive
4 developmental disorders, you only got a prevalence of
5 16.3 per 10,000, right?

6 A Yes.

7 Q And that included all four of the categories
8 we talked about.

9 A No.

10 Q No? Which ones did you leave out?

11 A Yes and no, yes and no. You have to
12 understand the methods used in this survey. It was
13 based on children who were school-age basically, and
14 identified in their local educational authority as
15 having special needs. So that at the time in France,
16 and these children were born between 1976 and 1985.
17 So we are going back 30 years now in history.

18 And so these are children who are referred
19 usually by local psychiatric teams or schools, but
20 mostly psychiatric teams, to get support in the school
21 system. And at the time, awareness in France about
22 autism was extremely minimal, and there is still
23 actually I think --

24 Q Well, is it fair to say that when you did
25 this survey and published it, that you, because of

DR. FOMBONNE, MD - CROSS

3725

1 your limitations on methods, you greatly
2 underestimated the prevalence rate, didn't you?

3 A Probably, because there are many children
4 who were autistic, high-functioning with language, who
5 were not easily identified in our survey. So yes, it
6 would probably have been an underestimate of the true
7 population rate. But that's, most surveys, by
8 definition, provide underestimates of the true
9 population rate in that field of research, so it's not
10 a surprise. But it was still at the time an estimate
11 which was actually surprisingly high, considering the
12 context in France.

13 Q But don't you think that if you surveyed
14 that same group of kids, and had had DSM-IV and the
15 ascertainment awareness that we have today, you would
16 have gotten a much higher prevalence?

17 A Yes. Yes, absolutely.

18 Q Probably as high as 66 per 10,000.

19 A I don't know.

20 Q Now let's turn to your discussion of time
21 trends. You have a section of your paper -- I mean
22 your report, excuse me. I want to start with
23 paragraph 68 if we can of your report. That's on page
24 26.

25 You say the time trends and rates can only

DR. FOMBONNE, MD - CROSS

3726

1 be gauged in investigations that hold these parameters
2 under strict control. And I think by parameters,
3 you're talking about case definition and case
4 ascertainment, correct?

5 A Yes, correct.

6 Q Then you say, "This was achieved only in a
7 handful of studies." What studies are you talking
8 about in that sentence, the handful of studies?

9 A In writing that I had in mind the time trend
10 analysis that was published in the paper that you just
11 mentioned before. That was the first time that there
12 was an examination of time trends in the prevalence of
13 autism in the French surveys.

14 When I pooled together the results of
15 different surveys in birth cohorts from 1971 to 1985,
16 and I looked at trends to see if there was evidence of
17 an increase or not, it could be interpreted more
18 meaningfully because I pooled together three different
19 surveys which employed the same case definition and
20 the same method. So that's one of the studies which
21 could do that.

22 Q You said, you used the plural, though. I
23 just wondered what, aside from your own 1997 study,
24 what other studies are you talking about in this
25 sentence?

DR. FOMBONNE, MD - CROSS

3727

1 A Other studies than this one?

2 Q Yes. Well, you say there's a handful of
3 them. I assume you mean more than one.

4 A Okay, yes. Okay, let me go on.

5 Q Which ones are they?

6 A The studies that we've done in England with
7 my colleague, Chakrabarti, where we published first a
8 survey in 2001 in a given area of the Midlands in the
9 UK, on children born 1992 to 1995. And when that was
10 completed, we, because we had an opportunity to do a
11 repeat survey with the same approach in the same area,
12 so the methods were the same, the case assessment was
13 the same, we repeated a survey in children born in
14 subsequent years. And we found that the rates were
15 similar; there was no difference. So it was a small
16 time interval, but by holding the methods constant,
17 there was at least, within those years, no evidence
18 for an increase.

19 Q Were you looking at the full spectrum of all
20 four types of ASD?

21 A Yes, yes.

22 Q And what was the prevalence rate that you
23 found in those two time periods?

24 A If I recall, it was a 63.6 in the first
25 survey, and 59-point-something in the second one.

DR. FOMBONNE, MD - CROSS

3728

1 Q So more along the lines of what Dr. Rutter
2 called our modern numbers.

3 A Yes.

4 Q Right, okay. Now, the next sentence in the
5 same paragraph says, "In addition, factors such as
6 development of services and support systems for
7 children with autism," and we go to the next page,
8 "improved awareness by both professionals and
9 laypersons, decreasing age of diagnosis, availability
10 of information from the internet, parent support
11 groups, and the removal of the stigma, have all
12 contributed to the increasing rates of diagnosed ASD."
13 And you believe that to be true.

14 A Yes, I do.

15 Q In fact, you believe that those factors
16 explain the apparent increase in prevalence rates over
17 time.

18 A Contribute to the apparent increase, in a
19 significant way.

20 Q Is there any other factor that you're aware
21 of that contributes to the apparent increase in
22 prevalence that you haven't enumerated in this
23 paragraph?

24 A Let me see. Yes. I would think, for
25 instance, that change in the educational system, the

DR. FOMBONNE, MD - CROSS

3729

1 availability since the late eighties, early nineties
2 of behavioral interventions, the efficacy of which was
3 first demonstrated at that time, has changed
4 dramatically the likelihood that a child would earn a
5 diagnosis of ASD, as opposed to a language disorder,
6 or as opposed to mental retardation.

7 Q Now, you then say that, "A few approaches
8 have been employed to evaluate time trends and rates
9 of autism." And you give three categories: referral
10 statistics, comparison of prevalence studies, and
11 incidence studies.

12 Then I want to turn our attention to the
13 referral studies. You use as an example the
14 California Department of Developmental Services, don't
15 you?

16 A Yes.

17 Q And in the California Department of
18 Developmental Services statistics, there has been an
19 increase over time in the prevalence, or excuse me, in
20 the incidence of autism, right?

21 A Prevalence is okay.

22 Q What?

23 A Prevalence is fine.

24 Q Prevalence is fine?

25 A Yes, yes.

DR. FOMBONNE, MD - CROSS

3730

1 Q Okay. There has been an increase in
2 prevalence.

3 A Yes.

4 Q And you believe that that is a result of
5 these types of changes in sort of the social milieu,
6 not in the underlying disease.

7 A Yes. I mean, I assume that a large
8 proportion of that increase is due to these factors
9 which are listed, as opposed to an increase in the
10 incidence. And the demonstration of that, if you want
11 to look at the Schechter and Grether paper, which I
12 referred to this morning, where they show that -- I
13 think I would need to have the paper maybe.

14 Q If you give me the number, we could probably
15 put it up on the screen.

16 A But the idea is that in that database in
17 California today, the peak of prevalence --

18 Q What's the exhibit number on that, if you
19 could let me know? Okay. I can't read it.

20 (Discussion held off the record.)

21 Q This is Petitioner's Master Reference 432.

22 A So if you look at figure 1.

23 Q Yes? Figure 1 is on page 3 of the exhibit.

24 A Yes. And if you look at the highest
25 prevalence figure in that study, it is in the children

DR. FOMBONNE, MD - CROSS

3731

1 who are aged six. And in the text on the same page,
2 in the right-hand column, it says, in the middle
3 paragraph, the highest estimated prevalence at 4.5
4 cases per 1,000 live births was reached in 2006 for
5 children aged six years and born in 2000.

6 So it's just to illustrate the fact that in
7 the recent analysis of this DDS database, the highest
8 prevalence that they have is for children aged six.
9 And that prevalence is 45 per 10,000; i.e., lower than
10 the, what you would expect from the CDC population-
11 based surveys.

12 That's why these administrative databases
13 tend to underreport, and are not good tools to
14 estimate population prevalence.

15 Q Well, and it's not just that they
16 underreport. At any point in time, if you go back to
17 the earlier years, if you go back to, let's say,
18 what's the earliest time we have six-year-olds in
19 there? I guess 1992, right?

20 A I'm sorry, I can't see. No, you can --

21 Q The six-year-olds are the dark diamonds,
22 aren't they?

23 A Yes, they are. 1991. No, sorry, 1992,
24 you're right. Yes.

25 Q And what is the prevalence rate in those

DR. FOMBONNE, MD - CROSS

3732

1 years? What did they have in this database?

2 A It seems to be around 15.

3 Q And you believe that that's an even greater
4 underestimate of what the true rate was, right?

5 A Yes, yes.

6 Q Okay.

7 A Well, you just have to take current figures,
8 and then calibrate them against the CDC surveys. And
9 you see that these figures are lower in the
10 administrative database as compared to population
11 survey estimates. That's all that it means.

12 So even if it goes up again in this
13 particular birth cohort, it doesn't mean that the
14 incidence is increasing. It's more a catching-up type
15 of phenomenon.

16 Q Right. And if we go back to his report, on
17 page 28, at the end of paragraph 70 at the top there,
18 I just want to get the last sentence. You summarized
19 this point you've been making about the California DDS
20 system and other referral systems by saying that,
21 "Evidence from these referral statistics is very weak,
22 and it cannot be used to determine changes in the
23 incidence of the disorder." And that's your opinion,
24 right?

25 A Yes, in the incidence, certainly. But the

DR. FOMBONNE, MD - CROSS

3733

1 choice of terms is very precise here. It's to
2 evaluate changes in the incidence.

3 (Pause.)

4 Q If we now go to paragraph 82 of his report,
5 which is on page 32. You summarized your whole
6 discussion of these time-trend studies by saying that,
7 "The available epidemiological evidence does not
8 support the hypothesis that the incidence of autism
9 has increased, for reasons other than changes in
10 diagnostic practices and improved detection."

11 That is still your opinion, right? There's
12 no reason to think these trends are going up in time,
13 other than for those two reasons.

14 A Again, it's an hypothesis which cannot be
15 ruled out, and needs to be examined. But if you
16 review existing surveys, you cannot really demonstrate
17 that there has been an increase in the incidence.
18 That's what it means.

19 Q And at the bottom of this paragraph you say,
20 "Most of the existing epidemiological data are
21 inadequate to test properly hypotheses on changes in
22 the incidence of autism in human populations. The
23 studies that could more adequately control for
24 alternative explanations have failed to detect an
25 upper trend in rates of ASDs."

DR. FOMBONNE, MD - CROSS

3734

1 When you say the studies that could more
2 adequately control, you're referring to your studies?

3 A The handful of studies, yes.

4 Q The same handful.

5 A Yes, the same handful. It is because it is
6 striking that when you actually perform comparisons
7 over time, when you can actually maintain somewhat
8 constant the case definition, then the trend up that
9 you see usually disappears. So it's quite, it's quite
10 striking.

11 But it doesn't rule out, again, that there
12 might be a change in the incidence.

13 Q It's possible there's some increase in
14 incidence, but we just don't have the information to
15 tell us for sure.

16 A Yes, yes. Exactly.

17 Q If there has been an increase in incidence,
18 though, you think it's been pretty small, don't you?

19 A Yes. Probably. If there is such a
20 phenomenon, it does not account for most of the
21 increased numbers of diagnosed children. That must
22 account for some of a small proportion of it probably.

23 Q Well, now what I'd like to do is go to your
24 analysis of the studies on time trends and incidence
25 with respect to thimerosal-containing vaccines. Let's

DR. FOMBONNE, MD - CROSS

3735

1 start with the Schechter-Grether paper, the most
2 recent one.

3 (Discussion held off the record.)

4 Q You showed, in your slide --

5 SPECIAL MASTER HASTINGS: Can you identify
6 that in the reference list?

7 MR. WILLIAMS: Yes. This is, again,
8 Petitioners' Reference Master List 432. And we're
9 going to be discussing figure 3, which was also on his
10 slide 17.

11 Can you pull up the one in the paper, since
12 I don't have a copy of his slide to blow up?

13 BY MR. WILLIAMS:

14 Q Now, I thought you were suggesting that this
15 trend line provided evidence against the theory that
16 thimerosal-containing vaccines caused an increase in
17 incidence. Weren't you trying to do that?

18 A Yes. Could you repeat the question? Maybe
19 I didn't understand.

20 Q Yes. I thought, despite the fact that we've
21 just gone through that you said the California DDS
22 data are not a reliable indicator of changes in
23 incidence, I thought when you showed this slide you
24 were suggesting that this chart actually does provide
25 such evidence; that the incidence rate is increasing

DR. FOMBONNE, MD - CROSS

3736

1 for real, over here in this part where you have the
2 red line.

3 A Which is the red line? I don't have this
4 line. Oh, yes.

5 So the point is that if you look at the,
6 these are for children aged three to five, okay? And
7 you can see that quarter after quarter in this
8 dataset, there is a regular increase in the numbers we
9 are reporting, okay?

10 Q Right. But let's look at, let's start with
11 the back of this line, back in 1995, quarter one.
12 Where on your slide you have 0.6.

13 A Yes.

14 Q That 0.6 represents six per 10,000, right?

15 A Yes.

16 Q And you just finished telling us that six
17 per 10,000 is probably a tenfold underestimate of what
18 the real rate was.

19 A Yes.

20 Q So if the real rate -- and this chart only
21 goes up to, well, if it was really six, it would be
22 way up here on this part of your chart, wouldn't it?
23 It wouldn't be down at six per 10,000; it would be up
24 here at around 60 per 10,000.

25 A Well, I think the scale is per thousand.

DR. FOMBONNE, MD - CROSS

3737

1 Q Okay, per thousand. That's what, I'm
2 pointing at the six, the number six. Yes, there's a
3 red arrow there that my assistant has put.

4 Isn't that where you think the probable real
5 prevalence was in 1995 in California? At where that
6 red arrow is.

7 A Oh, I see what you mean. Your true
8 prevalence rate, right? That's what you're -- are you
9 trying to say that what I'm thinking is that it should
10 be six?

11 Q Yes. Didn't you just --

12 A Per thousand.

13 Q -- finish saying that you thought that the
14 early numbers in California in this referral database
15 were a gross underestimate of the real rate?

16 A Yes, probably.

17 Q And so probably it was around six or seven
18 per thousand then, right?

19 A I don't know that, but yes.

20 Q But that's the most probable, isn't it?

21 A Yes, probably.

22 Q And so then this trend line --

23 A I would like to actually qualify that,
24 because we are here talking about rates in three- to
25 five-year-olds, okay? So the rates of 60 to 70 from

DR. FOMBONNE, MD - CROSS

3738

1 the CDC applies to children who were aged eight, where
2 they have shown in their previous survey that it's the
3 age where ascertainment is better, and the prevalence
4 is probably better estimated in that age group.

5 So if you were to look at birth cohort
6 children age three or four or five, by definition the
7 rates, if you do a prevalence survey, the rate would
8 be lower than that, because of the age of diagnosis is
9 still like four or --

10 Q Okay. Well, if we know that in 2007, the
11 first quarter, the rate was just over four per 10,000,
12 right?

13 A In which --

14 Q This number, 4.1.

15 A Yes, yes.

16 Q And you think that the real background rate
17 has essentially stayed the same all this time, between
18 1995 and 2007.

19 A Probably.

20 Q Probably. So a real picture of this graph
21 would have essentially a straight line going across
22 from four or five over to here, wouldn't it? Like
23 Scott just put on the graph. Isn't that more probably
24 the reality in California?

25 A I don't know. That's an hypothesis, yes.

DR. FOMBONNE, MD - CROSS

3739

1 But we have to deal with what we see and what we can
2 estimate. Yes, theoretically you're right to say
3 that.

4 Q Well, let me ask it this way. Do you think
5 that the California referral database figure of 0.6
6 per 1,000, or six per 10,000, do you think that is a
7 reliable estimate of the true rate of autism in
8 California in 1995?

9 A No.

10 Q Well then, how can you offer it as evidence
11 in favor of your claim that thimerosal has nothing to
12 do with an increase in incidence?

13 A Because I think you are confounding two
14 things. One, your argument is about looking at what
15 is a real estimate; is it underestimation,
16 overestimation, what is the truth. That is about
17 estimating the prevalence rate in the population.

18 Now we are talking about trends. So if you
19 look at trends, you can look at factors which explain
20 trends even in a situation where you have
21 underascertainment, if the underascertainment remains
22 constant, of course.

23 Q But I also understood you to say just a few
24 minutes ago that the entire increase in this trend in
25 the California database could be explained by better

DR. FOMBONNE, MD - CROSS

3740

1 case ascertainment, and better diagnostics, or
2 broader diagnostics, right?

3 A Yes. Yes.

4 Q So if that's true, and the most probable
5 background rate is this red line, this graph doesn't
6 provide any evidence one way or the other about
7 thimerosal in vaccines, does it?

8 A Of course, yes, it does. You have a trend,
9 which is going up, which reflects in the DDS system
10 improved awareness, better referrals, improved access
11 to services. And that is the underlying trend which
12 is going up.

13 Now, if you have in disease causation, a
14 risk factor which disappears at one point in time, you
15 might keep your trend, but it should go down like
16 this. You should have a decrease when you save, you
17 know -- some cases of the disease do not appear any
18 longer because the exposure has been removed.

19 So what you should see is that, for you, is
20 that an increase like that, when thimerosal is
21 removed, you should see a decrease, there should be a
22 decrease, and then the trend can continue otherwise.
23 That's what you are testing for.

24 Q How big an effect would thimerosal have to
25 have to make an effect on this line?

DR. FOMBONNE, MD - CROSS

3741

1 A Well, it seems that it has no effect,
2 because the trend has not changed.

3 Q Yes, but there is statistical noise in that
4 line, isn't there?

5 A Yes, but it's pretty robust, because you
6 have multiple data points. And in fact the trend
7 continues, and actually accelerates slightly. So
8 there is, if there was a strong effect of thimerosal,
9 it should have been seen.

10 And even if it applies to only a proportion
11 of the cases of autism, it should be seen, if only
12 because if you look at the absolute numbers, in
13 California every year they add about 3,000 new cases.

14 So let's argue for the time being that
15 thimerosal accounts for half of the cases of autism.
16 Let's hypothesize, we'll hypothesize. So you should
17 certainly see the trend continuing, but you should
18 have certainly a decrease by 50 percent of your level.
19 The trend might continue to reflect other factors,
20 apparently.

21 Q But what if autism, what if thimerosal is
22 only inducing one third of the regressive cases? Say,
23 and be generous with how much regression is here,
24 let's pick the 20-percent number. If thimerosal is
25 only inducing one third of those regressive cases,

DR. FOMBONNE, MD - CROSS

3742

1 that would only be a six- or seven-percent difference.

2 Are you saying that this is still
3 statistically powerful enough to see that?

4 A Probably. You would see it. On 3,000 cases
5 it would be something like 200 cases less per year
6 that would be seen.

7 Q Okay. Well, let's look at another one of
8 the studies that you showed us. This one is the one
9 from Denmark by Madsen. This is Petitioner's
10 Reference 239.

11 MR. MATANOSKI: Just for housekeeping, I
12 know that when we referred to the Schechter Grether,
13 we had referred to it, it's apparently been submitted
14 by both. And I think it's Respondent's 439.

15 BY MR. WILLIAMS:

16 Q Now, this is another one of the studies that
17 you cited as support for the proposition that there's
18 strong evidence that thimerosal had no effect on the
19 rate of autism in Denmark. That's right, isn't it?
20 This is the one you cited?

21 A I don't know if I used the words "strong
22 evidence," but yes, it's another piece of the evidence
23 which is consistent and robust across studies.

24 Q Let me find -- what is your slide number for
25 this? No. 12?

DR. FOMBONNE, MD - CROSS

3743

1 A Twelve.

2 Q Okay. And Scott, in the paper that's on
3 page 2, is figure 1 I think, blow that up.

4 Now, the rates, the incidence we're talking
5 about here in this Madsen paper are not per 1,000;
6 these numbers are per 10,000 on the left-hand column,
7 right? The incidence per 10,000?

8 A Yes.

9 Q And from 1970 until about 1990, they have
10 the incidence rate around, what, .2 or .3 per 10,000?
11 Now, don't you think that in 1985 and '90 the true
12 rate of autism in Denmark was about 60 to 70 per
13 10,000?

14 A It was probably much higher than that, yes.

15 Q Much higher than that, okay. And that would
16 be on this chart, if we had this line reflecting the
17 true rate, say in 1985, we'd have to be up around the
18 ceiling. Because this is a scale of one, two, three,
19 four, five, and we're talking 60 or 70, right?

20 A Yes.

21 Q Do you think that these are reliable numbers
22 on which to rely for evidence of a change in trend in
23 incidence? These numbers back in 1985 and 1990?

24 A It depends to study what.

25 Q In order to look for changes in the trend.

DR. FOMBONNE, MD - CROSS

3744

1 A Yes. Well, again, it's not -- it's the same
2 question as before. Your trend, the prevalence or the
3 number of cases which are captured or identified over
4 a period of time can be an underestimate of the true
5 phenomenon. But still, within that, these
6 constraints, you can look at what risk factors are
7 associated with the disease.

8 So for instance, take gender. In the first
9 period of 1970 to 1990, you would still find that
10 there are three males for one female affected. So
11 that would be still a good estimate of the association
12 between gender and autism, despite the fact that the
13 number of cases identified is an underreflection.

14 Q So even though it's an underestimate by
15 about 99 percent, it's still reliable data on which to
16 base your conclusion?

17 A Well, you can certainly base conclusions,
18 for instance, in looking at, if you look at, as I said
19 this morning, the fact that the beginning of the
20 period, children aged two to nine were exposed to 200
21 micrograms of ethyl mercury in Danish vaccines. That
22 tells you something about the fact that there was no
23 clear increase in the incidence of autism due to these
24 high levels of thimerosal.

25 And when it's decreased 125 in around the

DR. FOMBONNE, MD - CROSS

3745

1 mid-seventies, there is no evidence that the rates are
2 decreasing, neither. And if you look at that in a
3 narrow way, when it's decreasing, or the exposure is
4 decreasing or is removed, as is the case in that
5 particular study, you expect to find a change. Under
6 a background of, underlying noise, as you said.

7 Q Let's look at the right-hand side of the
8 scale, after the new diagnostic criteria came into
9 place in '92 or '93 or '94, and after they added in
10 the inpatient data, I mean the outpatient data, as
11 well as the inpatient data.

12 What is the final estimated incidence rate
13 for 1999 in this study?

14 A In let's say 2000, for instance?

15 Q Yes, or 2000. It looks like the highest one
16 I see is about four, maybe to give you the benefit of
17 the doubt, five per 10,000, right?

18 A Uh-huh.

19 Q That's an underestimate by your numbers of
20 at least a factor of 11. And you're saying that
21 that's still, despite the fact that they only have got
22 five per 10,000 in 1990, that that's an accurate
23 enough number on which to say thimerosal had no
24 effect.

25 A I think you need to look at the

DR. FOMBONNE, MD - CROSS

3746

1 classification that they used, which is ICD-10, in
2 which they used in that particular study the code 84.0
3 and 84.1. Which in ICD-10 mean autism and atypical
4 autism. In ICD-10, that does not account for PPDNOS.

5 Q Okay. So it may be only an underestimate by
6 a factor of four or five.

7 A I don't know.

8 Q Well, what do you think the -- I thought you
9 said that you thought the present prevalence of autism
10 itself was around 20 or 25 per 10,000?

11 A In recent surveys, yes.

12 Q And in the year 2000 you said in Denmark,
13 it's probably even higher than that. I thought I
14 heard you say in Denmark it was higher --

15 A No.

16 Q -- than 66 per 10,000.

17 A No, I didn't say that. I don't think so.

18 Q You think it's the same?

19 A For all ASDs combined?

20 Q Yes. Well, let's confine it to autistic
21 disorder. What do you think the prevalence was in
22 Denmark in 2000 of the narrower category of autistic
23 disorder?

24 A Oh, I don't know. I can make educated
25 guesses.

DR. FOMBONNE, MD - CROSS

3747

1 Q Well, what do you think, what is your best
2 estimate?

3 A In 2000? I don't know, probably the
4 prevalence would have been 10, 15, per 10,000, in
5 their recording system, probably that kind of
6 findings. And you have to also look at age. It has
7 to be age-specific.

8 So I think in the Denmark data, if you look
9 at the Atladottir paper, there are actually, in a
10 given birth cohort, when the birth cohort ages even
11 beyond age 10, they keep accruing new cases in the
12 same birth cohorts. And it's unclear why, but it
13 seems that there are late diagnoses or late reporting
14 in the same birth cohorts.

15 So when you look at age 18, there are
16 figures actually getting closer to what you would
17 expect. I don't have an explanation for that. And
18 what I can also say, that in the recent studies in
19 Denmark show rates for ASDs which are like 62 in the
20 Atladottir paper, and there is a new paper coming out
21 which is showing a rate of PDD which is 80 per 10,000.

22 Q Eighty? Eight-zero?

23 A Eighty, eight-zero, yes. At age 18 or 15.
24 So they are -- and of course, this is under a
25 situation when there is no TCV vaccines.

DR. FOMBONNE, MD - CROSS

3748

1 Q Right. Now, we could do the same exercise
2 with the other negative studies, but I just want to
3 look at your Montreal study for a moment.

4 This is Petitioner's Master Reference List
5 40, four-zero. And you showed, I think, a figure out
6 of this paper in your slide. What slide number was
7 it? Maybe you didn't show the figure.

8 A No, I didn't show this.

9 Q Oh, yes, you didn't show the figure. Well,
10 let me show the figure, then. It's figure 2 on page 6
11 of this paper. If you could blow that up, Scott, the
12 whole figure 2. That's good.

13 Now, you've got prevalence rate per 10,000
14 on the left-hand column, right? I mean, the left-hand
15 scale is prevalence per 10,000.

16 A Yes.

17 Q And then you have grade years and years of
18 birth at the bottom, right?

19 A Yes.

20 Q And you have one prevalence rate, the lowest
21 one in the birth year '88, you have as low as 27.5.

22 Now, you're sort of, you know, the gold
23 standard for assessing prevalence of autism. But how
24 did you get such a low number, if the real rate is
25 about 60 or 70 per 10,000?

DR. FOMBONNE, MD - CROSS

3749

1 A These are children who were born in 1988.
2 It's very likely that a lot of them have been not
3 diagnosed, or maybe in different educational systems,
4 I don't know. But there is suddenly an
5 underascertainment in the earlier birth cohorts. And
6 what happened in Montreal is that expertise in the
7 diagnosis of autism awareness and services, both in
8 the educational system and in terms of community
9 providers for behavior interventions have only
10 developed in the last six or eight years.

11 So it's really recent. And then, of course,
12 more children are diagnosed in the younger age groups.
13 But it's clear that in the oldest age groups, they,
14 there was clear underascertained.

15 Q So if we wanted to have a reliable number
16 for the prevalence rate in grade 10 or year '88, we'd
17 have to change that from 25 to 65, wouldn't we?

18 A Yes, I suppose. It's one way to present it.

19 Q And then the highest rate you find is
20 almost, is 107.8 per 10,000.

21 A Uh-huh.

22 Q That's the highest figure I've seen in any
23 study so far. Are there higher ones than that
24 published?

25 A Yes.

DR. FOMBONNE, MD - CROSS

3750

1 Q How high have we gotten so far?

2 A It was one British study by Byrd, et al,
3 which has a rate of 1.16 percent. So 116 per 10,000.

4 Q For the full ASD spectrum.

5 A Yes.

6 Q Do you know what the breakdown was for the
7 four categories in that study?

8 A Not off the top of my head. I think the
9 rate for autistic disorder was 38, but I would have to
10 check. I don't recall.

11 Q Now, there's another figure above this one I
12 want to show briefly, figure 1 just immediately above
13 this on the same page. This seems to be presenting
14 the same data, because the point estimates are the
15 same numbers as in figure 2. But now you've given a
16 range for each point estimate. Is that some kind of
17 confidence interval?

18 A They are confidence intervals.

19 Q And if the point estimate of, say, the 1998
20 year is included within the confidence interval for
21 the 1997 year, don't you say that statistically those
22 are really the same number? They're not statistically
23 different?

24 A If you compare two data points only, yes.

25 Q Now, another question about this study.

DR. FOMBONNE, MD - CROSS

3751

1 You're comparing two populations of children here, as
2 I understand it. The children in which you have got
3 estimates of their thimerosal exposure came from one
4 population, and the children in which you've got
5 estimates of their autism rate came from a completely
6 different population. Right?

7 A Yes. Well, what are you talking about
8 exactly? Estimates of what?

9 Q I was asking for your estimates of autism,
10 of thimerosal dose. Your major thimerosal dose came
11 from one population.

12 A No.

13 Q No?

14 A No. No. On the screen you have estimates
15 of MMR coverage in that study. That came from a
16 series of surveys done in Quebec City, which was the
17 only reliable series of surveys of MMR coverage which
18 was consistent over time, the methods used that could
19 give us a sense of how well vaccinated were Quebec
20 children with MMR. So that is shown here, on the with
21 a slight decline over time in MMR uptake, based on
22 this Quebec series.

23 And you were right that this was done in
24 Quebec City, because it was the only public health
25 information that we had that could be used. And by

DR. FOMBONNE, MD - CROSS

3752

1 the way, it shows a downward trend, and last year
2 there was an outbreak of measles in Montreal, which
3 probably indicates that this trend was actually a
4 valid one.

5 Now, for what we are talking about today, we
6 are talking about thimerosal, this is not based on
7 estimates or surveys. It's based on the official
8 immunization schedule, which is, you know, enforced --
9 not enforced. It's decided by public health
10 authorities and pediatricians, it's a committee, so
11 it's all well organized. Vaccinations are given very
12 widely in Quebec.

13 But the estimates of the amount of
14 thimerosal was not based on a survey. It was based on
15 the regular immunization schedule of children in
16 Quebec.

17 Q Now, has anyone ever asked you to produce
18 your raw data for this study, for their examination?

19 A For --

20 Q Some outside investigator? Ask you for your
21 data?

22 A I think someone has asked for that, yes.

23 Q And you refused to produce it?

24 A Yes, because it was kind of a bizarre
25 request by a bizarre person.

DR. FOMBONNE, MD - CROSS

3753

1 Q Now, let's turn to your criticisms of the
2 Young, Geier study for a moment. And we'll use
3 Petitioner's Reference List 665. Let me pull it up
4 here. Do you have a copy of that with you? I can get
5 you one.

6 A No, I have it.

7 Q Here's a copy.

8 A I prefer my copy.

9 Q Oh, your copy has notes on it.

10 A I might have notes on it.

11 (Pause.)

12 Q Now, the first thing I wanted to call your
13 attention to is in the materials and methods section.
14 But first let me ask you, the journal in which this
15 was published, which you didn't put on your slide,
16 this is the official journal of the World Federation
17 of Neurologists associated with the World Health
18 Organization. Did you know that?

19 A No, I didn't know.

20 Q You didn't check that out?

21 A No.

22 Q And it was fully peer-reviewed? You do at
23 least admit that, don't you?

24 A Yes.

25 Q And in the materials and methods section, if

DR. FOMBONNE, MD - CROSS

3754

1 we highlight the first paragraph, Scott. Yes, blow it
2 up. It says that the study protocol employed was
3 approved by the U.S. Centers for Disease Control and
4 Prevention.

5 Did you know that the protocol had been
6 submitted to them for their review and comments?

7 A No.

8 Q You didn't?

9 A No.

10 Q Then after the CDC approved the protocol,
11 this protocol for the study had to be submitted to the
12 Institutional Review Board of Kaiser Northwest --
13 that's in Portland -- and the IRB of Kaiser Northern
14 California. You did know that, didn't you?

15 A Well, I read what is in the paper, but I
16 don't have access to these protocols, written
17 protocols, and the extent to which it was approved by
18 the CDC. I don't know what it means, so I would
19 reserve any opinion on that.

20 Q And, well, let me just ask you. Do you know
21 that one of the restrictions placed on access to this
22 data by the CDC was that the investigators were not
23 allowed to compare to total vaccines for any one
24 child?

25 In other words, they could look at a child's

DR. FOMBONNE, MD - CROSS

3755

1 DTP records, or they could look at a child's Hib
2 records, but they couldn't combine those files in any
3 way to do statistics on a single child's exposure.

4 Did you know that?

5 A No, I didn't know that.

6 Q Did you also know that they were denied any
7 access to data after the year 2000?

8 MR. MATANOSKI: I would just like to find
9 out what the basis for that last statement was. Was
10 it in the -- I just want to request clarification
11 about the basis for the facts of the last question.
12 Is it in the study?

13 MR. WILLIAMS: I think you'll get a chance
14 to deal with this later. I mean, if it becomes a
15 contested issue, we can deal with it.

16 MR. MATANOSKI: Well, this study, in terms
17 of the IRB approval, et cetera, has already been a
18 matter of litigation here. If the Court recalls,
19 there were some motions that were made, and some
20 indication during that that there was actually
21 violations of the protocol, violations of the approved
22 protocol by the IRB. That was part of the request
23 that was before this Court before.

24 MR. WILLIAMS: With all due respect, I think
25 this is for redirect or for argument, not for --

DR. FOMBONNE, MD - CROSS

3756

1 MR. MATANOSKI: Well, I can't redirect this
2 witness on something that he wouldn't have any
3 knowledge of. And that's why I was trying to find out
4 what the factual basis was for the last question, if
5 it's not in this study as reported.

6 MR. WILLIAMS: We could provide it. We can
7 get a letter from one of the investigators, as you
8 have gotten letters from your --

9 SPECIAL MASTER VOWELL: Again, Mr. Williams,
10 we've been through this before. Please address your
11 remarks to the Bench, not to one another.

12 Let's try that again.

13 MR. WILLIAMS: I believe that there is a
14 firm evidentiary basis for the questions I'm asking.
15 And we can provide that with a letter from Dr. Young
16 if need be.

17 SPECIAL MASTER VOWELL: Understand that his
18 answers are not going to be informative to the Court
19 without, whether he says yes or no, if we don't know
20 what the basis. You're asking him if he knows
21 something. If it's true, he can say no, and if it's
22 not true he can say no, he didn't know. He doesn't
23 tell us whether it's true or not.

24 So what I'm telling you is if you want us to
25 consider the limitations, if any, placed on these

DR. FOMBONNE, MD - CROSS

3757

1 investigators, then you're going to need to provide
2 that to us.

3 MR. WILLIAMS: We'll be glad to. But I did
4 want to know whether he knew about these restrictions
5 or not, since he was critiquing the paper.

6 SPECIAL MASTER VOWELL: And you can ask.

7 MR. WILLIAMS: Okay.

8 BY MR. WILLIAMS:

9 Q Second question. Did you know that the
10 investigators were denied access to any data after the
11 year 2000 in the Vaccine Safety Datalink?

12 A No.

13 Q And the imputation methods that they used
14 were required, were they not? If they didn't have
15 access to the further later diagnoses of these birth
16 cohorts, what other method could they use besides
17 imputation of estimates of diagnoses?

18 A They had a problem with the data. I think
19 they could not just do the study. And instead of
20 adding numbers which are completely invented, there
21 are other techniques that could have been used. Or
22 this would simply, do not perform this type of
23 analysis. It's dishonest to impute like 45 new cases
24 which are just invented to top up the prevalence in a
25 way which is supportive of their hypothesis. It's

DR. FOMBONNE, MD - CROSS

3758

1 clear that these investigators have a clear track
2 record to do with the data what supports their
3 hypothesis. And I've seen that in their previous
4 papers. And I think that is what they've done here.

5 I think it's, you know, it's unacceptable.
6 And the fact that this paper is published in this
7 journal doesn't surprise me, sadly, because the peer-
8 review process is not entirely perfect, as we all
9 know. And it's, of course, you would imagine that in
10 this editorial board, the expertise for dealing with
11 the epidemiological analysis of this type of data is
12 probably lacking. And it's unfortunate that it has
13 been published.

14 But I can tell you it would not have passed
15 any stage of reviewing in autism journals.

16 Q Now, you said they're dishonest. The
17 imputation is not hidden in this paper.

18 A No, I know.

19 Q So what is dishonest about the imputation?
20 If it's revealed in the methods, and can be tested by
21 other investigators.

22 A No, because it's impossible to check their
23 assumptions about age of diagnosis. We don't know how
24 they came up with these figures of 45 and 80. They
25 explain it, but not fully, so you cannot actually

DR. FOMBONNE, MD - CROSS

3759

1 check the accuracy of their adjustment methods.

2 And what is also dishonest is that the use
3 of the 1990 birth cohort, which is based on 0.6
4 percent of their sample, this is also something which
5 is maybe not dishonest, I don't know, because it's a
6 judgment which I make which I shouldn't probably make.
7 But it's actually incompetent.

8 Q Do you know that the datasets that they used
9 to analyze this, as well as their protocol, are fully
10 available to the Respondent here? And this can be
11 duplicated, checked very easily by Respondent's
12 experts. Did you know that?

13 A No.

14 Q Now, you referred to papers by the Geiers in
15 prior epidemiological studies they had published that
16 had been reviewed by the IOM committee in 2004.

17 A Correct.

18 Q Every one of those papers was using a
19 different database, wasn't it? It was using the VAERS
20 database, which is just a spontaneous reporting
21 database.

22 A Which is inappropriate to test vaccine
23 adverse events.

24 Q And no one here has been citing that or
25 relying on any of those studies. This in the Vaccine

DR. FOMBONNE, MD - CROSS

3760

1 Safety Datalink database, the same one Verstraeten
2 used. You agree that's a good database, don't you?

3 A Well, I don't know it intimately, but yes,
4 it's a database which is probably informative to look
5 at adverse effects in relation to vaccines and other
6 questions, if you use it properly. Which means that
7 you need to use the full opportunity that a cohort
8 gives you when you can.

9 If they were not able to do that for legal
10 reasons, I don't know. But it doesn't salvage their
11 study.

12 Q Let's turn to the topic of regressive
13 autism. I want to go to your report on paragraph 37.

14 (Pause.)

15 MR. WILLIAMS: If you could put -- do you
16 need another page number, Scott?

17 BY MR. WILLIAMS:

18 Q Now, this is where you discuss the Richler
19 paper. And I understood you to be writing paragraph
20 37 with the intent to push this idea, that true
21 regressive autism where there is no evidence of any
22 abnormality before the symptoms of autism develop, no
23 evidence of abnormal development until autism appears,
24 that that type of regression was very small compared
25 to all regressive autism. Isn't that what you're

DR. FOMBONNE, MD - CROSS

3761

1 trying to say here in paragraph 37?

2 A Not exactly. I was probably trying to --
3 this is kind of showing historical change in the field
4 about how we viewed regression. So initially I think
5 Dr. Lord stated that this morning, that regression of
6 loss of skills, which was a recognized phenomenon, was
7 often equated with the fact that development was
8 normal before. So there was no differentiation of
9 these two things: the loss of skills and what
10 happened before.

11 So there was an assumption that the
12 development was normal before the loss. And then this
13 paragraph states that in fact, increasingly, as we
14 have done studies of regression, this assumption has
15 proven to be challenged more and more, up to a study
16 like Richler et al. on a large sample size, which
17 indicates that in fact, when you look carefully at
18 these children who have regressive autism, in 72
19 percent of them you can actually document
20 abnormalities.

21 And the fact that there are 28 percent in
22 which you don't document this abnormality is not a
23 demonstration that 28 percent of these children have
24 normal development. It just simply reflects probably
25 the fact that in this particular study, with the tools

DR. FOMBONNE, MD - CROSS

3762

1 that we have which are based on retrospective parental
2 report, there were a group where there was no evidence
3 based on the questions which were used.

4 But the idea is that as we go along, and if
5 we can do, for instance, prospective studies of large
6 numbers of children that will ultimately lose skills,
7 it's pretty clear that an increasing proportion of
8 those who will lose skills would be documented to have
9 subtle abnormalities before their loss. And this
10 proportion could go up to 100 percent, I don't know.
11 But that's the trend.

12 Q In your own study of the MMR vaccine, you
13 used the definition you called definite regression.
14 You used, you had probable regression and definite
15 regression. Let's put that up. You had a slide about
16 this.

17 A Yes, yes.

18 (Pause.)

19 Q And your slide 23 I believe is out of the
20 paper that we're about to put on the screen. No, it's
21 not that one; it's the Fombonne and Chakrabarti. You
22 brought it out for me.

23 (Discussion held off the record.)

24 Q You cited this paper in your slide. Do you
25 have a copy of that paper with you? No? We have a

DR. FOMBONNE, MD - CROSS

3763

1 copy here somewhere.

2 Well, while we're looking for it, let me
3 tell you what I recall your definition was. As I
4 recall, your definition in your materials and methods
5 section of this paper was that definite regression was
6 defined as a measurable loss of at least one skill or
7 outcome, in one of the three domains of autism. In
8 other words, they either lost language, or they lost
9 social skills, or they lost the play factor.

10 You didn't require that they have lost two
11 or three, just one. Do you remember that?

12 A I don't. I have to look at the paper. But
13 the differentiation between definite and possible is
14 based on the ADI. So it's attached to a particular
15 operational definition, which are included in the ADI.
16 So maybe it's summarized well in the paper; maybe you
17 will have to have an ADI interview.

18 Q Can you find it over there?

19 A I have it.

20 SPECIAL MASTER VOWELL: Which one do we
21 think it is?

22 MR. WILLIAMS: Well, it's the one he cites
23 on his slide 23.

24 MR. MATANOSKI: RML-147.

25 SPECIAL MASTER VOWELL: Okay, that's the

DR. FOMBONNE, MD - CROSS

3764

1 Pediatric article.

2 MR. MATANOSKI: Yes, ma'am.

3 SPECIAL MASTER VOWELL: The "No Evidence For
4 A New Variant of Measles-Mumps-Rubella Induced
5 Autism"?

6 MR. MATANOSKI: That's correct, ma'am.

7 SPECIAL MASTER VOWELL: Okay. So we're
8 looking at RML-147. Yes, there we go.

9 MR. WILLIAMS: If you could put the
10 materials and methods sections up, where he defines
11 regressive autism. I think it's on page 3 or 4. The
12 next page, Scott, I think. Yes, there it is.
13 Definition and assessment of regression.

14 SPECIAL MASTER HASTINGS: Which page was
15 that?

16 MR. WILLIAMS: I can't tell from this.

17 MR. POWERS: Page 4 of the exhibit.

18 MR. WILLIAMS: Page 4 of the exhibit. And
19 it's the section of the paper entitled in bold,
20 "Definition and Assessment of Regression."

21 BY MR. WILLIAMS:

22 Q And I know you're reading it, Doctor. Why
23 don't you just tell us what definition you used for
24 definite regression?

25 A It's the definition which was in the ADI,

DR. FOMBONNE, MD - CROSS

3765

1 the diagnostic interview that we all use, which was
2 used at the time. There have been a few changes since
3 early 2000 in the overall section on regression.

4 At the time, to have definite regression you
5 needed to have demonstration of, for language for
6 instance, you needed to have at least to demonstrate
7 that the child had used, for at least three months, at
8 least five words other than mama and dada, which were
9 used spontaneously on a daily fashion to communicate.
10 Okay? So this, when you think of it, it was all the
11 emphasis I put is actually quite a stringent
12 criterion. The child needs to have at least five
13 words used daily to communicate for at least three
14 months. So it's a very stringent criterion.

15 Then when there is a loss of that, the
16 language had to be lost for at least three months. So
17 that was the way it was operationalized. And it was
18 at the time where I think people were trying to get a
19 common way to evaluate language loss in the course of
20 development of children with autism, whereas before
21 that there was no common rule or common tool. So that
22 was quite a stringent way to define it.

23 And based on that, the rates that we have
24 are somewhat on the low end, 15 percent in the recent
25 sample, 18 percent in the previous sample. Not

DR. FOMBONNE, MD - CROSS

3766

1 statistically different, but it was because of the use
2 of this rather stringent definition.

3 Q I must be misreading slide 23. Because it
4 looks to me like the definite regression is only about
5 eight percent, on slide 23.

6 A Oh. I was talking about the combined rates
7 of definite and possible regression.

8 Q Okay. Now, Scott, let's go down to the next
9 paragraph immediately below this, where I think it
10 talks about other measures of regression besides
11 language.

12 You were saying for language skills, it's
13 required that they have at least five different words,
14 et cetera. And you said if this criterion is met,
15 then the loss is defined as the absence of use of
16 words.

17 Then you say the loss of a specified skill
18 that does not meet these stringent criteria,
19 nevertheless can be coded as probable if there is
20 sufficient evidence of regression.

21 And now you're talking about more than
22 language, aren't you?

23 A No, it could be like a child having four
24 words for two months, and then he lost them. That
25 would be probable, but not meeting full criteria for

DR. FOMBONNE, MD - CROSS

3767

1 the definition.

2 Q And then, let's see the rest of this
3 section, Scott, at least on that page.

4 You talk about regression being assessed in
5 the Stafford sample by identifying any probable or
6 definite loss of skills in one of the seven domains.

7 You had a very precise definition of
8 definite regression in this paper, didn't you?

9 A Yes. It was following again what was in the
10 ADI. So we were covering regression by domains, as it
11 is part of the interview on regression in the ADI.

12 Q And then at the top of the next page, still
13 in this section.

14 A Okay.

15 Q You say that for the MFS sample, what does
16 MFS mean?

17 A Probably the Maudsley Family Study.

18 Q Okay. A slightly different version of the
19 ADI was used. And again, what does ADI refer to?

20 A Autism Diagnostic Interview.

21 Q And regression was defined using three items
22 of the original ADI version that assessed probable and
23 definite levels of regression and loss of skills in
24 the first five years of life, and in three domains:
25 language, social actions, and play imagination.

DR. FOMBONNE, MD - CROSS

3768

1 So did you use actually two different
2 definitions of definite regression in this study?

3 A No. It's more that in the more recent
4 version of the ADI there had been an exploding of some
5 items which were, there were like, for instance, three
6 or four questions. But in the more recent versions,
7 you had probably seven or eight questions covering
8 different skills within the same domain.

9 So it was, we could actually make
10 comparisons across the two instruments, because I
11 excluded, I looked at up to age five, I think, because
12 otherwise they were inclusion of lifetime loss of
13 skills that would have confounded the comparison. So
14 it was quite comparable.

15 Q Now, has this official definition of
16 regression been modified since you wrote this paper?

17 A I don't see it as an official definition.
18 It's like --

19 Q Well, you were getting it from some
20 instrument, weren't you?

21 A Yes. Yes, okay, yes. So the ADI has been
22 devised in the middle eighties, and it has changed,
23 has evolved as an instrument. So the regression items
24 as part of these interviews have also evolved, and
25 there have been different iterations of the interview.

DR. FOMBONNE, MD - CROSS

3769

1 And in the most recent version, which is in
2 2002, it's yet to be different than it was before.

3 But in most cases, when we make
4 modifications, and in this particular instance Cathy
5 Lord and others make them, they try as much as
6 possible when they refine an instrument to ensure that
7 there will be comparability if you need to compare
8 with previous versions, that it's possible.

9 So for instance, if you refine a question,
10 if you have three items in version 1, and you take the
11 three items and then you ask two questions for the
12 three domains, you have six items in version 2. But
13 you can combine your answers to make it comparable to
14 the version 1 if you need for that analytical
15 purposes. So we try to do that as much as possible.
16 Sometimes it's not possible.

17 SPECIAL MASTER VOWELL: Dr. Fombonne, I'm
18 confused. Does the ADI contain a definition of
19 regressive autism?

20 THE WITNESS: No.

21 SPECIAL MASTER VOWELL: So this is your
22 definition, using the ADI.

23 THE WITNESS: Yes.

24 SPECIAL MASTER VOWELL: Okay. Now I'm not
25 confused.

DR. FOMBONNE, MD - CROSS

3770

1 BY MR. WILLIAMS:

2 Q Go ahead.

3 A There is no definition of regressive autism.
4 There are questions asked to parents about loss of
5 skills in the course of the development. And these
6 questions are operationalized in such a fashion that
7 we establish a baseline; there was a skill, it was
8 lost for a certain duration of time. And then, when
9 this is met, that's what we call this child had a
10 regression. Then we call him or her, loosely, it's a
11 regressive autism child. But it's just that we had a
12 loss of skills in the course of his development, as
13 reported by the parents in the course of this
14 interview.

15 SPECIAL MASTER VOWELL: Let me ask it this
16 way, then. Is the ADI used to diagnose autism?

17 THE WITNESS: Yes.

18 SPECIAL MASTER VOWELL: Does that diagnosis
19 contain a separate subcategory for regressive autism
20 in the ADI?

21 THE WITNESS: No.

22 SPECIAL MASTER VOWELL: Okay. I thought I
23 understood you; I do. Thank you.

24 THE WITNESS: Just maybe to expand on that
25 the ADI must have versions, had 120 questions in some

DR. FOMBONNE, MD - CROSS

3771

1 versions. But those critical items which are
2 important for the diagnostic algorithm are just a
3 subset. So maybe 25 items would be critical for
4 scoring the presence or absence of PDD in a child.

5 Many questions, like the regression items,
6 do not play any role in diagnosing a PDD or not. They
7 are just like extra clinical characteristics that we
8 collect, as we would collect data on self-injury,
9 seizures, items on that. So they are not
10 diagnostically important.

11 BY MR. WILLIAMS:

12 Q What group approves changes in the ADI? Is
13 it some kind of consensus when they modify it?

14 A Yes, consensus or lack of consensus at
15 times. We try to base decisions about changes on
16 empirical data. So I have, myself, contributed to
17 studies with Cathy Lord and Michael Rutter about
18 looking at algorithm of the ADI and how it relates to
19 other kinds of clinical characteristics, to improve
20 the algorithms.

21 So I've published on the ADI in 1992, in a
22 special issue, which was preparing for DSM-IV, for
23 instance. So we try to derive our decisions about
24 changes based on empirical data that we have, and that
25 we sometimes share and put in common. And then often

DR. FOMBONNE, MD - CROSS

3772

1 there are discussions about different investigators,
2 about some that are very interested in adding
3 questions of that kind, others that are not
4 interested. It's going to increase the length of the
5 interview, so there are toing and froing, and at the
6 end a compromise.

7 Q And one of the reasons that the group of
8 experts that put together the ADI have added these
9 agreed-upon regression questions is to try to
10 standardize studies that want to look at regression as
11 one factor in assessing autism, right?

12 A Yes. It's not assessing -- yes. In
13 evaluating the developmental course. Not trying to
14 derive diagnostic subtypes. It was never used in that
15 way.

16 Q Let's look at slide 24 for a moment, of your
17 slides. This is another regressive autism study that
18 uses the term, the terms "probable" and "definite
19 regression." Were they also using the ADI to make
20 this assessment?

21 A From my recollection, no, but I would have
22 to check back on the paper.

23 Q We'd have to look at the paper and see what
24 the methods were.

25 A I think what's important is that they

DR. FOMBONNE, MD - CROSS

3773

1 probably, whatever tool they used to define probable
2 and definite regression, that they did that
3 consistently over the years of the study. That's what
4 matters.

5 Q Right. And assuming they applied the
6 definition of regression consistently, we see that it
7 fluctuates from a low of about, what, seven per 10,000
8 in 1988 to a high of as much as almost 40 per 10,000
9 in the year 1994, correct?

10 A Uh-huh. That's correct. I'm not sure, you
11 read that on the right vertical axis?

12 Q You used the right axis, which is the
13 incidence per 10,000.

14 A And you said?

15 Q If we go to your slide 27, which showed the
16 rates of, or the percents of regression in the CDC
17 survey, you already pointed out that there is almost a
18 threefold difference between the lowest regressive
19 rate in Colorado, and the highest one in Utah.

20 Do you know if those states were using the
21 same definition of regression?

22 A It's not threefold, it's like 2.4, 2.5.

23 Q Okay, two-and-a-half-fold.

24 A Okay. Yes, there was a common definition
25 used by the CDC when they were abstracting recalls of

DR. FOMBONNE, MD - CROSS

3774

1 all the data collected about each child. So they used
2 a common definition. I don't have it here. But I
3 know they had high inter-ratio reliability if I
4 retained that. So I think their reliability figure on
5 that was over 97 percent.

6 In other words, two abstractors would agree
7 almost all of the time with respect to the presence of
8 absence of regression in a particular child, using
9 their scheme.

10 Q We're almost done. I wanted to show you one
11 more study. This is the study you cited on
12 regression, by Dr. Lainhart and others. This is
13 Petitioners' Master Reference 91.

14 MR. WILLIAMS: Do we have a copy I can give
15 to the Doctor? Okay, thank you.

16 THE WITNESS: Thank you.

17 MR. WILLIAMS: And if you'd show the title
18 and the date there, Scott, just so we can get that in
19 the record.

20 BY MR. WILLIAMS:

21 Q This is the paper you cited in your report,
22 right?

23 A Yes.

24 Q Yes. Published in 2002. And in the
25 abstract of this paper, the last sentence -- let me

DR. FOMBONNE, MD - CROSS

3775

1 blow that up and highlight it -- actually, the last
2 couple of sentences. They're talking about, as you
3 made the point, that the measure of genetic liability
4 is increased essentially equally in families with both
5 forms of autism when compared with controls. That was
6 the point you made on direct.

7 A Uh-huh.

8 Q But doesn't the paper go on to say that
9 environmental events are therefore unlikely to be the
10 sole cause of regressive autism in our sample?

11 Environmental events, however, may act in an additive
12 or second-hit fashion in individuals with a genetic
13 vulnerability to autism.

14 Do you agree with that?

15 A I certainly have no disagreements with that
16 statement. The importance of that study and studies
17 which were done on regression at that time is that it
18 showed that in children who regressed, there seems to
19 be the same familial loading of autism-wide autism
20 phenotypes. And it was important to document, because
21 there was at the time, following Wakefield's claims,
22 in 1998 he claimed that he had discovered a new
23 phenotype, which was regressive autism, which was
24 entirely environmentally induced. That's how he
25 started.

DR. FOMBONNE, MD - CROSS

3776

1 So that study holds out regression as being
2 entirely environmentally triggered.

3 Now, you can still say that maybe the
4 genetic susceptibility is there, but then there is a
5 double-hit mechanism, that's fine.

6 Q And then, just to go to the very conclusion
7 of this paper, on page 6, Scott, right above the
8 acknowledgement section. Just pull that top paragraph
9 up.

10 These authors say that even if genetic risk
11 factors are most important in autism, the wide
12 variations in autism and in the autism and broader
13 autism phenotypes and associated features still
14 warrant a thorough search for environmental factors
15 that may affect severity of the disorder.

16 Do you agree with that? That there is, it
17 is warranted to do a search for environmental factors
18 that could be bringing on autism in some of these
19 children?

20 A I do not disagree with that statement. And
21 if I have been involved in looking at MMR initially,
22 it was because I was concerned about contributions of
23 environmental factors in autism. And I've been doing
24 that in other conditions, as well.

25 So I think environmental factors are a

DR. FOMBONNE, MD - CROSS

3777

1 candidate of risk mechanisms for autism, probably in
2 the context of genetic susceptibility. So I disagree
3 with the reasoning in the first part of the sentence,
4 because we have, as was stated by someone else -- for
5 instance, if you have monozygotic pairs of twins, we
6 are concordant for autism. So you have, they are both
7 having the same set of genes, 100 percent of genes.
8 And both of them have autism. You still have a huge
9 variability in the phenotype. One can be high IQ, and
10 the other one can be very retarded. So it has been
11 demonstrated in the British twin studies in
12 particular.

13 So it seems that there is an aspect of the
14 severity of the phenotype which is not entirely
15 determined by genes. It doesn't mean necessarily that
16 it is determined by an environmental factor. It could
17 be just random effects about neuronal development
18 which are not particularly controlled by environmental
19 mechanisms. Or it could be genetic effects which are
20 not inherited.

21 So it's a kind of jumping from, to
22 environmental because of the wide variability of the
23 phenotype, is a bit of a --

24 Q Okay. Now, this is going to take you back
25 to almost your first slide, where you were describing

DR. FOMBONNE, MD - CROSS

3778

1 the types of epidemiological studies that are
2 available to researchers. You talked about the cohort
3 study. And the case control study is best used when
4 you have a very rare condition.

5 Because, for example, if we take autism rate
6 as one in 150 as an estimate, and we assume that
7 definite regression is only 10 or 15 percent of that,
8 then you would expect to find the prevalence of
9 definite regression only to be one in 1500, one in
10 1200, something like that. Is my arithmetic about
11 right?

12 A Yes, about.

13 Q So if you were going to try to do a cohort
14 study to look at environmental causes of regressive
15 autism, you would have to have hundreds of thousands
16 of children to see an effect, wouldn't you?

17 A Probably, yes. You're probably right.

18 Q Whereas if you did a case control study, and
19 you could identify 1,000 children who met an agreed-
20 upon definition of regression, and then get two or
21 three thousand controls, you could do a pretty
22 powerful study looking for environmental factors with
23 just three or four thousand children, couldn't you?

24 A Yes.

25 Q Don't you think such studies ought to be

DR. FOMBONNE, MD - CROSS

3779

1 done?

2 A Well, I mean, you don't launch studies just
3 because you can just do it. You have to have an
4 hypothesis, and you need to be looking for something.

5 I can just add to that that there are
6 ongoing case control studies based on population
7 series of cases which are looking precisely at
8 environmental risk factors, in what we call
9 epidemiology fishing expeditions, where we don't have
10 much of a strong hypothesis about what the mechanisms
11 might be.

12 The CHARGE study, for instance, where the
13 Hansen's paper is coming from, is part of a case
14 control study based on children recruited in the
15 population, which is looking at a broad array of
16 environmental factors looking at prenatal factors,
17 factors in the household, heavy metals, all sort of
18 things.

19 So they are looking at a wide range of
20 things, because there is no good lead about where to
21 look for initially. But the design is one of a case
22 control study for the reasons that you mentioned.

23 Q And you would agree that mercury, being one
24 of the heavy metals, should be on the list of
25 environmental factors looked at in such a case control

DR. FOMBONNE, MD - CROSS

3780

1 study, don't you? Mercury exposure?

2 A I don't have much evidence so far that
3 mercury is a risk factor for autism. So I'm not sure.
4 I wouldn't put my eggs here.

5 Q Sorry. Did you mean all the heavy metals
6 other than mercury?

7 A No, I didn't say I would do it. I think
8 they are doing it. I don't think this is where I
9 would be looking at.

10 Q You don't think it's a good idea for them to
11 be doing it.

12 A I don't think, if you asked about mercury,
13 again considering the epidemiology that we have in
14 terms of both the ethyl mercury vaccines and the
15 methyl mercury data relating to the epidemiology of
16 autism, I think there is no convincing starting point
17 here.

18 Q Have you looked at the infant monkey
19 studies, the adult monkey studies that we have been
20 talking about throughout this trial?

21 A Yes, briefly. But I'm not a monkey person.

22 MR. WILLIAMS: Thank you.

23 SPECIAL MASTER VOWELL: Redirect?

24 MR. MATANOSKI: Ma'am, as I understand,
25 there's still more cross to come?

DR. FOMBONNE, MD - FURTHER CROSS

3781

1 SPECIAL MASTER VOWELL: Oh, yes. I'm sorry,
2 that's correct. Rather than redirect. Yes, rather
3 than starting redirect now, let's go ahead and do the
4 individual cases.

5 MR. POWERS: Special Master, if I could
6 propose, given the time and knowing that I have some
7 cross, there might be more redirect and some further
8 questions, a short break now as the afternoon break.

9 Mine will not be so long as Mr. Williams's,
10 but it might be a good time for a break nonetheless.

11 SPECIAL MASTER VOWELL: How about if we
12 return in, say at 4:00?

13 MR. POWERS: That will work for Petitioners.
14 Thank you.

15 (Whereupon, a short recess was taken.)

16 SPECIAL MASTER VOWELL: We're back on the
17 record. Dr. Fombonne is still on the witness stand.

18 Mr. Powers, you may do your portion of
19 cross.

20 MR. POWERS: Thank you, Special Masters.

21 FURTHER CROSS-EXAMINATION

22 BY MR. POWERS:

23 Q Good afternoon, Dr. Fombonne.

24 A Good afternoon.

25 Q My name is Tom Powers, and along with Mike

DR. FOMBONNE, MD - FURTHER CROSS

3782

1 Williams, I represent the Mead and King families, as
2 well as the Petitioners' Steering Committee.

3 I want to focus my questions specifically on
4 the testimony that you gave regarding the two
5 individual cases here, that of Jordan King and William
6 Mead. And just as you began, I'll talk about Jordan's
7 case first.

8 But before getting into that, if I recall,
9 you were here during Dr. Lord's, Professor Lord's
10 testimony?

11 A Yes.

12 Q And at one point Professor Lord testified
13 about the importance of parental accounts, and the
14 thorough histories that a parent would give. Do you
15 recall that testimony?

16 A Yes.

17 Q Would you agree with Professor Lord that
18 detailed parental accounts, often prompted by
19 questions, provide the most reliable historical
20 information upon which to base assessments of
21 regression, and the onset of autistic symptoms?

22 A No. I agree if you are asking that
23 retrospectively, that's the best source. Now, there
24 would be other ways to study a regression or loss of
25 skills in the developmental course of autism, by

DR. FOMBONNE, MD - FURTHER CROSS

3783

1 conducting very tightly controlled prospective studies
2 of high-risk samples.

3 Q What we're talking about here in these two
4 cases were obviously retrospective, correct?

5 A Okay. So retrospectively, yes, I would
6 think that asking parents would be the best source
7 available, although it doesn't mean free of bias.

8 Q And when you say "free of bias," what are
9 you referring to?

10 A All sorts of evidence in psychiatry, in
11 psychiatry studies, show that when you interview
12 people about their past experiences, that you can have
13 a lot of recall biases occurring.

14 So for instance, in psychiatry dating the
15 onset of symptoms has been a problem in research for
16 decades. And that's why we use sometimes lifetime
17 estimates of -- I don't want to get into details. But
18 it's known in psychiatric epidemiology that when you
19 try to interview people and reconstitute their life
20 trajectories in terms of symptoms or episodes of
21 disorders, it's very hard to actually get to an
22 accurate picture, when you compare to contemporaneous
23 records or other information.

24 So it's not an area which is easy. But
25 there have been some techniques of interviewing which

DR. FOMBONNE, MD - FURTHER CROSS

3784

1 have been devised to improve the accuracy of recall,
2 but it's not perfect.

3 Q Yes. Certainly recognizing it's not
4 perfect, but the parental history combined with the
5 opportunity to examine contemporaneous medical
6 records, given that we can't travel back in time and
7 relive the experience, is the most reliable way that
8 we can go about reconstructing these histories, is
9 that correct?

10 A I would agree.

11 Q Now, let's talk about Jordan King in
12 particular. In your expert report on page 61 -- and I
13 should ask you, do you have that report in front of
14 you?

15 A Yes.

16 Q On page 61 at the very top of that page,
17 let's see if we can pull it up here in a second. That
18 very first paragraph that begins on the preceding
19 page, but that first paragraph up at the top, which
20 would be paragraph 137, continued. Let's go ahead and
21 highlight.

22 Now, if you recall, Dr. Fombonne, this is a
23 developmental services interview that was conducted
24 when Jordan was 26 months old, is that correct?

25 A Correct.

DR. FOMBONNE, MD - FURTHER CROSS

3785

1 Q And what you're referring to here is Mylinda
2 King's -- that's Jordan's mother -- giving an account
3 of Jordan's development. So she's giving this account
4 at a point when Jordan is 26 months old, correct?

5 A Correct.

6 Q And she describes retrospectively that he
7 used single words at about one year of age, and then
8 stopped.

9 Now, when she testified, were you here for
10 that? Or did you listen to it?

11 A I listened to the audio recording.

12 Q And did you hear her on redirect, when she
13 came up and clarified a note in the medical record
14 about when Jordan stopped talking relative to his
15 having words at one year? Do you recall that
16 discussion?

17 A Not specifically.

18 Q Well, Mrs. King testified that there had
19 been a note in the medical record that Dr. Rust
20 identified, saying that Jordan spoke at one year and
21 then stopped. Dr. Rust was implying that he stopped,
22 that he, Jordan, stopped speaking at one year. Mrs.
23 King clarified that he stopped speaking well after one
24 year, but before age two. Do you remember that?

25 A No, I don't recall that, but that's what I

DR. FOMBONNE, MD - FURTHER CROSS

3786

1 would have understood.

2 Q Okay. So at age 26 months, Mrs. King, as
3 you understand it, is not saying that Jordan lost his
4 words at one year of age, but he had words at one year
5 of age and lost them later. Is that your
6 understanding?

7 A That's what I understood.

8 Q She also described him having multiple
9 words: juice, shoe, up and down, I believe, that he
10 could say cat and dog. Do you recall that he had at
11 least four or five words by the age of 12 months?

12 A Yes, I recall mama, hot, daddy, shoes
13 bubbles, mailbox, tiki. So that's five or six words,
14 yes.

15 Q And you recall her testimony that he started
16 using those words a little bit before one year of age,
17 and continued using those words past one year of age,
18 correct?

19 A Yes.

20 Q And that he used those words appropriately,
21 that is, in context. He wasn't calling his breakfast
22 cereal a mailbox, he was talking about the mailbox
23 when he said mailbox, correct?

24 A Yes.

25 Q So you have described, in discussing

DR. FOMBONNE, MD - FURTHER CROSS

3787

1 regression, this criteria of having at least five
2 words, and using them regularly for at least three
3 months. So from the evidence that's come in in Jordan
4 King's case, it certainly sounds as if he had at least
5 these five words, five or six words, and perhaps more
6 words, and used them for a period of several months.
7 Isn't that correct?

8 A No. I mean, that's an inference that you
9 made. I want to be the devil's advocate here.

10 He has, based on Mrs. King's testimony, and
11 records let's say five, six, seven words at age 12
12 months, fine. Now, you need to assess the quality of
13 the use of the words.

14 In the definition that we use, we need to be
15 sure that these words are used spontaneously. And
16 that's very, very -- that's a qualifier that is
17 extremely important. Because there are many, many
18 parents and autistic children who start to develop
19 words, but they don't use them spontaneously. So they
20 just copy or they echo their parents.

21 So the parents say horse, this is a horse;
22 and then the child repeats horse. This is not counted
23 as spontaneous communication. So you need to assess
24 the quality and the functionality of these words. Are
25 they used spontaneously?

DR. FOMBONNE, MD - FURTHER CROSS

3788

1 And in his case, if we are to follow the ADI
2 definition that we discussed previously, we would need
3 to ascertain that he was using these five or six words
4 daily for at least three months, before having lost
5 them for another period of three months, which we
6 cannot do, I think, based on the existing record.

7 Q And there's certainly nothing in the record
8 that indicates that the words he was using were
9 nonspontaneous. There is no indication that this was
10 echolalia. In fact, Mrs. King testified that he used
11 words spontaneously, and in context. That was her
12 testimony.

13 A Yes. And he was pointing as well. So I'm
14 not disputing that. But I think to apply the full
15 definition that we use, we would need more data that
16 we do not have.

17 But I agree with you, based on my own
18 opinion, that it's the testimony and the parental
19 recall that he had words; that he lost them at a later
20 point.

21 Q And not only did he have words, I mean
22 words, I think Dr. Lord testified about this also,
23 word count is but one manifestation of language skills
24 or communication skills, correct?

25 A Yes.

DR. FOMBONNE, MD - FURTHER CROSS

3789

1 Q And she actually testified that word count
2 may not be the most important, particularly for
3 toddlers, correct?

4 A Uh-huh.

5 Q I know that you're saying yes --

6 A Yes.

7 Q -- but the court reporter is going to need
8 to know that.

9 A Yes.

10 Q Now, the testimony that we heard from
11 Mylinda King was that Jordan used all sorts of other
12 ways to communicate well into his second year of life:
13 pointing, gesturing, grabbing his shoes and bringing
14 them when he wanted to go outside. You remember all
15 of that testimony.

16 A Yes.

17 Q And all of those are communication skills,
18 particularly for a toddler. They may not be words,
19 but those are skills in the communication or language
20 domain that a toddler would expect to be demonstrating
21 by that age, correct?

22 A Yes. But again, I'm sorry, I don't want to
23 be -- what matters is the quality of these gestures.
24 Many, many -- let's take the example of pointing, for
25 instance.

DR. FOMBONNE, MD - FURTHER CROSS

3790

1 Many children with autism do point. They
2 point for expressing needs. So that's a kind of
3 pointing that we call protodeclarative. So if they
4 want biscuits, they will point to the biscuits like
5 this.

6 But there is a type of pointing that they
7 don't do, which is pointing at a distance. Because if
8 I am talking to Mr. Powers, look there; I'm pointing
9 at this object. I look at it, I point with my finger,
10 I speak, and I check back that you are following my
11 point. This is a different type of pointing which is
12 social communication.

13 And in records, or when parents report their
14 observations, if you ask the question did your child
15 point, yes. You are likely to have a yes. But if you
16 start to say give me examples; in which context was he
17 pointing, what type of pointing was present; then you
18 start to make a differentiation about the type of
19 pointing, which is often deficient in autism, but
20 which preserve another type of pointing, which is what
21 I said.

22 So I'm just saying -- and the same for
23 bringing the shoes, all sorts of gestures. They can
24 be used functionally to express needs. What the
25 quality that we want to see, and that we evaluate,

DR. FOMBONNE, MD - FURTHER CROSS

3791

1 even retrospectively, is whether or not they are used
2 in an, in a sort of toing-and-froing manner with the
3 partner of the interaction. This is the key aspect
4 which defines autism.

5 Q And certainly, Mrs. King talked about
6 interactions that she had with Jordan. You recall her
7 testimony about specific instances when he would want
8 to play, he could encourage her to play, and he would
9 see whether she was responsive or not. I mean, all of
10 these things she testified to.

11 I didn't see anything in your report, and I
12 didn't hear anything on direct either, indicating that
13 Jordan was deficient in these sort of the nonword
14 communicative skills. I certainly didn't, like I said
15 I didn't see anything in the section of your expert
16 report.

17 So are you claiming that Jordan had poor-
18 quality social communication skills apart from word
19 count?

20 A No. It's hard to gauge. What I'm saying is
21 that at age 12 months, he seemed to have five words to
22 communicate already in context. So if so, you would
23 expect that this child, in the next six months, would
24 have developed more language.

25 Q Okay. And you say that he didn't. And if

DR. FOMBONNE, MD - FURTHER CROSS

3792

1 you look, it's paragraph 138. And there's a sentence
2 that begins, "There is not much evidence." There is
3 not much evidence; you can highlight that, and just
4 that entire sentence.

5 A Yes.

6 Q And keep going, please, on the highlight.

7 Now, when you wrote your report obviously
8 you hadn't heard Mylinda King offer any testimony. Do
9 you recall, in her testimony, that she described
10 Jordan using additional words between the ages of 12
11 months and 18 months?

12 A Not precisely.

13 Q And when you say that his pediatrician's
14 notes are remarkable for their lack of reference, it
15 sounds like you're saying because the pediatrician
16 wasn't keeping track of the number of words that
17 Jordan had, that we can infer from that Jordan was not
18 progressing. Is that what you mean to say there?

19 A I probably should remove that, because I
20 agree with you. Usually in a pediatric record you
21 would not have, at the beginning of language
22 development, consistent documentation of progress.

23 But often the pediatricians note babbles,
24 first words, and I didn't find evidence of that in the
25 pediatrician's notes. So I probably used that

DR. FOMBONNE, MD - FURTHER CROSS

3793

1 indirect type of evidence to support it, but it's not
2 a strong statement what I make.

3 Q Right. And in fact, at his 12-month
4 checkup, he was noted to be babbling. And so it's
5 more likely that a pediatrician would have noted the
6 absence of words, affirmatively noticed the absence of
7 words in a child who had been babbling. That's a
8 better inference that one could draw.

9 A I don't think, my experience is not
10 consistent with that. We have a lot of children who
11 do not have any words by when they should have them,
12 and the pediatricians do not document that always.
13 They wait.

14 Q In this 12- to 18-month window, do you
15 recall how many visits he made to a pediatrician?

16 A No, not exactly.

17 Q One of the visits was an emergency room
18 visit for a high fever. Do you remember that?

19 A Yes, I think I've seen that. Yes.

20 Q So if a child is being treated for a high
21 fever and a viral infection, and is febrile and
22 lethargic, it's not surprising that a pediatrician
23 wouldn't be making notes about how many words that
24 child has or doesn't have, correct?

25 A Yes. That's mentioned in my report in

DR. FOMBONNE, MD - FURTHER CROSS

3794

1 section 133.

2 Q Now, if we go down to the bottom, there is a
3 sentence that begins, "Although it appears likely."
4 If we can highlight that entire rest of the page.

5 There's a phrase in here that says, "It is
6 probable that his development was not normal before
7 the loss at 18 or 20 months of age."

8 In the preceding paragraphs, the only
9 indication that I saw that would support that is the
10 statement that you've already said you shouldn't have
11 put in there, about his pediatrician not noting
12 additional words.

13 A No.

14 Q What is the basis for saying that it is
15 probable his development was not normal before 18
16 months of age? What's the basis in the evidence for
17 your making that statement?

18 A It's trying to combine all the information
19 which comes here and there in the record. And if you
20 look at what you started with, which is when the
21 mother completed a questionnaire, by the end of my
22 section 137, when he was 26 months of age, she is then
23 asked to document the language development in her
24 child. And what she says, he used single words around
25 one year of age, then stopped.

DR. FOMBONNE, MD - FURTHER CROSS

3795

1 So he clearly used some words. And what we
2 know is that just a few words, not complex sentences.
3 And that it doesn't seem to have progressed in
4 language development up to the point of losing more
5 complex language.

6 Q But my question is, where in the evidence,
7 where in the record can you point to evidence that he
8 did not develop more than those five or six words
9 between 12 months and 18 months? Where can you
10 document that in the record here?

11 A Well, again, I assume that a child who
12 starts single words, and has five or six words by age
13 12 months, would have developed more words,
14 combinations of two words by age 18 months. And there
15 is no reference to that in, at the time of the loss.
16 The loss is described as a loss of a few words, and
17 that's all.

18 So there seems to have been no progression
19 in the complexity of language structures between 12
20 months of age and 18 months of age. These are single
21 words at the beginning, and single words which were
22 lost. So it doesn't seem to be really following the
23 course of language development over a six-month
24 period, and the child was already having five or six
25 words.

DR. FOMBONNE, MD - FURTHER CROSS

3796

1 Q And even though Mrs. King said he did
2 develop more words between the age of 12 and 18
3 months.

4 A Oh, he might have developed more words.
5 Again, the issue is whether or not the quality of the
6 use of these words was communicative, spontaneous, and
7 not solely used to express need, for instance. Which
8 would be a typical -- there is, that type of pattern
9 of language development and loss of a few words is
10 quite prototypical of what I see in my clinic all the
11 time. It's not something which is unusual. So the
12 loss of skills occur at the age, 18 months is often
13 the age at which actually parents report the loss of
14 skills; 16 months, 18 months, 20 months. And usually
15 these are a few words which have been there for
16 several months, with a lack of progress in language
17 complexity and communication, reciprocal
18 communication, in the months which proceed.

19 So you have a sense that there has been a
20 sort of progressive onset of symptoms, and then a
21 loss, which is usually accompanied with other
22 symptoms.

23 Q Now, there are two other primary domains
24 that you'd be looking at. We're done with this
25 particular page, Scott.

DR. FOMBONNE, MD - FURTHER CROSS

3797

1 There is, we've been talking about
2 communication. I also want to talk about social
3 reciprocity. I didn't see any discussion in your
4 report that directly addressed, at least that I saw
5 explicitly, the social interactions that Jordan was
6 having before 18 months of age. I mean, obviously you
7 do talk about things that happened at 20 months and 24
8 months and 26 months.

9 Did you see anything in the medical records,
10 or hear anything from Mrs. King's direct testimony,
11 indicating that there were social, deficits in social
12 reciprocity in Jordan before the age of 18 months?

13 A It's very hard, it's very hard to actually
14 assess again the quality of the social interactions.
15 If I recall well, she mentioned -- and I don't know
16 exactly the timing of it -- but that he welcomed his
17 sister. He has a younger sister, Maya, that he kissed
18 at the beginning. But then she also mentioned that he
19 was ignoring her on a number of occasions.

20 And I don't exactly know, I think it was
21 around 14 or 15 months of age. You know, that sort of
22 thing --

23 Q Let me clarify. Fourteen or 15 months of
24 whose age?

25 A Of Jordan's.

DR. FOMBONNE, MD - FURTHER CROSS

3798

1 Q Because Maya was born I believe when Jordan
2 was 15 months old. And so Jordan would have been at
3 least 15 or 16 months old before he would have had any
4 opportunity to interact with his sister, correct?

5 A Yes. I am sure she was describing the time
6 when the baby came back at home. But it's just noted
7 in my notes from the audio of the testimony of Mrs.
8 King, so that's something which might be a flag. But
9 it's not a definite information either, I agree.

10 Q And certainly there's nothing that you can
11 point to specifically that happened before Jordan
12 turned 18 months old that would indicate he had
13 deficiencies in the social reciprocity domain.
14 Because again, I didn't see any that were described in
15 your report.

16 A No. Because you would not ordinarily find
17 that in medical records. I mean, descriptions of
18 social reciprocity would be, or social interactions
19 would be unusual, and their quality would not be
20 usually assessed from medical records.

21 Q So the only thing we would have to rely on
22 is Mrs. King's testimony. And there's no reason you
23 would have to doubt the veracity and the truthfulness
24 of her testimony, correct?

25 A Yes. And also the video, which I reviewed,

DR. FOMBONNE, MD - FURTHER CROSS

3799

1 which I don't think would change my opinion that there
2 is a likely progressive onset before --

3 Q I'm sorry, I couldn't understand the last.

4 A That there is a likely progressive, gradual
5 onset of symptoms up to the age of 18 months.

6 Q And when do you see that in your opinion as
7 beginning? When did that gradual onset of symptoms
8 actually begin, in your opinion?

9 A I would have really to be careful about
10 dating that. It's very hard. But I need probably to
11 go back to my notes, if you will, my notes of the
12 videos if you want me to go back to that.

13 Q Well, it's just --

14 A I seem to recall that around 15 months of
15 age, 16 months of age, there were some observations
16 that suggested that he was not really responding to
17 his mother easily or spontaneously. He seemed to be
18 more absorbed it was a very gradual change. And you
19 could see as well that, for instance, when he was 10
20 month, 12 month, he was a child with very good eye
21 contact, smiling, responding. And you see that very
22 subtle change in his social functioning, in terms of
23 becoming more serious, giving less eye contact,
24 responding less well.

25 The timing of that I need to check on the

DR. FOMBONNE, MD - FURTHER CROSS

3800

1 video, if it's critical. But I think it's, you know,
2 we could all agree with that. It's not --

3 Q Now, there is another domain that involves
4 play, imaginative play and play with toys. You recall
5 Mrs. King testifying that well into Jordan's second
6 year, he played very appropriately with toys. The
7 tool set, and he would actually use tools as tools,
8 helping his father build musical instruments. Do you
9 recall that testimony?

10 A Yes, yes.

11 Q Do you recall that continued well into his
12 second year, at least up to the age of 18 months,
13 correct?

14 A I don't recall that in particular, but I --

15 Q And do you recall that she testified that at
16 some point after that, he stopped playing with toys
17 appropriately; and instead of using tools as tools or
18 trains as trains, would line them up and sort of
19 fixate over those objects. Do you recall that
20 testimony?

21 A Yes. And he drove over and over in a
22 repetitive fashion, and he was starting humming, and,
23 yes.

24 Q I was just going to get to that.

25 A Tiptoe walking and --

DR. FOMBONNE, MD - FURTHER CROSS

3801

1 Q Right about that same time, these symptoms
2 of stereotypical behavior emerged, again some time
3 after 16 or 18 months of age. She described that in a
4 sequence actually beginning at age 18 months and going
5 to age 19 months. She described the sequence of some
6 toe-stepping, and then hand-flapping, and then to the
7 point that, you know, going down the slide he would
8 very vigorously flap his arms.

9 Do you recall she described that as
10 happening between 18 and 20 months of age?

11 A Yes, that's consistent with my notes.

12 Q And there is nothing in the record to
13 indicate that any of those behaviors were apparent
14 before that 18-month, roughly 18-month time period.

15 A Yes, I agree.

16 Q So it's fair to say that Jordan King
17 actually developed skills in all three developmental
18 domains and then lost those skills, correct?

19 A Yes. Yes. Yes, he had skills in terms of
20 play and social interactions and communication that he
21 certainly lost at one point. And again, that doesn't
22 mean that before the loss was obvious that he was
23 absolutely developing normal. I think that would be
24 an inference that I would not put forward.

25 Q Now we're going to talk about William Mead's

DR. FOMBONNE, MD - FURTHER CROSS

3802

1 case.

2 A Yes. Can I also just maybe, for instance,
3 just in terms of the quality of the language with
4 Jordan. There was this note by the father, I think
5 it's the father, who says in written documentation in
6 the record that with hindsight, when they looked back,
7 that he had words by 10 or 12 months of age; but he
8 was never a talker.

9 Q Well, he actually, that was the comparison
10 he made to his sister, Maya.

11 A Yes.

12 Q And you also recall that Mrs. King testified
13 that Maya was somewhat precocious verbally. Do you
14 recall that?

15 A Yes, yes.

16 Q And so it's not necessarily a sign that a
17 child is abnormal or slow in his or her development if
18 they are not keeping up with the precocious sibling.
19 I mean, that's not a fair conclusion to reach, is it?

20 A Yes. We would have to see if she was really
21 precocious. Girls tend to speak earlier than boys in
22 general, so that would not be a --

23 Q I just want to make clear, that's what, what
24 you're talking about, that was the context where it
25 came up. It was a comparison of Jordan to his sister.

DR. FOMBONNE, MD - FURTHER CROSS

3803

1 A Yes.

2 Q And looking at where they were at a
3 particular age.

4 A Uh-huh.

5 Q And so girls speak more at that age, so you
6 wouldn't expect Jordan, in comparison, to be speaking
7 as much as she did. And they also described her as
8 particularly precocious verbally, right?

9 A Yes. It can be all good. Just I think it
10 matches my clinical experience when you see patients
11 and parents at age two or three, when the full picture
12 emerges. Then parents make retrospective assessments
13 of very subtle difficulties that they did not pick up
14 at the time, because it's very subtle. And they say
15 now that I know, so I remember when he was pronouncing
16 his first words, they were actually unusual words, or
17 they were said in a sort of noncommunicative way, or
18 there was no, it was not directed at me.

19 So there are very subtle abnormalities in
20 the social communication of young children which are
21 reported with hindsight by parents, once they know
22 that the difficulty --

23 Q Oh, I understand that. And that's what
24 you're telling me about other cases. But what I'm
25 asking you is about this case. And that is not what

DR. FOMBONNE, MD - FURTHER CROSS

3804

1 Jordan's father described, and that is not what his
2 mother described, is it?

3 A That's what the father wrote in the note.
4 He said he was never -- you'll have to check on --

5 Q He described this whole, the lack of --

6 A He said he was never a babbler.

7 Q Yes.

8 A That's to be, he was never a babbler is a
9 consistent description of the children who develop
10 with autism when they are infants. They often do not
11 babble.

12 Q And I was just trying to distinguish where
13 your commentary picked up, and where Mr. King's note
14 in the record left off and where Mrs. King's testimony
15 left off. All they said was that compared to his
16 sister at the same age, Jordan was not a babbler.
17 That's all that the record says, correct?

18 A It's correctly said, Jordan was never a
19 babbler, full stop. Then it followed his
20 vocalizations were fairly limited compared to her
21 articulations. So --

22 Q To her articulations, yes.

23 A Yes.

24 Q Okay.

25 A So then the comparative statement.

DR. FOMBONNE, MD - FURTHER CROSS

3805

1 Q So that's all I was trying to establish, who
2 said what, and what was your commentary versus the
3 parents' testimony and the note in the records.

4 So now we will talk about William Mead.
5 Now, William Mead, you would agree, had a pretty fair
6 repertoire of words by the time he was 18 months old.
7 Would you agree with that? That he was using two-word
8 phrases? Do you recall George Mead testifying that he
9 would say "up, Daddy," "down, Daddy," "let's go?" Do
10 you recall that testimony?

11 A Yes. I remember that Dad said that he was
12 even speaking in three-word sentences at age 12
13 months, which is quite difficult to actually believe.
14 And again, I want to point out that retrospective
15 parental accounts are notoriously difficult to
16 evaluate, particularly in terms of the timing.

17 So I'm not saying more than that. It's not
18 a comment about Mr. Mead's testimony. But it seems
19 that in the document about William, we see sometimes
20 he had 60 words that he lost, and then in other areas
21 it's more like much more simple words that he had. So
22 there is inconsistency, both of the extent to which he
23 had fully developed language at the time he lost his
24 skill; and there is also inconsistency about the
25 dates. The dates in the records, and these are

DR. FOMBONNE, MD - FURTHER CROSS

3806

1 prospectively recorded times, inconsistent in the
2 medical record.

3 And even in the testimony now it says
4 something else. I think the whole picture, in terms
5 of timing of these milestones in terms of getting new
6 skills or losing some skills, is very complex. That
7 means it's a complex issue for us as clinicians and
8 researchers, and I think the whole picture is not very
9 clear. That's what I want to say.

10 Q And in reading your expert report, the focus
11 that you seem to have were what you saw as
12 inconsistencies in the record between the age of 18
13 months, and between the age of roughly two-and-a-half
14 years of age. And trying to place -- just the sense I
15 got from your report is that you were trying to figure
16 out whether his regression would be placed at 18
17 months or 24 months or 27 months. Is that a fair
18 summary of this couple of pages devoted to William?

19 A Yes. Could you point me in what specific
20 paragraph?

21 Q No, I just wondered if that was your general
22 sense. Because I don't want to just read the whole
23 report to you out loud.

24 A No. I think when I was trying to evaluate
25 the timing of it, I don't -- I agree that there is a

DR. FOMBONNE, MD - FURTHER CROSS

3807

1 loss of skills, a change in William and a loss of
2 skill. That's not an issue.

3 The issue is when it happened, and was there
4 a discreet time when the losses could be evident? Or
5 was it more a gradual process, where there was like
6 lack of progress in critical skills, followed by the
7 loss of some skills which were acquired before? So I
8 think that that is very difficult to evaluate, as it
9 is very difficult to evaluate the actual timing of
10 that loss.

11 So, you know, in some areas, in some records
12 it mentions the summer of 2000 as being a critical
13 time when the parents really realized. So that's
14 really upper limits in terms of their realizing the
15 difficulties. Then you can go back. There is a
16 mention, which unfortunately is not very well
17 documented, that he went to daycare, probably at the
18 beginning of the school year of 1999, when he was 16,
19 17 months. And he was asked to leave the daycare
20 because he was not fitting in. And that's a strong
21 indication that he was not normal. And that seems
22 probably to have occurred before the 18 months or two
23 years of age.

24 Q And on that point, yes, I would not -- what
25 I want to focus on is the 18 months. Because I think

DR. FOMBONNE, MD - FURTHER CROSS

3808

1 Mr. Mead did testify that even in looking at medical
2 records, he said looking back now, retrospectively,
3 we, speaking about himself and William's mother, he
4 said we now realize that there were some signs at the
5 age of 18 or 19 months. I mean, he said that on
6 direct.

7 So he acknowledges that things were
8 beginning to appear around 18 or 19 months. So I
9 would offer that to resolve any dispute about whether
10 Mr. Mead is claiming 27 months or 24 months. He is
11 saying retrospectively that 18 months is when he
12 first, he and William's mom first saw problems. Do
13 you recall that testimony from George Mead?

14 A Yes. Yes.

15 Q Have you been able to identify anything from
16 the medical records indicating that William Mead was
17 deficient in any language or communication skills
18 before the age of 18 months?

19 A Before the age of 18 months?

20 Q Correct.

21 A I don't think so.

22 Q Are you aware of anything in the medical
23 records or in the testimony of Mr. Mead indicating
24 that William Mead was deficient in any of the social
25 skills, or deficient in social reciprocity in any

DR. FOMBONNE, MD - FURTHER CROSS

3809

1 demonstrable way before the age of 18 months?

2 A No, not in a -- no. Based on my notes, no.

3 Q Are you aware -- sorry, were you done?

4 A Yes, yes.

5 Q Are you aware of anything in the
6 contemporaneous medical records or the testimony of
7 Mr. Mead indicating that William was deficient in the
8 area of play, behavior, or imaginative play before the
9 age of 18 months?

10 A Nothing in his testimony.

11 Q So you can't identify anything in Mr. Mead's
12 testimony or in the medical records indicating that
13 William Mead was abnormal in his development before
14 the age of 18 months.

15 A Yes. But again, the fact that it's not
16 there doesn't mean it was not there. And --

17 Q Well, part of your testimony in your report
18 is that it might not have been there. So I want to
19 know --

20 A No, no. Based on medical records, I didn't
21 see any evidence of that. I agree.

22 Q And then based on his testimony, you didn't
23 see any evidence of that, either.

24 A No. But I think the video was showing a
25 slightly different picture.

DR. FOMBONNE, MD - FURTHER CROSS

3810

1 Q Did you testify about the videos?

2 A No. But I reviewed them all, and I can look
3 back at my notes. I am pretty sure that there are
4 clips where William's interactions are not
5 particularly reciprocal, and the amount of language
6 which is produced by him is actually extremely
7 limited.

8 Q And this would be in video before he turned
9 18 months of age?

10 A Oh, yes.

11 Q Is there any doubt that William Mead lost
12 skills in all three developmental domains at some
13 point between the ages of 18 months and 27 months?

14 A No, I don't dispute the fact that there was
15 a loss of skills. For instance, the videos show that
16 he had a couple of words that you hear, but that's
17 about it. So there is about 12 months of age, I heard
18 two utterances, the spontaneity of which is uncertain.
19 And the rest of it I really, through a lot of footage,
20 didn't hear language from that boy in circumstances
21 where you would have expected more language to be
22 produced to communicate.

23 So that doesn't really contradict the fact
24 that he might have lost skills, and changed and
25 developed autistic symptoms, and lost social skills

DR. FOMBONNE, MD - FURTHER CROSS

3811

1 and play skills later. I agree with you.

2 Q And for William Mead, would you say that he
3 definitely regressed?

4 A There was a loss of skills, yes. Based on
5 what we are discussing today, I have no problem with
6 that.

7 Q So you have no problem saying that William
8 Mead definitely regressed.

9 A Well, what do you mean by definitely
10 regressed?

11 Q Well, it's a term that I heard you use
12 earlier today.

13 A Yes. But there was a technical term of the
14 ADI. So that he experienced a loss of skills, I do
15 not dispute that, that's for sure. That's what I say.
16 That his development was normal before, I'm not sure.

17 Q But you would say he not just lost skills,
18 he definitely regressed. And you agree with the
19 autism diagnosis.

20 A Yes.

21 Q And the same with Jordan King.

22 A Yes.

23 Q He definitely regressed, and he has an
24 autism diagnosis, and you agree with that diagnosis.

25 A Yes. They both lost skills in the course of

DR. FOMBONNE, MD - REDIRECT

3812

1 their second year of life, closer to the fourth
2 semester of life.

3 Q I'm sorry, closer --

4 A Closer to the second part of the second year
5 of life, which is often what is seen. But you have a
6 sense, when you review the record and you review the
7 tapes, that there was a gradual onset of symptoms over
8 time, over a period of time. And then a time where
9 there was also a loss of skill.

10 MR. POWERS: No other questions right now.

11 SPECIAL MASTER VOWELL: Redirect?

12 MS. RICCIARDELLA: Yes, ma'am.

13 REDIRECT EXAMINATION

14 BY MS. RICCIARDELLA:

15 Q Dr. Fombonne, Mr. Williams on his cross-
16 examination was talking about thalidomide and
17 terbutaline, some of the known medical causes of
18 autism. And he said that the number was so small, and
19 I think you acknowledged that the number of those
20 cases, cases caused by terbutaline or cases caused by
21 thalidomide, were so small that they may not be picked
22 up by epidemiology. Do you recall that line of
23 questioning?

24 A Yes.

25 Q But in those cases, can we identify a

DR. FOMBONNE, MD - REDIRECT

3813

1 specific phenotype, a specific phenotype that we know
2 what caused that autism?

3 A Yes. In the case of congenital rubella,
4 yes, you can identify symptoms of congenital rubella,
5 in addition to symptoms of autism.

6 Q Do we have that same ability with regard to
7 regressive autism? Can we identify a distinct
8 phenotype of regressive autism, as compared to all
9 other autism?

10 A No. As I said before, and Dr. Lord said,
11 it's not a phenotype which is associated with clinical
12 characteristics, or familial characteristics, or
13 course or response to treatment. The factors that we
14 usually use again in psychiatry to validate different
15 types of syndromes.

16 Q There was also a lot of questioning with
17 regard to prevalence rates and incidence rates. And
18 there was some confusion.

19 Would you please state again what is meant
20 by the term "prevalence rates?"

21 A Prevalence is just that it's a photograph of
22 a particular population at a particular point in time,
23 and then you count the number of the people in the
24 population, and that's your denominator. And then of
25 this population, you count those who were affected by

DR. FOMBONNE, MD - REDIRECT

3814

1 the disease, and then you put them in the numerator.
2 So you can have five persons out of 100 who have blue
3 eyes; the prevalence is five percent. And that's the
4 way it is. So that's prevalence.

5 Q Is it a snapshot in time?

6 A Yes. There is no, again, no passage of
7 time. It's an instantaneous photograph of a situation
8 at a given point in time.

9 Q And is that different than incidence rate?

10 A Yes. That's the key difference, is that
11 incidence involves the passage of time. So you start
12 here, and you finish there.

13 And in this interval you count the number of
14 new cases of disease in the particular population,
15 which is predefined at the beginning of the study
16 period. That's the way you compute incidence.

17 One of the confusions is that incidence can
18 be expressed in complex incidence rates, where you
19 have complex denominators which are difficult to
20 interpret intuitively like person-year denominators.
21 That's pure incidence rate.

22 There is a type of incidence rate which is
23 like a prevalence because it's a proportion. And let
24 me just explain, I don't know -- well, if you then
25 follow 100 children from birth up to age 10, so you

DR. FOMBONNE, MD - REDIRECT

3815

1 have the passage of time; and then you count those who
2 develop a certain disease. So you can express the
3 incidence of this disease as being 10 out of 100,
4 which is your starting point. So you have 10 percent
5 of this cohort which, at age 10, has the disease.
6 That is an incidence figure which is expressed as a
7 proportion, like prevalence rates.

8 Hence, some proportions refer to what we
9 call cumulative incidence, and some proportions refer
10 to prevalence proportion prevalence rates. That's why
11 you would see in the graph sometimes percent as
12 cumulative incidence. That's, I'm sorry, it's a bit
13 technical.

14 Q But studies, a prevalence study is different
15 from an incidence study, is that correct?

16 A Yes.

17 Q Okay. And you were asked some questions
18 about the Schechter and Grether study. Was that an
19 incidence study or was that a prevalence study?

20 A No, it's a prevalence study.

21 Q And what conclusions did the authors of the
22 Schechter and Grether study come to with regard to
23 prevalence of autistic spectrum disorders in the state
24 of California?

25 A Well, in the state of California? They said

DR. FOMBONNE, MD - REDIRECT

3816

1 that prevalence is 46.5 per 10,000 in the group of
2 children which were age six in their study, which is
3 somewhat of an underestimate compared to other
4 population rates. But otherwise, they provide
5 proportion of the new notifications in the age group
6 three to five. So these are prevalence which are
7 adjusted over time.

8 Q And what do you conclude from that study
9 with regard to the prevalence rate, vis-à-vis
10 thimerosal-containing vaccines?

11 A That as the authors conclude themselves,
12 they are very clear in their conclusions. They are
13 saying that the phasing out of thimerosal-containing
14 vaccines in California has led to no dip in the
15 prevalence rates in the age group where we should see
16 it.

17 So if there was a connection, they should
18 have seen a decrease in the prevalence after 2004.
19 And the reason why is that they could have seen it is
20 that, in fact, these numbers are high. As I said
21 before, the DDS database adds I think about 3,000 new
22 cases per year in the system.

23 So if you have a risk factor which
24 contributes to even 10 percent of the disease onset
25 and it is removed, you should see a dip, whatever is

DR. FOMBONNE, MD - REDIRECT

3817

1 the trend should see a dip of 10 percent, and the
2 trend would continue. But this was not seen.

3 Q Now you were also asked a series of
4 questions regarding your 2001 study that you published
5 with Chakrabarti, filed as Respondent's Master List
6 147, that looked specifically at regression. Do you
7 recall that line of questioning?

8 A Yes.

9 Q Now, was the focus of that study whether the
10 children were entirely normal? Or was the focus of
11 that study whether the children actually had a
12 regression?

13 A I'm sorry, could you repeat that question?

14 Q The focus of that study, was it whether or
15 not these children were entirely normal before, before
16 they developed autism? Or was it whether or not they
17 actually regressed?

18 A Oh, no. The focus was just in estimating
19 the proportion in two samples of children experiencing
20 loss of skills in their development, that's all. It
21 was not looking at definite regression after normal
22 development. This was not at all the focus.

23 The focus was just documenting a loss of
24 skills in their development, using an operationalized
25 definition.

DR. FOMBONNE, MD - REDIRECT

3818

1 Q And there was a line of questioning as to
2 what you meant by the word, phrase, "definite
3 regression." What was meant by the phrase "definite
4 regression?"

5 A It was a higher level of definition. So for
6 definite regression, again, definite regression
7 terminology does not, has nothing to do with clearly
8 regressive autism that we have been talking over the
9 last few days. It was just, it's a way to say the
10 child has lost his skills in a way which fulfills
11 entirely the stringent criteria that you impose to
12 document that loss.

13 So he was using at least five words,
14 spontaneously, daily, with meaning, for three months,
15 and then lost them for at least three months. That's
16 what it means. That's a purely descriptive term.

17 And probable was for those instances of loss
18 of skills which are obvious, but not meeting the
19 stringent criteria.

20 SPECIAL MASTER CAMPBELL-SMITH: Let me just
21 interrupt while we're on the topic. That's a question
22 that I had was you're referring to the standards,
23 their meeting these stringent criteria. Is that to
24 improve the concept of inter-rater or reliability?
25 That when you identify this definite set of loss,

DR. FOMBONNE, MD - REDIRECT

3819

1 every professionals who refer to that and use these
2 skills would know exactly what you are talking about.
3 Because everybody is consistently following or
4 adhering to the same set of evaluation criteria.

5 THE WITNESS: Yes. At the time, it was
6 really to put clarity on this phenomenon and try to
7 measure it in any sort of way, in a way which could be
8 reliable across raters. We previously did not have
9 any ways to do that.

10 But now with all the studies on regression
11 that's evolved, and have shown that we need actually
12 to be less stringent. And if we are less stringent --
13 for instance this is too strict of a criterion,
14 because you have some children who have loss of
15 quality in their babble, for instance. They suddenly
16 change, they stop babbling. They babble well up to
17 nine months, and then something, their gaze is
18 starting to be fixated at objects, and they stop
19 babble. They babble suddenly in a very monotonous
20 way.

21 So there is a change in quality, which is
22 like a loss of skills. But these kinds of early onset
23 loss of skills or transformations would not be
24 captured by our more stringent definition.

25 So now the work of Dr. Lord and others is

DR. FOMBONNE, MD - REDIRECT

3820

1 trying to be much more refined, documenting which
2 skills are lost, and becomes much more complex. And
3 we see that as not being a categorical phenomenon.
4 It's really a continuously distributed phenomenon. So
5 there are different types of loss of skills at
6 different times in the development, and it's how we
7 are now concentrating this developmental trajectory.

8 SPECIAL MASTER CAMPBELL-SMITH: Thank you.
9 Pardon me.

10 MS. RICCIARDELLA: No problem.

11 BY MS. RICCIARDELLA:

12 Q And you were also asked by Mr. Powers a
13 series of questions with regard to the two individual
14 little boys who comprise this litigation.

15 With regard to Jordan King, you were asked
16 about loss of skills, onset. Is Jordan King's autism
17 any different or unique from the children that you see
18 in your clinic in Montreal?

19 A No, not at all.

20 Q Is William Mead's autism different or unique
21 compared to the children that you see in your clinic
22 in Montreal?

23 A No. Based on the medical report of my review
24 of the videotapes; it's very much the same.

25 MS. RICCIARDELLA: Thank you.

DR. FOMBONNE, MD - REDIRECT

3821

1 SPECIAL MASTER VOWELL: No recross?

2 MR. POWERS: I'm checking with my colleague.

3 SPECIAL MASTER VOWELL: He's shaking his --

4 MR. POWERS: We're both shaking our heads.

5 No, nothing else from Petitioners, thank you.

6 SPECIAL MASTER VOWELL: All right. Do any
7 other of my colleagues have any questions?

8 SPECIAL MASTER CAMPBELL-SMITH: It's been
9 answered.

10 SPECIAL MASTER HASTINGS: Let me just ask
11 one, Doctor. Most of my questions actually have been
12 answered. Pages 42 and 43 of your report, if you
13 could turn to them. And actually, on page 42, at the
14 beginning of paragraph 105, you talk about an
15 ecological study in Quebec. It wasn't clear to me
16 when I read the report which study you were talking
17 about. Is this a published study?

18 THE WITNESS: Yes. That is the study I
19 presented as published in Pediatrics in 2006.

20 SPECIAL MASTER HASTINGS: Okay, thank you.
21 That's all I have.

22 SPECIAL MASTER VOWELL: All right then. Dr.
23 Fombonne, I believe you're excused.

24 (Witness excused.)

25 SPECIAL MASTER VOWELL: Counsel, I take it

DR. FOMBONNE, MD - REDIRECT

3822

1 we have nothing else for today.

2 MR. POWERS: That's right.

3 SPECIAL MASTER VOWELL: Do we need to
4 discuss anything off the record before we all break
5 then?

6 MR. POWERS: No, ma'am.

7 SPECIAL MASTER VOWELL: All right. Then
8 we'll reconvene tomorrow morning at 9:00 a.m.

9 (Whereupon, at 4:53 p.m., the hearing in the
10 above-entitled matter was recessed, to reconvene at
11 9:00 a.m. the following day, Thursday, May 29, 2008.)

12 //

13 //

14 //

15 //

16 //

17 //

18 //

19 //

20 //

21 //

22 //

23 //

24 //

25 //

3823/3891

REPORTER'S CERTIFICATE

DOCKET NOS.: 03-584V; 03-215V
CASE TITLE: King and Mead v. HHS
HEARING DATE: May 28, 2008
LOCATION: Washington, D.C.

I hereby certify that the proceedings and evidence are contained fully and accurately on the tapes and notes reported by me at the hearing in the above case before the United States Court of Federal Claims.

Date: May 28, 2008

Christina Chesley
Official Reporter
Heritage Reporting Corporation
Suite 600
1220 L Street, N.W.
Washington, D.C. 20005-4018