



2072

IN THE UNITED STATES COURT OF FEDERAL CLAIMS

THERESA CEDILLO AND MICHAEL )  
CEDILLO, AS PARENTS AND )  
NATURAL GUARDIANS OF )  
MICHELLE CEDILLO, )

Petitioners, )

v. )

Docket No.: 98-916V

SECRETARY OF HEALTH AND )  
HUMAN SERVICES, )

Respondent. )

Ceremonial Courtroom  
National Courts Building  
717 Madison Place NW  
Washington, D.C.

Thursday,  
June 21, 2007

The parties met, pursuant to notice of the  
Court, at 9:02 a.m.

BEFORE: HONORABLE GEORGE L. HASTINGS, JR.  
HONORABLE PATRICIA CAMPBELL-SMITH  
HONORABLE DENISE VOWELL  
Special Masters

APPEARANCES:

For the Petitioners:

SYLVIA CHIN-CAPLAN, Esquire  
KEVIN CONWAY, Esquire  
Conway, Homer & Chin-Caplan, P.C.  
16 Shawmut Street  
Boston, Massachusetts 02116  
(617) 695-1990

2073

APPEARANCES: (Cont'd.)

Also for the Petitioners:

CLIFFORD J. SHOEMAKER, Esquire  
Shoemaker & Associates  
9711 Meadowlark Road  
Vienna, Virginia 22812  
(703) 281-6395

For the Respondent:

VINCENT J. MATANOSKI, Esquire  
LYNN RICCIARDELLA, Esquire  
ALEXIS BABCOCK, Esquire  
U.S. Department of Justice  
Civil Division  
Torts Branch  
P.O. Box 146  
Ben Franklin Station  
Washington, D.C. 20044-0146  
(202) 616-4122

Heritage Reporting Corporation  
(202) 628-4888

2074

C O N T E N T S

WITNESSES:	DIRECT	CROSS	REDIRECT	RECROSS	VOIR DIRE
------------	--------	-------	----------	---------	--------------

For the Respondent:

Stephen B. Hanauer	2076	2144	--	--	--
	--	2197	2198	2199	--

Christine McCusker	2202	2246	--	--	--
	--	2271	2274	2275	--

2075

1 P R O C E E D I N G S

2 (9:02 a.m.)

3 SPECIAL MASTER HASTINGS: Good morning to  
4 all those in the courtroom and at home. We're going  
5 to be starting with the testimony of Dr. Hanauer in  
6 just a minute.

7 I first want to let you folks know that are  
8 listening in about a special procedure tomorrow  
9 morning. Tomorrow morning we are going to be starting  
10 the phone conference call a bit late. We are going to  
11 be taking some brief testimony from one witness  
12 presented by Respondent, Dr. Chadwick, by telephonic  
13 conference call from England.

14 That necessitates unfortunately that we are  
15 not going to be able to put that particular testimony  
16 over the telephonic conference call. That's not  
17 because this testimony is going to be secret in any  
18 way. It will be done here in the public courtroom.  
19 It will be transcribed.

20 I'm not sure whether it will also be on the  
21 internet audio download, but it's not because it's not  
22 public. It's for the very simple reason that we have  
23 only one telephone line available in this courtroom,  
24 and when it's coming with the testimony coming in we  
25 will not be able to do the telephonic conference call.

Heritage Reporting Corporation  
(202) 628-4888

HANAUER - DIRECT

1                   We will be starting the telephonic  
2                   conference call tomorrow morning probably sometime  
3                   around 9:30. As soon as that one witness is done the  
4                   second witness for the day will be available through  
5                   the telephonic conference call. We apologize for  
6                   that, and you can set your schedule for tomorrow  
7                   accordingly.

8                   With that, Mr. Matanoski, who will be doing  
9                   the examination of Dr. Hanauer?

10                   MR. MATANOSKI: Ms. Ricciardella will be.

11                   SPECIAL MASTER HASTINGS: Okay. Ms.  
12                   Ricciardella?

13                   MS. RICCIARDELLA: Thank you.

14                   SPECIAL MASTER HASTINGS: Dr. Hanauer, could  
15                   you raise your right hand, please?

16                   Whereupon,

17                   STEPHEN B. HANAUER

18                   having been duly sworn, was called as a  
19                   witness and was examined and testified as follows:

20                   SPECIAL MASTER HASTINGS: Okay. Ms.  
21                   Ricciardella, please go ahead.

22                   MS. RICCIARDELLA: Thank you.

23                   DIRECT EXAMINATION

24                   BY MS. RICCIARDELLA:

25                   Q Good morning, Doctor. Would you please

Heritage Reporting Corporation  
(202) 628-4888

2077A

HANAUER - DIRECT

1 identify yourself for the Court?

2 A Stephen B. Hanauer.

3 Q And what is your current academic  
4 appointment?

5 A I am Professor of Medicine in Clinical  
6 Pharmacology and Chief of the section of  
7 Gastroenterology, Hepatology and Nutrition at the  
8 University of Chicago.

9 Q And would you briefly describe your  
10 educational background for us?

11 A I went to the University of Michigan  
12 undergraduate, to the University of Illinois for  
13 medical school. I did my training in internal  
14 medicine and fellowship in gastroenterology at the  
15 University of Chicago, and I remained at the same  
16 institution.

17 Q Would you please describe your fellowship in  
18 gastroenterology at the University of Chicago?

19 A When I did my fellowship between 1980 and  
20 1982 it was a two-year fellowship. It's currently a  
21 three-year fellowship.

22 This entailed specialty training in  
23 digestive diseases, which required rotations through  
24 endoscopic procedures, rotations through nutrition  
25 service, rotations through liver service, a lot of

2078A

HANAUER - DIRECT

1 time rotating through inflammatory bowel disease,  
2 which is a major component of our institution's  
3 practice, and also I spent several months training in  
4 pediatric gastroenterology.

5 Q And do you hold any board certifications?

6 A I'm board certified in internal medicine and  
7 in gastroenterology.

8 Q Doctor, would you briefly highlight some of  
9 the honors you've received in your career?

10 A Well, I've risen through the ranks of  
11 academic medicine at my institution. I am now a  
12 tenured professor and actually a chaired Professor of  
13 Medicine at our institution.

14 Within different societies I've won the  
15 awards for clinical research and clinical care from  
16 the American Gastroenterologic Association. I was the  
17 inaugural chair of the Crohn's & Colitis Foundation's  
18 Clinical Alliance, which was a group of institutions  
19 collaborating in research related to Crohn's disease  
20 and ulcerative colitis.

21 I'm a fellow of the American College of  
22 Gastroenterology. I've served on the boards. I'm  
23 currently on the board of trustees of the American  
24 College of Gastroenterology. I've served on the  
25 governing board of the American Gastroenterologic

2079A

HANAUER - DIRECT

1 Association and chaired the sections of Inflammation,  
2 Immunology and Inflammatory Bowel Disease of the  
3 American Gastroenterological Association for six  
4 years, and I chaired the Clinical Practice section in  
5 the American Gastroenterologic Association for four  
6 years.

7 I've chaired the International Organization  
8 for Inflammatory Bowel Disease. I've served on the  
9 FDA Advisory Panel for Gastrointestinal Drugs and then  
10 chaired that panel as well. Some of the things I've  
11 done.

12 Q Do you hold any teaching positions in your  
13 specialty?

14 A Yes. Again, I'm Chief and Professor of  
15 Medicine at the University of Chicago, so we are  
16 constantly teaching trainees in gastroenterology,  
17 internal medicine and medical students.

18 Q And what do you teach?

19 A I teach gastroenterology, and my special  
20 focus within the field of gastroenterology is  
21 inflammatory bowel disease.

22 Q And do you also give lectures to  
23 professional groups or organizations concerning  
24 inflammatory bowel disease?

25 A Yes. I lecture frequently.

2079B

HANAUER - DIRECT

1 Q How often?

HANAUER - DIRECT

1           A     Probably once a week I'm invited to speak at  
2     a university or a GI society. I also have been giving  
3     the annual lectures on updates of inflammatory bowel  
4     disease to the American College of Gastroenterology at  
5     their annual meetings for the past years.

6           Also at the American Gastroenterologic  
7     Association meetings, as part of their postgraduate  
8     courses I've given lectures on inflammatory bowel  
9     disease.

10          Q     Now, your CV mentions that you are a member  
11     of the Crohn's & Colitis Foundation of America. Is  
12     that correct?

13          A     Yes. I've held various positions with the  
14     Crohn's & Colitis Foundation since about 1983 or 1985.

15          Q     Are you currently on the Research  
16     Initiatives Committee?

17          A     Correct.

18          Q     What does that committee do?

19          A     The Research Initiatives Committee is  
20     looking for novel projects that are not necessarily  
21     mainstream, looking for cause or new treatments of  
22     ulcerative colitis or Crohn's disease, so trying to  
23     stimulate research where there is speculation  
24     regarding new hypotheses.

25          Q     Has the Research Committee of the Crohn's &

2081A

HANAUER - DIRECT

1 Colitis Foundation of America ever received a research  
2 grant request to research the relationship between  
3 measles virus and Crohn's disease?

4 A The Research Initiatives Committee has not,  
5 and I actually spoke directly with the head of  
6 research from the Crohn's & Colitis Foundation to see  
7 if historically there had been any grant applications  
8 to this organization which spends several million  
9 dollars a year in research on Crohn's disease, and  
10 they have not received any grant application.

11 Q Had they received any grant applications to  
12 research a possible relationship between Crohn's  
13 disease and autism?

14 A No.

15 Q Doctor, I'd like to go over your experience  
16 as a gastroenterologist.

17 I believe you stated you're currently a full  
18 Professor of Medicine in Clinical Pharmacology at the  
19 University of Chicago School of Medicine. Is that  
20 correct?

21 A That's correct.

22 Q And how long have you been a full professor?

23 A I think about 15 years.

24 Q And along with being a full professor at the  
25 University of Chicago School of Medicine, what other

HANAUER - DIRECT

1 positions have you held throughout your career?

2 A Well, I mentioned several in the awards.  
3 Within the institution I've served on numerous  
4 institutional committees, and I also am co-director of  
5 research in inflammatory bowel disease at our center.

6 As I mentioned, I've held positions with  
7 national and international organizations that have  
8 been focusing on gastroenterology -- the American  
9 College of Gastroenterology, the American  
10 Gastroenterologic Association -- and also specialty  
11 societies within that that are focused on inflammatory  
12 bowel disease such as the International Organization  
13 for Inflammatory Bowel Disease.

14 Q Do you currently have a clinical practice?

15 A Yes. I'm actually the busiest clinician  
16 within my section of gastroenterology. I see more  
17 patients than anyone else in my section and probably  
18 more than anyone else in the Department of Medicine.

19 Q And as part of your clinical practice do you  
20 conduct endoscopies?

21 A Yes, I do.

22 Q Approximately how many times per week?

23 A I perform at least 12 or so colonoscopies a  
24 week.

25 Q Have you ever diagnosed a patient with an

HANAUER - DIRECT

1 inflammatory bowel disease?

2 A I frequently diagnose patients with  
3 inflammatory bowel disease, and I frequently  
4 undiagnose patients who are referred with a suspected  
5 diagnosis of inflammatory bowel disease who don't have  
6 it.

7 Q How many persons with inflammatory bowel  
8 disease are you currently following as patients?

9 A Well, we have a database at our institution  
10 regarding patients with ulcerative colitis and  
11 Crohn's, and over the past year we've seen 6,000  
12 patients.

13 Q Doctor, you've published over 280 articles  
14 related to GI issues and specifically inflammatory  
15 bowel disease. Is that correct?

16 A I don't think it's 280 related to  
17 inflammatory bowel disease, but that's about the sum  
18 of my peer reviewed publications.

19 Q In addition, you've published over 70 book  
20 chapters. Is that correct?

21 A I think so, yes.

22 Q And you currently serve on the editorial  
23 board of approximately nine GI-related medical  
24 journals. Is that correct?

25 A Yes.

HANAUER - DIRECT

1 Q And your CV states that you're the editor in  
2 chief of the Inflammatory Bowel Disease Monitor. Is  
3 that correct?

4 A Yes.

5 Q What is that?

6 A The Inflammatory Bowel Disease Monitor is a  
7 newsletter essentially that goes out to physicians in  
8 the U.S. and Europe related to recent advances in  
9 inflammatory bowel disease, again ulcerative colitis  
10 or Crohn's disease.

11 Q And you're the section editor of  
12 Gastroenterology and Hepatology. Is that correct?

13 A For inflammatory bowel disease, yes.

14 Q And what does it mean to be a section  
15 editor?

16 A I solicit and review articles to be  
17 submitted for that journal related to IBD.

18 Q And you're also the section editor of the  
19 Inflammatory Bowel Disease Journal. Is that correct?

20 A I'm one of the section editors, yes.

21 Q Are you a reviewer for any journals?

22 A I'm a reviewer for numerous journals.

23 Q Doctor, I believe you briefly touched on it,  
24 but you currently are conducting research into  
25 inflammatory bowel disease. Is that correct?

HANAUER - DIRECT

1           A     Yes. Through my career I've focused on  
2     clinical research, which is primarily patient-related  
3     research, as to the epidemiology and potential cause  
4     and certainly therapies for both ulcerative colitis  
5     and Crohn's disease.

6           Q     Your CV mentions that you're co-director of  
7     the Inflammation Bowel Disease Research Center. What  
8     is that?

9           A     Within our institution we have a group of  
10    individuals, both basic researchers, translational  
11    researchers who work between basic and clinic  
12    research, and clinical researchers looking at  
13    potential causes of Crohn's disease and ulcerative  
14    colitis from a basic research mechanism, looking at  
15    some of the risks involved with the disease.

16                   For instance, why is cancer more common in  
17    patients with ulcerative colitis or Crohn's disease,  
18    and certainly looking at novel therapies for these  
19    diseases.

20           Q     Have you ever received funding from a  
21    pharmaceutical company for your research?

22           A     A lot of our research is funded by  
23    pharmaceuticals related to drug development and also  
24    some aspects of the disease.

25                   For instance, support for studies related to

HANAUER - DIRECT

1 the quality of life, new diagnostic techniques. Many  
2 of these are supported by pharma.

3 Q Doctor, have you ever testified as an expert  
4 witness in a legal case before?

5 A Yes.

6 Q Approximately how many times?

7 A I've testified probably 50 times in medical  
8 malpractice cases, a few times in toxic tort cases.

9 Q And do you testify for the plaintiff or the  
10 defendant?

11 A In medical malpractice I testify for both  
12 sides.

13 Q And have you ever consulted for a  
14 pharmaceutical manufacturer in a legal case?

15 A Yes. I am currently consulting with Roche  
16 related to Accutane.

17 Q Doctor, turning to the facts of this case,  
18 did you review the medical records pertaining to  
19 Michelle Cedillo's GI issues?

20 A I've reviewed many of the medical records.  
21 I don't believe I've reviewed 100 percent, but I  
22 certainly reviewed those related to her endoscopic and  
23 GI evaluations.

24 Q And did you review the expert report  
25 submitted by Dr. Arthur Krigsman in this case?

2087A

HANAUER - DIRECT

1 A Yes, ma'am.

2 Q And did you review the medical literature  
3 that was submitted with Dr. Krigsman's report?

4 A Yes, and expanded that medical literature  
5 with my own searches on PubMed and Google Scholar  
6 related to possible associations of measles, measles  
7 virus, measles vaccine and specifically related to  
8 intestinal inflammation, what has been described as  
9 autistic enteropathy, autism and any inflammatory  
10 diseases, so I expanded the search beyond Dr.  
11 Krigsman.

12 Q Did you also review the trial testimony of  
13 Dr. Krigsman?

14 A Yes.

15 Q And did you review the copies of the slide  
16 presentation that Dr. Krigsman presented during his  
17 trial testimony?

18 A Yes.

19 Q And did you review the pathology slides from  
20 Michelle Cedillo's January 2002 upper and lower  
21 endoscopy?

22 A Yes, I did.

23 Q And did a pathologist at the University of  
24 Chicago also review those biopsy slides?

25 A Yes. I reviewed the biopsy slides with Dr.

2088A

HANAUER - DIRECT

1 John Hart from our section of Gastrointestinal  
2 Pathology.

3 Q And did you review sections of the capsule  
4 wireless imaging, also known as the PillCam, taken of  
5 Michelle on June 6, 2006?

6 A Yes. I reviewed both the images presented  
7 to the Court, as well as the original disk.

8 Q Doctor, in your opinion is there any  
9 evidence in the record which shows that Michelle  
10 Cedillo has chronic bowel inflammation?

11 A No.

12 Q Before we get to the basis for your opinion,  
13 I'd like to talk about inflammatory bowel disease.  
14 What is inflammatory bowel disease?

15 A Inflammatory bowel disease encompasses a  
16 spectrum of inflammatory disorders of the digestive  
17 tract, and depending on the location within the  
18 digestive tract the nature of these diseases are quite  
19 different, so anything that produces inflammation of  
20 the digestive tract would be an inflammatory bowel  
21 disease.

22 The most common are infections such as  
23 salmonella or okay or the Norwalk agent that produces  
24 viral diarrhea or rotavirus, a virus that affects  
25 children. Acute infections are the most

HANAUER - DIRECT

1 common types of inflammation.

2 We have inflammation in the intestine that  
3 may be related to injury such as radiation. We have  
4 types of inflammatory bowel disease that are related  
5 to medication, in particular nonsteroidal anti-  
6 inflammatory drugs that are aspirin-like agents.

7 But there are two types of chronic  
8 inflammatory disease of the intestines that we really  
9 describe as chronic and idiopathic, meaning we don't  
10 know the cause of these diseases, and those encompass  
11 Crohn's disease and ulcerative colitis. Those are the  
12 main forms of chronic inflammatory bowel disease.

13 There's another form that's called  
14 microscopic or collagenous colitis that's a relatively  
15 newly recognized form of pathologic inflammation in  
16 the setting of a normal endoscopic examination, and  
17 that would be another type of chronic inflammatory  
18 disease.

19 Q Now, is inflammatory bowel disease the same  
20 thing as irritable bowel syndrome?

21 A Absolutely not. The hallmark of  
22 inflammatory bowel disease is inflammation. Irritable  
23 bowel syndrome is a group of disorders, a group of  
24 symptomatic disorders, that affect the digestive tract  
25 that are related to increased motility or pressures

2090A

HANAUER - DIRECT

1 within the digestive tract and also an increased  
2 perception of that motility within the digestive  
3 tract.

4 Q And what are the symptoms of irritable bowel  
5 syndrome?

6 A Irritable bowel syndrome has symptoms of  
7 abdominal pain with diarrhea or constipation, but most  
8 often with alternating diarrhea and constipation.

9 Q Doctor, you touched on the different  
10 inflammatory bowel diseases again, but what are the  
11 various inflammatory bowel diseases?

12 A Again, we should probably limit the  
13 discussion to the chronic inflammatory diseases, which  
14 are ulcerative colitis and Crohn's disease. Frankly,  
15 having read Dr. Krigsman's testimony, he did a pretty  
16 good job of defining.

17 Ulcerative colitis is a diffuse, continuous,  
18 superficial inflammation. By superficial we mean it  
19 only goes through the inner lining, affects the inner  
20 lining of the large intestine or what we call the  
21 colon.

22 Ulcerative colitis begins always at the anal  
23 verge, at the very bottom of the colon, and can affect  
24 a more proximal extent of the colon in individual  
25 patients, but once any portion of that colon is

2091A

HANAUER - DIRECT

1 affected everything downstream to the bottom is  
2 affected in the same manner in a very superficial  
3 inflammatory process.

4 The ulcerative colitis, if you look at it  
5 through a scope, it looks like someone took sandpaper  
6 and rubbed the lining of the colon so it looks  
7 granular. It looks exactly like underneath a scab.

8 If you have a scab, underneath it is this  
9 granular, oozy tissue. The large intestine doesn't  
10 make a scab because it's a mucous membrane. It's  
11 always moist, so that granular tissue is what looks  
12 like ulcerative colitis. In ulcerative colitis, only  
13 the large intestine is affected.

14 In Crohn's disease, the pattern of  
15 inflammation is different. In Crohn's disease, rather  
16 than a continuous pattern of inflammation Crohn's  
17 disease is more focal or patchy inflammation that can  
18 affect not only the large intestine, but can affect  
19 any portion of the digestive tract from the mouth all  
20 the way down to the rear end.

21 The pattern of inflammation, the focal  
22 pattern, is also deeper so in Crohn's disease the  
23 inflammation goes through all of the layers of the  
24 intestinal wall and can actually affect an adjacent  
25 organ, which we would call a fistula if the

2092A

HANAUER - DIRECT

1 inflammation actually burrows through.

2 So the symptoms or the findings are going to  
3 depend on what the disease is and also how severe it  
4 is in any particular portion of the digestive tract.

5 Q Is there such a subtype or entity called  
6 indeterminate colitis?

7 A Yes. Indeterminate colitis refers to  
8 patients who have such severe ulceration of their  
9 large intestine, of their colon, that you can't  
10 separate the pattern between ulcerative colitis and  
11 Crohn's disease.

12 It doesn't refer to any minor condition. I  
13 would call a minor condition nonspecific, but  
14 indeterminate colitis is really a specific condition  
15 where the inflammation and ulceration is so severe  
16 that you can't separate between the patterns of  
17 ulcerative colitis and Crohn's disease.

18 Q Doctor, this is probably self-explanatory,  
19 but itis. What does itis mean?

20 A In medicine when we refer to itis it means  
21 inflammation. Colitis is inflammation of the colon.  
22 OK would be inflammation of the OK. The term  
23 enterocolitis, entero refers to the small intestine,  
24 colon to the large intestine, so enterocolitis would  
25 refer to inflammation in both the small and large

HANAUER - DIRECT

1 intestines.

2 Again, those are nonspecific terms. They're  
3 very general. There are many types of colitis. There  
4 are many types of enteritis. There are many types of  
5 enterocolitis.

6 Q Doctor, is there any evidence that viral  
7 infections cause inflammatory bowel disease?

8 A No.

9 Q The last page of your report states that,  
10 "Viral enterocolitis are self-limited." Would you  
11 please explain what you mean by that?

12 A Well, this is pretty common. When one of us  
13 has a stomach virus, stomach flu, it lasts 24 to 72  
14 hours. That would be typically what's known as a  
15 Norwalk agent. That's what causes the diarrhea and  
16 vomiting on cruise ships.

17 Rotavirus is the most common cause of  
18 diarrhea in children throughout the world, and this is  
19 a viral infection that causes kids to have diarrhea.  
20 It usually lasts three to seven days and then it's  
21 gone. There is no chronic viral inflammatory bowel  
22 disease.

23 Q So in your report when you state that,  
24 "These do not include chronic symptoms," could you  
25 just expound on what you mean by that?

2094A

HANAUER - DIRECT

1           A     When the intestine is confronted with a  
2     bacteria or a virus it develops acute inflammation to  
3     get rid of it. That's the way our body gets rid of  
4     pathogens or invading organisms.

5           Once that organism is eradicated, the  
6     intestine goes back into its normal physiologic amount  
7     of chronic inflammatory cells that line the normal  
8     intestine.

9           Q     Doctor, are you aware of any evidence of  
10    measles virus causing inflammatory bowel disease?

11          A     Outside of the Royal Free group, no.

12          Q     Doctor, what are the neurological  
13    complications of inflammatory bowel disease?

14          A     There are no specific neurologic  
15    complications of inflammatory bowel disease. In other  
16    words, ulcerative colitis or Crohn's disease  
17    inflammation do not affect the brain or the nerves.

18                 On the other hand, there are secondary  
19    consequences, so someone with Crohn's disease, for  
20    instance, does not absorb Vitamin B12. If you have a  
21    Vitamin B12 deficiency that can cause neurologic  
22    conditions, particularly a tingling or numbness in the  
23    fingers or toes known as a peripheral neuropathy.

24                 In addition, if you do an MRI of individuals  
25    with inflammatory bowel disease you find nonspecific

2095A

HANAUER - DIRECT

1 changes in up to 30 percent of patients in the brain  
2 that is not associated with any symptoms or any  
3 specific patterns of neurologic illness.

4 Q Doctor, what is gastrointestinal reflux  
5 disease?

6 A In contrast to inflammatory disease of the  
7 intestines, gastroesophageal reflux is caustic, an  
8 acid-related injury to the lower esophagus from acid  
9 pushing up into the esophagus, which then erodes the  
10 lining of the esophagus and causes ulcerations due to  
11 that caustic or acid injury.

12 Q Is it an immunologic injury?

13 A No. It's a caustic injury due to acid, just  
14 as if you'd put acid on your hand you would have an  
15 ulcer and irritation from that.

16 Q Is it evidence of inflammation?

17 A No. There's no active inflammation aside  
18 from the healing components of the ulcer. The injury  
19 in acid reflux is due to acid.

20 Q Doctor, how is inflammatory bowel disease  
21 diagnosed?

22 A Inflammatory bowel disease is diagnosed by,  
23 first of all, having a suspicion that an individual's  
24 symptoms, which would typically be diarrhea, weight  
25 loss, fevers, rectal bleeding or abdominal pain, would

HANAUER - DIRECT

1 be due to inflammation.

2 So you're looking for inflammatory symptoms,  
3 which again are fever, weight loss, bleeding,  
4 diarrhea, diarrhea that has inflammatory cells within  
5 it, that would lead one to suspect that there's  
6 chronic inflammation.

7 Then the diagnosis is made by a combination  
8 of endoscopic examinations, looking at the tissue,  
9 biopsies from the tissue, or if the tissue can't be  
10 reached with an x-ray of an area that may represent  
11 inflammation based on different forms of x-rays or CT  
12 scans.

13 Q You mentioned endoscopies, the necessity of  
14 having an upper and lower endoscopy. What is an upper  
15 endoscopy?

16 A An upper endoscopy is a tube that's passed  
17 through the mouth, down the esophagus, into the  
18 stomach and into the first part of the small  
19 intestine.

20 Q And what is a lower endoscopy?

21 A A lower endoscopy, typically a colonoscopy,  
22 is a similar tube that's passed up the other direction  
23 into the rectum that can examine the entire large  
24 intestine and frequently get into the bottom part of  
25 the small intestine that's known as the terminal,

2097A

HANAUER - DIRECT

1 meaning the end of the ileum.

2 Q Doctor, what is meant by the term  
3 histopathology?

4 A Histopathology is a microscopic examination  
5 of tissue that's obtained either with biopsies or at  
6 surgery.

7 Q Is it the same thing as pathology?

8 A Essentially, yes, but pathology you could  
9 see gross pathology with just taking the organ,  
10 looking at it. The histopathology refers to a  
11 microscopic examination.

12 Q And what is the purpose of sending a tissue  
13 biopsy for a histopathologic analysis?

14 A Well, different types of inflammation,  
15 different types of inflammatory bowel disease, have  
16 different types of microscopic or histologic  
17 inflammation, so even though a gross or a visible  
18 lesion may have several different differential  
19 diagnoses to it the examination under the microscope  
20 can clarify and help to classify the exact type of  
21 inflammation.

22 Q Doctor, would you diagnose inflammatory  
23 bowel disease in a patient if the histopathology  
24 showed no inflammation?

25 A Not unless there were absolutely

2098A

HANAUER - DIRECT

1 pathognomonic features in areas where you could not  
2 biopsy.

3 Q And what does that mean?

4 A In other words, if a patient had absolutely  
5 typical x-ray appearance of Crohn's disease in an area  
6 that was not accessible we might make that presumptive  
7 diagnosis, but that is extraordinarily rare.

8 Virtually 99 percent of patients with  
9 ulcerative colitis or Crohn's disease have lesions  
10 that are accessible to endoscopy.

11 Q Doctor, what if you saw evidence of possible  
12 inflammation during endoscopy, but the tissue  
13 diagnosis at pathology found no inflammation? Would  
14 you conclude nonetheless that the patient had IBD?

15 A Absolutely not. You can make the appearance  
16 of the intestine look different according to how  
17 traumatic the examination is, so if there's a lot of  
18 rubbing of the scope along the lining of the intestine  
19 it will look as though you've rubbed the skin hard and  
20 it will be red. It may be granular. You may actually  
21 wipe off some of the cells. So the examination itself  
22 can cause lesions that may or may not look like  
23 inflammation.

24 There are other lesions, and we'll get to  
25 that in our further discussions, that may look like

HANAUER - DIRECT

1 inflammatory lesions, but are not inflammatory and are  
2 indeed, for instance, traumatic.

3 Q Doctor, if you saw evidence of possible  
4 inflammation during endoscopy but the tissue diagnosis  
5 comes back from pathology as negative or unremarkable,  
6 does that mean that the patient's inflammation falls  
7 into the category of indeterminate colitis?

8 A No. Again, indeterminate colitis applies to  
9 such severe ulceration that you can't distinguish it,  
10 but you can't have an itis without inflammation so you  
11 can't have any kind of colitis unless there is active  
12 inflammation.

13 Q Doctor, what percentage of your patients  
14 with inflammatory bowel disease have normal  
15 pathological findings?

16 A None, but let me extend that a little bit.  
17 Essentially none do, but if you go to an area of the  
18 intestinal tract that's not affected by the disease  
19 that will appear normal.

20 Q Sure.

21 A But areas that appear abnormal, to find no  
22 pathologic correlation to the endoscopic appearance is  
23 not seen.

24 Q Doctor, I know you have a slide here to  
25 describe briefly how the digestive tract functions.

2100A

HANAUER - DIRECT

1 That's not the digestive tract. There we go.

2 Would you briefly describe how the digestive  
3 tract functions?

4 A Yes. This is important because we need to  
5 understand the difference between symptoms that a  
6 patient may have and actual pathology.

7 Just to say it outright, diarrhea may be due  
8 to inflammation, but there are many other causes of  
9 diarrhea aside from inflammation. Understanding a bit  
10 about how this tract works helps us understand I think  
11 some of the symptoms and what was going on in this  
12 patient.

13 The digestive tract is actually a tube  
14 through the body. It's open at the top, and it's open  
15 on the bottom. Actually anything that's in that tube  
16 is outside of our body, and the function of the  
17 digestive tract, besides giving pleasure on both ends,  
18 actually has two functions. One is that the digestive  
19 tract is actually our immunologic eye to the world.

20 More of our environment is sampled through  
21 our intestinal tract than the rest of the body. Most  
22 of the foreign material we sample is actually through  
23 the digestive tract, so there is more lymphoid tissue  
24 or immune tissue in the gut than any other portion, so  
25 the number one function is the immune function of the

2101A

HANAUER - DIRECT

1 gut.

2 The second, of course, is digestion and  
3 absorption of nutrients, and in order to digest  
4 nutrients and absorb nutrients the intestinal tract is  
5 divided into several functional segments. It's one  
6 long tube. The first portion is actually the mouth,  
7 and the mouth is important because the saliva  
8 lubricates food, starts to mix it with digestive  
9 enzymes that come from our salivary glands.

10 Then the esophagus is the long tube that  
11 goes from the mouth to the stomach. The esophagus is  
12 mainly a transport tube. Once the food hits the  
13 stomach the stomach acts like a holding tank or a  
14 reservoir, and the stomach mixes the food with  
15 digestive enzymes and acid that break the food down  
16 from big particles into microscopic particles.

17 As food primarily is a liquid exits out of  
18 the stomach into the small intestine, the role of the  
19 small intestine, first of all, is to mix that liquid  
20 with enzymes from the pancreas and from the  
21 gallbladder and liver that further break down the  
22 liquid into microscopic particles that are absorbed  
23 along the length of 20 feet, the 20 foot length of the  
24 small intestine.

25 About one quart a day empties from the small

2102A

HANAUER - DIRECT

1 intestine into the large intestine, which is known as  
2 the colon. The job of the colon is really waste  
3 management. The job of the colon is to take the  
4 excess water out of that quart and to package stool  
5 for convenient elimination.

6 We like to say the colon is often considered  
7 a social organ. You can live without a colon. You  
8 may not be happy, but you can live without a colon  
9 quite normally.

10 Now, about a quart of undigested food, food  
11 that's not digested, and the sloughing off of our  
12 normal cells because our digestive tract turns over  
13 every week -- the lining of the digestive tract  
14 regenerates every week -- so that quart enters into  
15 the large intestine.

16 The large intestine churns around through  
17 its motility and as the liquid is in contact with the  
18 lining the liquid is absorbed, and as the material  
19 moves down the colon it is more or less packaged.

20 Finally, a packaged bolus or fecal bolus  
21 reaches the rectum, the bottom of the large intestine,  
22 the bottom of the colon, and what happens is that  
23 stretches the rectum. When the rectum is stretched,  
24 we feel like we have to have a bowel movement.

25 At the same time, there is an unconscious,

2103A

HANAUER - DIRECT

1 an autonomic, relaxation of the lower sphincter, of  
2 the anal sphincter at our butt, and this is what  
3 maintains our continence and prevents us from losing  
4 control.

5 There are two muscles, an internal  
6 sphincter, which is under autonomic or unconscious  
7 control, and an external sphincter, which is under  
8 conscious control. When a bolus of stool reaches the  
9 rectum it stretches. We have the urge to defecate,  
10 but we don't defecate until we sit down on the toilet  
11 and consciously relax our external sphincter and press  
12 down and push that bolus out.

13 Now, the liquidity or solidness, the two  
14 extremes of stool, are going to depend on several  
15 factors. One of the factors is how long this material  
16 is in contact with the colon. If things are rushing  
17 through the large intestine, not much of the fluid is  
18 going to be absorbed and it's going to come out as  
19 loose stool.

20 The longer it's in the colon the more water  
21 is going to be absorbed and the more and more compact  
22 that stool is going to be and the more solid it's  
23 going to be.

24 Now, what also can happen is that in  
25 individuals who are so constipated that they have a

2104A

HANAUER - DIRECT

1 large bolus of fecal material in the rectum, it is  
2 stretching the rectum. That leads to a relaxation of  
3 that inner sphincter, and we can't consciously control  
4 that forever so what happens is the liquid stool  
5 actually goes around that formed stool and can  
6 actually cause diarrhea in the presence of  
7 constipation.

8 That's not an uncommon thing, particularly  
9 in children who have chronic constipation or mental  
10 disorders who are unable to evacuate for one reason or  
11 another.

12 The liquidity of the stool, whether or not  
13 you have diarrhea or hard stools, is going to depend  
14 on the motility of the intestine. It's going to  
15 depend on what you eat. If we eat prunes, prunes  
16 actually have a laxative effect and actually will  
17 cause more frequent bowel movements.

18 If we eat no fiber, on the other hand, or an  
19 Atkins-like diet where there's no fiber to hold in  
20 water we can actually be constipated, so there are  
21 many aspects, many things that can affect the motility  
22 of the colon, the liquidity of the stool, outside of  
23 inflammation.

24 Now, the way inflammation causes diarrhea is  
25 that the inflammation can either secrete fluid --

2105A

HANAUER - DIRECT

1 again think of that oozy scar. It's oozing tissues  
2 out. That would be one reason, but also if the  
3 inflammation impairs the intestine from absorbing  
4 nutrients those nutrients actually go into the large  
5 intestine and hold water in and can produce diarrhea.

6 The perfect example is when you take Milk of  
7 Magnesia. It's a laxative. The magnesium in that is  
8 a particle that holds in water and loosens the stool.  
9 That can be seen in different foods as well.

10 So the presence or absence of diarrhea can  
11 be due to motility. It can be due to foods. It can  
12 be due to other medications. It can be due to  
13 inflammation.

14 Q Doctor, if the patient presented to you with  
15 GI symptoms of diarrhea, constipation and abdominal  
16 pain would you assume that that person had an  
17 inflammatory bowel disease?

18 A The only conditions that produce diarrhea  
19 alternating with constipation is what's known as  
20 irritable bowel syndrome. Inflammatory disease  
21 produces a chronic persistent diarrhea with  
22 inflammation in the stool.

23 Q Now, are fluctuations in bowel movements  
24 necessarily caused by inflammation of the bowel?

25 A Absolutely not. As I just stated,

2106A

HANAUER - DIRECT

1 fluctuations in bowel movement could be due to  
2 fluctuations in motility in the intestine.

3 For instance, when we scare an animal they  
4 defecate. That's come into our common vernacular.  
5 We're known as we get scared blankless. That's  
6 common.

7 When performers go on stage or attorneys  
8 have to go on trial they frequently get butterflies in  
9 their stomach, and they get more frequent bowel  
10 movements due to the nervous energy and the connection  
11 between the brain and the intestine that can affect  
12 the motility of the intestine, so there are many  
13 things that can affect it.

14 Q Can diet affect the motility of the  
15 intestine?

16 A Absolutely. The more fruits and vegetables  
17 that we eat that have more fiber, the more looser the  
18 bowel movements are going to be.

19 Again, if we're eating foods that have  
20 laxative properties like prunes you're going to have  
21 liquid diarrhea. On the other hand, if you're eating  
22 foods without fiber you're going to have less frequent  
23 bowel movements.

24 Q Can food allergies also cause diarrhea and  
25 constipation?

HANAUER - DIRECT

1           A     Absolutely. Both food allergies, which are  
2     immunologic reactions to food, and also food  
3     intolerances, which are sensitivities.

4                     For instance, people who will go out and eat  
5     hot, spicy food will often have increased bowel  
6     movements because the spices act as stimulators to the  
7     nerves of the intestine and can increase the motility  
8     of that, but foods that can also have laxative  
9     properties can affect the liquidity of the stool as  
10    well.

11                    Another example are foods like milk and the  
12    milk sugar, lactose. Many individuals are unable to  
13    digest that sugar and that sugar acts as an osmotic  
14    particle, meaning it holds water in and can make the  
15    stool more liquid.

16           Q     Now, Doctor, your report on page 1 states  
17    that worsening diarrhea and constipation are not  
18    associated with enterocolitis or inflammatory bowel  
19    disease. Could you briefly explain what you mean?

20           A     Again, inflammatory bowel disease entails  
21    inflammation of the intestine which is chronic, unless  
22    it's treated, and patients with inflammatory bowel  
23    disease that are progressive have progressive  
24    diarrhea. They don't get constipation.

25                    The only thing that produces alternating

2108A

HANAUER - DIRECT

1       diarrhea and constipation, as I've said, is irritable  
2       bowel syndrome, which is not associated with  
3       inflammation.

4           Q     Now, is the symptom of persistent diarrhea  
5       sufficient to conclude that that person has  
6       inflammatory bowel disease?

7           A     Not at all.  Thirty percent of patients who  
8       have irritable bowel syndrome have a diarrhea  
9       predominant form.

10          Q     I'd like to turn specifically to the facts  
11       of this case.  Now, Michelle has had five endoscopies.  
12       Is that correct?

13          A     Yes.

14          Q     And have you reviewed the medical records  
15       pertaining to each of those five endoscopies?

16          A     Yes.

17          Q     Her first endoscopy was on June 10, 2000,  
18       and that was an upper endoscopy, correct?

19          A     Yes.

20          Q     And before we look at those records,  
21       Petitioners' Exhibit 44 at 58 describes her GI  
22       symptoms that she was having before the endoscopy.  
23       I'll read those aloud.

24                   "Her usual pattern is that of two to seven  
25       mushy stools each day containing visible mucous of a

HANAUER - DIRECT

1 variable size, including smears, although there was a  
2 recent three-day period without any bowel movement.  
3 No blood in the stool is reported.

4 "The patient additionally has frequent  
5 bloating of the upper abdomen associated with  
6 excessive flatus and sleeps poorly, often waking up at  
7 night and appearing upset.

8 "She has a history of frequent regurgitation  
9 associated with the constipation up until one year  
10 ago, and although she no longer vomits she gags easily  
11 and appears to ruminate associated with coughing and  
12 taps at her upper chest."

13 Doctor, is this description sufficient to  
14 indicate inflammation of the bowel?

15 A No. The alternation between loose bowel  
16 movements and constipation associated with symptoms of  
17 gastroesophageal reflux have no specificity or even  
18 insinuation of inflammatory bowel disease.

19 Let me just comment on the mucous. The  
20 mucous. Irritable bowel syndrome used to be called  
21 mucous colitis, which is an inappropriate term because  
22 there is no itis in it, but mucousy stools are  
23 primarily associated with irritable bowel syndrome.

24 The intestine is a mucous membrane. The  
25 lining cells of the colon produce mucous, which

HANAUER - DIRECT

1 actually serves as a kind of lubricant and a  
2 protective barrier against that lining.

3 Q Doctor, turning to the postprocedure  
4 diagnosis following Michelle's June 10, 2000,  
5 endoscopy -- I'm referring to Petitioners' Exhibit 44  
6 at 65 -- the postprocedure diagnosis is erosive  
7 esophagitis. What is that?

8 A Erosive esophagitis is related to the  
9 reflux, the movement up, of acid from the stomach,  
10 which is normal in the stomach, into the esophagus.

11 Under normal situations the esophagus is  
12 protected against acid by the propulsive motility and  
13 the sphincter muscle between the esophagus and the  
14 stomach, and if that sphincter muscle is loose or if  
15 there's increased abdominal pressure the acid from the  
16 stomach can come up into the esophagus in  
17 inappropriate amounts and produce injury to the lining  
18 of the esophagus.

19 That's known as erosive esophagitis or  
20 gastroesophageal reflux with esophagitis.

21 Q Also known as GERD, the acronym GERD?

22 A Yes. Gastroesophageal reflux disease.

23 Q Is that an indication of inflammation?

24 A It's an indication of acid injury. Actually  
25 the inflammation is part of the healing in that

2111A

HANAUER - DIRECT

1 situation, but it's not an inflammatory injury. It's  
2 a caustic acid injury.

3 Q And the other postprocedure diagnosis is  
4 gastritis. What is gastritis?

5 A Well, again using our terminology, gastritis  
6 is inflammation of the lining of the stomach.

7 There are many different types of gastritis.  
8 You can have gastritis, as is alluded here, related to  
9 a bacterial infection called helicobacter that can  
10 also be associated with gastric and duodenal ulcers,  
11 but there are many things that can cause gastritis.

12 Again, different foods. Allergic reactions  
13 can cause gastritis. Certainly many different  
14 medications, including nonsteroidal anti-inflammatory  
15 drugs can do this. Other bacteria and viruses can  
16 cause gastritis.

17 I will also mention that there is a specific  
18 form of gastritis that's called a multifocal gastritis  
19 that has been associated with Crohn's disease  
20 identified by pediatricians, but the histologic  
21 examination in this patient did not show that  
22 particular pattern.

23 Q What is the role of acid damage to the  
24 lining of the stomach in causing gastritis?

25 A Well, acid can produce inflammation in the

2112A

HANAUER - DIRECT

1 stomach under several situations. One is if there's  
2 too much acid produced it can cause ulcers, but  
3 usually if there's some other component in the stomach  
4 to cause the injury -- for instance, if there's a  
5 helicobacter infection of the lining in the intestine  
6 it can make it more susceptible to acid damage.

7 Again, most frequently in our society it's  
8 aspirin-related medicines that actually erode or  
9 prevent the lining of the intestine from healing  
10 similar to what Dr. Krigsman said in his deposition.  
11 It can produce gastritis and also ulcers.

12 Q Now, the record does not contain a report on  
13 pathology following this June 10, 2000, upper  
14 endoscopy. However, we do have evidence in the record  
15 as to what the pathological diagnosis was. I'm  
16 referring to Petitioners' Exhibit 44 at 31.

17 It states that following biopsy the  
18 histologic evidence was gastroesophageal reflux  
19 disease or GERD, correct?

20 A Correct.

21 Q And in addition to focal gastric  
22 enteroinflammation was prominent eosinophils. What  
23 are eosinophils?

24 A Eosinophils are one of the types of white  
25 blood cells that are most commonly associated with

2113A

HANAUER - DIRECT

1 allergic reactions.

2           You'll see eosinophils in patients who have  
3 allergic asthma or allergic sinus or nose problems,  
4 sinusitis or rhinitis, and in patients who have  
5 allergic reactions, and they may be very subtle or  
6 mild, to foods or to medicines can have increased  
7 amounts of eosinophils in the lining of their  
8 digestive tract, anywhere actually from the esophagus  
9 down into the colon.

10           Q    Are they indicative of inflammatory bowel  
11 disease?

12           A    No, they're not specific in any way for  
13 inflammatory bowel disease. They're more indicative  
14 of an allergic type reaction or exposure, for  
15 instance, to parasites, but we don't have any evidence  
16 of a parasitic infection in Michelle.

17           Q    Now, Michelle was put on Prilosec following  
18 her June 2000 endoscopy. What is Prilosec?

19           A    Prilosec is a medication that stops the  
20 stomach from producing acid or greatly reduces acid  
21 production from the stomach, and without the acid  
22 there's no longer injury to the esophagus and under  
23 usual situations the esophageal ulcers then heal.

24           Q    And that's what happened in this case? She  
25 had a follow-up endoscopy on December 11, 2000, and

2114A

HANAUER - DIRECT

1 the postprocedure diagnosis, which is found at  
2 Petitioners' Exhibit 44 at 42, states: "Resolved  
3 erosive esophagitis." Does that mean that her GERD  
4 had resolved?

5 A The gross lesions, the visible lesions --  
6 when I say gross I mean visible, although they might  
7 be gross as well -- are gone.

8 Q And the pathology report following the  
9 December 11, 2000, endoscopy, which is found at  
10 Petitioners' Exhibit 44 at 43 through 44 -- we'll blow  
11 that up for you, Doctor. How do you interpret that  
12 pathology report?

13 A Just as the... the there's been some  
14 confusion I think in testimony previously at least  
15 with Dr. Krigsman between the term indeterminate and  
16 the term nonspecific.

17 Nonspecific means that there are many  
18 different explanations for the findings, so  
19 nonspecific gastritis means that, as I said, it could  
20 be due to acid injury. It could be due to infection.  
21 It could be due to trauma. It could be due to other  
22 medications. It could be due to, as I said, other  
23 infections.

24 Q So would this pathology report, Doctor,  
25 indicate at all to you any inflammatory bowel process

HANAUER - DIRECT

1 at work?

2 A No, and specifically this is not a  
3 multifocal gastropathy or inflammation of the stomach  
4 that's been associated in children with Crohn's  
5 disease.

6 Q Now, she had her next endoscopy, an upper  
7 and lower endoscopy, so the first time she had a  
8 colonoscopy was January 31, 2002.

9 The postprocedure diagnosis is found at  
10 Petitioners' Exhibit 44 at 13 through 14. We'll look  
11 at page 14. We'll blow that up. The postprocedure  
12 diagnosis was, "Lymphonodular hyperplasia of the  
13 colon." What is that?

14 A I started by describing the digestive tract  
15 as an immune organ, and the way that the immune tissue  
16 is organized throughout the digestive tract is  
17 actually in two different ways.

18 There is an underlying continuous layer of  
19 chronic inflammatory cells along the lining of the  
20 intestine, as we'll see in a few minutes, but also the  
21 intestinal tract, in order to process foreign  
22 material, is also organized into lymphoid aggregates  
23 or little, small, microscopic lymph nodes essentially  
24 that line the entire digestive tract.

25 If those appear enlarged we call that

HANAUER - DIRECT

1 hyperplasia, so lymphonodular hyperplasia would be an  
2 apparent enlargement of the lymphoid tissue in  
3 whatever organ you're describing.

4 Q Is it a normal finding in children?

5 A Yes, it certainly can be a normal finding in  
6 children and even increased in children with  
7 constipation.

8 Q Is it evidence of chronic inflammation?

9 A Absolutely not. This is normal lymphoid  
10 tissue. It's just larger.

11 Q Can lymphonodular hyperplasia be associated  
12 with constipation?

13 A Yes, it can be associated with constipation.  
14 It's thought that because of prolonged contact with  
15 stool in patients who are constipated, and the  
16 majority of stool is actually bacteria, that may lead  
17 to a more increased need to process more bacteria, but  
18 it's not pathologic. It's not disease. It's normal  
19 tissue.

20 Q Now, Doctor, the results of that January  
21 2002 endoscopy also stated that, "The terminal ileal  
22 mucosa appeared normal without signs of inflammation  
23 and only mild nodularity." Is this a significant  
24 finding?

25 A It's a normal finding.

HANAUER - DIRECT

1 Q And, Doctor, the pathology report following  
2 the January 2002 upper endoscopy is found at  
3 Petitioners' Exhibit 44 at 17. We'll pull that up on  
4 the screen.

5 I note they use the word unremarkable. What  
6 does an unremarkable finding on pathology mean?

7 A Normal.

8 Q No inflammation?

9 A Correct. Inflammation would be remarkable.

10 Q Did you review the slides of tissue taken  
11 from this January 31, 2002, endoscopy?

12 A I reviewed the slides from the small  
13 intestine and large intestine, yes.

14 Q And what did you find?

15 A That these were normal tissue.

16 Q Okay. And I believe you alluded earlier  
17 that a pathologist at the University of Chicago also  
18 reviewed those slides?

19 A Yes. I reviewed it with our head of GI  
20 Pathology, Dr. John Hart, so we looked at the tissue  
21 together. I did not prejudice him as to what the  
22 reasons for looking at the tissue was. I said what do  
23 you think of this tissue.

24 Q And what did he find?

25 A He felt that it was absolutely normal, as

HANAUER - DIRECT

1 have all the other pathologists who have reviewed it.

2 Q Doctor, I know you have a couple slides you  
3 want to show as to what a normal tissue looks like.  
4 We'll put those up on the screen. What are we looking  
5 at in Slide 2?

6 A Okay. We are looking at a biopsy of the  
7 lining of the colon. The colon has those crypts,  
8 which look like the test tubes that are going down.

9 Those crypts are actually the absorptive  
10 component of the colon, and at the top layer you can  
11 see that these crypts are comprised of a single layer  
12 of cells, and then underneath that single layer of  
13 cells are inflammatory cells, but these are not  
14 inflammation.

15 These are chronic inflammatory cells that  
16 are constantly sampling the environment. They're  
17 sitting there. They're not activated. They're not  
18 acute inflammation as we'll see in other examples.

19 You can actually tell what part of the world  
20 an individual is from by the amount of these chronic  
21 inflammatory cells between these glands. If you're  
22 from a third world country where there's a lot of  
23 dysentery and bacterial infection we'll see more of  
24 those cells. If you're in a very clean environment, a  
25 first world country, there will actually be less.

HANAUER - DIRECT

1                   This is probably a biopsy with a normal  
2                   amount of these cells that are inflammatory cells, but  
3                   this is not inflammation. This is normal cells.

4                   The next slide --

5                   Q     Slide 3. What are we looking at?

6                   A     Okay. The next slide is an example of how  
7                   the immune tissue of the intestine is organized into  
8                   these aggregates.

9                   So in the previous slide you saw all these  
10                  test tubes that were aligned together, but  
11                  intermittently along the intestine are these small  
12                  aggregates of lymphoid tissue, which would be called  
13                  lymphoid aggregates, lymphoid nodules. If there's a  
14                  big aggregate in the small intestine it's called a  
15                  Peyer's patch.

16                  Now, the lining cells of this are somewhat  
17                  different. Instead of having those same absorptive  
18                  cells these cells actually have what's called an M  
19                  cell, which is a very thinned out cell overlying these  
20                  lymphoid cells, the lymphocytes, which is able to  
21                  sample then the environment and tell the lymphocytes  
22                  whether this is a harmful feature or if it's something  
23                  that's absolutely normal.

24                  And so that area on top of this aggregate is  
25                  actually very thin, and if that thin cell is eroded we

2120

HANAUER - DIRECT

1 would call that an aphthous ulcer, which is an erosion  
2 that overlies a lymphoid aggregate anywhere through  
3 our digestive tract from our mouth again all the way  
4 down to the small intestine, so the simplest form of  
5 an aphthous ulcer is the cold sore that we know about  
6 that can affect most of us on our lips or gums would  
7 be an example of a small ulceration over a lymphoid  
8 aggregate.

9 These are again organized in different parts  
10 of the intestine, most prevalent at the junction  
11 between the large and small intestine, in order to  
12 sample the intestinal environment.

13 Q The next slide, Doctor, is a photograph of  
14 the tissue slide of Michelle Cedillo graciously  
15 provided to us by Dr. Michael Gershon. What are we  
16 looking at?

17 A These are cells actually. This is a biopsy  
18 of the small intestine. We see the same lining cells.  
19 Now, what's happened here is the colon -- you guys  
20 look at me for a second. Thank you.

21 The colon, the crypts, the absorptive cells,  
22 are layered down as you saw in the first microscopic  
23 slide. In the small intestine, which needs to absorb  
24 nutrients, they're out. They reach into the lining,  
25 and those are called villi.

2121A

HANAUER - DIRECT

1           What you're seeing here is a biopsy that's  
2           cut off. It biopsied those villi, so you cut off the  
3           tips of these circumcised villi, but we can see enough  
4           into these that you have normal appearing lining cells  
5           -- there's no disruption, there's no ulceration; you  
6           wouldn't see those cells there -- and a normal amount  
7           of lymphocytes or chronic inflammatory cells  
8           underneath it.

9           What you do not see are any acute  
10          inflammatory cells. You do not see pus cells or what  
11          are known as granulocytes, neutrophils or  
12          polymorphonuclear leukocytes. They're all the same  
13          type of cell that mean acute inflammation.

14          This is the normal amount of chronic  
15          inflammatory cells in the small intestine and no  
16          evidence of ulceration or aphthous ulceration or  
17          underlying ulceration.

18          Q     I believe the next slide is a photograph of  
19          Michelle's tissue slide from her colon. What are we  
20          looking at here? I'm referring to Slide 5.

21          A     Again, these are two slightly different  
22          views. Now, remember, as I just showed you, the colon  
23          has like test tubes so on the right side they've cut  
24          across the test tubes like this and so you're seeing  
25          the test tubes head on.

HANAUER - DIRECT

1                   These are normal appearing glands. They are  
2 not disrupted in any way, and there is a normal amount  
3 of chronic lymphocytes between these cells. They are  
4 well organized.

5                   In patients who have ulcerative colitis or  
6 Crohn's disease these crypts are disorganized.  
7 They're irregular in shape, and that's a hallmark of  
8 chronic inflammation is what's known as chronic  
9 architectural damage. These crypts are perfectly  
10 aligned.

11                   On the other slide on the left it's cut a  
12 little bit more at an angle so you're seeing a  
13 different view of these slides, but again there is no  
14 disruption of the lining, the epithelial lining.  
15 There's no ulceration. There's no increase in amount  
16 of chronic inflammatory cells, and there are no acute  
17 inflammatory cells.

18                   Specifically in inflammatory disease, bowel  
19 disease, you would be looking for acute inflammatory  
20 cells invading and disrupting those crypts, and that  
21 would be known as cryptitis, but we don't see any of  
22 this. These are normal.

23                   Q     Thank you.

24                   A     It's what we would see in anybody.

25                   SPECIAL MASTER HASTINGS: Before you go on,

2123A

HANAUER - DIRECT

1 can you spell a couple terms for us? The crypt? How  
2 do you spell that?

3 THE WITNESS: C-R-Y-P-T.

4 SPECIAL MASTER HASTINGS: All right. And  
5 villi?

6 THE WITNESS: Villi. Villi are the  
7 projections of the small intestinal lining into the  
8 intestine.

9 SPECIAL MASTER HASTINGS: You told us what  
10 they are. How do you spell that word?

11 THE WITNESS: V-I-L-L-I.

12 SPECIAL MASTER HASTINGS: Okay. Go ahead.

13 THE WITNESS: Or if you're talking about  
14 them in the aggregate you might talk about villis  
15 changes.

16 MS. RICCIARDELLA: Thank you.

17 BY MS. RICCIARDELLA:

18 Q Doctor, if this had been your patient and  
19 you received the same postprocedure report and the  
20 pathology report, would you conclude that the patient  
21 had an inflammatory bowel disease?

22 A Let me just again say that these are just  
23 representative biopsies. We've looked at multiple  
24 biopsies, and she had multiple biopsies of the  
25 intestine. This is just one high power view of a

HANAUER - DIRECT

1 single specimen.

2 If you looked at this in aggregate there  
3 would be many different microscopic views. In none of  
4 them was there any evidence of active inflammation.

5 Q Okay.

6 A And, no, I would not have diagnosed this  
7 patient with any form of inflammatory bowel disease.  
8 These biopsies of the small intestine and of the colon  
9 are normal.

10 Q Even if the patient's clinical presentation  
11 was having watery, acidic, mucous-like stools every  
12 day?

13 A Again, watery, mucous, acidic have nothing  
14 to do with inflammation, and certainly the biopsies  
15 bear out that there was no active inflammation.

16 Q Okay. Thank you. Michelle had her fourth  
17 endoscopy, an upper and lower endoscopy, on  
18 September 25, 2003, the one performed by Dr. Krigsman.

19 His findings, his postprocedure report, is  
20 found at Petitioners' Exhibit 28 at 454 through 456.  
21 We'll look specifically at page 455. He says that the  
22 upper endoscopy findings he found esophageal streaking  
23 nodularity. What is that?

24 A I'm not certain what he means, but some  
25 streaking or bumpiness would certainly be consistent

HANAUER - DIRECT

1 with someone who's had esophagitis that's been treated  
2 and it doesn't heal perfectly normally. It looks a  
3 little bit abnormal.

4 There's no specificity to that description.  
5 It doesn't fit any pattern of anything.

6 Q Is it evidence of inflammation?

7 A Absolutely not in and of itself without  
8 biopsy evidence of inflammation.

9 Q He also found on upper endoscopy two  
10 distinct enteral inflammatory mucosal swellings. What  
11 does that mean?

12 A Honestly I don't know. Those are not common  
13 terminologies used. It's a description of what he saw  
14 in the lining, but it has no pathologic correlation to  
15 anything that I know of.

16 Q Now, his findings following the colonoscopy  
17 are also found on page 455 of Petitioners' Exhibit 28,  
18 and he found again the lymphonodular hyperplasia.  
19 That's what we were just discussing, correct?

20 A Yes.

21 Q And he says he also found following this  
22 colonoscopy multiple sigmoidal aphthous ulceration.  
23 You touched a little bit on what aphthous ulcerations  
24 are, but could you describe and explain what exactly  
25 those are?

HANAUER - DIRECT

1           A     Yes.  Aphthous ulcers in the intestine are  
2           usually pinpoint, barely visible erosions over a  
3           lymphoid aggregate that can be due to trauma,  
4           medications, the bowel preparation itself, infection  
5           or part of the normal intestinal lining.

6                     Again, an aphthous ulcer is no different  
7           than a canker sore that occurs in the mouth.  Those  
8           are called aphthous ulcers as well, and they can come  
9           and go in healthy individuals or they can be present  
10          in patients who have these hyperplastic or grossly  
11          enlarged lymphoid aggregates, but in and of themselves  
12          they have no specificity whatsoever.

13                    Dr. Krigsman in his testimony describes  
14          aphthous ulcers in the setting of Crohn's disease, and  
15          certainly aphthous ulcers can be the first sign of  
16          Crohn's disease, but by no means are they specific for  
17          Crohn's disease.

18                    Again, we all have aphthous ulcers in our  
19          mouths coming and going, and this does not mean we  
20          have Crohn's disease.

21                    Q     Are they specific that there's an  
22          inflammatory bowel process at work?

23                    A     Absolutely not.  They can be due to the  
24          preparation that you give to cleanse the bowel.  They  
25          can be due to minor injury.

HANAUER - DIRECT

1                   Some of us get these sores in our mouths  
2                   from brushing our teeth or from toothpaste. Just  
3                   minor traumatic injuries can induce this both in the  
4                   mouth and also in the intestine.

5                   Q     And can it be considered a normal finding?

6                   A     It certainly can be found in individuals  
7                   with no disease whatsoever. We don't know the history  
8                   of them.

9                   Most of these come and go and in children  
10                  can be present at different times associated with  
11                  these enlarged lymph nodes in the small intestine,  
12                  depending on whether there's traumatic injury.

13                  Again, if there's constipated stool rubbing  
14                  against a lymphoid aggregate you're going to get an  
15                  aphthous ulcer there.

16                  Q     Can you conclude that there's inflammation  
17                  just by looking and seeing an aphthous ulcer, or would  
18                  you like a biopsy and a histopathologic confirmation?

19                  A     Well, an aphthous ulcer, as we said, doesn't  
20                  mean inflammation in and of itself. It can be a  
21                  traumatic injury, just like acid reflux can be due to  
22                  caustic injury.

23                  So without other tissue diagnosis of  
24                  inflammation either adjacent to that ulcer or some  
25                  other tissue, it doesn't have any specific meaning

2128A

HANAUER - DIRECT

1       whatsoever.

2           Q     Now, your report states that aphthous ulcers  
3       can be due to bowel preparation for colonoscopy. I  
4       believe that's what you just testified about, correct?

5           A     Yes.

6           Q     And your report also states that aphthous  
7       ulcers can be related to the use of anti-inflammatory  
8       medication. What do you mean by that?

9           A     Well, just as Dr. Krigsman mentioned that  
10       aspirin-related medicines that we've called  
11       nonsteroidal anti-inflammatory drugs, which includes  
12       aspirin, Advil, ibuprofen, Motrin, Aleve, Vioxx,  
13       Celebrex, these medications prevent the lining of the  
14       intestine from coming together and regenerating and so  
15       it's frequent in patients who are taking those  
16       medications to have either these microscopic  
17       ulcerations or what we call mucosal breaks in the  
18       lining of the intestine; not only in the stomach, but  
19       also in the small intestine and in the colon.

20          Q     Was Michelle taking nonsteroidal anti-  
21       inflammatory drugs?

22          A     Her medical records say she was taking Advil  
23       frequently and often on a continuous basis, so yes.  
24       That's ibuprofen, and that's certainly been associated  
25       with these same findings.

AHANAUER - DIRECT

1 Q Doctor, the pathology report following this  
2 September 2003 endoscopy is found at Petitioners.  
3 Exhibit 28 at 407 through 408, which we'll put up on  
4 the screen. We're looking at page 407.

5 Do you see anything in this pathology  
6 report? Are there any significant pathological  
7 findings found in this report?

8 A No, and I should mention particularly that  
9 these were interpreted by Dr. Noam Harpaz at Mt. Sinai  
10 Hospital in New York, who is one of the world's  
11 authorities on inflammatory bowel disease and probably  
12 trained Dr. Krigsman in pathology when he was at Mt.  
13 Sinai for his fellowship, but these were interpreted  
14 as essentially normal.

15 Q The report carries on through page 408,  
16 which we'll pull up. Do you see anything in the  
17 report on page 408 of any significance?

18 A No. They were all within normal limits,  
19 meaning there was no active inflammation.

20 I have not seen any biopsy of her small  
21 intestine or her colon either in the reports or the  
22 biopsies that I reviewed from 2002 that had any  
23 evidence of microscopic inflammation.

24 Q Doctor, if this had been your patient would  
25 you say that she had colitis?

HANAUER - DIRECT

1 A There's no itis.

2 Q Would you say that she had some form of  
3 indeterminate colitis?

4 A There is no itis or enteritis.

5 Q What if in addition the patient may also  
6 have had the comorbid condition of arthritis? Would  
7 that have made a difference?

8 A There's still no itis here, but patients  
9 with arthritis can develop some lymphoid hyperplasia  
10 and aphthous ulcers in their intestines.

11 Whether it's related to the arthritis or  
12 that they frequently take these anti-inflammatory  
13 medicines for the arthritis is yet to be really  
14 elucidated.

15 Q What if in addition the patient may have had  
16 uveitis?

17 A Uveitis is again often associated with  
18 different forms of arthritis. Again, patients are  
19 often taking medication for that so you may or may not  
20 see them, but there's no specific intestinal  
21 correlation to uveitis to my knowledge.

22 Q What if in addition to the arthritis and  
23 uveitis the patient also had elevated C-reactive  
24 protein? Would that have made a difference?

25 A Well, Michelle actually had evidence of an

2131A

HANAUER - DIRECT

1 inflammatory arthritis. We've seen the joints and the  
2 evidence of her eye inflammation.

3 Those in and of themselves would raise the  
4 C-reactive protein in the sedimentation rate. You  
5 don't need to invoke anything gastrointestinal.

6 Q What if a patient also had an elevated  
7 platelet count?

8 A Again, elevated platelet counts are  
9 associated with inflammation anywhere. She had active  
10 inflammation in her joints and in her eye.

11 Q What if the patient had an anti-OmpC  
12 finding?

13 A OmpC is a serologic finding that's been  
14 associated with disease of the small intestine. It's  
15 been tested in patients with Crohn's disease of the  
16 small intestine and has been found to be elevated in  
17 about 60 percent of patients who have Crohn's disease  
18 of the small intestine.

19 It's also been found to be elevated in  
20 patients who have other diseases of the small  
21 intestine, and the problem is it's never been tested  
22 in a population of patients with arthritis or patients  
23 who are taking anti-inflammatory drugs that make the  
24 intestine leaky to what OmpC is. It's a protein from  
25 a bacteria.

2132A

HANAUER - DIRECT

1                   So we don't know what OmpC would look like  
2                   in patients with arthritis or those taking a  
3                   nonsteroidal drug. It is not what we call a  
4                   pathognomonic, meaning a virtual feature, of Crohn's  
5                   disease. It's an association that may or may not be  
6                   present in Crohn's disease.

7                   Although the lab reports say 95 percent  
8                   sensitivity or, that's compared to or specificity,  
9                   that's compared to the normal population. It's not  
10                  compared to patients with arthritis or those taking  
11                  anti-inflammatory medicines. We don't know what this  
12                  looks like in that group of patients.

13                 Q        Would you prescribe an anti-inflammatory  
14                  medication regardless anyway?

15                 A        There are several different types of anti-  
16                  inflammatory medicines, and that's an excellent  
17                  question.

18                 The ones that we are talking about that  
19                  produce injury to the lining of the intestine anywhere  
20                  are called the nonsteroidal anti-inflammatory  
21                  medicines. Those are the aspirin-like medicines that  
22                  we've been discussing that I already mentioned --  
23                  Advil, ibuprofen, Aleve, Vioxx, Celebrex.

24                 The other types are called steroids. That  
25                  would be cortisone or its derivative such as

2133A

HANAUER - DIRECT

1 Prednisone. Now, those actually treat the active  
2 inflammation present anywhere in the body and do not  
3 cause these lesions in the small intestine or colon or  
4 stomach.

5 So when I say anti-inflammatories causing  
6 injury, I will be more specific and call them  
7 nonsteroidal anti-inflammatory drugs or as we call  
8 them NSAIDs, nonsteroidal anti-inflammatory drugs.

9 Q If a patient with this presentation were  
10 receiving and taking anti-inflammatory medication and  
11 that person's abdominal pain improved and the GI  
12 symptoms improved, would you conclude that the patient  
13 must have had inflammatory bowel disease?

14 A Absolutely not. These are nonspecific. One  
15 of the interesting things is that patients who develop  
16 perforating ulcers from taking aspirin or aspirin-like  
17 medicine often have no pain. The ulcer is present,  
18 but the effects are to block the pain reception from  
19 that.

20 Q Doctor, the last endoscopy Michelle has had  
21 took place on June 8, 2006. She had another upper and  
22 lower endoscopy.

23 The postprocedure report of the upper  
24 endoscopy is found at Petitioners' Exhibit 59 at 20.  
25 We'll blow that up. It says, "Normal examination."

2134A

HANAUER - DIRECT

1 What does that mean?

2 A Normal examination.

3 Q Okay. And the postprocedure report of the  
4 colonoscopy is found at Petitioners' Exhibit 49 at 23.  
5 I'll bring that up on the screen.

6 A And I should mention one other thing. It  
7 also said, "Rule out gastritis." The reason it says  
8 rule out is that gastritis itis is a pathologic  
9 diagnosis. It's not an endoscopic diagnosis.

10 You can have the appearance of gastritis  
11 with or without actual inflammation, so if you  
12 traumatize the stomach rubbing it it will look like  
13 it's gastritis, but there won't be any significant  
14 pathology.

15 Q Now, the postprocedure report following the  
16 colonoscopy on June 8, 2006, says, "One aphthous ulcer  
17 seen in the transverse colon." Again, to you what is  
18 this indicative of?

19 A It's completely nonspecific and may be a  
20 normal finding. A single aphthous ulcer means  
21 nothing.

22 Q And looking at the sigmoid colon it says,  
23 "Absent ulcer." Is that a typo for aphthous ulcer?

24 A I don't know.

25 Q Have you ever heard of an absent ulcer?

HANAUER - DIRECT

1           A     I think that she had many absent ulcers.  
2           I'm not certain how many aphthous ulcers she had, but  
3           they are described.

4           Q     Now, the pathology report following this  
5           June 8, 2006, endoscopy is found at Petitioners'  
6           Exhibit 49 at 82 through 83. We'll pull that up.

7                     What do you conclude from this pathology  
8           report? It says, "No pathologic diagnosis."

9           A     All of the biopsies of the small intestine  
10          and of the -- let me see if this one actually has the  
11          small bowel. Can we move down on that one?

12          Q     It might continue onto page 83.

13          A     But certainly the colonic biopsies were all  
14          normal, and I don't see a biopsy of the small  
15          intestine in this.

16          Q     Okay. Now, in addition to the upper and  
17          lower endoscopy, Michelle also had a wireless capsule  
18          imaging taken of her, what's known as a PillCam.

19                     Now, we don't have the report of those  
20          findings in the record. However, Dr. Krigsman in his  
21          written report and in his oral testimony here last  
22          week said he saw multiple aphthous lesions and  
23          erosions in Michelle's small bowel, and he presented  
24          photographs, selected photographs of the aphthous  
25          lesions that he said he found.

2136A

HANAUER - DIRECT

1 Do you agree, Doctor, that this is evidence  
2 of chronic inflammation?

3 A Not necessarily at all. First of all, 15  
4 percent of normal individuals will have aphthous  
5 lesions in their small intestine.

6 Patients taking nonsteroidal anti-  
7 inflammatory drugs have a high likelihood of having  
8 these aphthous lesions and mucosal breaks or erosions  
9 throughout their small intestine and often in their  
10 colon as well.

11 Q Let's take a look at one of the slides that  
12 Dr. Krigsman presented to the Court last week. I'm  
13 referring to page 18 of Dr. Krigsman's slides.

14 I realize the resolution on this image is  
15 not as clear as the slide from the direct presentation  
16 he presented, but from Slide 18 he said this was  
17 evidence of ulcerations. What are we looking at?

18 A What you're looking at is, first of all,  
19 you're looking at a lot of bubbles, but this slightly  
20 pink here, the more salmon colored tissue, are  
21 probably what we would call mucosal breaks. They're  
22 very shallow erosions.

23 Let me just say the difference between an  
24 ulcer and an erosion is depth, so something that is  
25 very, very shallow is just like an erosion, like you

HANAUER - DIRECT

1 rub off the surface layer. If it's deeper and has  
2 visible depth we call that an ulcer. These are little  
3 erosions that are seen.

4 Also keep in mind that this pill camera is a  
5 little capsule sized pill that is right up against the  
6 lining, so we are talking about something that is  
7 millimeters away, as Dr. Krigsman reported, so these  
8 are minute, pin-size head breaks in the lining of the  
9 small intestine.

10 Q Okay. And again, page 19 of his slide  
11 presentation?

12 A Again, on the right you see mainly bubbles  
13 with those little areas of eroded tissue.

14 You can't see that these are aphthous  
15 ulcers, but you can see that the color is a little bit  
16 different showing that there's been some break in the  
17 epithelial barrier. This is most likely due to the  
18 nonsteroidal drugs that she was taking.

19 Q Now, you have a slide, Doctor, do you not,  
20 of what a colitis lesion looks like?

21 A What Crohn's disease looks like.

22 Q Excuse me. Crohn's disease.

23 A Yes.

24 Q Yes.

25 A So as Dr. Krigsman said, these aphthous

2138A

HANAUER - DIRECT

1 ulcers may be the first presenting lesions of Crohn's  
2 disease, but they don't stay that way. They enlarge.  
3 They become-

4 Now, this is an endoscopic view. We're not  
5 right up against it. We're looking down the tube here  
6 so we're several centimeters away.

7 And these lesions are 10, 50 times the size  
8 of what we saw in the capsule study. We're now  
9 actually looking further away, and you can see these  
10 deep punched out ulcers, irregularly shaped ulcers and  
11 also in contrast to what Dr. Krigsman said you can see  
12 these areas of redness in between those ulcers.

13 Now, that's Crohn's disease in that area.  
14 That biopsy will show active inflammation, but you  
15 don't see an aphthous ulcer there. Aphthae --  
16 aphthous ulcers -- can evolve into these punched out  
17 ulcers in Crohn's disease, but you don't need an  
18 aphthous ulcer to have evidence of Crohn's disease.

19 This is an example of Crohn's disease in the  
20 large intestine and colon. This is very different  
21 from the tiny pinpoint aphthae that someone is talking  
22 about.

23 SPECIAL MASTER HASTINGS: To be clear,  
24 Doctor, this --

25 THE WITNESS: This is not Michelle.

2138B

HANAUER - DIRECT

1

SPECIAL MASTER HASTINGS: This is not

HANAUER - DIRECT

1 Michelle. This is some other person.

2 THE WITNESS: This is what Crohn's disease  
3 looks like.

4 SPECIAL MASTER VOWELL: Dr. Hanauer, it  
5 would be very helpful to me if you could use a pointer  
6 and show us on that picture what it is that you just  
7 described.

8 THE WITNESS: How do I do that?

9 SPECIAL MASTER VOWELL: Someone should have  
10 a laser pointer in this courtroom.

11 THE WITNESS: Okay. Can I stand up? No, I  
12 can't.

13 SPECIAL MASTER HASTINGS: Right behind you.

14 THE WITNESS: Sorry. You actually do not  
15 see normal tissue here. This red tissue is inflamed,  
16 but not yet ulcerated.

17 These white patches are excavations. These  
18 are ulcers of Crohn's disease that we call either  
19 punched out or linear, but between them you don't see  
20 any aphthous ulcers like you saw in Dr. Krigsman's.  
21 You may or may not have these aphthae.

22 I wouldn't be surprised if it started as an  
23 aphthous ulcer, but this is an ulcer of Crohn's  
24 disease. The aphthous ulcers are not specific for  
25 anything.

HANAUER - DIRECT

1 I think we have just a couple others to show  
2 you.

3 BY MS. RICCIARDELLA:

4 Q That for the record was page 8 of Dr.  
5 Hanauer's slide presentation. And page 9? What are  
6 we looking at on page 9?

7 A Okay. This is again the colon. This part  
8 of the colon up here is actually pretty normal. It's  
9 pink. It's not red, but it's right adjacent to a  
10 shallow linear ulcer and then a very deep what we  
11 would call a bear claw ulcer.

12 One of the features, ulcerative colitis  
13 looks like you rubbed the colon with sandpaper.  
14 Crohn's it looks like you take a rake and pick at it  
15 or a deep ulceration, so this is a colonic ulcer.

16 Adjacent to it are areas of heaped up  
17 tissue. That's swelling around it, around that  
18 ulceration. This is quite visible. You don't need to  
19 be up against it to see this. This is seen from  
20 several inches away.

21 Then I think the next one is an example of  
22 an ulceration in the small intestine. Again, you're  
23 probably now several inches away. You can see the  
24 deep, punched out ulcer here, another linear  
25 ulceration.

HANAUER - DIRECT

1                   This would be pretty mild Crohn's disease,  
2                   frankly, of the small intestine, yet you can still see  
3                   these visible punched out or linear ulcers.

4                   Q     Doctor, in your review of Michelle's records  
5                   has there been any biopsy diagnosis of Crohn's  
6                   disease?

7                   A     No, there's not been any biopsy diagnosis of  
8                   either enteritis or colitis of any kind.

9                   Q     Among your patients with Crohn's disease,  
10                  Doctor, how many have normal findings on pathology?

11                  A     If you biopsy within the area of the  
12                  disease, the answer would be none.

13                  Q     Doctor, assume it's true that as of June  
14                  2006 Michelle does indeed have Crohn's disease. Does  
15                  that mean that she has had a chronic inflammatory  
16                  process at work in her bowel all these years?

17                  A     No, by no means. In fact, if she indeed had  
18                  these aphthous ulcers years ago one would have  
19                  anticipated that they would have extended into some  
20                  other visible or microscopic feature of Crohn's  
21                  disease over the years that she's been scoped and/or  
22                  treated.

23                  Q     Dr. Krigsman, when he was here last week,  
24                  showed the Court a photo of a diarrhea-filled diaper  
25                  that he said is typical of the stool that Michelle

HANAUER - DIRECT

1 produces. Did you look at that photo?

2 A Yes.

3 Q And is that the type of diarrhea indicative  
4 of an inflammatory bowel?

5 A It's not indicative of anything. It's a  
6 loose poop.

7 Q What else could be causing that type of  
8 diarrhea?

9 A Anything that could cause diarrhea from a  
10 bowel preparation to overflow incontinence in someone  
11 who is constipated.

12 In inflammatory bowel disease the stool has  
13 evidence of inflammation, which are white blood cells  
14 or blood, and to my knowledge she's never had any  
15 evidence of inflammatory cells in her stool or blood  
16 in her stool.

17 Q If she had blood in her stool would that be  
18 evidence of inflammation?

19 A Not necessarily. It just means that  
20 something -- that a blood vessel is leaking, and that  
21 could be due to trauma. It could be due to  
22 hemorrhoids. It could be due to fissures.

23 Certainly constipated kids and adults when  
24 they pass a bulky stool that stretches and causes a  
25 crack in the anal canal can have blood from that,

HANAUER - DIRECT

1 which would be a fissure and hemorrhoids.

2 Bleeding per se does not mean inflammation.  
3 Pus cells in the stool -- not mucous, but pus cells --  
4 are sign of inflammation.

5 Q Doctor, does the GI community accept as  
6 reliable the diagnosis of autistic enterocolitis?

7 A To my knowledge, it's not in any of the  
8 gastrointestinal textbooks. It's certainly not in our  
9 descriptions of inflammatory bowel disease in any of  
10 the text related to inflammatory bowel disease that  
11 I'm aware of.

12 Q Doctor, in your review of the medical  
13 records and in your review of Dr. Kringsman's  
14 testimony, Michelle certainly has significant GI  
15 symptoms, does she not?

16 A Absolutely.

17 Q And are they deserving of careful care and  
18 treatment?

19 A Absolutely.

20 MS. RICCIARDELLA: Thank you. I have no  
21 further questions.

22 SPECIAL MASTER HASTINGS: All right. Why  
23 don't we take our morning break? It's about 10:35.  
24 We'll come back at 10:50.

25 (Whereupon, a short recess was taken.)

2144A

HANAUER - CROSS

1 1:51:29 SPECIAL MASTER HASTINGS: All right. We're  
2 back from morning break, and we're now going to have  
3 cross-examination of Dr. Hanauer.

4 Ms. Chin-Caplan, please go ahead.

5 MS. CHIN-CAPLAN: Thank you, Special Master.

6 CROSS-EXAMINATION

7 BY MS. CHIN-CAPLAN:

8 Q Dr. Hanauer, I just want to be absolutely  
9 certain about what you're saying. Are you saying that  
10 Michelle Cedillo has no GI disease?

11 A No.

12 Q Then what are you saying? Everything is  
13 normal according to you.

14 A Her biopsies of her intestine are normal.  
15 I'm saying that there is no evidence of inflammatory  
16 bowel disease.

17 Q Inflammatory bowel disease, but you  
18 acknowledge that she has bowel symptoms?

19 A I certainly acknowledge that she has bowel  
20 symptoms.

21 Q Doctor, if we go back to Michelle's history  
22 initially, you're aware that she developed diarrhea  
23 approximately 14 days after an MMR immunization?

24 A I'm aware of those reports.

25 Q And you're aware that the diarrhea persisted

HANAUER - CROSS

1 for about perhaps 30 weeks or so?

2 A I'm not certain of the exact length of that.

3 Q But it did not resolve immediately. Is that  
4 true?

5 A I haven't seen the specific records of that  
6 interval, but I would not contest that.

7 Q Okay.

8 A But I've not seen the records, and I can't  
9 agree with it.

10 Q Okay. Doctor, are you aware that one of the  
11 adverse effects after a measles vaccine can be  
12 diarrhea?

13 A I'm aware that that has been reported after  
14 measles vaccination. Whether causation or some other  
15 cause of diarrhea, to my understanding, has not been  
16 established.

17 Q Okay. But you acknowledge that it has been  
18 reported?

19 A I certainly acknowledge that it has been  
20 reported consistent with what happens in the general  
21 population.

22 Q Okay. So in your opinion, the diarrhea that  
23 Michelle had approximately two weeks after her  
24 immunization, would that be related to her  
25 immunization?

HANAUER - CROSS

1 A I do not know.

2 Q Okay. You have no opinion?

3 A I think there are many reasons she may have  
4 had diarrhea two weeks after her immunization that  
5 have nothing to do with the immunization.

6 Q And what would they be?

7 A She could have had a food intolerance. She  
8 could have had another infection. It could have been  
9 her first symptoms of irritability.

10 There are many explanations. As we said,  
11 diarrhea is not a specific symptom for anything.

12 Q Is there any documentation in the record at  
13 that particular time after the immunization of any  
14 food intolerances?

15 A Not to my knowledge.

16 Q You mentioned another infection. Are you  
17 referring to a GI infection?

18 A Any kinds of infections in children can  
19 cause diarrhea. Many kids who have ear infections can  
20 get diarrhea associated with that.

21 Or, the antibiotic that she was administered  
22 for the infection, presumed infection, that she had  
23 after the vaccination. She did get an antibiotic.  
24 That could have caused diarrhea.

25 Q Usually with infections and antibiotics once

HANAUER - CROSS

1 the infection resolves and the antibiotic ends the  
2 diarrhea goes away, doesn't it?

3 A That aspect of the diarrhea goes away, but  
4 many people, in particular those who have had  
5 irritable bowels, have persisting symptoms after some  
6 inciting stimulus.

7 Q Persisting symptoms for how long?

8 A They can be for years or even longer, but  
9 her diarrhea did not persist. She then developed  
10 constipation.

11 Q So it's your opinion that after a dose of  
12 antibiotics you can have weeks of diarrhea?

13 A Certainly.

14 Q And you consider that normal?

15 A No, I wouldn't consider that normal. I  
16 would say it's often related to the antibiotics.

17 We know there are many people who get  
18 antibiotics get diarrhea from it. They may develop  
19 changes in their bacterial flora and have continued  
20 diarrhea for a period of time.

21 Q And when you indicate they have a change in  
22 their flora, it's related to the antibiotic  
23 administration, isn't it?

24 A Yes.

25 Q And once that ends, the flora returns back

2148A

HANAUER - CROSS

1 to its normality, doesn't it, as a rule?

2 A Usually it does, but often there are other  
3 strains, such as clostridium difficile, that may  
4 produce, that may overgrow and cause persisting  
5 symptoms.

6 Q Doctor, isn't the clostridium difficile  
7 related to the use of antibiotics?

8 A It may or may not be. Usually it is related  
9 to antibiotics, but it can be associated with just  
10 exposure to C. difficile. It could be related to  
11 other underlying illnesses, and it can be related to  
12 other therapies, other medications.

13 Q Any indication in the medical record that  
14 she had C. difficile?

15 A I don't have any evidence that she was  
16 tested in those weeks.

17 Q Is there any evidence in the medical records  
18 that she had C. difficile at that point in time?

19 A To my knowledge, nobody looked for it.

20 Q Doctor, you're not a pediatric  
21 gastroenterologist, correct?

22 A Yes.

23 SPECIAL MASTER HASTINGS: Before we go on,  
24 Ms. Chin-Caplan, what was the term you were asking him  
25 about. C?

HANAUER - CROSS

1 MS. CHIN-CAPLAN: Difficile,  
2 D-I-F-F-I-C-I-L-E. It's a bacteria.

3 SPECIAL MASTER HASTINGS: All right. And  
4 it's capital C?

5 MS. CHIN-CAPLAN: Capital C period for  
6 clostridium difficile.

7 SPECIAL MASTER HASTINGS: All right. Go  
8 ahead.

9 MS. CHIN-CAPLAN: Thank you.

10 BY MS. CHIN-CAPLAN:

11 Q Doctor, would it be fair to state that  
12 children are not little adults?

13 A In certain aspects, children are not little  
14 adults. In many aspects they are.

15 Q With respect to the GI tract, are children's  
16 GI tracts the GI tract of little adults?

17 A In 99 percent of the aspects, and of course  
18 it depends on what age you're talking about. Neonates  
19 have slightly different digestive -- the lining of the  
20 intestine is more absorptive in neonates, but the  
21 closer the kids get to adulthood the more mature the  
22 digestive tract is. Within several years, the  
23 digestive tract is essentially the same.

24 Just like kids can have enlarged lymph nodes  
25 from a variety of things, lymphoid hyperplasia is seen

2150A

HANAUER - CROSS

1 much more commonly in kids than it is in adults.

2 Q So with respect to a five-year-old child,  
3 would her GI tract be comparable to that of an adult?

4 A In almost all aspects aside from the  
5 increased presence of this lymphoid hyperplasia that  
6 is common in children. Otherwise the digestive tract  
7 would look both endoscopically and microscopically the  
8 same as an adult.

9 Q So if they're essentially the same at five  
10 years old as that of an adult, why do we have the  
11 field of pediatric gastroenterology?

12 A Some of the disease that affect children are  
13 different from those that affect adults, and there are  
14 some developmental abnormalities in kids that are not  
15 seen in adults of the digestive tract but for, and in  
16 children who do have chronic intestinal disease some  
17 of the complications related to growth are different  
18 than adults.

19 So pediatric gastroenterologists primarily will  
20 focus the difference between a pediatric and an adult  
21 gastroenterologist in number one, the set of diseases  
22 in young kids may be somewhat different, but also the  
23 focus on growth and nutrition is very important for  
24 pediatrics and less focused of adult  
25 gastroenterologists.

2151A

HANAUER - CROSS

1 Q So there are differences?

2 A In what?

3 Q There are differences in the treatment of  
4 children as opposed to those in adults with GI  
5 problems?

6 A The medical therapies for the diseases are  
7 the same, although you need in children to focus on  
8 nutrition to allow growth.

9 Q Well, didn't you also indicate that there  
10 are certain disorders that are prevalent in the  
11 pediatric population that are not seen in the adult  
12 population?

13 A Congenital disorders, yes.

14 Q So no others?

15 A That's the main issue. The main issues I  
16 think as I told you are developmental or congenital  
17 disorders in kids and the complications of the  
18 diseases related to growth and nutrition.

19 Q Now, Doctor, let's get back to Michelle's  
20 history. We know that she had an MMR immunization and  
21 approximately two weeks later she developed diarrhea  
22 which persisted for number of weeks. You would agree  
23 with that?

24 A Yes.

25 Q And then it developed into constipation for

2152A

HANAUER - CROSS

1 a period of time. Is that true?

2 A Yes.

3 Q And then it reverted back to diarrhea. Is  
4 that true?

5 A I think it alternated between diarrhea and  
6 constipation.

7 Q Okay. And by the time she was five years  
8 old she was worked up for her diarrhea, correct?

9 A Yes, and also was having constipation at  
10 that time as well.

11 Q So she was having GI symptoms?

12 A No question.

13 Q GI symptoms apparently were unrelated to  
14 anything such as foods, correct?

15 A I did not say that.

16 Q Okay. Do you recall whether any of her  
17 physicians looked for the common causes of GI  
18 problems?

19 A They looked for common causes, yes.

20 Q And did they find any?

21 A There were questions of whether she had food  
22 sensitivities or not, she was put on- she changed her  
23 diet from cow's milk off and on, so there were foods  
24 that she was sensitive to, yes.

25 Q Even when the foods were changed and

2153A

HANAUER - CROSS

1 everything did her symptoms abate?

2 A Her symptoms continued to alternate between  
3 diarrhea and constipation. One may or may not have  
4 been predominant for any period of time.

5 Q But she continued to have GI symptoms?

6 A No question that this patient had GI  
7 symptoms.

8 Q And it was perfectly normal then for a  
9 pediatric gastroenterologist to take her in for a  
10 diagnostic work up, correct?

11 A To work up which aspect?

12 Q Her GI symptoms.

13 A It would be normal to work up those  
14 symptoms.

15 Q And at the first upper endoscopy an ulcer  
16 was noted, correct?

17 A An esophageal ulcer was noted.

18 Q Right. And they ordered treatment for that,  
19 correct?

20 A Yes.

21 Q And after the treatment they did another  
22 upper GI. Is that true?

23 A Yes.

24 Q That essentially showed a healed ulcer?

25 A Yes.

2154A

HANAUER - CROSS

1 Q Did her symptoms go away?

2 A Her reflux symptoms improved.

3 Q Did her other GI symptoms go away?

4 A No. There would be no reason why treating  
5 an esophageal ulcer would impact on other symptoms,  
6 although please note that when some of her medicines  
7 for the ulcer were increased, the Prilosec, that she  
8 got more diarrhea, which is a known consequence of  
9 that class of medicines.

10 Q So you think that the diarrhea might have  
11 been related to drugs at that point? Is that it?

12 A No, I don't think that it was solely related  
13 to drugs. I think there are many things as I  
14 described that can cause diarrhea, and they don't need  
15 to be in isolation, they can be in composite, and in  
16 children like this they can vary according to changes  
17 in the diet and changes in medication.

18 Q Okay. So they had already made an attempt  
19 to change her diet earlier and the symptoms persisted.  
20 They found an abnormality on upper endoscopy, they  
21 treated it and the symptoms still persisted, correct?

22 A You're lumping everything together. Her  
23 reflux symptoms improved for that. She had varying  
24 lower abdominal symptoms through her course.

25 Q Okay. So her lower abdominal symptoms

HANAUER - CROSS

1 persisted, correct?

2 A In varying forms.

3 Q Yes. And, Doctor, you reviewed the medical  
4 records. When those lower abdominal symptoms  
5 persisted it led to a weight loss of approximately 25  
6 pounds, didn't it?

7 A I don't know that the symptoms led to a  
8 weight loss. I will not contest that she lost 25  
9 pounds, but this young lady has obviously complex  
10 issues related not only to her digestive tract but to  
11 other organs and her growth and behavior.

12 Q Well, Doctor, how does one lose 25 pounds?

13 A Most often by not eating.

14 Q Would the persistence of diarrhea also lead  
15 to the loss of weight?

16 A In this young lady absolutely not.

17 Q It didn't?

18 A No.

19 Q Well, Doctor, you know that she was  
20 hospitalized in 2003, correct?

21 A Yes.

22 Q And do you recall what the reason for that  
23 2003 hospitalization was?

24 A Yes.

25 Q What was it?

2156A

HANAUER - CROSS

1           A     I don't remember the exact terms, but weight  
2     loss and continuing to have symptoms, different  
3     digestive symptoms, at that time.

4           Q     And wasn't one of the causes also  
5     dehydration?

6           A     Yes.

7           Q     So how does one get dehydrated, Doctor?

8           A     Well, you're trying to imply that the  
9     diarrhea causes weight loss, and I do not accept that  
10    in this individual. The weight loss in this  
11    individual was from reducing her dietary intake, which  
12    is common in this group of patients.

13          Q     But would you accept that the dehydration  
14    was related to the diarrhea?

15          A     No. It was related to not drinking enough  
16    to compensate for her bowel activity, whatever it was,  
17    at the different times.

18          Q     So the diarrhea had absolutely nothing to do  
19    with this hospitalization?

20          A     No.

21          Q     So are you aware that during this  
22    hospitalization because Michelle was unable to eat  
23    that she eventually had a feeding tube put in?

24          A     When you say unable to eat I don't know- I  
25    would interpret that somewhat differently. She was

2156B

HANAUER - CROSS

1 not eating enough.

2157A

HANAUER - CROSS

1 Whether she was able to eat or refusing to eat is a  
2 different issue, and I can't account for that. I  
3 don't know.

4 Q But a feeding tube was put in, wasn't it?

5 A Yes.

6 Q Because she was malnourished, correct?

7 A Yes.

8 Q Doctor, they started her very slowly  
9 initially, didn't they?

10 A Yes.

11 Q And they had to gradually increase the rate  
12 of her feeding tube?

13 A Which is what's routinely done.

14 Q Yes. That's because the GI tract becomes  
15 somewhat intolerant to food when it hasn't had any for  
16 a while. Isn't that true?

17 A No, that's not th  
18 e case. If you give a full amount of feeding right  
19 away you're going to overcome the normal ability of  
20 the intestine to absorb, so our intestines, like most  
21 of our organs, are able to adapt, and the way to do  
22 that is to start slowly and to advance gradually.

23 Q So it doesn't cause diarrhea?

24 A So it doesn't worsen the diarrhea.

25 Certainly.

2158A

HANAUER - CROSS

1 Q That she already had?

2 A She had other symptoms as well including  
3 constipation at that time.

4 Q So, Doctor, let's bring us forward now.  
5 We've had an MMR immunization, we've had diarrhea two  
6 weeks afterwards, and that diarrhea persisted for a  
7 while. You would agree with that. We have an upper  
8 GI which shows an ulcer and which subsequently healed,  
9 but the diarrhea persists.

10 Then we have a hospitalization for a 25  
11 pound weight loss for malnutrition and dehydration,  
12 and you're not attributing that hospitalization to the  
13 diarrhea at all? Dr. when you received these medical-

14 A No. Not in isolation. Put it that way.

15 Q Well, let's put it all together then.

16 A She wasn't eating enough.

17 Q She wasn't eating enough, but the diarrhea  
18 had nothing to do with this?

19 A The diarrhea in small ways increased her  
20 fluid losses, but the majority of time she's been able  
21 to compensate for that by increasing her intake either  
22 be it feeding tubes or orally.

23 Q So now, Doctor, earlier, she had been able  
24 to eat orally. Now, she was not able to eat orally,  
25 correct?

2158B

HANAUER - CROSS

1           A     No.   She was not eating.

2159A

HANAUER - CROSS

1 Q She was not eating. And she required a tube  
2 feeding to sustain her caloric status, correct?

3 A Yes.

4 Q So she was eating earlier in her life, and  
5 then at five years old, or eight years old when she  
6 was hospitalized she was no longer eating and required  
7 tube feedings, correct?

8 A Yes.

9 Q Would you consider that a deterioration in  
10 her GI status?

11 A No.

12 Q You wouldn't. Now, you've reviewed the  
13 medical records, correct?

14 A Yes.

15 Q And are you aware that there was a positive  
16 measles gut biopsy obtained at approximately age  
17 three?

18 A I'm aware of that in the records.

19 Q Okay. And do you assign any significance to  
20 the positive gut biopsy in her GI symptoms?

21 A No.

22 Q No. They're just isolated?

23 A No. I don't know the validity of those  
24 findings.

25 Q Well, assume it's valid.

HANAUER - CROSS

1 A Okay.

2 Q If it's valid would you attribute her GI  
3 symptoms to the positive gut biopsy?

4 A No.

5 MR. MATANOSKI: Just a minute. For  
6 clarification, Your Honor, there was a misstatement of  
7 fact in terms of the record, and if you're going to  
8 pose a hypothetical that's based on this record even  
9 if we're supposed to assume a fact I think it ought to  
10 be a fact that's reflected in this record. The fact  
11 that was misstated for the hypothetical goes back to a  
12 couple of questions previously when Ms. Chin-Caplan  
13 said are you aware of a positive measles virus biopsy  
14 at age three.

15 As I recall, the biopsy was taken much later  
16 in Michelle Cedillo's life, and in fact would be after  
17 these hospitalizations that she's talking about right  
18 now.

19 MS. CHIN-CAPLAN: I stand corrected, Special  
20 Master. It was in 2002.

21 SPECIAL MASTER HASTINGS: All right. Go  
22 ahead.

23 BY MS. CHIN-CAPLAN:

24 Q Now, Doctor, we know that there's a positive  
25 measles gut biopsy in 2002, correct?

2161A

HANAUER - CROSS

1 A No.

2 Q You don't know?

3 A I know that on the records that a lab  
4 reported it as positive, but as I said I do not know  
5 the validity of that lab or report.

6 Q Okay. And, Doctor, the hospitalization for  
7 malnutrition, and weight loss and where the feeding  
8 tube was inserted was in 2003?

9 A Yes, I believe so.

10 Q Okay. So, Doctor, knowing that this biopsy  
11 had taken place in 2002 and had yielded positive  
12 measles virus RNA in the gut would you sitting there  
13 associate her gut symptoms to the measles virus that  
14 was recovered in her gut tissue?

15 A Absolutely not.

16 Q Doctor, would you associate any symptoms  
17 with the positive gut biopsy?

18 A I'm not aware of any symptoms associated  
19 with an intestinal biopsy for measles.

20 Q Doctor, it's a virus, right?

21 A It's a virus.

22 Q And do viruses cause GI symptoms?

23 A Some viruses can cause acute GI symptoms.  
24 I'm not aware of any virus that causes chronic  
25 symptoms. By the way, the biopsy that you're talking

2162A

HANAUER - CROSS

1 about did not demonstrate full viruses. It showed if  
2 it was valid it showed RNA from viruses, which does  
3 not mean that these are replicating active viruses.

4 Q And you were not here for the testimony of  
5 Dr. Kennedy, were you?

6 A No, I was not, and I've not read that  
7 testimony.

8 Q Okay. Now, Doctor, you write. You're an  
9 author of papers, correct?

10 A Yes.

11 Q Okay. Did you write an article entitled  
12 Inflammatory Bowel Disease: Epidemiology,  
13 Pathogenesis and Therapeutic Opportunities?

14 A Yes.

15 Q That was published in Inflammatory Bowel  
16 Disease in 2006?

17 A Yes.

18 MS. CHIN-CAPLAN: Okay. And, Doctor, we're  
19 going to try and show you this. I'm sorry. It's on  
20 page 9.

21 SPECIAL MASTER HASTINGS: Are you about to  
22 show something?

23 MS. CHIN-CAPLAN: Yes.

24 SPECIAL MASTER HASTINGS: What is it? Is it  
25 something that's in the record?

2163A

HANAUER - CROSS

1 MS. CHIN-CAPLAN: No, it's not, Special  
2 Master.

3 SPECIAL MASTER HASTINGS: All right. Do you  
4 have any copies of it? It's a medical journal  
5 article?

6 MS. CHIN-CAPLAN: Yes. It's an abstract,  
7 Special Master. We don't have copies, we're just  
8 going to show it on the screen.

9 SPECIAL MASTER HASTINGS: All right. While  
10 we're waiting for you let me take care of another  
11 housekeeping item. Dr. Hanauer talked about a series  
12 of slides that were numbered, and we've now been given  
13 paper copies of those slides. Let's mark that set of  
14 slides as Respondent's Trial Exhibit No. 14. I'm  
15 sorry, 15. Let's mark it as Respondent's Trial  
16 Exhibit No. 15.

17 Go ahead then, Ms. Chin-Caplan.

18 BY MS. CHIN-CAPLAN:

19 Q Okay. So, Doctor, this is an abstract from  
20 Inflammatory Bowel Disease. Is this your article?

21 A Yes.

22 Q And it talks about ulcerative, colitis and  
23 Crohn's Disease, correct?

24 A Yes.

25 Q And you talk about who it occurs in,

Heritage Reporting Corporation  
(202) 628-4888

HANAUER - CROSS

1 correct?

2 A Okay.

3 Q Okay. You indicate that environmental  
4 factors can play a role, correct?

5 A Yes.

6 Q Okay. You say that there's clearly an  
7 established genetic link between certain NOD2 variants  
8 and Crohn's Disease. Is that it?

9 A Yes.

10 Q Regardless of the underlying genetic  
11 predisposition a growing body of data implicates a  
12 dysfunctional mucosal immune response to commensal  
13 bacteria in the pathogenesis of IBD, especially  
14 Crohn's Disease. Possible triggers include a chronic  
15 inflammatory response precipitated by infection with a  
16 particular pathogen or virus or a defective mucosal  
17 barrier. Have I read that correctly?

18 A Yes.

19 Q So viruses can initiate an inflammatory  
20 process you say?

21 A We know that's stated that viruses can cause  
22 an inflammatory process in the intestine.

23 Q And can it lead to the development of a  
24 chronic inflammatory bowel process?

25 A We don't know that yet.

HANAUER - CROSS

1 Q Okay. Well, isn't that what your article  
2 said?

3 A No.

4 Q Let me read it again. It says possible  
5 triggers include a chronic inflammatory response  
6 precipitated by infection with a particular pathogen  
7 or virus or a defective mucosal barrier.

8 A Yes. We are continuing to look for the  
9 cause of Crohn's Disease and ulcerative colitis, and  
10 we are focusing on microorganisms such as viruses,  
11 bacteria, and thus far we have not identified any that  
12 have been associated with the development of Crohn's  
13 Disease.

14 Q Doesn't this article indicate however that a  
15 chronic inflammatory response can be triggered by an  
16 infection or a virus?

17 A That is the hypothesis that we are currently  
18 working on.

19 Q So you acknowledge that there's some  
20 evidence to support this?

21 A To support what?

22 Q The fact that a chronic inflammatory  
23 response can be triggered by an infection or a virus?

24 A We know some situations where that is the  
25 case, but we do not know of any virus or bacteria that

2166A

HANAUER - CROSS

1 leads to a chronic inflammatory response in patients  
2 with Crohn's Disease or ulcerative colitis.

3 Q Now, Doctor, would you agree that a person  
4 has symptoms for a very long time before Crohn's  
5 Disease or ulcerative colitis is diagnosed?

6 A They may or may not.

7 Q Right. Doctor, would you agree as you  
8 indicated that Crohn's Disease can start with the  
9 beginning of aphthous ulcers?

10 A Can start, yes.

11 Q Yes. And that's what Dr. Krigsman said  
12 during the case, correct?

13 A Dr. Krigsman described the aphthous ulcer as  
14 the initial lesion of Crohn's Disease.

15 Q Right. And do you disagree with that?

16 A I think that it can be one of the initial  
17 lesions of Crohn's Disease that evolves into the  
18 ulcers that I showed on my slides. They don't stay  
19 constant as aphthous ulcers that come and go through  
20 the digestive tract.

21 Q Correct. So they would progress, yes?

22 A Yes.

23 Q Into the classic presentation that you would  
24 see of crypts, correct?

25 A I don't know what you mean.

HANAUER - CROSS

1 Q Well, what are the classic pathological  
2 findings that you see in Crohn's Disease?

3 A Focal acute inflammation with or without  
4 granulomas.

5 Q So you don't see projecting villi and crypts  
6 at all?

7 A Projecting villi is normal, crypts are  
8 normal.

9 Q Okay. And, Doctor, would you agree that  
10 sometimes it's just hard to be able to tell where one  
11 process begins and another one ends?

12 A I don't know what you're talking about.

13 Q Well, would the inflammatory bowel disease  
14 be on a spectrum?

15 A That doesn't imply beginning and ending to  
16 me. I don't know what you're asking.

17 Q Okay. Can you have very mild symptoms of  
18 inflammatory bowel disease with mild findings and at  
19 the other end you would have Crohn's Disease and  
20 ulcerative colitis?

21 A That's not what I was speaking to.

22 Q Well, I'm asking your opinion.

23 A You can have mild Crohn's Disease or mild  
24 ulcerative colitis. Symptoms of irritable bowel do  
25 not progress to Crohn's Disease.

2168A

HANAUER - CROSS

1 Q So you're saying that the more generalized  
2 type of colitis that occur can never progress to  
3 Crohn's Disease?

4 A I have no idea what you're talking about in  
5 more generalized colitis. That has no meaning to me.

6 Q Okay. So, Doctor, did you author an article  
7 on Update on Etiology, Pathogenesis and Diagnosis of  
8 Ulcerative Colitis?

9 A Yes.

10 Q And it was published in The National  
11 Clinical Practical Gastroenterology and Hepatology?  
12 Is that the journal?

13 A Yes.

14 Q And that was published in 2004?

15 A Yes.

16 Q And, Doctor, in the next to the last  
17 sentence did you say in particular it's difficult to  
18 discriminate ulcerative colitis from other forms of  
19 colitis including Crohn's Disease, and there seems to  
20 be a growing overlap of pathophysiologic processes  
21 between ulcerative colitis and postinfectious  
22 irritable bowel syndrome? Did you write that?

23 A Yes.

24 Q Patients who remain indeterminate between  
25 ulcerative colitis and Crohn's Disease also continue

HANAUER - CROSS

1 to be a diagnostic challenge. Is that true?

2 A Definitely.

3 Q Okay. Was it true when you wrote it?

4 A Yes.

5 Q And is it true today?

6 A Yes.

7 Q So, Doctor, continuing back with Michelle's  
8 history here --

9 SPECIAL MASTER HASTINGS: Before we go on  
10 you've now cited two abstracts of Dr. Hanauer. Can  
11 you file those, one as I think we're up to  
12 Petitioners' Exhibit 10.

13 MR. SHOEMAKER: Your Honor, if we could file  
14 all of this thing as one exhibit? There are 10 pages.

15 SPECIAL MASTER HASTINGS: Well, we need to  
16 make a reference to them. Just say Petitioners' Trial  
17 Exhibit. Use the word trial since you already have  
18 other exhibits.

19 MR. SHOEMAKER: The first thing referred to  
20 is page 9 of that exhibit.

21 SPECIAL MASTER HASTINGS: All right. Listen  
22 for a second, would you? I have a list here of nine  
23 items that we've already referred to throughout the  
24 trial as Petitioners' Exhibits 1 through 9. Trial  
25 exhibits. Petitioners' Trial Exhibits 1 through 9.

HANAUER - CROSS

1 If you want to file all of those on one CD, fine, but  
2 label it CD of Petitioners' Trial Exhibits 1 through  
3 whatever number we get to.

4 All I'm saying is we're going to add these  
5 two abstracts as Petitioners' Trial Exhibit 10 and  
6 Petitioners' Trial Exhibit 11. We've already referred  
7 to these. That will make it easier for us to get back  
8 to them if we need them.

9 Go ahead, Ms. Chin-Caplan.

10 MS. CHIN-CAPLAN: Thank you, Special Master.

11 BY MS. CHIN-CAPLAN:

12 Q So, Doctor, we're up to 2003 with Michelle's  
13 history now. You know that shortly after this  
14 hospitalization she went to see Dr. Krigsman?

15 A Yes.

16 Q And you know that Dr. Krigsman did an upper  
17 and lower endoscopy, correct?

18 A Yes.

19 Q Do you recall what his findings were?

20 A I believe we've already looked at those, but  
21 yes.

22 Q Do you recall that his colonoscopy revealed  
23 an aphthous ulcer in the sigmoid colon?

24 A Yes.

25 Q And this is the first documentation of an

2171A

HANAUER - CROSS

1       aphthous ulcer in Michelle. Is that true?

2           A       The previous colonoscopy had shown some  
3       lymphoid hyperplasia in the same area, but I believe  
4       this is the first description of an aphthous ulcer.

5           Q       Okay. Doctor, while she was there an OmpC  
6       was also drawn. Is that true?

7           A       Yes.

8           Q       What is an OmpC?

9           A       OmpC stands for the outer membrane pore,  
10       that's the Omp. It is a bacterial protein that is  
11       found in normal bacteria that live in the intestine,  
12       and in patients with Crohn's Disease and other small  
13       intestinal diseases there has been an increased amount  
14       of that found in the serum compared to normal  
15       individuals, healthy individuals.

16          Q       So it's a blood test that could potentially  
17       indicate the presence of Crohn's Disease?

18          A       It is a blood test that may or may not  
19       represent an increased leakiness of the small  
20       intestine.

21          Q       Okay. And was Michelle's OmpC positive?

22          A       Yes.

23          Q       Okay. So we're now somewhere into early-  
24       late 2003, correct?

25          A       Yes.

HANAUER - CROSS

1 Q At this point Michelle was continuing to  
2 have diarrhea, she had a colonoscopy that revealed the  
3 presence of an aphthous ulcer, a positive OmpC and she  
4 had a feeding tube because she was unable to maintain  
5 her calories for nutrition. Is that true?

6 A Yes.

7 Q Doctor, in your mind does the constellation  
8 of those signs and symptoms, would they constitute  
9 inflammatory bowel disease at all?

10 A Absolutely not. She had no evidence of  
11 inflammation on biopsies of her small or large  
12 intestines.

13 Q So you're basing your opinion solely on the  
14 presence of tissue of pathology?

15 A No. You're basing your question solely on  
16 an aphthous ulcer and a serologic test that is not  
17 pathognomonic.

18 Q Plus the diarrhea, correct? I said that.

19 A The diarrhea was not an inflammatory  
20 diarrhea.

21 Q And that's your opinion?

22 A There's no evidence that there were fecal  
23 leukocytes, blood or malabsorption.

24 Q Okay. So, Doctor, let's continue on. So  
25 now Michelle is presently being fed by tube, and she's

HANAUER - CROSS

1 now developing other symptoms. She's developing eye  
2 problems as well as arthritis. In your field are  
3 there extraintestinal manifestations of inflammatory  
4 bowel disease?

5 A Definitely there are.

6 Q Would those be arthritis and eye conditions?

7 A Those are several of the possible  
8 associations.

9 Q Okay. Let's continue on. She has another  
10 endoscopy done by Dr. Ursea at Phoenix Children's, and  
11 do you know what the result of that endoscopy is?

12 A Which one are we talking about now?

13 Q The last one.

14 A The 2006?

15 Q Yes.

16 A Yes.

17 Q It's Petitioners' Exhibit 49, page 23.

18 A Is that the 2006?

19 Q That's the 2006.

20 A Thank you.

21 Q So, Doctor, do you know the result of this  
22 endoscopy?

23 A Yes.

24 Q What was it?

25 A The small intestine and the colon were

2174A

HANAUER - CROSS

1 normal.

2 Q Was there an aphthous ulcer seen in the  
3 transverse colon?

4 A Yes.

5 Q You've indicated that when you see those  
6 things it could be related to insertion of the tube.  
7 It's like a canker sore you said, right?

8 A Can be like a canker sore, can be trauma.  
9 They come and go. They're really of no significance  
10 in and of themselves.

11 Q Okay. So she had a canker sore in 2003  
12 earlier, she's got a canker sore now in 2006 and she  
13 had a capsule endoscopy, a PillCam, done, didn't she?

14 A Yes.

15 Q You recall from reading Dr. Krigsman's  
16 testimony that he saw multiple aphthous ulcers?

17 A Yes.

18 Q Would you consider that to be a normal  
19 finding?

20 A No. Actually it is normal in- Excuse me.  
21 Let me retract that. Fifteen percent of normal  
22 individuals have aphthous ulcers or mucosal break  
23 similar to what we're seeing on capsule endoscopy. My  
24 belief is that hers were related to the Advil that she  
25 had been taking, which is a common association.

HANAUER - CROSS

1 Q Okay. So it's not related to her bowel prep  
2 this time?

3 A I think that she may have been on Advil at  
4 other times as well. I never attributed it to the  
5 bowel prep, I'm saying that it can be related to bowel  
6 prep. I don't know why she had an aphthous ulcer, but  
7 I do know that an aphthous ulcer in the absence of any  
8 microscopic evidence of inflammation means nothing.

9 Q Okay. So as you indicated on page 2 of your  
10 opinion, the next to the last paragraph, you're  
11 talking about IBD and you say that aphthous ulcers may  
12 be typical of Crohn's Disease, IBD, but are in no  
13 means specific. They can be seen in normal  
14 individuals after exposure to bowel preparations for  
15 colonoscopy or related to the use of anti-inflammatory  
16 medications. Is that what you said?

17 A Yes.

18 Q Okay. Doctor, we know that Michelle did not  
19 receive any bowel prep in her 2006 colonoscopy, don't  
20 we?

21 A I don't remember. I was unable to find how  
22 she was prepared or not.

23 Q Well, if you look at page 23 of Petitioners'  
24 Exhibit 49 about a third of the way down the column it  
25 says colon prep, doesn't it?

2176A

HANAUER - CROSS

1 A I don't have that.

2 Q Let me show you. Doctor, to be perfectly  
3 clear, again, this is page 23 of Petitioners' Exhibit  
4 49. At the top it says Phoenix Children's Hospital,  
5 flexible sigmoidoscopy report. Is there a line that  
6 says colon prep?

7 A Yes.

8 Q And does it say used none for colon prep?

9 A Yes.

10 Q Is that an indication that Michelle Cedillo  
11 did not receive any colon prep?

12 A Probably not, but according to their  
13 records.

14 Q So is it fair to state that the record  
15 indicates that she received no colon prep?

16 A Yes.

17 Q So the aphthous ulcer in this instance can't  
18 be related to the colon prep, right?

19 A It may or may not be with others, but it  
20 doesn't appear -- if she had no preparation it's  
21 unlikely that the single aphthous ulcer that was seen  
22 was due to a bowel prep if none were given.

23 Q Thank you, Doctor. So now, Doctor, we're  
24 here at 2006. Michelle has had diarrhea alternating  
25 with constipation returning to diarrhea since she was

2177A

HANAUER - CROSS

1 about a year and a half old. She's had multiple  
2 endoscopies, an upper GI which revealed the gastric  
3 ulcer and she's had a lower GI.

4 A I don't believe it showed a gastric ulcer.

5 Q An esophageal ulcer, wasn't it?

6 A Yes.

7 Q Yes. So an esophageal ulcer at the junction  
8 of the esophagus and the stomach, wasn't it?

9 A This is where ulcers related to gastric  
10 reflux occur.

11 Q Okay. So she has a GE junction ulcer. She  
12 has a lower GI colonoscopy done, an aphthous ulcer is  
13 seen there, she's got a positive OmpC, she has another  
14 colonoscopy done which reveals an aphthous ulcer in a  
15 different part of the colon, she has a PillCam done  
16 that shows multiple aphthous ulcers in the small  
17 bowel, and your opinion is that she has no  
18 inflammatory bowel disease?

19 A She does not have inflammatory bowel  
20 disease.

21 Q Okay. Doctor, you know that she's currently  
22 under treatment at UCLA?

23 A Yes.

24 Q And do you know Dr. Ziring?

25 A Yes.

HANAUER - CROSS

1 Q And are you aware that Dr. Ziring has  
2 ordered Humira for the treatment of Michelle's bowel  
3 disease?

4 A Just from the testimony that I've read. I  
5 have not reviewed any of those records.

6 Q Okay. Would you have any reason to doubt  
7 that Humira has been ordered?

8 A I do not doubt that Humira has been ordered.

9 Q And Humira is a brand new treatment for  
10 inflammatory bowel disease. Is that true?

11 A Humira is an old treatment for arthritis.

12 Q But a new one for inflammatory bowel  
13 disease, yes?

14 A It's recently been approved for the  
15 treatment of Crohn's Disease.

16 Q Okay. Thank you. Now, Doctor, you had  
17 indicated earlier that you've testified approximately  
18 50 times. Is that it?

19 A Yes.

20 Q You've done it in medical malpractice cases  
21 and toxic tort cases. Is that what you said?

22 A Yes.

23 Q Out of that 50 times were they all medical  
24 malpractice cases?

25 A The vast majority were.

2179A

HANAUER - CROSS

1 Q How many times did you testify for  
2 plaintiffs?

3 A Probably about- uh- when you say-I need  
4 clarification, please. When you say testify do you  
5 mean in Court or deposition? My 50 was inclusive of  
6 both.

7 Q In Court.

8 A In Court I've only testified under 10 times.

9 Q For plaintiffs?

10 A Total.

11 Q Oh. So out of that 10 times how many times  
12 did you testify for plaintiffs?

13 A A few. Just a couple.

14 Q One to two?

15 A Yes.

16 Q Okay. Now, you also testified that you  
17 worked as an expert in toxic tort cases?

18 A Yes.

19 Q And can you just tell us what your work  
20 involved in the toxic tort cases?

21 A It had to do with one of the chemical  
22 companies in California clearing up their land and  
23 individuals in the area who developed inflammatory  
24 bowel disease that they associated with the  
25 environment.

2179B

HANAUER - CROSS

1 Q Did you testify for plaintiffs there?

2180A

HANAUER - CROSS

- 1 A No.
- 2 Q You testified for the chemical companies?
- 3 A Yes.
- 4 Q And how many times?
- 5 A One.
- 6 Q Was it one environmental toxic tort stet  
7 case?
- 8 A Yes.
- 9 Q Okay. Doctor, you lecture, correct?
- 10 A Yes.
- 11 Q Are you aware that on the web when one types  
12 in your name you come up with site that's on Medscape  
13 that says evidence and experience the art of managing  
14 inflammatory bowel disease?
- 15 A I have not.
- 16 Q Let me show you this. It's up there on the  
17 screen. It's copyrighted by the University of Chicago  
18 Pritzker School of Medicine. Is that where you  
19 practice?
- 20 A Yes.
- 21 Q Did you have input into this?
- 22 A In the segment that I participated in.
- 23 Q So you knew that this was on the site?
- 24 A I'm not aware of all the Google references  
25 for me.

2180B

HANAUER - CROSS

1 Q Okay. Doctor, if you go to the very top at

HANAUER - CROSS

1 the very top it says that these educational activities  
2 certified by accredited providers were not prepared by  
3 Medscape editors but are made available to our site as  
4 a service to our audience. Authors are routinely  
5 instructed by the provider to disclose significant  
6 financial relationships and mention of investigational  
7 drugs and unimproved indications. Is that true? I've  
8 read that correctly?

9 A Unapproved.

10 Q Unapproved. Yes. I read that correctly,  
11 right?

12 A Yes.

13 Q And, Doctor, you're on this site, correct?

14 A Yes.

15 Q What is your disclosure at this site?

16 A That disclosure was that I am a consultant  
17 and lecturer for Centocor.

18 Q And what is Centocor?

19 A Centocor is a pharmaceutical company that  
20 makes a drug called Infliximab or Remicade.

21 Q Remicade. That's the drug that's used for  
22 inflammatory bowel disease, isn't it?

23 A Yes.

24 Q You're a consultant to them?

25 A Yes.

2182A

HANAUER - CROSS

1 Q And you lecture on their behalf?

2 A I've been paid to give continuing medical  
3 education lectures through them, yes.

4 Q Okay. So you're one of the experts that  
5 they've tapped to lecture on the efficaciousness of  
6 Remicade to other GI physicians. Is that it?

7 A That's one of the aspects that I lecture on.  
8 I also talk about the risks.

9 Q I'm glad you do, Doctor. Now, Doctor,  
10 you've appeared at the American College of  
11 Gastroenterology's Seventieth Annual Scientific  
12 Meeting. Is that true?

13 A I presume. I presume you're going to show  
14 me that I did.

15 MS. CHIN-CAPLAN: Yes, I am.

16 SPECIAL MASTER HASTINGS: Well, before we go  
17 on then, the excerpt from that web page that you just  
18 showed, why don't you make that Petitioners' Trial  
19 Exhibit 12. Now you're showing something further?

20 MS. CHIN-CAPLAN: I am, Special Master.

21 SPECIAL MASTER HASTINGS: Or is this the  
22 same?

23 BY MS. CHIN-CAPLAN:

24 Q On the next page, Doctor, are you listed  
25 there?

2183A

HANAUER - CROSS

1 A Yes.

2 SPECIAL MASTER HASTINGS: So this is just  
3 the next page of the document you just showed a minute  
4 ago?

5 MS. CHIN-CAPLAN: Yes.

6 SPECIAL MASTER HASTINGS: Okay. All right.

7 BY MS. CHIN-CAPLAN:

8 Q Doctor, on your disclosure this time it says  
9 that you've received grants for clinical research from  
10 Abbott Labs, correct?

11 A Yes.

12 Q Asahi, USB Pharma or Celltech, Centocor,  
13 Elan, Genentech, Otsuka, Protein Design Labs,  
14 Prometheus, Targacept, Therakos. You've also served  
15 as a consultant to Abbott Labs, Amgen, Asahi, USB  
16 Pharma or Celltech, Centocor, Elan, Genentech,  
17 GlaxoSmithKline, Novarts, Otsuka, Protein Design Labs,  
18 Targacept, Teva and Therakos, and that you've served  
19 on the speakers bureaus of USB Pharma, which is  
20 Celltech, and Centocor. Have I read that correctly?

21 A Yes.

22 Q Doctor, these are all pharmaceutical  
23 companies?

24 A Yes.

25 Q When you say you received grants for

2184A

HANAUER - CROSS

1 clinical research what did you receive from Abbott  
2 Labs?

3 A I don't receive anything. These are grants  
4 to the institution. We do clinical trials with these  
5 drugs to help them lead to FDA approval in the right  
6 patient population, so because of my experience over  
7 the years I'm one of the primary investigators for  
8 most of the new drugs that are being developed for  
9 ulcerative colitis or Crohn's Disease.

10 I consult with the pharmaceutical industry  
11 as to how to design, and perform and evaluate these  
12 trials to help them get FDA approval. That's been  
13 successful thus far with Remicade for Centocor, Humira  
14 for Abbott and the others are in process.

15 Q So the grant is provided to your hospital or  
16 the medical school. Is that it?

17 A They're provided to the medical school to  
18 pay our support staff to do the clinical trials on  
19 these patients or with these patients.

20 Q Are you the principal investigator?

21 A In most of those, not all of those.

22 SPECIAL MASTER HASTINGS: Are you done going  
23 over that --

24 MS. CHIN-CAPLAN: I am, Special Master.

25 SPECIAL MASTER HASTINGS: Let's put that

Heritage Reporting Corporation  
(202) 628-4888

HANAUER - CROSS

1 last one back on. I'm a little confused. Prior to  
2 that you had showed something from the Medscape  
3 website?

4 MS. CHIN-CAPLAN: Yes, Special Master. It's  
5 identified where it's posted.

6 SPECIAL MASTER HASTINGS: All right. We  
7 were going to mark that as Petitioners' Trial Exhibit  
8 No. 12. That's taken from the Medscape website. Is  
9 that correct?

10 MS. CHIN-CAPLAN: That's correct, Special  
11 Master.

12 SPECIAL MASTER HASTINGS: And then the last  
13 thing that you just showed and went over that noted  
14 the list of drugs, is that from a separate --

15 MS. CHIN-CAPLAN: It looks like it's from  
16 Medscape as well, Special Master, and it's a summary.

17 SPECIAL MASTER HASTINGS: All right. But  
18 it's a separate place on the Medscape website?

19 MS. CHIN-CAPLAN: Yes.

20 SPECIAL MASTER HASTINGS: Okay. Mark that  
21 then as Petitioners' Trial Exhibit 13. We have 12 and  
22 13.

23 MR. SHOEMAKER: Your Honor, if I may,  
24 Exhibits 10, 11, 12 and 13, we can file it as the same  
25 document with different page numbers if you'd like and

HANAUER - CROSS

1 refer to the page numbers or we can do it this way.

2 SPECIAL MASTER HASTINGS: Well, we've  
3 already discussed them as 10, 11, 12 and 13, and  
4 they're from different places. Indulge me on that  
5 one, Mr. Shoemaker.

6 MR. SHOEMAKER: Yes, sir.

7 SPECIAL MASTER HASTINGS: On that last one,  
8 Ms. Chin-Caplan, you have at least one paper copy of  
9 it?

10 MS. CHIN-CAPLAN: Yes, Special Master.

11 SPECIAL MASTER HASTINGS: You can make a  
12 copy, but before you have lunch give a paper copy of  
13 that last one to the reporter so that list of drugs --  
14 otherwise we'll never get that.

15 MS. CHIN-CAPLAN: Okay.

16 SPECIAL MASTER HASTINGS: All right. So go  
17 ahead.

18 BY MS. CHIN-CAPLAN:

19 Q Doctor, what date was the Evidence and  
20 Experience: The Art of Managing Inflammatory Bowel  
21 Disease posted?

22 A I don't know when it was posted.

23 Q Do you know the date that this occurred?

24 A I don't recall the exact date.

25 Q Okay. Would the copyright date help at all?

HANAUER - CROSS

1           A     The copyright says 2002, but I don't  
2     remember the specific date of this presentation or  
3     document.

4           Q     Okay. Doctor, when we go to what has been  
5     labeled as Petitioners' Exhibit 14 --

6           MS. CHIN-CAPLAN: Special Master, is that  
7     what --

8           SPECIAL MASTER HASTINGS: The last one with  
9     the list of drugs?

10          MS. CHIN-CAPLAN: Yes.

11          SPECIAL MASTER HASTINGS: Was 13.

12          MS. CHIN-CAPLAN: Thirteen. Okay.

13          BY MS. CHIN-CAPLAN:

14          Q     Doctor, when we go to this document what is  
15     the date on this document?

16          A     I can't read it.

17          Q     Above the author does it say copyrighted?

18          A     I'm sorry. I'm unable. Now it says 2005.

19          Q     So, Doctor, in the period of three years you  
20     went from consulting to one drug manufacturer to all  
21     those that are listed on this page. Is that true?

22          A     No, that is not true. The conflicts of  
23     interest or the potential conflicts of interest relate  
24     to the topic of the discussion, okay? So in the first  
25     example the topic may have specifically been related

2188A

HANAUER - CROSS

1 to Infliximab, one compound. In a subsequent I'm  
2 talking about the entire spectrum of therapeutic  
3 options. I'm going to list every potential conflict.

4 So it really depends upon the topic. If I'm  
5 talking about constipation, for instance, I don't have  
6 any conflicts because I don't work with any  
7 pharmaceuticals related to that issue, as an example.  
8 The conflicts of interest pertain to the medical  
9 education at hand and are not ubiquitous.

10 Q So you have no standard practice on  
11 conflicts of interest?

12 A I do have a standard practice that applies  
13 to the content.

14 Q So depending on the content will depend on  
15 which drug company you indicate you disclose as  
16 potential conflicts of interest?

17 A Yes.

18 MS. CHIN-CAPLAN: Okay. If I could just  
19 have a moment, Special Master?

20 SPECIAL MASTER HASTINGS: Sure.

21 BY MS. CHIN-CAPLAN:

22 Q So, Doctor, do you know whether that  
23 practice of disclosing just the particular medication  
24 that you would be lecturing on is standard?

25 A I think its uh-not- I don't think that there

2188B

HANAUER - CROSS

1 is a single

HANAUER - CROSS

1 standard except that it pertains to the content of the  
2 educational material.

3 Q Okay. So if you look at this page, which is  
4 page 2 of Petitioners' Exhibit 12, Dr. Sandborn --

5 SPECIAL MASTER HASTINGS: Or is it 13? Is  
6 it 12 or 13?

7 MS. CHIN-CAPLAN: It's 12.

8 SPECIAL MASTER HASTINGS: Twelve. I'm  
9 sorry. Go ahead.

10 MS. CHIN-CAPLAN: Dr. Sandborn disclosed  
11 every single company he consulted to, didn't he?

12 THE WITNESS: I don't know that this is  
13 inclusive.

14 BY MS. CHIN-CAPLAN:

15 Q Okay. But it appears that he has disclosed  
16 many companies. Is that true?

17 A It appears that he has disclosed many  
18 companies.

19 MS. CHIN-CAPLAN: Okay. Thank you, Doctor.

20 SPECIAL MASTER HASTINGS: Nothing further  
21 for this witness? I'm sorry.

22 MS. CHIN-CAPLAN: No, Special Master, not  
23 from Petitioners.

24 SPECIAL MASTER HASTINGS: Okay. Any  
25 questions for this witness? Go ahead.

2190A

HANAUER - CROSS

1                   SPECIAL MASTER VOWELL: Dr. Hanauer, just so  
2                   I understand your testimony, and this particularly  
3                   pertains to some of the testimony on cross-  
4                   examination, if you have 1,000 people with irritable  
5                   bowel syndrome and another 1,000 without is there any  
6                   difference in those two groups in terms of who may  
7                   ultimately develop irritable bowel disease?

8                   THE WITNESS: You mean inflammatory?

9                   SPECIAL MASTER VOWELL: Inflammatory bowel  
10                  disease. I'm sorry. Inflammatory bowel disease.  
11                  Thank you.

12                  THE WITNESS: There is no predisposition of  
13                  patients with irritable bowel syndrome to develop  
14                  inflammatory bowel disease. It's unrelated, so it  
15                  would be the same as the general population.  
16                  Similarly or the converse is also the case. If up to  
17                  30 percent of our population have symptoms at one time  
18                  or another of irritable bowel it's going to be the  
19                  same.

20                  Patients with inflammatory disease can have  
21                  irritable bowel symptoms as well. Irritable bowel  
22                  does not lead to inflammatory bowel disease.

23                  SPECIAL MASTER VOWELL: And is your  
24                  testimony that Michelle has irritable bowel syndrome  
25                  not an inflammatory bowel disease?

2191A

HANAUER - CROSS

1 THE WITNESS: My testimony is that there is  
2 no pathologic evidence that this patient has  
3 inflammatory bowel disease. Her symptoms are  
4 consistent with irritable bowel syndrome, and there is  
5 no specific symptom, there is no finding in her  
6 examinations that are pathognomonic or even pathologic  
7 confirmation. The young girl has had multiple  
8 biopsies on multiple occasions of purportedly abnormal  
9 bowel that was normal.

10 SPECIAL MASTER VOWELL: For you to say  
11 someone has inflammatory bowel disease you have to  
12 find inflammation?

13 THE WITNESS: You can't say inflammatory  
14 without inflammation. That would be inflammatory.

15 SPECIAL MASTER VOWELL: That would be a  
16 pathological- histopathological findings of  
17 inflammation?

18 THE WITNESS: Yes. The scopes are not  
19 accurate at- the scopes identifying minor lesions are  
20 not accurate at predicting the pathologic lesions,  
21 they are often over interpreted.

22 SPECIAL MASTER VOWELL: Thank you.

23 SPECIAL MASTER CAMPBELL-SMITH: I did have  
24 one question, Special Master.

25 SPECIAL MASTER HASTINGS: Please go ahead.

2191B

HANAUER - CROSS

1                   SPECIAL MASTER CAMPBELL-SMITH: You made  
2           several references, Dr. Hanauer, to inflammatory

2192A

HANAUER - CROSS

1 that there can be evidence in stool or diarrhea of  
2 inflammation because clearly you're an expert here.  
3 You mentioned blood with one of them. When you said  
4 this you apparently looked at the diaper from  
5 Michelle. And, are you indicating, I just want to be  
6 clear I understand.

7 Are you indicating that there can be some  
8 visual indicators? Obviously, there will be other  
9 tests that you would run, but visual indicators from  
10 examining stool or diarrhea in particular that an  
11 expert could determine absent blood that this was the  
12 result of something inflammatory or not?

13 THE WITNESS: Absent blood the only way you  
14 could tell what's causing the diarrhea if it's from  
15 inflammation would be simply looking at a drop under  
16 the microscope for pus cells, and there were not any  
17 documented at any point in her course.

18 SPECIAL MASTER CAMPBELL-SMITH: I thought it  
19 needed to be at the microscopic level, but I wanted to  
20 be clear when you said there could be stool that had  
21 evidence of inflammation.

22 THE WITNESS: This is a very easy thing.  
23 You just take a drop of the stool, you look under a  
24 microscope and you look for white blood cells. It's  
25 not a difficult test. It's something that anyone at

2192B

HANAUER - CROSS

1 the bedside could do, and all of the labs do it when

2193A

HANAUER - CROSS

1 they are looking for parasites. The labs look for a  
2 term called fecal, meaning in the stool, leukocytes,  
3 white blood cells.

4 She never had any fecal leukocytes described  
5 in the stool samples.

6 SPECIAL MASTER CAMPBELL-SMITH: Thank you.

7 SPECIAL MASTER HASTINGS: And, Doctor, if I  
8 understand you correctly having looked at the records  
9 they did look for that on a number of occasions?

10 THE WITNESS: They did ova and parasite  
11 examinations, and under normal- in most laboratories  
12 if there are fecal leukocytes because they need to be  
13 separated from parasites under the microscope they  
14 would be reported.

15 SPECIAL MASTER HASTINGS: All right. Now,  
16 Mr. Shoemaker, can you help me? Can you put back on  
17 the screen what we marked as Petitioners' Trial  
18 Exhibit 11, the second Hanauer abstract? This was the  
19 one with the mention of irritable bowel disease. I'm  
20 sorry, irritable bowel syndrome.

21 MR. SHOEMAKER: Page 8.

22 SPECIAL MASTER HASTINGS: Right. Okay.  
23 You've got it on the screen here. Thank you. I  
24 wanted you to clarify your answer to Ms. Chin-Caplan's  
25 question about that. In the abstract here you stated

2193B

HANAUER - CROSS

1 that there seems to be a growing overlap of

2194A

HANAUER - CROSS

1 pathophysiologic processes between ulcerative colitis  
2 and postinfectious irritable bowel syndrome.

3 THE WITNESS: I'd be happy to clarify that.

4 SPECIAL MASTER HASTINGS: Could you, please?

5 THE WITNESS: Absolutely. There is a small  
6 subgroup of patients who develop diarrhea predominant  
7 irritable bowel syndrome after an episode of something  
8 like a traveler's diarrhea, and some of those patients  
9 have evidence of increased bacteria in their small  
10 intestine and very mild inflammatory changes. That  
11 group of patients responds to an antibiotic. It's a  
12 very small group.

13 That does not apply to this patient who  
14 presented initially with diarrhea, then constipation  
15 and had this mix back and forth, and, and, and, and,  
16 and had no inflammation on biopsies. So this doesn't  
17 apply to a syndrome where there is extra inflammation.

18 SPECIAL MASTER HASTINGS: All right. Now,  
19 let me also ask you are there places, you stated  
20 earlier I think that you reviewed the records of  
21 Michelle Cedillo with respect to her GI symptoms. Are  
22 there places in those medical records where her  
23 treating physicians mentioned Crohn's Disease, a  
24 diagnosis of Crohn's Disease?

25 THE WITNESS: Only Dr. Krigsman. Until Dr.

Heritage Reporting Corporation  
(202) 628-4888

2195A

HANAUER - CROSS

1 Krigsman.

2 SPECIAL MASTER HASTINGS: Okay.

3 THE WITNESS: Now after that it's very  
4 interesting because sometimes these things, these  
5 diagnoses get carried over. So if you actually read  
6 some of the subsequent rheumatologists' reports it's  
7 in their report that she has Crohn's Disease. Well,  
8 that's based on Dr. Krigsman, it's not based on his  
9 independent review of the biopsy material, which was  
10 all normal. So you know some of these insinuations  
11 get carried over without any level of review.

12 SPECIAL MASTER HASTINGS: Well, I guess  
13 you're already answering the question that I was going  
14 to ask you. I just ask that he be given a copy of  
15 Exhibit 28, page 590 to 592. So if counsel have a  
16 copy of that in front of them?

17 THE WITNESS: Yes.

18 SPECIAL MASTER HASTINGS: I'm going to get  
19 my electronic copy in front of me. If you'll bear  
20 with me a minute? Doctor, as I read that, there's a  
21 three-page report there at 590 to 592 from Dr., if you  
22 look at the third page, S-Z-E-R is the last name?

23 THE WITNESS: Yes.

24 SPECIAL MASTER HASTINGS: And I couldn't  
25 tell what the specialty of that doctor was from that

2196A

HANAUER - CROSS

1 document. But if you can, let me know. Okay. It is  
2 in pediatric rheumatology. That's what I was hoping  
3 it was. All right. Bear with me just a minute.

4 I'm going to have you look at the top of  
5 page 590. This electronic version of very large  
6 records like this is a little difficult to work with,  
7 but I'm getting myself to the top of page 90 in just a  
8 minute. You'll see in the second or third line at the  
9 top of page 590, there's a mention of Crohn's Disease.

10 THE WITNESS: I think there's an erroneous  
11 statement that she has biopsy-proven Crohn's Disease.

12 SPECIAL MASTER HASTINGS: That's what I  
13 wanted to ask you about.

14 THE WITNESS: Again, this is how diagnoses  
15 get handed down without any re-check or evident review  
16 of primary data. But that's an obviously wrong  
17 statement, because the Court has not seen any evidence  
18 of biopsy-proven inflammation of the small or large  
19 intestine.

20 SPECIAL MASTER HASTINGS: So in your  
21 knowledge of the medical records, your study, you  
22 don't see anything to support that statement that  
23 there's biopsy-proven Crohn's Disease?

24 THE WITNESS: I haven't seen, nor had  
25 Plaintiff's counsel provided, any biopsies that showed

2197A

HANAUER - FURTHER CROSS

1 a diagnosis of Crohn's Disease.

2 SPECIAL MASTER HASTINGS: All right. That's  
3 all I had then. Is there any redirect for this  
4 witness then?

5 MS. RICCIARDELLA: No, Your Honor; but I'd  
6 really just like to clarify the record. Mr. Case  
7 pointed out that I misspoke when I was talking about  
8 June 8, 2006, upper and lower endoscopy. I referred  
9 to it as Petitioner's Exhibit 59. It's 49.

10 SPECIAL MASTER HASTINGS: All right. Thank  
11 you. All right. Anything further for this witness?

12 MS. CHIN-CAPLAN: I have just one other  
13 item.

14 SPECIAL MASTER HASTINGS: Go ahead.

15 FURTHER CROSS-EXAMINATION

16 BY MS. CHIN-CAPLAN:

17 Q Doctor, I'd like to show you, it looks like  
18 the prescription from Dr. Ziring. Is that true?

19 A Yes.

20 Q Could you just read what's on the  
21 prescription into the record, please?

22 A Adalumimab 40 milligram syringe, one starter  
23 pack (Crohn's Disease), four syringes, sub-que on week  
24 zero; two syringes, sub-que on week two; then  
25 Adalumimab, 40 milligram, sub-que on week four and

HANAUER - REDIRECT/RECROSS

1 every two weeks; Valium, two milligrams, two tabs, PO  
2 one prior to Humira injection.

3 Q Who is that signed by?

4 A Dr. Ziring.

5 Q And where is Dr. Ziring located?

6 A The Mattel Children's Hospital at ULCA.

7 MS. CHIN-CAPLAN: Thank you. I have no  
8 further questions.

9 SPECIAL MASTER HASTINGS: Is that from the  
10 medical records?

11 MS. CHIN-CAPLAN: But this is a  
12 prescription, Special Master, that Mrs. Cedillo has.

13 SPECIAL MASTER HASTINGS: All right.

14 MS. CHIN-CAPLAN: It's not listed as an  
15 exhibit. Should we make it an exhibit?

16 SPECIAL MASTER HASTINGS: Why don't you?

17 MS. CHIN-CAPLAN: And this one is --

18 SPECIAL MASTER HASTINGS: That would be  
19 number 14, Petitioner's Trial Exhibit 14.

20 MS. CHIN-CAPLAN: Thank you, Special Master.

21 MS. RICCIARDELLA: May I proceed?

22 SPECIAL MASTER HASTINGS: Yes, go ahead.

23 REDIRECT EXAMINATION

24 BY MS. RICCIARDELLA:

25 Q Dr. Hanauer, seeing that prescription that

Heritage Reporting Corporation  
(202) 628-4888

HANAUER - RECROSS

1 was just read to you today, does that cause you to  
2 change your opinion in any way as to this child?

3 A No, the primary reason that this child was  
4 getting the Remicade and then the Adalumimab or Humira  
5 was for her inflammatory arthritis, which is an  
6 approved indication of the drug.

7 MS. CHIN-CAPLAN: I have one last question,  
8 Special Master.

9 SPECIAL MASTER HASTINGS: Go ahead.

10 RECROSS-EXAMINATION

11 BY MS. CHIN-CAPLAN:

12 Q Dr. Ziring, is he a rheumatologist?

13 A No.

14 Q Is he a pediatric gastroenterologist?

15 A Yes.

16 Q Are you saying that a pediatric  
17 gastroenterologist would order medication for  
18 rheumatoid arthritis?

19 A Absolutely.

20 Q Oh, he would?

21 A Yes.

22 Q And do you routinely order medications  
23 outside your specialty area?

24 A It didn't say it was outside his specialty  
25 in patients who have been continually followed for

HANAUER - RECROSS

1 arthritis, and these medicines were started by a  
2 rheumatologist, and continued by the treating  
3 physician. So if Dr. Ziring is treating her for the  
4 inflammatory arthritis, there's no reason he couldn't  
5 continue the Humira.

6 Q Doctor, are you saying that Dr. Ziring, at  
7 UCLA, a pediatric gastroenterologist, is ordering  
8 Humira to treat Michelle Cedillo's rheumatological  
9 condition?

10 A I do not know. You might ask Dr. Ziring.

11 MS. CHIN-CAPLAN: Thank you, Doctor.

12 SPECIAL MASTER HASTINGS: All right. If  
13 there's nothing further for this witness, why don't we  
14 take our lunch break at this time, and we'll come back  
15 in the afternoon with Dr. McCusker, all right? We'll  
16 start back at 1:00.

17 (Witness excused.)

18 (Whereupon, at 12:05 p.m., the hearing in  
19 the above-entitled matter recessed, to reconvene this  
20 same day, June 21, 2007, at 1:00 p.m.)

21 //

22 //

23 //

24 //

25 //

2201

1 A F T E R N O O N S E S S I O N

2 (1:05 p.m.)

3 SPECIAL MASTER HASTINGS: All right. We are  
4 ready to start the afternoon activities here. We have  
5 Dr. McCusker at the witness table, and Ms. Babcock  
6 will question for the Respondent. Dr. McCusker, could  
7 you first raise your right hand for me?

8 Whereupon,

9 CHRISTINE MCCUSKER

10 having been duly sworn, was called as a  
11 witness and was examined and testified as follows:

12 SPECIAL MASTER HASTINGS: Okay. Please go  
13 ahead, Ms. Babcock.

14 MS. BABCOCK: I'll start by saying that we  
15 do have a short Power Point. I'm not sure what trial  
16 exhibit we're up to.

17 SPECIAL MASTER HASTINGS: Do we have copies?

18 MS. BABCOCK: They're being handed out as we  
19 speak.

20 SPECIAL MASTER HASTINGS: Okay. Great. I  
21 think this will be Respondent's Exhibit 16, according  
22 to my count.

23 MS. BABCOCK: Okay. Trial Exhibit 16.

24 //

25 //

McCUSKER - DIRECT

1 DIRECT EXAMINATION

2 BY MS. BABCOCK:

3 Q Good afternoon.

4 A Good afternoon.

5 Q Could you please state your name for the  
6 record?

7 A Christine McCusker.

8 Q And what is your profession?

9 A I'm a pediatric immunologist.

10 Q Could you briefly describe your collegiate  
11 and medical education?

12 A I have a BSC in microbiology and immunology  
13 from the University of Toronto. I have a Master's  
14 Degree in molecular virology from McMaster University.  
15 I have three years of a PhD thesis degree in  
16 immunogenetics, also from McMaster.

17 I have my MD degree from McMaster  
18 University. I then went on to do a residency in  
19 pediatrics, a clinical fellowship in allergy and  
20 clinical immunology, and then two years of a post-  
21 doctoral research fellowship in immunology at the  
22 Meakins-Christie Laboratories, McGill University.

23 Q And are you board certified?

24 A I am board certified in pediatrics in the  
25 American Board of Pediatrics. I have a Royal College

McCUSKER - DIRECT

1 certification, the Royal College of Physicians and  
2 Surgeons of Canada certification in pediatrics and  
3 allergy and immunology as well as the Collège des  
4 Médecins du Québec certification for pediatrics and  
5 allergy and immunology. So those would be the  
6 Canadian equivalent of the American boards.

7 Q Are you an examiner for any licensing  
8 boards?

9 A I'm an examiner for the Royal College of  
10 Physicians and Surgeons of Canada for the qualifying  
11 exams for allergy and clinical immunology.

12 Q Do you hold teaching positions at McGill?

13 A Yes, I'm an Assistant Professor at McGill  
14 University, and I have teaching responsibilities that  
15 extend from teaching basic undergraduate immunology  
16 courses, teaching medical student immunology courses,  
17 as well as teaching both post-graduate grad student  
18 courses and resident courses in immunology.

19 Q Do you hold laboratory positions, as well?

20 A Yes, I have both a clinical laboratory  
21 responsibility and a research laboratory  
22 responsibility. I am a principal investigator of a  
23 research laboratory at the Meakins-Christie  
24 Laboratories of McGill University, where my research  
25 interests are in understanding the developmental

McCUSKER - DIRECT

1 immune system from infancy through to essentially  
2 adolescence; and trying to understand how the immune  
3 system sets itself up and is regulated throughout that  
4 period of time, particularly early in life.

5 In my clinical laboratory responsibilities,  
6 I'm the Clinical Director of the Immunology Laboratory  
7 at the Montreal Children's Hospital, where I'm  
8 responsible for the organization, running, quality  
9 assurance of clinical immunological testing; as well  
10 as with two of my colleagues with the signing and  
11 interpretation of lab results, which will then be sent  
12 out to the ordering physicians.

13 Q Is that immunology lab also a National  
14 Reference Center?

15 A Yes, our laboratory runs tests that are  
16 specific for the diagnosis of primary  
17 immunodeficiency, and in that capacity, we have  
18 developed and have accredited certain immunological  
19 testing for the diagnosis of specific humoral  
20 immunodeficiencies.

21 As well, we are the Reference Center for  
22 several different providences in Canada, including  
23 Quebec, Nova Scotia, and the other maritime provinces.

24 Q Now what is the division between your  
25 research and clinical work?

2205A

McCUSKER - DIRECT

1           A     I'm officially 50 percent research and 50  
2     percent clinical.

3           Q     And about how much of the latter is clinical  
4     lab?

5           A     Approximately of that 50 percent, if you  
6     called that 100 percent, it would about 30 percent of  
7     my clinical time is spent in running and managing the  
8     clinical lab.

9           Q     About how many patients do you see in a  
10    month?

11          A     Somewhere on the order of 200, on an average  
12    month.

13          Q     Are the majority of these adults or  
14    children?

15          A     They are almost exclusively children. I  
16    rarely see adults.

17          Q     Do you see children in a general pediatric  
18    capacity, as well?

19          A     Yes, my clinical time in actual seeing of  
20    patients is divided into clinical week, where we see  
21    patients who are being evaluated for primary  
22    immunodeficiency; a clinic where we see patients who  
23    are being evaluated for allergies and allergic  
24    problems; and then I do what's called the walk-in  
25    clinic or a drop-in clinic for minor pediatric

McCUSKER - DIRECT

1 emergencies once a week.

2 Then I do two to three emergency room shifts  
3 a month, where I am often in charge of the emergency  
4 room at the Montreal Children's Hospital. In that  
5 capacity, I can see anything from very minor problems  
6 to acute resuscitations in patients who require  
7 significant medical attention.

8 Q Have you published in the field of pediatric  
9 immunology?

10 A Yes, I have.

11 Q About how many times have you testified in a  
12 legal proceeding?

13 A This will be my third time.

14 Q Now in the course of your current practice,  
15 as you just described, do you see children who have  
16 recently received an MMR vaccine?

17 A Yes.

18 Q Is fever a common occurrence after MMR?

19 A I wouldn't say that fever is a common  
20 occurrence. Fever does occur.

21 Q Even a high fever?

22 A More rarely, but it certainly can occur.

23 Q And does fever typically have long-term  
24 clinical ramifications?

25 A In the context of MMR, not in my experience.

McCUSKER - DIRECT

1 Q Now moving back to pediatric immunology, I  
2 wanted to start by taking a historical look at what  
3 was theorized about autism and immunity. When you  
4 discussed Dr. Gupta's evaluation in your report, you  
5 mentioned there was a time when autism was thought to  
6 be related to immune dysfunction. What was the  
7 genesis of this theory?

8 A That theory was initially put forth by an  
9 investigator by the name of Stubb, who started looking  
10 at immune responses in children with autism. He  
11 initially started with a case report and then a case  
12 series, to determine whether or not some of the  
13 effects that you were seeing in autism were related to  
14 the immune system.

15 Since that time, there have been several  
16 studies that have tried to evaluate immunity in  
17 autism, and up until the present time, the studies  
18 have been somewhat inconsistent in their findings.

19 Q Let me be clear, the study was published  
20 in --

21 A The first publication was in 1976.

22 Q In the 1970s -- would you say that that  
23 theory or that hypothesis is generally accepted today?

24 A No.

25 Q Now getting back to Dr. Gupta's report, I

2208A

McCUSKER - DIRECT

1 want to go through it in some detail. It's obviously  
2 been a topic of conversation. Generally, when making  
3 conclusions about immune function, is a single  
4 evaluation sufficient?

5 A As a general rule, no -- the immune system  
6 is not a static organ. It doesn't stay the same  
7 throughout your entire life. It changes as you age.  
8 It changes as you develop. It changes with the  
9 environment that you're exposed to in any given time  
10 and on any given day.

11 So as a general rule, in our practice, when  
12 we're evaluating patients for primary  
13 immunodeficiency, we see several patients a week who  
14 come to us for that problem. We will start with an  
15 initial screen of the immune system, and any  
16 abnormalities that are detected are always followed  
17 with a repeated test to see if it's consistent over  
18 time.

19 Q Now can you talk in general about how these  
20 evaluations are done?

21 A Yes, sure; when we're asked to evaluate a  
22 child's immune system, basically, we have to use the  
23 tools that we have at hand. It would be nice to be  
24 able to be thorough and complete, but we are not able  
25 in the clinical laboratory, to fully evaluate a

2209A

McCUSKER - DIRECT

1 child's immune system as you would in an ideal world.

2 What we have at our disposal is the  
3 capability to examine essentially the adaptive immune  
4 response. We have some specialized testing for  
5 children who appear to have problems with the innate  
6 immune response. But for the most part, our focus is  
7 on the adaptive immune response.

8 So essentially, what we're looking at is the  
9 T cells and the B cells; and we want to know, those P  
10 cells, are they present? Do they look normal as one  
11 would expect, and do they function normally?

12 So in order to do that, we have to look two  
13 ways. One, we look at their numbers, and we do that  
14 by a method known as flow cytometry, where we take the  
15 lymphocytes of a patient and we run them through the  
16 flow cytometer, and we look to see how many  
17 lymphocytes they have, what is the distribution of the  
18 lymphocyte, the T cells, the B cells, the different T  
19 cell subsets. We can do B cell subsets now, as well  
20 as the NK cells.

21 Then we move on to look at the functioning  
22 of the immune system. So the functioning, we have two  
23 options for that. To look at the TH1 arm or the cell  
24 mediated arm of the immune system, primarily what we  
25 can do is, we can look at whether or not the cells can

2210A

McCUSKER - DIRECT

1 activate in the presence of a stimulus that's  
2 appropriate. So we take the cells, we put them in a  
3 petri disk, and we try to stimulate them, and then we  
4 look to see if they stimulate.

5 In order to look at the other side, at the  
6 other arm, the humoral arm, we look to see if the T  
7 cells and the B cells were able to communicate.  
8 Because if they were able to communicate, then the B  
9 cells were able to be told to produce an antibody. So  
10 if you have antibodies to stimuli that you know the  
11 child has had, then you know that that arm of the  
12 immune system is intact. So we can do that as our  
13 initial screen.

14 Then if we find an abnormality, we will  
15 generally begin to do finer and finer testing, all the  
16 way to genetic testing, to determine where the problem  
17 is.

18 Q Now when evaluating a child's immune system,  
19 is it important to use age specific values?

20 A Absolutely; there is absolutely no question.

21 Q Is the immune system of a child the same as  
22 an immune system of an adult?

23 A No, it is not.

24 Q Slide 2 here also is from Dr. Ward's report  
25 yesterday.

2211

McCUSKER - DIRECT

1           A     Basically, what you can see in this slide is  
2           that the numbers of immune cells -- and these are just  
3           absolute values -- changed significantly over time.  
4           Unless you are going to specifically examine the  
5           patient at the age appropriate time, you really don't  
6           have any idea of what is normal and abnormal.

7           Q     Now in her expert report and testimony last  
8           week, Dr. Byers stated that it is standard practice to  
9           use adult values to assess a child's immune system.  
10          Do you agree?

11          A     I do not.

12          Q     And to be clear, do you evaluate immune  
13          results like these on a regular basis?

14          A     Yes.

15          Q     When you test in your own lab, do you use  
16          age adjustment measurements?

17          A     Yes, we do.

18          Q     Is this practice widely accepted in the  
19          pediatric immunology community?

20          A     Yes, it is. It's considered standard of  
21          care.

22          Q     Now although Dr. Byers made this assertion,  
23          she also used normal values from several of your filed  
24          papers in her Power Point presentation. Did you  
25          review these slides in her testimony about them?

2212A

McCUSKER - DIRECT

1 A Yes, I did.

2 Q Do you agree with the ranges that she  
3 proposed?

4 A She put the adult ranges on her slides.

5 Q She put the adult ranges?

6 A Yes.

7 Q Okay. Now she seemed to make a point in her  
8 slides about stating that the values you used were  
9 from foreign laboratories?

10 A Yes.

11 Q Is this correct? This is Slide 3.

12 A Could you move on to Slide 3? What you can  
13 see in Slide 3, and what the blue arrows are  
14 highlighting, are the values that are reported in my  
15 report for the T and B cell enumerations and their  
16 normal ranges. This report comes from the study of  
17 Shearer, et al, which is also filed. I'm not sure  
18 what exhibit.

19 Q It's Respondent's Exhibit C at Tab 4.

20 A Okay. And Shearer is a large study in the  
21 United States which looked at 807 children to define  
22 the normal ranges based on age. In this particular  
23 study, three of the participating centers were from  
24 California, including UCSF.

25 Q Now would a pediatric immunologist at the

McCUSKER - DIRECT

1 University of California Irvine use different normal  
2 pediatric values than a pediatric immunologist in  
3 Montreal, Quebec?

4 A No.

5 Q Does it matter that Michelle's testing was  
6 done in 1997, and you have the benefit of more recent  
7 normal values?

8 A It really does not. This is Slide 4. What  
9 Slide 4 shows you is the normal pediatric ranges that  
10 were available as of 1992. They are the ranges that  
11 actually we still use as our initial screen today,  
12 although we tend to move on to the Shearer paper for  
13 the final finite testing. The reason is that in this  
14 particular study of Hanan, et al, they had a  
15 relatively small number of patients per group, and the  
16 Shearer paper had a much larger patient population  
17 from which to draw. So they were able to achieve more  
18 accurate ranges.

19 Again, this moves back to the concept of the  
20 pediatric immune system. Because it can vary from day  
21 to day, the more patients that you have of that age  
22 group, the more you are able to capture what is a  
23 normal range on a normal every day variance. So the  
24 Shearer paper is probably more accurate, based on  
25 numbers. But this is what was available in 1992, and

2214A

McCUSKER - DIRECT

1 it really isn't significantly different from Shearer.  
2 I just chose the more accurate numbers.

3 When you're talking about this particular  
4 analysis, what you're using, as I mentioned before, is  
5 a flow cytometer. So the question is, was flow  
6 cytometry in 1997 significantly different from flow  
7 cytometry in 2007 or 2003, when Shearer did the work?

8 There have been changes and upgrades to the  
9 machine. Certainly, they work much faster than they  
10 did in 1997.

11 But the principles are identical. Because  
12 most of these studies, or all of the studies and all  
13 of the work, is done in accredited labs, they have to  
14 maintain a certain consistency in their results,  
15 particularly for these kinds of studies, because they  
16 have vast reaching effects; not just for the diagnosis  
17 of immunodeficiency.

18 But these results are used for the diagnosis  
19 of cancers. They are used for the diagnosis of  
20 problems post-transplant. They are used for many  
21 different reasons in medicine. So it isn't just to  
22 evaluate immune systems, because immunologists just  
23 like to do that. It really has broad-reaching  
24 effects. Nephrologists use it. Hematologists use it.  
25 Oncologists use it.

2215

McCUSKER - DIRECT

1           So I thought I'd take a second and just kind  
2 of explain what flow cytometry is, so that you can  
3 understand where the consistency is. Basically, a  
4 flow cytometer is a machine. You take a patient's  
5 sample, and you drop it, drop by drop, through the  
6 machine. The drops have been treated in such a way  
7 that you ensure that only a single cell from the  
8 patient passes through the reader at any given time.

9           So it's a very thin drop that drops down  
10 from the retainer. It drops through past the reader.  
11 What is the reader? It's actually a laser.

12           So when the cell drops into the reader, the  
13 laser hits it. That laser hitting it causes the laser  
14 to scatter. That scattering gives us a lot of  
15 information. It tells us the size of the cell, and it  
16 tells us how much stuff is inside the cell; the  
17 granularity of the cell.

18           Using that information alone, we can  
19 differentiate the different populations of white blood  
20 cells. We can say that granular cells tend to be  
21 things like neutrophils, macrophages, those kind of  
22 cells.

23           The less granular cells, they are smaller  
24 and less granular. Those are the lymphocytes. So you  
25 can then gate. It's called gating, where you circle

McCUSKER - DIRECT

1 that lymphocyte population, and you can study it  
2 further.

3 So how do I say how many lymphocytes are T  
4 cells? Well, T cells are defined. They are  
5 differentiated from one another on the basis of  
6 certain surface markers. Flow cytometry takes  
7 advantage of that, and there have antibodies that have  
8 been made in the laboratory to these specific surface  
9 markers.

10 So there's an anti-CD3 antibody. There's  
11 anti-CD4 antibody. There's an anti-CD8 antibody,  
12 Anti-CD-19, CD-20, antibody. There's lots and lots of  
13 different ones.

14 In fact, one of the things that has improved  
15 in the last 10 years is the capability to type cells  
16 has expanded dramatically by flow cytometry. But  
17 using the antibodies that were available in 1997,  
18 these antibodies are then coated with a tag.

19 The cells are put in the presence of these  
20 antibodies, and any cells, for example, that are CD-  
21 4 -- if this is an anti-CD4 antibody, we'll bind it.  
22 So that when it passes through the reader and it gets  
23 hit by the light, it will glow a different color. So  
24 it will change color, and it will glow for example.  
25 Depending on the tag you use, it will glow green.

2217A

McCUSKER - DIRECT

1           The receiver actually can detect -- oh,  
2           that's a cell that scattered this way, so is a  
3           lymphocyte and glowed green and, as such, is a CD4  
4           positive T cell. So it's very simple in that sense.  
5           The concept of a flow cytometer -- it's beautiful  
6           technology, but it hasn't significantly changed.  
7           They've gotten faster. The anti-bodies may have  
8           gotten a little bit easier to use. But the reality  
9           is, if there's a tag on the antibody, that antibody  
10          has that receptor and, therefore, is counted as a CD-  
11          4.

12           Q       So is it fair to say that it's still your  
13          opinion that the values you used in your report are  
14          the values that should have been applied to Michelle  
15          Cedillo's immunological evaluation?

16           A       Yes.

17           Q       Now let's move on to Dr. Gupta's actual  
18          testing. What were serum immunoglobulin and antibody  
19          response results?

20           A       Can we have the next slide?

21           Q       No, it's not on a slide.

22           A       Oh, okay. So in terms of her immune work-  
23          up, the serum immunoglobulin levels; that is, the IgM,  
24          IgG, IgA, and IgE total levels were all within normal  
25          limits. In addition, she made antibodies to the

2218A

McCUSKER - DIRECT

1 components of the vaccine she had received, including  
2 diphtheria, tetanus. Rubella, polio, pneumococcus.

3 Finally, with respect to the measles virus,  
4 she had detectable measles IgG antibodies, but no  
5 detectable IgM antibody, which would be interpreted as  
6 being a child who has seen and cleared the measles  
7 virus.

8 Q Now there's the next slide.

9 A Okay. Sorry.

10 Q I wanted to talk about testing for T and B  
11 cell enumerations. What were the findings?

12 A Well, I think it's clear from my slide here,  
13 that essentially, if you apply the normal ranges that  
14 are appropriate for a three year old child, her T and  
15 B enumerations all fall within the normal range.

16 Q Now this chart has something called percents  
17 and absolute numbers. What's the distinction?

18 A When you're looking at the flow cytometer,  
19 basically what the flow cytometer captures is a  
20 certain number of cells that run past the reader; run  
21 past the laser. If you call the total number of cells  
22 that are read 100 percent; and then you calculate, or  
23 the machine calculate how many of those glowed green,  
24 then you know that her CD4 count was 38 percent.

25 But that gives you a percentage. It doesn't

2219A

McCUSKER - DIRECT

1 actually tell you what an absolute number is. In  
2 order to convert that to an absolute number, you need  
3 to know how many cells were in the pool to start with.

4 So you need to have an evaluation of your  
5 total lymphocyte count. Then once you have an  
6 evaluation of the total lymphocyte count, what you do  
7 is, you can calculate, while 38 percent and there were  
8 150,000 cells in, the lymphocyte pool. Therefore,  
9 this is the total lymphocyte count that is represented  
10 by the CD 4 count.

11 Now why is that important? Well, to use  
12 only percentages can sometimes run you into trouble.  
13 Because if a patient has an extremely low level of  
14 lymphocytes, as lymphopenic, which happens under  
15 certain conditions, then your relative percentages  
16 become less valid, and you really need the absolute  
17 number in order to determine where the decrease in the  
18 T cell or the B cell population is occurring.

19 Q In particular, can I draw you attention to  
20 CD4/CD8.

21 A Yes.

22 Q Is that value normal?

23 A It is for me.

24 Q Now what are proliferation studies? That's  
25 next, Slide 6.

2219B

McCUSKER - DIRECT

1           A     Dr. McCusker's testimony begins at  
2           "Proliferation"... Proliferation studies are a little  
3           bit

2220A

McCUSKER - DIRECT

1 more variable from laboratory to laboratory. These  
2 can be much more difficult to interpret. In fact, you  
3 really have to be very careful when you're  
4 interpreting proliferation studies. The reason is,  
5 unlike the flow cytometry, where basically, if the  
6 cell is present, it's going to glow and you're going  
7 to see it.

8 So your error range is fairly narrow. These  
9 are called in vitro studies. Basically, you're doing  
10 something to the sample, and asking for a response.

11 The problem with biological assay systems  
12 such as this, is that there are many places in the  
13 assay to introduce error. So, for example, what is a  
14 proliferation? We take the patients lymphocytes. We  
15 put them in a petri dish, and in that petri dish with  
16 some growth factors and media to keep the cells happy,  
17 we put a factor that will stimulate the cells.

18 What you see in this slide are the factors,  
19 the mitogens, which are phytohemagglutinin, and  
20 Concvalin A and Poke weed mitogen. Why do we use  
21 those? Because they are known to activate T cells and  
22 B cells, in some instances, to divide.

23 If you have an extremely sick B cell or T  
24 cell, even under this aggressive stimulus, it will not  
25 divide. So an absence of T cell proliferation is

2221A

McCUSKER - DIRECT

1 important diagnostically for when you're diagnosing  
2 SCID. When you're looking at post-bone marrow  
3 transplant, and you want to see if any of those cells  
4 are healthy, in a patient population SCID being severe  
5 conjoined immunodeficiency, sorry. But basically, how  
6 do you know that the cells are dividing? Well, what  
7 you do is, you put into the culture media a marker,  
8 and we use Tritium (Tritiated thymidine) which is  
9 incorporated into the cell when it divides. Then the  
10 cell will glow in a reader, which seems relatively  
11 simple. But lot to lot differences in the thymidine  
12 can make a big difference in your absolute values that  
13 you see when you're looking at the results.

14 So for example, in our laboratory, and it's  
15 standard for the pediatric laboratories that I have  
16 encountered, we always controlled patients on the same  
17 day with a known normal control. Why; because that  
18 means if the tech sneezed into the dish, it doesn't  
19 happen.

20 But let's say it could. Or if the  
21 temperature of the room was too high, or the carbon  
22 dioxide content of the incubator was too low, the  
23 cells are not going to be as happy. These are very  
24 fragile cells in culture. So if they're not happy,  
25 they're not going to proliferate as efficiently.

2221B

McCUSKER - DIRECT

1 Well, if your test case doesn't proliferate  
2 efficiently, they you're left with a question.  
3 3:32.12 is it

2222A

McCUSKER - DIRECT

1 because it just didn't proliferate efficiently and  
2 there's a primary problem; or is it because the  
3 tritium wasn't as robust as the last lot? The only  
4 way that you're going to know that is if you control  
5 it with a normal control.

6 Now unfortunately for the case of Michelle  
7 Cedillo, I was not provided with a control value that  
8 was run on the same date.

9 It's not available in the transcripts?

10 Q I'm sorry, by transcripts, you mean not  
11 available in the medical records?

12 A Medical records, sorry.

13 Q Okay.

14 A It is any interpretation that you want to  
15 make on the proliferation studies, you really have to  
16 put into that interpretation in the codicil that  
17 you're not sure what the sensitivity of the assay on  
18 that day required,

19 Having said that, I did find in the Stern  
20 paper, which is the Stern 2005 paper. Do you know  
21 which one that I did find normal ranges, or at least  
22 ranges? Because on that paper, they compared autistic  
23 children, proliferation assays to normal controls run  
24 on the same day.

25 So if you look at that paper, you can see

2223A

McCUSKER - DIRECT

1 that's what is presented here as the autistic  
2 children's range, and the normal children's range.

3 Dr. McCusker: But, really, if you look at  
4 those ranges, then the results of Michelle Cedillo  
5 fall within the normal range.

6 BY MS. BABCOCK:.

7 Q Just to be clear, it's Exhibit C, Tab 7.

8 A Dr. McCusker: So essentially, given the  
9 results that I have available to me, it would appear  
10 to me that her T cells were able to be stimulated.

11 T & B cells were able to be stimulated up to  
12 a reasonable level, in this assay. Again, it's  
13 qualified by several different methodological issues.

14 Q Okay. Now you alluded to this earlier.  
15 When would proliferation studies cause you concern in  
16 a child?

17 A We were particularly worried about  
18 proliferation assays when they are severely depressed.  
19 When you do not get proliferation much above the  
20 background levels.

21 And these tests for Michelle Cedillo, they  
22 are considerable or robust. I mean, I suppose I could  
23 imagine that if her unstimulated is not given, it  
24 might be somewhere in the higher range. But even if  
25 you put it in the higher range, you would say that

2224A

McCUSKER - DIRECT

1 those were perfectly acceptable responses to the  
2 mitigens, because they proliferated well?

3 Q Now moving on to the immunoglobulin  
4 subclasses, what were Michelle's test results?

5 A Her subclasses.

6 Q Yes.

7 A She had a normal for range IgG1, IgG3, and  
8 IgG4. Her IgG2 was mildly elevated compared to normal  
9 ranges.

10 Q Now what is the clinical significance of a  
11 mild IgG2 elevation?

12 A There has not been any defined clinical  
13 significance in the extant literature for humans of an  
14 elevated IgG2. There are some case reports or case  
15 series that suggest that specific IgG2 antibodies can  
16 be elevated in periodontal disease.

17 Q Now are you aware of any literature where  
18 they looked at autistic children in IgG2 levels?

19 A Yes, there was the paper by Trajkovski, et  
20 al, 2004 --

21 Q It's Exhibit C, Tab 11, at Tab 11.

22 A -- where they looked at immunoglobulin  
23 subclass levels in patients with autism, compared with  
24 their neurologically normal siblings, and found that  
25 there were changes in IgG-1 and IgG-4 levels, and no

2224B

McCUSKER - DIRECT

1 changes

2225A

McCUSKER - DIRECT

1 in IgG-2 levels.

2 So it's really difficult to know what the  
3 significance of that is. In fact, these kind of  
4 studies haven't been replicated, so it's also very  
5 hard to know what they mean in general.

6 Q Overall, what conclusions can you reach  
7 based on the immune evaluation of Michelle Cedillo?

8 A Well, as I said in my report, my opinion, I  
9 would evaluate this child, if this was the lab reports  
10 that I was to sign out as an entirely immune response.

11 Q And even though he may have used adult  
12 values, did Dr. Gupta come to a similar conclusion?

13 A Yes, he did.

14 Q So would you agree or disagree with Dr.  
15 Byers's conclusion regarding Michelle Cedillo's immune  
16 evaluation?

17 A I disagree with it.

18 Q Now moving on to the subject of TH1/TH2  
19 skewing. It's obviously been discussed by several  
20 experts in reports and testimony. I wanted to start  
21 by talking about the background of this principle.  
22 When was the theory developed? This is slide seven.

23 A The first report of cloning of TH1 and TH2  
24 cells was in 1986 Mosmenek, et al. In that study,  
25 //

2226A

McCUSKER - DIRECT

1 what they were able to do was, they were able to  
2 stimulate T cells in culture and clone out, meaning  
3 finding a piece of the population that they were able  
4 to isolate away from the other T cells, that would  
5 produce either the cytokine interferon Gamma, or the  
6 cytokine on IL4.

7 Because they were able to clone these two  
8 cytokines away from these other and find these  
9 populations of T cells that would only secrete one or  
10 the other of their cytokines. They called one, TH1;  
11 and the other, TH2.

12 Since they had known, up until that time,  
13 that interferon gamma was important for activation of  
14 macrophages, and was important for the driving of cell  
15 mediated immune response, the TH1 side of the immune  
16 response was considered to be cell mediated.

17 Because IL4 was important in the activation  
18 of B cells and, therefore, the formulation of  
19 antibodies, they separated the two into TH2 being the  
20 humoral arm of the immune system.

21 Now although this paradigm has been very  
22 useful in helping us try to understand  
23 immunoregulation, it had subsequently been found to  
24 have several flaws. The first of the main flaws in  
25 this particular paradigm is that when these things

2227A

McCUSKER - DIRECT

1 were first defined, they were defined in mice and they  
2 were defined in inbred mice.

3 The inbred mouse has a much "simpler" type  
4 of immune system. You can study it under several  
5 different immune threats, and look to see what  
6 happens. It seems to separate much more directly into  
7 TH1 or TH2, than what the human studies were showing.

8 So probably about five or six years ago, or  
9 maybe a little longer, about 1999, people started to  
10 think, well, that paradigm where it's TH1 or TH2, and  
11 the two don't crosstalk, and if you have TH1, TH2 goes  
12 down. If you have TH2, TH1 goes down. seemed to be  
13 too fascile for at least in the human population.

14 There were studies that began to look at  
15 what the immune system did in fact. And believe it or  
16 not, rather than simplifying things, things just got  
17 more complicated because like everything in  
18 immunology, if you find an effect, you define a cell.

19 So they defined a new cell type and they  
20 called it the T regulatory cell type. Since that  
21 time, there has been an extensive amount of active  
22 research on T regulatory cells and dendritic cells, T  
23 regulatory cell interactions. In fact, that's one of  
24 the things that my lab does at the Meakins-Christie.  
25 So I have a lot to say about it, but I won't.

2228A

McCUSKER - DIRECT

1           The T helper cell subsets have now been --  
2           this is probably a little bit out of order now,  
3           sorry -- have now been defined as TH1, TH2, T  
4           regulatory cells. There's a TH3 cell that has been  
5           defined, and there is now a TH-17 cell that has been  
6           defined.

7           TH-17, not because it would have been easier  
8           to call it TH4, but because the cytokine that defines  
9           it, is called IL 17. So they decided to just follow  
10          the Interleukin, instead of calling TH4, to bring it  
11          down the pathway. I know it just adds confusion, but  
12          immunologists are crazy -- nice, but crazy.

13          Q       And is this the illustration of what you  
14          just said?

15          A       Yes, so this is the illustration of what we  
16          currently understand is the choices that a naive T  
17          cell has to make, once it sees its antigen.

18                 SPECIAL MASTER HASTINGS: Now we have slide  
19          10.

20                 MS. BABCOCK: Yes, we're going to skip.  
21          We're going to go back to eight and nine in a moment.

22                 THE WITNESS: I jumped ahead. I got  
23          excited.

24                 BY MS. BABCOCK:

25          //

2229A

McCUSKER - DIRECT

1           Q    So it's safe to say, are TH1 and TH2 are  
2           mutually exclusive, based on our current  
3           understanding.

4           A    In fact, there have been many studies now  
5           that suggest that once antigen T cell interactions --  
6           yes, an individual naive T cell makes a decision, and  
7           it will go towards one of these pathways. But there  
8           are many different clones that are being activated at  
9           any different time.

10                   Those T cell antigen in presenting cell  
11           interactions are unique to the T cell, and they don't  
12           pay attention to what their neighbors are doing. So  
13           it is clear that both TH1, TH2, and T-regulatory  
14           responses occur in concert.

15                   Now as the immune response progresses, one  
16           tends to predominate; the one that is probably  
17           considered to be most necessary for removing the  
18           threat. But all of them occur, and as the immune  
19           response begins to wane, as the body begins to combat  
20           the infection, and the antigen drops -- in fact low  
21           antigen levels promote the formation of T regulatory  
22           cells.

23                   So basically, when you have a high threat,  
24           you're going to go for your effector cells, which are  
25           your TH1 or your TH2. Because they're the ones that

2229B

McCUSKER - DIRECT

1 are going to be able to activate the set of toxic

2230A

McCUSKER - DIRECT

1 cells. Toxic -- that's a good cell to get when you're  
2 infected with a virus.

3 They are the ones that are going to be able  
4 to activate your B cells in the TH-2 arm, to produce  
5 antibodies so that you can combat the bacteria and the  
6 extra cellular pathogens.

7 But as that threat is coming down, you  
8 really want to be able to turn that response down. So  
9 as antigen level drops, the regulatory cells start to  
10 increase; and those cells are responsible for just  
11 calming down the response and shutting everything off.

12 Q We can go back to Slide 8 here. How is TH2  
13 cytokine-induced antibody induced in humans?

14 A Well, TH2 is characterized by the initial  
15 production of IL 4 and subsequent production of IL 13;  
16 IL 5 is among other cytokines that have been shown  
17 important.

18 But what the importance of this slide is, it  
19 is to show you that, in fact, if you are driving  
20 towards TH2 with a significant amount of cytokines, so  
21 that you would consider this to be a TH-2 predominant  
22 response, then the type of immunoglobulins that you  
23 are going to see are IgG1, IgG3, IgG4, and IgE  
24 production. This is taken from a study in humans.  
25 The animals with data; the subclass is very slight in

2231A

McCUSKER - DIRECT

1 mice. But this is what is found in humans in a TH-2  
2 response.

3 Q And were these values measured in Michelle  
4 Cedillo?

5 A Yes, they were.

6 Q And what were the results?

7 Q They were all normal.

8 A And I believe Slide 9 is just the summary  
9 there. Now what happens with respect to TH1 and TH2  
10 when the vaccine enters the immune system, and now  
11 we're skipping to slide 11?

12 A Sorry; I realize that this is a bit of a  
13 complicated slide. But it sort of talks about the  
14 things that I've already mentioned. In the center,  
15 the orange cell there, that's the naive T cell. So  
16 that's the cell, that once it sees it's antigen, it  
17 has to make a decision. It sees its antigen and the  
18 decision that it has made is dependent upon the  
19 antigen presenting cells.

20 So these guys here are depicted in green,  
21 and the T cell itself, and what's happening in the  
22 environment. So if there is a dendritic cells, for  
23 example, who has seen an antigen that it considers to  
24 be a threat -- and how does the dendritic cells know  
25 that? Because there are these innate receptors found

2232A

McCUSKER - DIRECT

1 on the antigen-presenting cells that have been called  
2 PAMP's or "toll like receptors"; and these receptors,  
3 certain repeating structures that are found on viruses  
4 and bacteria that make them viruses and bacteria; not  
5 mammalian, not human.

6 So the immune system says, well, wait a  
7 minute, this didn't come from me. They can bind into  
8 these receptors, and they can tell the dendritic cell.  
9 You have picked up something that is dangerous. It's  
10 sort of the danger theory of immunity. That dendritic  
11 cell will process that antigen and present it to the T  
12 cell.

13 But at the same time it presents it to the T  
14 cell, it expresses other receptors. But basically,  
15 these receptors talk to the T cell at the same time  
16 the T cell sees the antigen; and they say, you know,  
17 when I've seen this danger signal before, or  
18 evolutionarily, when this danger signal came, this one  
19 really needs a TH1 response. So why don't you start  
20 producing a lot of interferon gamma, and activate the  
21 TH-1 cells?

22 On the other side, let's say it's a  
23 bacterial cell wall product, the lipopolysaccharide  
24 being a classic example of that, that will bind into  
25 its toll like receptor TLR4, and the dendritic cell

2233A

McCUSKER - DIRECT

1 will be induced or the macrophage will be induced by  
2 the TLR4 engagement of the receptor, to tell the T  
3 cell, you know what, this is an extra cellular  
4 pathogen.

5 It's okay if some T cells want to make cell  
6 mediated responses. But our focus should really be  
7 making of antibodies, because that's what is going to  
8 protect us. I mean, that's how we now think; that the  
9 innate system is able to help mould and craft an  
10 immune response that is appropriate for the antigen  
11 for the invading organism to protect us from it. Does  
12 that answer your question?

13 Q More or less. Is it clear up top? Now Dr.  
14 Byers asserts that Michelle had evidence of a  
15 dysregulated immune system at the time of her MMR  
16 vaccine. Do you agree?

17 A No.

18 Q Does she also state that the presence of a  
19 fever was the sign of an immune dysregulation in  
20 Michelle Cedillo?

21 A Yes, she does state that.

22 Q Did Michelle have any evidence of immune  
23 suppression at the time of her testing in 1997?

24 A No, she did not.

25 Q Now Dr. Byers cited to a paper by Agrawal

2234A

McCUSKER - DIRECT

1 to postulate that Thimerosal was affecting the  
2 dendritic cells.

3 A That's correct.

4 Q And did you read that paper?

5 A Yes, I have.

6 Q What was the immunologic effect on dendritic  
7 cell that Agrawal observed?

8 A When he treated the human dendritic cells  
9 with Thimerosal, in the presence of the stimulus LPS,  
10 he found that there was down regulation, decreased  
11 production of the cytokines TNF alpha, IL-6, and IL-12  
12 subcomponent P-70. He also found and up regulation of  
13 IL- 13 and IL- 5. Okay. [So a down regulation of IL-  
14 6?]

15 A That's correct.

16 Q And just a small point of clarification. I  
17 think Dr. Byers said that dendritic cells secrete LPS?

18 A Yes, she did. I'm thinking she may have  
19 made a mistaken; because LPS is found in bacterial  
20 cell walls, and so dendritic cells do not secrete it.

21 Q Now what is one of the major effects of IL-  
22 6?

23 A Well, aisle six was first defined, along  
24 with IL- 1, as a component of a substance that way  
25 back in the early days of immunology was found as

2235A

MCCUSKER - DIRECT

1 endogenous pyrogen, because it is one of the major  
2 cytokines involved in promotion of fever.

3 Q So if IL-6 is down regulated, would someone  
4 be able to produce a fever?

5 A If IL-6 is down regulated, one would  
6 anticipate a blunted fever response.

7 SPECIAL MASTER HASTINGS: Blunted?

8 THE WITNESS: Blunted -- I can't tell you  
9 that it would be absolutely abrogated, because there  
10 is IL-1 still available. Although IL-6 and IL-1 are  
11 intimately associated in their regulation. So you  
12 might also postulate that IL-1 would be down  
13 regulated. But based on what he showed, you would  
14 anticipate a blunted fever response.

15 SPECIAL MASTER HASTINGS: And what do you  
16 mean by a blunted fever response; less fever?

17 MS. MCCUSKER: Less fever.

18 BY MS. BABCOCK:

19 Q Now there's also been a lot of discussion of  
20 cytokines, and this is probably a topic that we could  
21 be here for hours on, and we will not. But could you  
22 just briefly describe the role of cytokines in the  
23 immune system?

24 A Sure, cytokines are small proteins that are  
25 released by different cells. The interleukins were

McCUSKER - DIRECT

1 originally defined as cytokines that were released by  
2 leukocytes, and they were primarily thought to be used  
3 to allow for communication from one leucocyte to  
4 another.

5 They can be divided into several different  
6 ways. One of the divisions that is commonly used is  
7 that they're divided into pro-inflammatory and anti-  
8 inflammatory cytokines. Another division is that they  
9 are divided into short-acting, or those cytokines that  
10 act over very short distances, and those cytokines  
11 that can act over longer distances.

12 So they can be divided into several  
13 different categories, although there is a current move  
14 afoot to try and categorize them much better, based on  
15 their structure and function. But that's still a few  
16 years away.

17 Q Another small point of clarification, is  
18 nitric oxide a cytokine?

19 A No, it is not.

20 Q Now which immune responses are cytokines  
21 involved in?

22 A Cytokines are involved in all immune  
23 responses.

24 Q Do they play a role in any other systems?

25 A Sure, cytokines are used in the CNS system,

McCUSKER - DIRECT

1 to allow for communication between leukocytes at the  
2 CNS and the glial cells, astrocytes, other cells of  
3 the CNS.

4 They're great tools for communication. They  
5 are secreted by other cells; not just cells of the  
6 immune system. They are secreted by astrocytes. They  
7 are secreted by smooth muscle cells of the airways.  
8 They are secreted by epithelial cells of the airways.

9 So we now know that they are used as  
10 communication tools by more than just the immune  
11 system. Although there are some that are very  
12 specific for the immune system.

13 Q And do cytokines act locally or  
14 systemically?

15 A Well, probably we would classify the vast  
16 majority of cytokines as acting over a short distance,  
17 very much locally. Those are the ones that are  
18 primarily responsible for activation of one cell type  
19 by another cell type.

20 Because essentially, you want to regulate  
21 that activation very tightly. You want that T cell  
22 that has already recognized its antigen. I'm a cell  
23 for polio virus. I've seen polio virus, and now I  
24 want to activate that B cell that recognizes polio  
25 virus. I don't want to activate this B cell over

2238A

McCUSKER - DIRECT

1 here, that recognizes cat, because that's not going to  
2 help me.

3 So those cytokines act over very short distances.  
4 Some cytokines, the more pro-inflammatory, the  
5 cytokines that are responsible for turning up  
6 inflammation, those ones tend to act over a slightly  
7 longer distance, and why is that?

8 Well because if I get a cut on my arm, I  
9 have to call in cells from everywhere to fight that  
10 infection. I don't want to be relying on just the  
11 local area cells. I want to be calling them in from  
12 everywhere.

13 So the only way I can do that is to create a  
14 gradient to release my cytokine, and it can shoot out  
15 its signal over a longer distance, so the cells can be  
16 called into the area that is at risk.

17 The other cytokines that will act over  
18 longer distances are things like IL-1 and IL-6,  
19 because you want those to be acting on the  
20 hypothalamus, which is your fever center, because you  
21 want to turn up temperature. Why do you want to turn  
22 up temperature? Because microbes, bacteria and  
23 viruses really don't like high temperatures. They  
24 don't replicate well at high temperatures.

25 And so it will slow down their replication

McCUSKER - DIRECT

1 if you have a fever. It slows down the replication  
2 and allows the immune system a little bit more time to  
3 rally the troops, get everybody to the right place and  
4 eliminate the infection.

5 Q Now Dr. Byers discussed some of the black  
6 box warnings for some of the cytokines. If you're  
7 administering cytokines therapeutically, what type of  
8 doses are we talking about?

9 A You're talking about what would be  
10 considered supernormal doses. You're talking about  
11 high doses administered systemically. You're not  
12 talking about what would happen in the lymph node when  
13 IL2 is released, for example, which would be small  
14 doses of IL2 in a confined space.

15 Q So these are not levels that would be  
16 naturally produced by the body?

17 A No.

18 Q I would like to also clarify some of the  
19 terminology that's been used. Does the pediatric  
20 immunology community recognize the term selected  
21 immune dysfunction?

22 A I have never heard that term.

23 Q It also seems that TH2 is being used  
24 interchangeably with immuno suppression. Does that  
25 make sense?

McCUSKER - DIRECT

1 A No, it does not.

2 Q What is a clinical example of someone with  
3 TH2 skewing?

4 A A classic example of TH2 skewing is: 30% of  
5 our population, and those people would have allergies.

6 So when you see a patient who sneezes in the  
7 middle of rag-weed season, or in the middle of tree  
8 season, that's a person who's immune system is skewed  
9 a little bit too far to the TH2 side, and produces the  
10 anti-body known IgE, which is the only available bio-  
11 marker that's easily assessed in patients for this  
12 "TH2 skewing."

13 Q Is there any clinical evidence that Michelle  
14 Cedillo had TH2 skewing?

15 A No, there is not. Her IgE levels were  
16 normal.

17 Q If someone were significantly immuno  
18 suppressed what would you expect to see?

19 A I would expect to see a significant  
20 increased frequency of recurrent infections.

21 Q Is there any evidence that Michelle Cedillo  
22 had an abnormal, or an increased, frequency of  
23 recurrent infections -- in the time before her MMR  
24 vaccine?

25 A No.

2241A

McCUSKER - DIRECT

1 Q in the time before her MMR vaccine?

2 A I'm sorry.

3 Q That's okay. Now, if the theory is that  
4 thimerosal had a sufficient immuno suppressant effect  
5 on an immune system as to allow the persistence of the  
6 measles virus, what would you expect to see  
7 clinically?

8 A If the thimerosal were persistent, and if  
9 that effect was clinically relevant, then it should  
10 not just affect the ability of the body to fight  
11 measles, and it should affect the ability of the body  
12 to fight infection.

13 So if you can't fight infection, your  
14 infections are going to be more frequent. There is  
15 going to be more clinically apparent, and they are  
16 going to last longer.

17 Q Now, is there any evidence that Michelle had  
18 an abnormal number of infections after her MMR  
19 immunization?

20 A No.

21 Q Can you think of an example where the immune  
22 system has been altered in some way physiologically,  
23 or otherwise, where you could see the effects of T-  
24 cell depression?

25 A Well, there are several examples. But one

2242A

McCUSKER - DIRECT

1 of the ones that comes to my mind, and that we see  
2 frequently in the immune-deficiency clinic, is the  
3 disease known as the DiGeorge Syndrome.

4 What the DiGeorge Syndrome is: It is a  
5 genetic disease. Because of a deletion on Chromosome  
6 22, the way the body forms one of the major organs of  
7 the immune system, the thymus, is aberrant. Because  
8 of when this gene deletion, gene mutation takes effect  
9 during the development of the fetus, you can have wide  
10 spectrum of clinical disease.

11 So you have these children who were born,  
12 and they are born with what's known as congenitally  
13 athymic. They do not have a thymus at all. Those  
14 children are unable to mature T-cells. So they have  
15 zero, no T-cells, and they present very early in life  
16 as severe combined immune-deficiency.

17 Without intervention, either bone marrow or  
18 thymic transplant, they will die very early. But then  
19 the vast majority -- that's actually relatively rare.  
20 But the vast majority of children with DiGeorge  
21 Syndrome actually have a spectrum of immune-  
22 deficiency. Because although the thymus doesn't form  
23 completely normally, it does form.

24 What we have found from studying patients  
25 with DiGeorge is that the T-cells do not form as

2243A

McCUSKER - DIRECT

1 quickly, or as robustly, as in a child who has a  
2 perfectly normal thymus.

3 So their T-cell numbers when you look at  
4 them, when you do those T- and B-cell enumerations,  
5 they tend to have low T-cell numbers because their  
6 thymus cannot handle the processing of the T-cells  
7 appropriately.

8 In addition, when you do your proliferation,  
9 they tend to have a slightly decreased prolifs  
10 compared to normal. I would look at those prolifs and  
11 I would say: slightly depressed T-cell proliferations  
12 to mitogens, consider congenital thymic dysplasia,  
13 meaning considered DiGeorge in your diagnosis.

14 When they have looked at studies -- now,  
15 DiGeorge, genetically, has been elucidated relatively  
16 recently, from a medical point-of-view, in the last  
17 ten years. So there have been many, many patients who  
18 have had DiGeorge Syndrome who were not defined; and  
19 there are other congenital effects associated with  
20 DiGeorge. It is not just the thymus that can be  
21 problematic.

22 There are lots of children, who because of  
23 the other problems we've identified, or suspected, as  
24 having DiGeorge Syndrome that never came to our  
25 clinic, and these children received their full

2244A

McCUSKER - DIRECT

1 vaccinations.

2 Interestingly, there has not been a reported  
3 case of persistent viral infection, as a result of a  
4 live viral vaccination, in a patient with DiGeorge.

5 Now our recommendations are: If we know a  
6 patient has DiGeorge, that we wait until we're sure  
7 that their immune system can handle the vaccine before  
8 we give it. But there have been many, many, many  
9 children, and there is actually a large international  
10 study going on right now trying to collect the numbers  
11 of these patients who have received their  
12 vaccinations, and have had no untoward effect as a  
13 result of it because it gives us a lot of information.

14 It tells us that, even with depressed T-cell  
15 numbers and decreased proliferations, these children  
16 are able to cope with the vaccine strain and clear it.  
17 And they can do it with measles, mumps and rubella,  
18 and they can do it with the varicella vaccine.

19 Q So, overall, based on your medical  
20 experience, and your review of the medical records and  
21 testimony, what is your opinion as to Michelle  
22 Cedillo's immune functioning?

23 A In my opinion, Michelle Cedillo had a normal  
24 immune system at the age of three.

25 Q And you hold this opinion to a reasonable

McCUSKER - DIRECT

1 degree of medical certainty?

2 A Yes, I do.

3 MS. BABCOCK: No further questions.

4 SPECIAL MASTER HASTINGS: Let me follow-up  
5 and ask a question before we have cross. You said: A  
6 normal immune function at the age of three.

7 THE WITNESS: That's correct.

8 SPECIAL MASTER HASTINGS: What about an  
9 earlier age?

10 THE WITNESS: There was no evidence of  
11 immune dysregulation in my opinion before the age of  
12 three. But if you're asking me to evaluate her immune  
13 system, the only objective evaluation that I have was  
14 at age three, which was normal.

15 Clinically, in my opinion, she did not have  
16 any evidence of an immune abnormality prior to that.

17 SPECIAL MASTER HASTINGS: You said at the  
18 age of three because that's when Dr. Gupta did his  
19 work-up.

20 THE WITNESS: That's correct.

21 SPECIAL MASTER HASTINGS: But you're also  
22 saying that: throughout the medical records you looked  
23 at, you didn't see any clinical evidence of immune  
24 dysfunction at any other time?

25 THE WITNESS: No, I did not.

McCUSKER - CROSS

1                   SPECIAL MASTER HASTINGS: All right. Any  
2 cross for this witness? Ms. Chin-Caplan?

3                   CROSS-EXAMINATION

4                   BY MS. CHIN-CAPLAN:

5           Q        Good afternoon, Doctor.

6           A        Good afternoon.

7           Q        You're from McGill?

8           A        Yes, I am.

9           Q        Do you know Dr. Ward and Dr. Fombonne?

10          A        Yes, I do.

11          Q        Have you worked with them?

12          A        I've worked with -- well, no, truthfully, I  
13 know who they are. Dr. Ward is an adult  
14 microbiologist, so I don't interact with him  
15 clinically. I know him professionally; and Dr.  
16 Fombonne, I've had some interaction with when he did  
17 his study of the immune responses in autistic children  
18 because it was done in my lab.

19                   It was done as a research study, but using  
20 the services of our lab, so I had some interaction in  
21 that sense, but, other than that, no.

22          Q        Was your name on that study?

23          A        Nope. No, it was not, sorry.

24          Q        But it was done in your lab?

25          A        It was done in my clinical lab. It was --

McCUSKER - CROSS

1 it was incepted and run by Dr. Fombonne and Dr. Bruce  
2 Maser, who is my colleague. but it was not my  
3 inception, so my name was not on the paper.

4 Q Okay. Do you know what Dr. Fombonne's role  
5 in this study was?

6 A I think Dr. Fombonne will be testifying.  
7 You can ask him.

8 Q Okay, I shall do that. Doctor, if you take  
9 a look at Respondent's Exhibit Z, which contains your  
10 opinion, under Tab 7, is this the study that you're  
11 referring to, Stern's study?

12 A Can I have that? Yes.

13 Q Dr. Fombonne is listed on this, correct?

14 A That's correct.

15 Q And this study was done in 2005?

16 A It was published in 2005. It was actually  
17 done in patients who were accrued in the  
18 immunodeficiency clinic between 1996 and 1998. It  
19 says it in the abstract.

20 Q Do you notice any conflict-of-interest  
21 declarations on this article?

22 A I will look at the back. Okay, except for  
23 the fellowship. I don't see any, no.

24 Q Okay, thank you. Doctor, you were speaking  
25 of Michelle's immune status, and we we're looking

McCUSKER - CROSS

1 primarily at Dr. Gupta's records, is that true?

2 A That's correct.

3 Q And that would be Petitioners' Exhibit 3,  
4 correct?

5 A Yes.

6 Q I would ask you to take a look at page 12.

7 A Yes.

8 Q This is the lymphocyte subsets, is that  
9 true?

10 A That's correct.

11 Q And there is an indication that the normal  
12 range is that for an adult, is that correct?

13 A That's correct.

14 Q Okay. Doctor, does it indicate that the  
15 ratio of CD4/CD8 which is the helper-suppressor ratio,  
16 is 2.24?

17 A That's what it says, yes.

18 Q And the normal range for this laboratory,  
19 for the adults in laboratory?

20 A Right.

21 Q Was .82 to 2.02?

22 A That's correct.

23 Q Okay. Doctor, for the CD20s, which is the  
24 total B-cell count, Michelle's was 21%, correct?

25 A That's correct.

McCUSKER - CROSS

1 Q An absolute number 670?

2 A Yes.

3 Q Yes. And the normal range for this  
4 laboratory was a high of 16.8% cells.

5 A The normal range for the adults in this  
6 laboratory was 16.8 cells.

7 Q And the high range for this laboratory, for  
8 the absolute numbers, was 4.11?

9 A For the adults, yes?

10 Q Yes. And the last one would be for the  
11 CD3/CD6 genes. Was that the normal range for this  
12 laboratory?

13 A For the adults, yes, you were correct.

14 Q If you go to page 13, Doctor.

15 A Yes.

16 Q These lymphocytes transformation mitogens,  
17 do you see any abnormalities here for this laboratory?

18 A Well, again, there is no standardization for  
19 normal ranges, or lymphocyte proliferations, nothing  
20 is accepted either by the council that accredits  
21 laboratories, or by the WHO, and it is laboratory-  
22 specific.

23 You must always control it with a controlled  
24 sample, so it's difficult to know the validity of that  
25 normal range.

2250A

McCUSKER - CROSS

1 Q Okay.

2 A In addition, it appears, although again it's  
3 difficult to evaluate appropriately, that there are  
4 differences between pediatrics and adults. I realize  
5 that a lot of adult doctors see children as little  
6 adults, but they're really not.

7 Q I tried to say that today.

8 A They're very different.

9 Q According to this laboratory, though, do  
10 they have a normal range listed?

11 A They do, but it's for their adults, so you  
12 can't really use it to evaluate. And I think,  
13 although I am not Dr. Gupta, and I can't tell you what  
14 he was thinking, I would think that, given that his  
15 opinion was that her immune system was and I quote  
16 "essentially normal," if he felt that her ranges were  
17 outside the norm for pediatrics, he would have  
18 commented on it.

19 Certainly that's what I would do in my  
20 laboratory, and I assume he is as creditable a  
21 physician.

22 Q Doctor, the question before you was: Are  
23 there normal ranges listed for this laboratory?

24 A Yes, there are.

25 Q For Con A and poke weed mitogens, are they

2251A

McCUSKER - CROSS

1 within the normal range?

2 A Not for the adult normal range, no, they are  
3 not.

4 Q Okay. Doctor, let's go to page 14. These  
5 are the lymphocyte transformation antigens. Am I  
6 correct?

7 A Uh-huh.

8 Q For the mumps virus, is Michelle's range  
9 within the normal range for this laboratory?

10 A For the adults, no.

11 Q And for C.albicans, is it within the normal  
12 range for this laboratory?

13 A Again, it is not in the normal range for the  
14 adults of this laboratory.

15 Q Okay. For the PPD, is it within the normal  
16 range for this laboratory?

17 A No, not for the adults.

18 Q But for the tetanus toxoid is it?

19 A The tetanus toxoid is within the normal  
20 range for the adults. I think, you should, though,  
21 make a small note: The PPD, to my knowledge, Michelle  
22 Cedillo never received a BCG vaccination, neither did  
23 she have tuberculosis.

24 So one would not expect her to proliferate  
25 to PPD, regardless of what the normal range is. And

McCUSKER - CROSS

1 it is quite normal, in patients who have never been  
2 exposed to TB, to have no proliferation under those  
3 circumstances, or not above baseline.

4 So, again, that's part of the problem with  
5 trying to evaluate these patients based on "normal  
6 ranges" because it does depend on what they have seen  
7 in their lives.

8 Q Doctor, I'm going to put up a slide. This  
9 is the one that was in Dr. Byers' presentation.

10 SPECIAL MASTER HASTINGS: Just for the  
11 record, it was Slide 6 of Dr. Byers, it looks like.  
12 Go ahead.

13 MS. CHIN-CAPLAN: Okay.

14 BY MS. CHIN-CAPLAN:

15 Q Doctor, as you can see, the UCI, which would  
16 be the UC Irvine Laboratory, is all in blue, am I  
17 correct?

18 A That's correct.

19 Q Okay. You have indicated that it's not  
20 proper to use adult values for pediatric patients. Is  
21 that true?

22 A That's correct.

23 Q You actually cited in your reports several  
24 authors, correct?

25 A That's correct.

McCUSKER - CROSS

1 Q Okay. And, Doctor --

2 A It's interesting to know that she calls the  
3 Shearer Report a foreign laboratory, since it was not  
4 only American but three of the labs were Californian.

5 A I think she used that to mean that it's not  
6 UCI, that's to distinguish it from the laboratory that  
7 treated her.

8 A Okay.

9 Q So, Doctor, if you would like to look at the  
10 articles that you submitted that's more than fine.  
11 For Hannet, the CD4s/CD8s and the ratio CD4s/CD8s is  
12 in green?

13 A That's correct.

14 Q And that would be what you consider to be  
15 the normal range, correct?

16 A That is what was available in 1992 for a  
17 normal range.

18 Q Okay. But for the CD/20 --

19 A Could you wait one second?

20 Q Sure.

21 A Do we have that article?

22 SPECIAL MASTER HASTINGS: What article are  
23 you looking for, Doctor?

24 THE WITNESS: I have it here. It's the  
25 Hannet article. I just want to check what the numbers

McCUSKER - CROSS

1 are here. Go ahead, I'm on the same page.

2 BY MS. CHIN-CAPLAN:

3 Q So, have we cited this correctly?

4 A Yes.

5 Q So, for the CD20 count, though, you went to

6 Shearer, is that it?

7 A Yes.

8 Q And for Shearer, you used the CD4/CD8, the

9 CD4s and CD8s ratio, correct?

10 A That's correct.

11 Q Along with the CD20?

12 A Uh-huh.

13 Q But then for Gasperronni, you had different

14 values for CD4 and CD 8, didn't you?

15 A I didn't quote Gasperronni in the values.

16 Q Did you quote it in your --

17 A I'm sorry, I misunderstand your question. I

18 used, for my evaluation as a T-B cell numeration, the

19 Shearer report for CD4/CD8, and the CD19 that was

20 available in the Shearer reports.

21 Q Okay. So you're saying that the basis for

22 the normal values is based in the Shearer report?

23 A That's correct.

24 Q Okay.

25 A And if you look at my slide, that comes from

McCUSKER - CROSS

1 Shearer.

2 Q Okay.

3 A I've highlighted it.

4 Q Okay. If we just go to the T-cell function  
5 test, Doctor.

6 A Sure, where's that.

7 Q Slide 7 from Dr. Byers' presentation. For  
8 the T-cell function test, you used Stern for the  
9 normal, is that it?

10 A That's the only one that provided a normal  
11 range. So, as I've explained, there's a significant  
12 problem with trying to find normal ranges; and most  
13 studies will not provide a normal range. For  
14 proliferation assays, they will always compare to  
15 control. So you are limited by what is available in -  
16 -

17 Q In the literature.

18 A -- in the literature. I'm a little  
19 surprised that mumps was 1.3, when it's listed here as  
20 112.97, though. So there are some errors here, or is  
21 she --

22 Q Mumps was 1.2, 1,097 --

23 A Oh, she's just changed the units?

24 Q Yes.

25 A Sorry.

2256A

McCUSKER - CROSS

1 Q Okay.

2 A But she didn't change the units for PPD.  
3 It's inconsistently changed, so that's how I guess  
4 how my confusion comes, you're right.

5 Q Doctor, just go to the next slide, which was  
6 Slide 8. You had to go to Trajkovski to find the  
7 normal ranges for the immunoglobulin subclasses,  
8 correct?

9 A Well, there are actually several different  
10 publications for normal ranges of immunoglobulin  
11 subclasses.

12 In fact, what I'm trying to look for was the  
13 most relevant articles. And because this article  
14 actually spoke about normals versus children with  
15 autism, I chose those normal ranges because it seemed  
16 to correlate with the population that we were trying  
17 to look at here.

18 But it doesn't -- those normal ranges are not  
19 outside norms for age-matched controls. I used  
20 Trajkovski's study because it did provide normal  
21 ranges; and because it seemed to be reasonable to use  
22 that as a look to see whether or not it fit with even  
23 the autistic ranges.

24 Q Uh-huh.

25 SPECIAL MASTER HASTINGS: I will note that

2257A

McCUSKER - CROSS

1 Ms. Chin-Caplan referred to this as Slide 8. It's  
2 Slide 8 of Dr. Byers's presentation.

3 BY MS. CHIN-CAPLAN:

4 Q Now, Doctor, if you go to page 15 of  
5 Petitioners' Exhibit 3, which was Dr. Gupta's record.

6 A I have that, hang on one sec.

7 Q If you look at this.

8 A Which page, I'm sorry?

9 Q Fifteen.

10 A Fifteen, yes.

11 Q If you look at this lab result, is there an  
12 indication that for this lab, IgG2 and IgG4 were  
13 elevated?

14 A Yes, there is an indication on this page.

15 Q Okay.

16 A But these are not age-specific ranges.

17 Q Okay.

18 A Again, particularly with immunoglobulin  
19 subclasses, their formation is developmental. So you  
20 see changes in the development -- the formation of  
21 subclasses based on age.

22 So, when I choose the Trajkovski range, I  
23 was choosing based on age because it is given for age  
24 in that paper.

25 Q Okay. If you assumed that these elevations

McCUSKER - CROSS

1 are proper, are they of any significance to you at  
2 all?

3 A Not really, in truth. It's really -- I  
4 looked in the literature for a clinically relevant  
5 disease associated with an elevation in IgG2  
6 subclasses, and was largely unable to find anything.

7 I found an increase in IgG2 subclass  
8 specific antibodies associated with certain  
9 infections, particularly, as I mentioned before, the  
10 periodontal diseases. But I was unable to find a  
11 significant clinical relevance to IgG2 elevations.

12 And with respect to IgG4, isolated IgG4, I  
13 haven't heard of anything that is associated  
14 clinically, although it is elevated when -- in  
15 allergic individuals when the IgG is elevated.

16 Q What about the combination of the two being  
17 elevated, the IgG2 and --

18 A I looked for that in the literature. I  
19 didn't really find anything in humans. Were you able  
20 to find something?

21 Q Well, have you seen anything that indicates  
22 that this would mean a skewing of TH2?

23 A No, not in humans. IgG2 is not associated  
24 in humans. It is in mice, but not in humans.

25 Q Okay. Doctor, when we go to your report,

2259A

McCUSKER - CROSS

1 Exhibit Z, Tab 11, page 748, that very last paragraph.

2 A Sorry, I'm looking in the wrong place.

3 SPECIAL MASTER HASTINGS: What page?

4 MS. CHIN-CAPLAN: Page 748.

5 SPECIAL MASTER HASTINGS: Okay, now which

6 tab again?

7 MS. CHIN-CAPLAN: Tab 11.

8 SPECIAL MASTER HASTINGS: Okay, thank you.

9 MS. CHIN-CAPLAN: You're welcome.

10 SPECIAL MASTER HASTINGS: Go ahead.

11 BY MS. CHIN-CAPLAN:

12 Q It says: increased serum concentration of  
13 IgGs in autism, may point towards an underlying auto-  
14 immune disorder, and/or enhanced susceptibility to  
15 infections, resulting in chronic viral infections;  
16 whereas, the IgG subclass skewing may reflect  
17 different cytokine- dependent influences on  
18 autoimmune B-cells and their products.

19 Have I read that correctly?

20 A You have.

21 Q Do you agree with that?

22 A No.

23 Q No?

24 A I haven't found any evidence in the  
25 literature that would support that subclass changes

2260A

McCUSKER - CROSS

1 are related to autoimmunity.

2 Q Okay. But this article says it?

3 A This article postulates it.

4 Q The one that you cited in support of what  
5 your opinion.

6 A The one that I cited to give you, yes, to  
7 give you normal ranges for age.

8 Q Okay. Now, Doctor, you said that you based  
9 your opinion primarily on the Shearer article that  
10 listed the different normative values for pediatrics?

11 A Yes.

12 Q And Doctor Shearer is contained at  
13 Respondent's Exhibit Z, Tab 4.

14 If we go to page 978, which is the  
15 discussion, if you go to the right-hand column for the  
16 sentence that begins: In addition, the range of co-  
17 efficientes for laboratory variables could be larger  
18 than the range of co-efficientes for age groups,  
19 indicating that the difference between the results of  
20 two different laboratories, analyzing the same blood,  
21 could be larger than the biggest difference between  
22 the age groups.

23 Have I read that correctly?

24 A That's correct, yes.

25 Q So, Doctor, does that sentence indicate that

2261A

McCUSKER - CROSS

1 you shouldn't compare one lab's values to another  
2 lab's values?

3 A It's the recommendation that you stick to  
4 your own validated lab values.

5 What generally happens is in accredited  
6 laboratories, you're given reference samples. And the  
7 reference samples are given a certain value, and you  
8 ensure what your variance is over that reference  
9 sample. But the reference samples are based on the  
10 published ranges.

11 Q But they are recommending that you not use  
12 one lab value and compare it to another person's lab  
13 value?

14 A [They are recommending that you try and keep  
15 repeated assays within the same laboratory. That's  
16 what it says.]

17 Q Okay.

18 A [Therefore, a pediatric study should use the  
19 same laboratory for following a patient's results.]

20 MS. CHIN-CAPLAN: Okay. I don't have any  
21 further questions, Special Master.

22 SPECIAL MASTER HASTINGS: All right.

23 SPECIAL MASTER CAMPBELL-SMITH: Doctor  
24 McCusker, I just wanted it to be clear because we  
25 heard a couple of terms that have been used.

2261B

McCUSKER - CROSS

1

Immune dysfunction, immune abnormality,

McCUSKER - CROSS

1 immune deficient are all synonymous, but  
2 distinguishable from immune suppression?

3 THE WITNESS: I would not use all three of  
4 those as synonymous.

5 SPECIAL MASTER CAMPBELL-SMITH: Okay, why  
6 don't you --

7 THE WITNESS: So immune --

8 SPECIAL MASTER CAMPBELL-SMITH: Dysfunction.

9 THE WITNESS: Immune dysfunction is one of  
10 those very nebulous terms that is used when you cannot  
11 make a definition of anything.

12 You kind of say: Well, there's a  
13 dysfunction. And sometimes that's used when you have a  
14 patient, for example, when we have a patient who's had  
15 multiple infections. Clearly, there is something that  
16 is not completely right with this child, but all of  
17 our immune parameters are normal.

18 Essentially, what we're finding now, as our  
19 technology gets better and better, that we're able, in  
20 these kids, to go back when a new immunodeficiency is  
21 defined and say: Ah, that's where the child's problem  
22 is.

23 So, because we don't fully understand the  
24 way an immune -- all of the defects that are possible  
25 in children, in terms of the functioning of their

McCUSKER - CROSS

1 immune systems, sometimes that word is used as kind  
2 of: I think there's something going on here, but I  
3 can't put my finger on it.

4 Immune -- what was the second one you used?

5 SPECIAL MASTER CAMPBELL-SMITH: Abnormality.

6 THE WITNESS: Immune abnormality would be  
7 used to define a objective laboratory abnormality.

8 SPECIAL MASTER CAMPBELL-SMITH: The same  
9 with deficient, immune deficient.

10 THE WITNESS: Immune deficient would be used  
11 to bring together the objective laboratory abnormality  
12 with the clinical abnormality.

13 So, for example, a patient with the DiGeorge  
14 Syndrome might be immune deficient because his T-cell  
15 numbers are low, and clinically, he may have more  
16 susceptibility to getting a couple more colds every  
17 year.

18 He's not truly in danger; he's not  
19 worrisome. But there is something there in his immune  
20 system that is a little bit more profound than his  
21 friend down the block with DiGeorge Syndrome, whose  
22 immune system functions perfectly normally.

23 They are used in slightly different ways to  
24 convey, I suppose, in a sense, the association with  
25 the clinical and the laboratory findings.

McCUSKER - CROSS

1                   SPECIAL MASTER CAMPBELL-SMITH: And each of  
2 those references is distinct from immuno suppression?

3                   THE WITNESS: Classically, immuno  
4 suppression has been used when we use medications to  
5 suppress the immune system. That's often how it is  
6 used at least clinically.

7                   So, if I give a patient corticosteroids, I  
8 know I'm going to be immuno suppressing them because I  
9 know I will be interfering with the ability of their  
10 immune system to function normally.

11                   If I give one of the humanized monoclonal  
12 antibodies, those specifically knock out or are  
13 designed to knock out or interfere with the function  
14 of a specific area of the immune system. Those  
15 patients for that area will be immunodeficient or  
16 immuno suppressed.

17                   SPECIAL MASTER CAMPBELL-SMITH: Thank you.  
18 I did have one more question. When you talked about  
19 the DiGeorge kids, you've indicated that if you know  
20 that you've got a DiGeorge kid, before you would  
21 administer an attenuated vaccine, you would wait to  
22 see how they handled colds, infection?

23                   THE WITNESS: Well, DiGeorge is a very  
24 interesting disease. But basically children that do  
25 have thymuses as opposed to the truly athymic

McCUSKER - CROSS

1 DiGeorges, they're called complete DiGeorge, and they  
2 have no thymus and they will never have T-cells that  
3 function properly.

4 Those that have either a vestigial thymus or  
5 a partially formed thymus or an immature thymus, they  
6 will be able to form T-cells, but their ability to  
7 form T-cells is delayed relative to their peers.

8 In truth, they never truly reach, the vast  
9 majority, not all, some do, reach normal levels of T-  
10 cell numbers. So, their T and B-cell numerations,  
11 will always be slightly below normal.

12 Because we don't know where on the spectrum  
13 an individual child is, are they sort of pretty close  
14 to complete DiGeorge, but not quite there; or are they  
15 really -- they have a normal, fully functioning  
16 thymus. Because we don't know that, and because  
17 vaccines are things that you use to prevent disease,  
18 but because herd immunity will protect a given  
19 individual child, the risk-versus-benefits under those  
20 circumstances, don't fall on vaccinating these kids  
21 because we just don't know where on the spectrum they  
22 are.

23 But, things being what they are, many, many  
24 children with DiGeorge have been vaccinated; and we  
25 have immune parameters that tell us what their immune

McCUSKER - CROSS

1 systems look like, and their immune systems are still  
2 depressed. Yet, they are able to functionally combat  
3 and clear the live viral vaccines.

4 So, you know, it's one of those things  
5 where, if I know something, to actively give a virus  
6 is not, I think, in the child's best interest. But if  
7 it's already been done, we can study it. We can look  
8 at it, and we'd say: Wow, look, even with these low T-  
9 cells, and this depressed function, this child was  
10 able to clear this. Don't let it happen again.

11 SPECIAL MASTER HASTINGS: All right. I have  
12 a question for you, Dr. McCusker.

13 Dr. Byers, in the slides that you just went  
14 over a few minutes ago with Ms. Chin-Caplan, her  
15 testimony was that: Because of great variances in  
16 laboratories, and I'm summarizing her testimony to  
17 mean better to use the normal ranges from the UC  
18 Irvine Laboratory, even though they included adults,  
19 than to use a pediatric range from some other  
20 laboratory.

21 How do you respond to that?

22 THE WITNESS: Well, in truth, that would  
23 definitely not be considered, in my opinion, standard-  
24 of-care.

25 It's not that they included adults, they're

McCUSKER - CROSS

1 adult ranges. Adults' immune systems are very  
2 different from children. If you took a neonate, a  
3 newborn child, and you applied adult ranges, all  
4 neonates would have an abnormal immune system. That  
5 is clearly not the case.

6 In that situation, yes, there are some  
7 variations that can occur between laboratories. There  
8 are always ranges of error. But when you have, for  
9 example, as in the Shearer report, 807 children, you  
10 are able to get a decent range that is at least better  
11 than an adult range for a two-year old. Because they  
12 do not reflect the child's immune system at all.

13 SPECIAL MASTER HASTINGS: So, in your  
14 opinion, the best would be a normal range for children  
15 in the laboratory in question, that would be the best,  
16 if you had such a thing.

17 THE WITNESS: In truth: Ideally, the best is  
18 an accredited laboratory that performs regular Q&A.  
19 And if their ranges do not match the ranges that are  
20 supplied by the accreditation service, whether -- in  
21 the U. S. it's the FDA; or, in Canada, we have our own  
22 laboratory accreditation services.

23 If they don't match, you figure out what's  
24 wrong with your lab. But, ideally, you have a lab  
25 where you can check it. You can take a blind sample,

McCUSKER - CROSS

1 you can check it, and make sure that you're right.

2 That's ideal.

3 The best thing, I guess, would be ranges for  
4 age from that laboratory. Although, in truth, when  
5 you look at 807 patients and you know what the ranges  
6 are, that's a very good indication of what is within  
7 normal. Because, again, we're talking about small  
8 variations. We're not talking about this child having  
9 sky-high CD4s, or unbelievably depressed CD8s. We're  
10 talking about a small variation, which, in my mind,  
11 even at the best, would not be considered clinically  
12 relevant.

13 However, the ideal world, make your lab run  
14 properly, accredit it properly, and do the proper  
15 quality assurance to ensure that your range is fit  
16 with what is published and what is acceptable.

17 If that doesn't work, then, I guess, you  
18 have to go about making your own ranges. but that  
19 would be more difficult because it's got to be  
20 population based.

21 SPECIAL MASTER HASTINGS: All right.

22 SPECIAL MASTER VOWELL: That was the  
23 question for me. Let's assume for a moment that the  
24 normal values in Michelle's work-ups were children.  
25 Let's assume that.

McCUSKER - CROSS

1 THE WITNESS: Uh-huh.

2 SPECIAL MASTER VOWELL: And Dr. Gupta is  
3 obviously an immunologist, or he's the director of the  
4 immunology laboratory at UC Irvine. Would he have  
5 said, given then, that many of her laboratory values  
6 are out of range, would he have said what he did.

7 Let me rephrase this: Would a competent  
8 immunologist have said: Oh, this is nothing to worry  
9 about?

10 You quoted him directly, what essentially he  
11 said.

12 THE WITNESS: Let me just take one quick  
13 look at the ranges before I answer that question.

14 SPECIAL MASTER CAMPBELL-SMITH: Okay.

15 THE WITNESS: Because I don't want to give  
16 you the wrong information. That would be Tab 3.

17 SPECIAL MASTER CAMPBELL-SMITH: I'm sorry, I  
18 don't have his statement here.

19 THE WITNESS: No, I have it here. The only  
20 reason -- I just want to look.

21 I mean, truthfully, when I looked at those  
22 values, given that I sign these things out all the  
23 time, I looked and said: Oh, that's normal, and I  
24 didn't -- and then I went and started reading the  
25 reports; and then had to figure out where the

McCUSKER - CROSS

1 "abnormalities" were coming from.

2 So my feeling is that: If I saw these  
3 numbers, I would say this is a normal child's immune  
4 system, even given the ranges. And I would expect  
5 that anyone who has had any experience in quality  
6 assurance for flow cytometry would do the same.

7 I don't know if that helps you.

8 SPECIAL MASTER CAMPBELL-SMITH: So it  
9 doesn't matter whether they apply the adult ranges to  
10 the child ranges in --

11 THE WITNESS: It's always a bad thing to  
12 apply the adult ranges.

13 SPECIAL MASTER CAMPBELL-SMITH: Yes.

14 THE WITNESS: And I realize that there are  
15 variances between labs, but all the labs use the  
16 published ranges. We all do quality assurance.

17 SPECIAL MASTER HASTINGS: If I understand  
18 what you just said: You're presuming that Dr. Gupta  
19 did what you did. Just look at the numbers, and say  
20 that looks normal, that looks normal, that looks  
21 normal without ever looking over to the right to the  
22 adult range because he already knew what the pediatric  
23 range was?

24 THE WITNESS: I can't speak for Dr. Gupta,  
25 but that's what I did.

2271A

McCUSKER - FURTHER CROSS

1 SPECIAL MASTER HASTINGS: All right.

2 THE WITNESS: I know that he has a robust  
3 clinical lab, so he probably signs these things out as  
4 regularly as I do, probably more regularly, a bigger  
5 catchment area. I was not surprised by his  
6 evaluation of her immune system. His conclusion,  
7 sorry.

8 SPECIAL MASTER HASTINGS: Okay. Any  
9 redirect for this witness?

10 MS. BABCOCK: No.

11 SPECIAL MASTER HASTINGS: Anything further  
12 based on our questions?

13 MS. CHIN-CAPLAN: Just a few questions.

14 FURTHER CROSS-EXAMINATION

15 BY MS. CHIN-CAPLAN:

16 Q Dr. McCusker, are you aware that Dr. Gupta  
17 has actually published an article about TH1 and TH2  
18 cytokines in CD4/CD8 T-cells in autism?

19 A I have a memory of that article, but I don't  
20 have it here at my fingertips.

21 Q Let me refer you to Fujinami, Respondent's  
22 Exhibit R, Attachment 22.

23 A Yes?

24 Q Doctor, in this article, does he indicate  
25 that there is a skewing of TH1, TH2 cytokines in

2272A

McCUSKER - FURTHER CROSS

1 autistic children?

2 A You will have to give me a minute to read  
3 it.

4 Q All right, go ahead.

5 A What he concludes -- I mean you have to  
6 realize this was done in 1998.

7 So what they looked at in this study was --  
8 they looked at the percentage of cells that were  
9 positive for IL4, CD4 positive, IL4 cell.

10 And the percentage of interferon gamma-  
11 producing cells and showed that there was a -- I'm  
12 sorry. Let me just -- if I could just scan a research  
13 article. I'm sorry.

14 What they found was that there was more IL4-  
15 producing cells compared with interferon gamma-  
16 producing cells.

17 Sylvia Chin-Caplan: And IL4 is a TH2?

18 THE WITNESS: IL4 is a TH-2 cytokine.

19 Although they had a small population of 20 patients,  
20 and their P value only just reached statistical  
21 significance.

22 So you would actually, probably suggest that  
23 this is more of a trend because it barely reached  
24 significance in this population.

25 //

2273A

McCUSKER - FURTHER CROSS

1 Sylvia Chin-Caplan: Okay.

2 THE WITNESS: I just need to see one thing.

3 Sylvia Chin-Caplan: Okay.

4 (Pause.)

5 THE WITNESS: Yes, they didn't look at any  
6 of the other cytokines associated with TH2. And they  
7 didn't look at any of the intercellular cytokines that  
8 you'd find with TH2.

9 So, a preponderance of IL4 is there, but  
10 it's not huge.

11 Sylvia Chin-Caplan: Okay. And Michelle was  
12 seen in 1997, was that it?

13 THE WITNESS: Uh-huh.

14 Sylvia Chin-Caplan: And this article was  
15 written in 1998?

16 THE WITNESS: No, it was published in 1998.  
17 If you look at when -- oh, they don't do it. Oh,  
18 here. It was received November 1997.

19 Sylvia Chin-Caplan: Okay. Thank you,  
20 Doctor.

21 THE WITNESS: So, in fact, I guess one would  
22 hypothesize that he would have been able to assess the  
23 IL4 for capacity for Michelle at that time, but did  
24 not.

25 Sylvia Chin-Caplan: Thank you,

Heritage Reporting Corporation  
(202) 628-4888

2274A

McCUSKER - REDIRECT

1 Doctor.

2 SPECIAL MASTER HASTINGS: Nothing further?

3 Sylvia Chin-Caplan: Nothing further.

4 SPECIAL MASTER HASTINGS: Nothing further

5 for this witness?

6 MS. BABCOCK: Just briefly.

7 SPECIAL MASTER HASTINGS: Okay.

8 REDIRECT EXAMINATION

9 BY MS. BABCOCK:

10 Q So, as Ms. Chin-Caplan just asked you, Dr.

11 Gupta published on the topic of TH2 skewing?

12 A Yes.

13 Q So we can assume this is something that he

14 would have recognized in evaluating an immunological

15 evaluation on a child?

16 A I would have expected so if he felt it was

17 important.

18 Q And Dr. Gupta's conclusions about Michelle

19 was that she was normal?

20 A That's correct.

21 MS. BABCOCK: I have no further questions.

22 MS. CHIN-CAPLAN: Just one briefly.

23 SPECIAL MASTER HASTINGS: All right.

24 //

25 //

McCUSKER - RECROSS

1                                   RECROSS-EXAMINATION

2                                   BY MS. CHIN-CAPLAN:

3                   Q     Doctor, the terminology that Dr. Gupta used  
4     was almost normal, didn't he?

5                   A     Yes.

6                   MS. CHIN-CAPLAN: Thank you.

7                                   (Witness excused.)

8                   SPECIAL MASTER HASTINGS: All right. So  
9     does that conclude the witnesses for today?

10                   MR. MATANOSKI: Yes, sir, it does.

11                   SPECIAL MASTER HASTINGS: All right. We are  
12     going to conclude for the day now.

13                                   Just, especially for those listening in, let  
14     me remind you, as I said earlier today, that we are  
15     going to be starting with the phone conference a bit  
16     late tomorrow. We are going to be taking one witness  
17     who will not be available via phone conferencing,  
18     although the testimony of that witness will be  
19     available on the transcript.

20                                   So tomorrow morning, we will be starting the  
21     conference call at 9:30 a.m., or some time shortly  
22     thereafter.

23                                   So we are adjourned for today.

24                   MR. MATANOSKI: Thank you, sir.

25     //

1                   (Whereupon, at 2:48 p.m., the hearing in the  
2           above-entitled matter was adjourned, to reconvene  
3           Friday, June 22, 2007, at 9:30 a.m.)

4        //  
5        //  
6        //  
7        //  
8        //  
9        //  
10       //  
11       //  
12       //  
13       //  
14       //  
15       //  
16       //  
17       //  
18       //  
19       //  
20       //  
21       //  
22       //  
23       //  
24       //  
25       //

2277

REPORTER'S CERTIFICATE

DOCKET NO.: 98-916V  
CASE TITLE: Theresa Cedillo v. HHS  
HEARING DATE: June 21, 2007  
LOCATION: Washington, D.C.

I hereby certify that the proceedings and evidence are contained fully and accurately on the tapes and notes reported by me at the hearing in the above case before the Office of Special Masters.

Date: June 21, 2007

Christina Chesley  
Official Reporter  
Heritage Reporting Corporation  
Suite 600  
1220 L Street, N.W.  
Washington, D.C. 20005-4018