



IN THE UNITED STATES COURT OF FEDERAL CLAIMS

HAZLEHURST, )  
 )  
 Petitioner, )  
 )  
 v. ) Docket No. 03-654V  
 )  
 SECRETARY OF HEALTH AND )  
 HUMAN SERVICES, )  
 )  
 Respondent. )

Courtroom 6330  
 North Carolina Superior Court  
 832 East Fourth Street  
 Charlotte, North Carolina

Tuesday,  
 October 16, 2007

The parties met, pursuant to notice of the  
 Court, at 9:00 a.m.

BEFORE: HONORABLE PATRICIA CAMPBELL-SMITH  
 Special Master

APPEARANCES:

For the Petitioner:

CURTIS WEBB, Esquire  
 Webb, Webb & Guerry  
 155 Second Avenue, North  
 P.O. Box 1768  
 Twin Falls, Idaho 83303  
 (208) 734-1616

For the Respondent:

VINCENT MATANOSKI, Esquire  
 BRANDON BOXLER, Esquire  
 U.S. Department of Justice  
 Civil Division, Torts Branch  
 Ben Franklin Station, P.O. Box 146  
 Washington, D.C. 20044-0146  
 (202) 616-4356

Heritage Reporting Corporation  
 (202) 628-4888

APPEARANCES (Cont'd)

For the Respondent:

JOSEPH T. LOWE, Esquire  
U.S. Court of Federal Claims  
Office of Special Masters  
1440 New York Avenue, N.W., Suite 200  
Washington, D.C. 20005  
(202) 357-6347

## C O N T E N T S

WITNESSES:	DIRECT	CROSS
For the Petitioner:		
Dr. Jean-Ronel Corbier	265	323

## E X H I B I T S

PLAINTIFF'S EXHIBITS:	IDENTIFIED	RECEIVED	DESCRIPTION
1	296	--	Dr. visit report

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

P R O C E E D I N G S

(9:00 a.m.)

THE CLERK: All rise. The United States Court of Federal Claims is now in session.

THE COURT: Good morning. Please be seated. We are back on the record in the matter of Hazlehurst v. the Secretary of the Department of Health and Human Services, Case No. 03-654V. Mr. Webb, your next witness?

MR. WEBB: We will call Dr. Jean-Ronel Corbier.

THE COURT: Dr. Corbier? Dr. Corbier, did you want to pour yourself a cup of water? Dr. Corbier, would you raise your right hand, please?

Whereupon,

JEAN-RONEL CORBIER, MD,  
having been duly sworn, was called as a witness and was examined and testified as follows:

THE COURT: Thank you. Mr. Webb?

DIRECT EXAMINATION

BY MR. WEBB:

Q Doctor, can you give us your name and address for the record, please?

A Yes. My name is Jean-Ronel Corbier, and my address is 990 Leann Drive, Concord, North Carolina.

## CORBIER - DIRECT

1 Q Could you spell your name for the court  
2 reporter, please?

3 A J-E-A-N, hyphen, R-O-N-E-L. The last name  
4 is Corbier, C-O-R-B-I-E-R.

5 Q What is your profession?

6 A I am a board-certified child neurologist.

7 Q Would you describe the nature of your  
8 current practice?

9 A Yes. I practice in Concord. I take care of  
10 children with neurological disorders, all types of  
11 neurological ailments, including autism. I work full  
12 time as a clinical neurologist.

13 Q Are you board-certified in any areas?

14 A Yes, I am board-certified in neurology, with  
15 a special qualification in child neurology.

16 Q In your current practice, do you treat  
17 children with autism?

18 A Yes, I treat many children with autism, and  
19 have done so for the past several years.

20 Q Are you Yates Hazlehurst's neurologist?

21 A Yes, I am currently Yates Hazlehurst's  
22 neurologist.

23 Q When did you first see Yates?

24 A I believe the first visit was back in  
25 September of 2002. He had recently been diagnosed.

CORBIER - DIRECT

1 Q I filed a current copy of your CV as Exhibit  
2 36. Does that CV accurately describe your education  
3 and work experience?

4 A Yes, that should be an updated copy of my  
5 CV.

6 Q Doctor, what is autism?

7 A Autism is a neurodevelopmental condition  
8 that presents with three core areas of deficits. One  
9 is problems with communication and language. Children  
10 with autism are unable to express their needs. The  
11 second area is that of social interaction. Children  
12 with autism have impaired social interaction.

13 And in the third area, it is behavioral.  
14 They have very restricted interests. They tend to  
15 have self-stimulatory behaviors, such as handflapping.  
16 And these three core areas constitute what we label as  
17 autism.

18 Q When do children usually suffer the first  
19 symptoms of autism?

20 A That is highly variable. Some children may  
21 show manifestations very early on, a year, sometimes  
22 even less, although signs before a year can be quite  
23 subtle. Some can start showing signs at 15 months, 18  
24 months, two years, and there have been even case  
25 reports of individuals after three, which is unusual,

CORBIER - DIRECT

1 showing signs. So it is really very variable.

2 Q In your opinion, do the differences in the  
3 timing of the onset of symptoms mean that there are  
4 important differences between the autism of those who  
5 never develop correctly, those who regress, and those  
6 who regress later in life?

7 A Yes, I think the timing is important, and  
8 underlines a point that autism is not really one  
9 disorder. There are a lot of different subtypes.

10 For instance, there are some children that  
11 start showing signs very early on, and there are other  
12 children that appear quite normal. I think Yates  
13 would be a good example of that. And then later they  
14 regress, what we call regressive autism.

15 And so we're dealing with, even though we're  
16 dealing with a condition that behaviorally is labeled  
17 as autism, there are a lot of underlying etiologies  
18 with different times of onset, and different  
19 implications therefore.

20 Q Is the prognosis, or say the likely outcome  
21 of autism different in children whose symptoms began  
22 at different times?

23 A It's highly variable there again, because  
24 there are some children that start with early signs  
25 that may do well. Although in my experience, some

CORBIER - DIRECT

1 children that have problems from the very beginning  
2 may go on to have severe symptoms.

3 But the reverse may also be true. You may  
4 have someone with regressive autism that does well, or  
5 you can have a person with regressive autism that may  
6 go on to have chronic problems. So here again, it's  
7 very variable.

8 Q In your opinion, the differences in timing  
9 of the onset of symptoms suggest that there are  
10 differences in what causes autism with an early onset,  
11 and those who suffer regressive autism?

12 A Yes. If someone starts manifesting symptoms  
13 very early -- for instance, in early infancy, someone  
14 who presents maybe not just with core autistic  
15 symptoms, but let's say seizures or other problems --  
16 I would be more likely to think of an antenatal  
17 etiology, or genetic, or metabolic, versus someone who  
18 is perfectly normal until later on, I would think that  
19 other factors, including environmental factors, may  
20 have a greater role in these individuals.

21 So I think the timing can help us sort out  
22 the different etiologic factors that are present, as  
23 we know that there are various factors that play a  
24 role in different cases of autism.

25 Q What causes a child to develop regressive

CORBIER - DIRECT

1 autism?

2 A The belief is that with regressive autism,  
3 that, first of all, the child must have an underlying  
4 genetic tendency. We think that genes play an  
5 important role in most kids with autism. But because  
6 they are normal and doing well, we feel that  
7 environmental factors also play a role. And so in  
8 regressive autism, it's very likely that we're dealing  
9 both with genetic influences and external  
10 environmental factors.

11 Q Is it widely accepted that environmental  
12 factors are a substantial contributing cause to most  
13 cases of regressive autism?

14 A I think if you read the literature of what  
15 has been written, many articles point to a  
16 multifactoral etiology, including environmental  
17 factors.

18 For example, Martha Herbert, who is a child  
19 neurologist at Harvard, and various others point to  
20 environmental factors as playing a role. We don't at  
21 this point know all of the environmental factors that  
22 play a role, but there's a general understanding that  
23 environmental factors contribute to the development of  
24 autism.

25 Q In your opinion, is MMR vaccination an

CORBIER - DIRECT

1 environmental factor that can cause a genetically  
2 susceptible child to develop regressive autism?

3 A Based on studies that have looked at  
4 children with regressive autism, I think MMR has been  
5 implicated in a subset of children. It's very  
6 important for me to mention subset. I don't think  
7 that one can generally say MMR causes autism in  
8 general. But if you look at a very specific subset of  
9 children with regressive autism that regress after the  
10 vaccine has been given, and who have a particular  
11 clinical profile with gastrointestinal problems,  
12 several, several studies strongly suggest that that is  
13 one environmental causative factor.

14 Q Could you describe for us the clinical  
15 profile that implicates the vaccine?

16 A A typical clinical profile would be a child  
17 who is developing normally, doing well, is vaccinated,  
18 and subsequently starts having problems: autistic  
19 symptomatology, loss of interest, the child becomes  
20 withdrawn. But in addition, the child also has  
21 significant gastrointestinal manifestations:  
22 diarrhea, bloating, malabsorption, so-called leaky  
23 gut. Sometimes the diarrhea may alternate with  
24 constipation. So a lot of gastrointestinal issues.

25 On top of that, the child may have evidence

CORBIER - DIRECT

1 of immunological problems, the child who is sickly.  
2 So that profile is highly suggestive, MMR being  
3 implicated in regressive autism in that setting.

4 Q Why would the combination of autism and  
5 gastrointestinal symptoms suggest a relationship  
6 between vaccination, or MMR vaccination, and the  
7 autism?

8 A Can you repeat that again?

9 Q Why would the combination, if you will, of  
10 regressive autism which begins after vaccination and  
11 specifically gastrointestinal symptoms, why would that  
12 implicate the vaccine?

13 A Okay, that's a good question. Before we  
14 even look at the vaccine, just to answer your  
15 question, we have to ask can MMR, or I should say can  
16 the measles virus or other viral infections for that  
17 matter, can they cause regression, can they cause  
18 neural behavior, neurologic and gastrointestinal  
19 problems.

20 Several, several studies have implicated the  
21 measles virus itself as a contributing factor to both  
22 gastrointestinal problems and neurological problems,  
23 including autism and developmental delay.

24 But we can go a step beyond that. And if  
25 you see a group of children with autism and

CORBIER - DIRECT

1 gastrointestinal problems, you can look at their gut  
2 and try to isolate a measles virus, which has been  
3 done by Uhlman, who actually looked at a group of  
4 developmentally delayed children with gastrointestinal  
5 problems.

6           But others have taken it a little bit  
7 further. For example, Dr. Kawashima has found that  
8 the persistence of measles virus in the gut of  
9 individuals with autism, he was able to tell that that  
10 strain was not a wild strain, but was actually a  
11 vaccine strain.

12           I think another good study was by, I believe  
13 it was Bitoun, who actually found, there was a case of  
14 a child who was vaccinated at 12 months with the MMR,  
15 did well, and then eight and a half months later that  
16 child developed seizures, started to regress, had a  
17 lot of problems. Unfortunately, that child eventually  
18 died. But in the process, a brain biopsy was done,  
19 and it was very revealing. It was found that that  
20 child had persistence of measles virus in the brain.  
21 And then when they tried to see exactly what type of  
22 strain this was, it was a vaccine strain.

23           And so I think there is ample evidence in  
24 the literature to suggest that the MMR is associated  
25 with persistence of measles virus in the gut, and also

CORBIER - DIRECT

1 in the brain. Bradstreet and others have shown that  
2 the cerebral spinal fluid of children, of certain  
3 children that were looked at with autism had the  
4 measles virus. We also know that the measles virus is  
5 very virulent and very immunosuppressive. So it would  
6 make a lot of sense, based on a biological framework,  
7 to suggest what I'm saying.

8 Q Now, this child you described where they did  
9 the autopsy and found presence of measles in the  
10 brain, did that child have autism?

11 A The child had autistic symptoms, but he  
12 actually developed a lot, he developed a lot of other  
13 symptoms -- seizures, he later became comatose. And  
14 so he was diagnosed with inclusion-body encephalitis.

15 Q Does the measles virus cause a variety of  
16 different central nervous system illnesses?

17 A Yes. Yes, I think what is very interesting  
18 with the measles virus is that we have in front of us  
19 a natural history in the environment of what happens  
20 with, when someone gets infected with the measles.  
21 Although most individuals in the past who were  
22 infected with the measles virus developed symptoms  
23 that were temporary and then resolved, there's a group  
24 of individuals that developed acute encephalitis; that  
25 is, after they were injected with the, or after they

CORBIER - DIRECT

1 received the illness, they then developed  
2 encephalitis, neurological problems, seizures,  
3 confusion.

4 But then there's another group that did not  
5 show any manifestations after they were infected with  
6 the measles virus until later, until anywhere from one  
7 to nine months later. These people developed a type  
8 of encephalitis we call subacute encephalitis, or  
9 inclusion-body encephalitis. And that's very  
10 interesting, because frankly, the majority of  
11 individuals in the past who had the measles infection  
12 did well recover. But there was a subset that went on  
13 to have postinfectious encephalitis.

14 In fact, in the group of those who had the  
15 inclusion-body encephalitis, there's a subset of them  
16 that had clear evidence of immunosuppression. And  
17 then there's a third group that, after they were  
18 infected with the measles virus, did well not for a  
19 week or two, a month or two, but several years, and  
20 then developed an acute encephalitis. And most  
21 neurologists in this country, especially child  
22 neurologists, know this entity as SSPE: subacute  
23 sclerosing panencephalitis. It's a condition that is  
24 preceded years in advance by measles, and then  
25 something happens which causes that individual, due to

CORBIER - DIRECT

1 persistence of the measles which may be transformed in  
2 the brain, to then develop a very serious condition  
3 that we call SSPE.

4 So I think if we look at these models that  
5 are right there in nature -- you have an illness where  
6 you have a virus that can cause different types of  
7 postinfectious problems -- we have a perfect model for  
8 looking at measles, mumps, and rubella vaccines, which  
9 aren't that live attenuated vaccines.

10 So the question is, if I may elaborate a  
11 little bit, can the MMR vaccine cause measles  
12 infection? Well, we can also look at other vaccines  
13 that have been used in the United States to answer  
14 that question, such as oral polio.

15 Oral polio was discontinued in the U.S.  
16 because there were several cases of individuals that  
17 were vaccinated with the oral polio vaccine to try to  
18 prevent the illness, when they were, you know, not  
19 necessarily very likely to get the illness. But then  
20 they came down with polio. So the decision was made  
21 several years ago to not give oral polio, but instead  
22 to give an inactivated form of polio, which I applaud  
23 that decision. I think it's a lot safer.

24 But with measles, with MMR, it's very  
25 likely, and there are a lot of evidence and reports in

CORBIER - DIRECT

1 the medical literature of individuals persisting with  
2 the measles virus, both in the gut and the brain. And  
3 perhaps later we'll talk about brain-gut connections.  
4 So to answer your question.

5 Q Do we know why some individuals develop SSPE  
6 years after they are exposed to the measles virus?

7 A I think the best explanation, although there  
8 are things that we still have to learn, is that  
9 everyone is different. Perhaps people have different  
10 genetic makeups, and that's one reason I think genes  
11 are so important, such that some people may develop an  
12 infection early, others later on, and others, most  
13 people, not at all.

14 And I think the same thing applies with MMR.  
15 I think most people that are vaccinated with MMR have  
16 absolutely no problems. I received my MMR vaccine a  
17 few weeks ago for my booster, because I didn't suspect  
18 that I would have problems. I have not in the past.  
19 But that does not mean that everyone has the same  
20 immunological makeup as I do. There are individuals  
21 that have a particular makeup that make them  
22 vulnerable.

23 So I think genes play a role in the person's  
24 constitutional makeup that would determine if someone  
25 tolerates it well, and in most cases people do --

CORBIER - DIRECT

1 (Electronic interference.)

2 Q You described at least three different kinds  
3 of central nervous system illnesses caused by measles  
4 vaccine, measles virus: the acute measles  
5 encephalitis, subacute measles inclusion body  
6 encephalitis, and SSPE.

7 A Yes.

8 Q Is there any reason to believe that that's  
9 the whole universe of central nervous system diseases  
10 caused by the measles virus?

11 A Well, I think these are the three entities  
12 that have been best described, but it's likely that  
13 there's a whole shade in between. In other words, you  
14 may have individuals who may have something that's a  
15 cross between acute encephalitis and the typical  
16 subacute encephalitis or inclusion body or you may  
17 have someone that has a clinical profile that's not  
18 quite similar to that SSPE.

19 So I think it's very reasonable that there's  
20 a whole spectrum of problems, and those three just  
21 represent what's been studied and identified in most  
22 of the prototypical cases, if you will.

23 Q Turn back to the significance of evidence of  
24 measles virus persisting in the intestines. In your  
25 report you cite a report by -- Petitioners' Exhibit

CORBIER - DIRECT

1 37-E -- Uhlman?

CORBIER - DIRECT

1           A     Yes.

2           Q     Potential viral pathologic mechanisms for  
3           new variant inflammatory bowel disease.  And you cite  
4           that for the proposition that measles virus is found  
5           in the gut of children with autism.  Do you feel that  
6           the Uhlman findings are reliable?

7           A     I believe that Uhlman's findings are  
8           reliable, based on the fact that he used a lot of  
9           controls and up-to-date techniques to try to verify  
10          the persistence of measles virus in the group of  
11          children that he studied:  namely, children with  
12          developmental delays.  And so, to answer your question  
13          briefly, yes, I think that that was a well-done, valid  
14          study.

15          Q     Have other people duplicated any part of  
16          that study?

17          A     Yes.  I think there are several labs that  
18          have tried to look at Uhlman's study, and I think some  
19          labs used techniques that were a little bit different.  
20          For example, I believe that Uhlman looked at the gut  
21          tissue, while other labs have looked at the blood to  
22          try to see if they could replicate the virus.  Some of  
23          those labs, not surprisingly, came up with different  
24          findings.  In fact, one of the labs did not even  
25          evaluate children who actually had gastrointestinal

CORBIER - DIRECT

1 problems.

2 But I think Dr. Stephen Walker's lab has  
3 done a better job at replicating the findings than  
4 other molecular biologists, Dr. Hepner and others. So  
5 I think that that study has been, or that finding has  
6 been replicated and validates the findings of Dr.  
7 Uhlman and others.

8 Q And Dr. Stephen Walker, is that the report  
9 that Dr. Hepner testified about in the Cedillo case?

10 A Yes, I believe that is the one.

11 Q Would the measles virus need to be present  
12 in the brains of children for it to cause central  
13 nervous system injuries?

14 A Yes and no. Let me explain. Various  
15 viruses, and not just the measles virus, someone can  
16 be infected with a virus. They may get rid of the  
17 infection, but then subsequently the immune system may  
18 overreact and create what we call an autoimmune  
19 disorder.

20 When that occurs, the antibodies may travel  
21 everywhere, including the brain, and cause problems.  
22 So that would be one case scenario where a virus,  
23 including the measles, without attacking brain cells,  
24 may result in a neurological problem.

25 But of course, in many other cases, as has

CORBIER - DIRECT

1       been shown by brain biopsies and cerebral spinal  
2       fluid, which is a better way to assess what's going on  
3       in the nervous system, have shown the persistence of  
4       measles virus in the brain, which more directly  
5       implicates the virus as causing neuronal damage.

6               The measles virus comes in a variety of  
7       changes, toxic changes, that can damage neurons. So  
8       that mechanism also exists.

9               Q     Can autoimmune responses in the gut cause  
10       central nervous system injuries?

11              A     Yes. I think there are several good  
12       examples of that. I think it was Maroudi who did a  
13       very nice article on celiac disease. Celiac disease  
14       traditionally has been considered a gastrointestinal  
15       problem. Celiac disease is a condition where some  
16       individuals do not tolerate gluten. Gluten is a  
17       protein that's found in wheat and rye.

18              And when individuals that have celiac  
19       disease partake of gluten products or wheat, the  
20       immune system elicits a very abnormal reaction where  
21       it attacks the gut. And the resulting problem can  
22       include chronic diarrhea in small children, weight  
23       loss, malabsorption, just a lot of symptoms.

24              Well, Maroudi showed that in addition to  
25       gastrointestinal problems, some individuals have

CORBIER - DIRECT

1 brain-related defect. There's Maroudi, there's  
2 Goodwin, and several authors that have shown anything  
3 ranging from cerebella ataxia, where the immune cells  
4 attack the cerebellum, which controls balance, in  
5 individuals with celiac disease. Goodwin has shown  
6 that you can develop strokes from celiac disease.

7           So there's a whole range of seizures.  
8 Actually, I think Maugrouder or Macken talked about  
9 epilepsy. There was a case reported in one of our  
10 journals, the Pediatric Neurology Journal, where an  
11 individual presented primarily with seizures,  
12 refractory epilepsy. And they happened to be doing a  
13 study, and that individual was diagnosed with celiac  
14 disease.

15           So I think there's clear evidence. There's  
16 celiac disease, there are inflammatory bowel disease  
17 like Crohn's disease, ulcerative colitis that are well  
18 known to cause extraintestinal problems, including  
19 neurological problems.

20           So I think it's very clear, undisputed, that  
21 many immunological problems that affect the gut also  
22 affect the brain. No one can deny the brain-gut axis.

23           Q     Did some of those studies actually look at  
24 the antibodies doing the damage in the gut and the  
25 brain?

CORBIER - DIRECT

1           A    Yes.  Some of these studies have found that

CORBIER - DIRECT

1 the antibodies had receptors, not only to the gut, but  
2 also to the brain. And this is something that we're  
3 finding with a variety of chemicals, even secretin  
4 that has been looked at in autism, there are receptors  
5 for secretin in the gut. But there are also receptors  
6 in the brain.

7 That should not be too surprising, because  
8 we have millions and millions of nerve cells in the  
9 gut. I like to think of the gut sometimes as a  
10 secondary brain. So there are a lot of neurological  
11 cells in the gut. And so again, that supports the  
12 relationship of gut and brain.

13 Q Now, when someone suffers central nervous  
14 system symptoms of celiac disease, like ataxia or  
15 seizures, is the celiac disease or the intestinal  
16 aspect of the celiac disease always apparent when the  
17 person suffers the central nervous system illness?

18 A Not necessarily. There have been several  
19 cases, for example in gluten ataxia, so-called gluten  
20 ataxia, someone may present with an unsteady gait.  
21 That's what the term "ataxia" means. And the  
22 gastrointestinal symptoms may be very mild.

23 In the other case I talked about with celiac  
24 disease and refractory seizure, here was a child who  
25 presented with hard-to-control seizures. And it's not

CORBIER - DIRECT

1       until it was recognized that the child had celiac  
2       disease, had these antibodies directed to the gut, but  
3       presumably to the brain, only then was that child  
4       taken off of gluten, placed on a gluten-free diet, and  
5       guess what happened to the seizures? The seizures got  
6       better. The child was on antiepileptic medications as  
7       well, but the point is that child was refractory to  
8       treatment with the medication until an autoimmune  
9       process, such as celiac, was discovered. Gluten was  
10      removed, the seizures stopped.

11           Q     In your opinion, is exposure to thimerosal  
12      as a result of vaccination an environmental factor  
13      that can cause a genetically susceptible child to  
14      develop autism?

15           A     My answer to that is yes, I believe that  
16      thimerosal is among the environmental factors that are  
17      implicated in autism and related problems. And I base  
18      that statement on the fact that, first we have to  
19      understand what thimerosal is.

20                   Thimerosal is a preservative that had been  
21      very poorly studied in the 1930s. I think there was  
22      one case where they looked at toxicity in an  
23      individual just for one day, and concluded that it was  
24      safe.

25                   But thimerosal is 50 percent ethyl mercury.

CORBIER - DIRECT

1 Ethyl mercury is a type of organic mercury. There are

CORBIER - DIRECT

1 different types of mercury. There is elemental  
2 mercury, there's inorganic and organic.

3 Well, ethyl mercury has been found to be  
4 neurotoxic, highly neurotoxic, like other organic  
5 mercury, such as methyl mercury, which has been  
6 studied in various populations. But the question is,  
7 it's one thing to say that thimerosal is neurotoxic;  
8 it's yet another thing to say that it's implicated in  
9 conditions such as autism, or developmental problems,  
10 for that matter.

11 There have been several studies first that  
12 confirm that thimerosal was toxic. There have been  
13 some outbreaks in Japan, so-called Minamata disease,  
14 and Iraq, which led the United States to do further  
15 studies and look at certain islands, such as the Faroe  
16 Islands in the Seychelles, where they were noticing  
17 that a lot of children had neurodevelopmental problems  
18 that were unexplained.

19 Well, further studies showed that they were  
20 exposed to methyl mercury: the parents were, the  
21 mothers were. And it affected the children much more  
22 than they did the parents, which led researchers to  
23 note that the developing brain is very susceptible to  
24 methyl mercury.

25 Some studies have looked at methyl mercury,

CORBIER - DIRECT

1 and compared methyl mercury with ethyl mercury. And  
2 more and more studies are being done, and there is  
3 good evidence to suggest that ethyl mercury, although  
4 it's a different organic mercury, it's also very  
5 toxic. In fact, if or when ethyl mercury reaches the  
6 brain, it converts to inorganic mercury seven times  
7 faster than methyl mercury.

8           There are further studies that have been  
9 done to answer the question of exposure. After all,  
10 many children are vaccinated; not everyone comes down  
11 with autism or neurodevelopmental problems. So I  
12 don't think it's necessarily an exposure, per se, but  
13 studies show that it's a problem with excretion. Many  
14 children with autism do not excrete mercury very well.

15           Amy Holmes, in a very recent study done,  
16 looked at mercury in the teeth, and confirmed that  
17 children with autism, many of them have a much higher  
18 burden of mercury than children who do not have  
19 autism.

20           So really, all of these factors strongly  
21 point to the fact that in some individuals,  
22 particularly those that are genetically susceptible,  
23 can, as a result of thimerosal, develop autism.  
24 Perhaps along with a few other factors.

25           Q     Do you believe that Petitioner's Exhibit 48,

CORBIER - DIRECT

1 Thompson, et al, early thimerosal exposure and  
2 neuropsychological outcomes at 7 and 10, that was  
3 published September 27 in New England, 2007, in the  
4 New England Journal of Medicine. Do you think that  
5 the results of that study hurts your case for  
6 thimerosal causing neurologic injury?

7 A I actually think that this case helps to  
8 support that thimerosal can cause a neurologic injury.  
9 I don't think that study focused on autism.

10 But what's an interesting finding is that  
11 children were noticed to have increased tics, both  
12 motor tics and a type of tic where sound is produced,  
13 that we call vocal or phonic tics.

14 Many children, in fact, with autism, or a  
15 subset of children with autism have tic disorders.  
16 That's a well-established fact. So that leads me to  
17 believe that thimerosal can cause other neurological  
18 problems, as well, such as tic disorders.

19 I might add I had one child that I saw  
20 several years ago who was vaccinated, and a day or two  
21 started having tics. And that child had multiple tics  
22 he started having. He was not diagnosed with autism,  
23 but he had behavioral problems. And that child did  
24 well after mercury was removed from his system; the  
25 tics stopped right away.

CORBIER - DIRECT

1 (Nearby interference.)

2 So I think thimerosal is neurotoxic in many  
3 ways, and of course can affect other organs, as well,  
4 including other organ systems, like the immune system.

5 Q Doctor, does Yates Hazlehurst suffer  
6 regressive autism?

7 A Yes. I was here yesterday, and I heard the  
8 excellent testimony of several family members. And to  
9 me it was very clear that autism, or that Yates was  
10 developing very normally up until about the first year  
11 of life.

12 And from what I saw and heard, we were able  
13 to look at some videos, he did regress afterward. I  
14 was very interested to hear various perspectives from  
15 family members, parents, grandparents, and I don't  
16 think there could be a better description of  
17 regressive autism. So Yates does have regressive  
18 autism.

19 Q When, in your opinion, did Yates suffer the  
20 first symptom of autism?

21 A From what I heard in the testimony  
22 yesterday -- and I'm very glad that I was able to  
23 listen to the testimony, because it gave me even  
24 bigger insight than I had when I first saw Yates and  
25 in the several years that I followed Yates. But what

CORBIER - DIRECT

1 I heard fairly consistently is maybe a couple of  
2 months or so after his 12-month vaccines, he started  
3 showing signs of what several family members have  
4 referred to as running wild. He was wild, which means  
5 that he was very hyperactive. He had a tendency to  
6 wander. He had a tendency -- he was just a different  
7 child. When family members, he was not as much a joy  
8 to be around as he had been before.

9 And then he started having other symptoms,  
10 as well: loss of interest with others, a decreased  
11 social interaction. He started becoming a picky  
12 eater. There were so many changes that were noted,  
13 but from what I heard, I would say probably about a  
14 couple of months or so after his 12-months vaccine.  
15 So by, let's say, April or March, in that timeframe,  
16 as best as I could gather.

17 Q Can you tell us which of those things that  
18 were described yesterday by the family members you  
19 think are aspects of his autism?

20 A Yes. I think, first of all, autism is best  
21 viewed as a collection of symptoms or signs. There  
22 are a lot of different things that fall into this  
23 spectrum of autism.

24 So the first thing that I saw in Yates's  
25 case that suggested to me a change pertaining to his

CORBIER - IRECT

1 autism was his activity level, going from a fairly  
2 normal child, going from an active child to a  
3 hyperactive child who was described as very wild. So  
4 I would say that that would be the first autistic  
5 symptom, listening to the testimonies, that I could  
6 pinpoint.

7 Q How about the description that we heard that  
8 he lost interest in playing with his cousins, for  
9 example? Was that a symptom of his autism?

10 A Yes, most certainly. In fact, loss of  
11 interest with individuals, your surrounding, would be  
12 one of the core features of autism. So initially he  
13 played very well with his cousins: again, we saw  
14 videos of him interacting with his two older cousins.  
15 They were happy. He appeared happy.

16 But then that changed. To a point where the  
17 cousins did not want to interact with him as much.  
18 Even the young cousins had noticed a difference. I  
19 think if young children can see a change, it must be  
20 pretty obvious.

21 Q The description of him losing interest in  
22 toys or not playing with them in the way he had  
23 before, was that a feature of his autism?

24 A Yes. Children with autism view the world,  
25 view the universe in a completely different manner

CORBIER - DIRECT

1 than individuals that do not have autism. It's not  
2 that children with autism do not play with toys, but  
3 they do not play with toys in an appropriate manner.  
4 And the toys or the objects that they use is very  
5 restricted.

6 I think it was a very nice scene where Yates  
7 was playing with the bowl, a glass bowl. He took the  
8 bowl, he turned it around, and he started to roll it.  
9 In fact, rolling that bowl was a very typical type of  
10 activity that a child with autism might engage in,  
11 sometimes for prolonged periods of time.

12 Q Was that the scene from Amsterdam, where  
13 he's in a diaper?

14 A He was in a diaper. I can't remember if he  
15 was in Amsterdam or not, but he, I think he took the  
16 bowl from the table, he put it down, and he started  
17 to -- yeah, I think he was in a diaper -- he was  
18 rolling the bowl back and forth. He put it sideways  
19 and started to roll.

20 Likewise, a child with autism who had a car,  
21 for example, instead of playing with the car in an  
22 appropriate manner might flip the car or truck upside-  
23 down and spin the wheels. That's a very typical  
24 autistic behavior.

25 Q So his aunt I think described that his

CORBIER - DIRECT

1 favorite activity, I think in

CORBIER - DIRECT

1 late summer, would be to turn over, I think it was a  
2 stroller, and spin wheels, was that a feature of his  
3 autism?

4 A Yes. That's another typical, spinning  
5 wheels, stacking up objects, lining up objects. That  
6 gives you a sense of stereotypic repetitive behavior,  
7 which tends to fascinate children with autism.

8 Q You mentioned a moment ago that he became a  
9 picky eater. Do you see that as a feature of his  
10 autism?

11 A We see a fairly good subset of children with  
12 autism that become very picky eaters, even if they  
13 were eating very well prior to that. Now, let me say  
14 that nonautistic children can also become picky eaters  
15 temporarily, to a certain extent. But children with  
16 autism, especially those that fall under, or those  
17 that has gastrointestinal problems, immunological  
18 problems, can become very picky.

19 And I think Mrs. Hazlehurst gave a good  
20 description of some of the physical features of Yates  
21 after a period of time. He was not eating, and it  
22 showed. He had a protuberant belly, which most people  
23 would think of a malabsorption type of problem.

24 So we're dealing with very significant picky  
25 eating, which we know can be caused by a lot of

CORBIER - DIRECT

1 problems. Not only neurological impairment. By the  
2 way, mercury, one of the things mercury can do,  
3 mercury toxicity is a cause of anorexia.

4 But beyond that, there are gastrointestinal  
5 problems, reflux, esophagitis. Yates was later  
6 diagnosed with inflammation of the gut, of the colon,  
7 due to biopsy. So all of these things can combine to  
8 cause picky eating.

9 There are also sensory problems. People,  
10 kids with autism sometimes have what we call oral  
11 defensiveness. So the mere fact of putting food in  
12 their mouth could be something that's very hard for  
13 them to tolerate. So all of these explain why  
14 children with autism are picky eaters.

15 Q The parents describe a -- change in the way  
16 Yates used language from, I don't want to -- from  
17 "by," and "please," and "thank you," to basically  
18 numbers and letters. Is that a feature of his autism?

19 A Yes. If you look at all of the changes, all  
20 of the linguistic regression that Yates underwent, we  
21 see that there are several patterns. One is he had  
22 loss of some of the words that he had mastered before.  
23 He also had loss of what I would call pragmatic  
24 language. It's one thing to say words. We see kids  
25 sometimes that may have a huge vocabulary, but they

CORBIER - DIRECT

1 don't use it in a meaningful way, like conversational  
2 manner. Yates had reached a point where he couldn't  
3 express his needs. So I think there are various ways  
4 in which Yates's speech and language were impaired and  
5 altered.

6 Q And in the video we saw a very brief scene  
7 where Yates was getting off the bus. And we had a  
8 brief scene where he might have been flapping his  
9 hands. I guess I'm asking, is that, in your opinion,  
10 handflapping, is that an autistic characteristic?

11 A Yes. One of the common findings or signs in  
12 autism is what we call self-stimulatory behaviors.  
13 Self-stimulatory behaviors are behaviors that are  
14 partially or mostly involuntary, where some behavior  
15 is repeated over and over.

16 A self-stimulatory behavior could be motor,  
17 so handflapping would be a good example, actually a  
18 very common example that we see in children with  
19 autism. You can have visual stimming. Visual  
20 stimming is where you tilt your head a certain way  
21 just to kind of see things in a particular way that is  
22 soothing and pleasing to your visual field. Or in  
23 other words, your visual field allows you to perceive  
24 things in a way that soothes your brain. So instead  
25 of verbal-motor-stimming, you can turn your head a

CORBIER - DIRECT

1 certain way.

CORBIER - DIRECT

1           Some kids will just kind of line an edge and  
2           see things. Or I think a perfect description was  
3           given of Yates looking at the video or TV, looking at  
4           credits, and things rolling, scrolling up and down.  
5           That was a form of visual stimulation. So yes, the  
6           handflapping is definitely a form of autistic  
7           behavior.

8           MR. WEBB: If I might, yesterday at the end  
9           of the proceeding, the Respondent inquired into Dr.  
10          Corbier's most recent, records of Yates's most recent  
11          visit to Dr. Corbier. And I have provided a copy to  
12          the Respondent, and I will file it. But I thought I  
13          would like to hand you a copy, as well.

14          THE COURT: Please.

15          MR. WEBB: Because I'm going to ask a couple  
16          questions about it. I have multiple copies; I made  
17          five. If you need a couple -- would you guys like to  
18          take another one?

19          MS. RENZI: Yes.

20          MR. WEBB: So more than one person can look  
21          at it?

22          MS. RENZI: Thank you.

23          THE COURT: Okay, give me a moment. I'm  
24          going to look at our docket sheet, anticipating, as we  
25          refer to this, what --

CORBIER - DIRECT

1 MR. WEBB: We could either identify it as a  
2 trial exhibit or --

3 THE COURT: I was going to say it might  
4 be --

5 (Away from microphone.)

6 MR. WEBB: -- it could be filed  
7 subsequently. I know in Cedillo a lot of things were  
8 filed as Trial Exhibits -- I haven't even thought  
9 through which is the best approach to take.

10 THE COURT: Let's do this. Let's start our  
11 trial exhibit list, although I think in an ordinary  
12 exhibit it would be Exhibit 58. But let's start with  
13 this marked as Trial Exhibit 1.

14 MR. WEBB: Petitioner's Trial Exhibit 1?

15 THE COURT: Right, PX-1.

16 (The document referred to was  
17 marked for identification as  
18 Petitioner's Exhibit No. 1.)

19 BY MR. WEBB:

20 Q The first question I'm going to ask you  
21 about this is under "developmental history."

22 A Yes.

23 Q Is the history you provide there in your  
24 record inconsistent with your testimony that you've  
25 given today about when Yates's regression began, or

CORBIER - DIRECT

1 occurred?

2 A Yes, that's a good question. This, when you

CORBIER - DIRECT

1 read it, it says he developed normally until the age  
2 of 18 months to 24 months. What I saw yesterday  
3 indicates that he actually started to regress sooner  
4 than that. It's not uncommon sometimes when we're  
5 getting a history to, you know, list something, and  
6 then to go back and get further detail.

7 So there's no doubt in my mind that, based  
8 on the testimony that I heard yesterday, which was a  
9 little bit more detailed, I was able to get than in  
10 the office, because frankly in the office we didn't  
11 really focus too much on the development. And I think  
12 I wrote something similar in my initial visit in  
13 September 2002.

14 So I would say that the most accurate  
15 picture of what happened to Yates is what I saw  
16 yesterday, corroborated by several family members.

17 Q When you try to determine the cause of a  
18 child's neurologic disorder, what do you do?

19 A The neurological -- autism, or just  
20 neurological?

21 Q If you have a patient, and you, for some  
22 reason, are trying to determine what caused the  
23 child's neurologic illness, what process do you go  
24 through in trying to do that.

25 A When I see a child for the first time that I

CORBIER - DIRECT

1 want to evaluate neurologically, the first thing I do  
2 is to get a history, good history, the best I can,  
3 from the parents. That history-taking can evolve over  
4 the course of several visits. So the history is very  
5 important, because, based on the history, I can have a  
6 sense as to what the diagnostic possibilities are.

7 Then I do a general and neurological  
8 examination, mostly to test my internal hypothesis,  
9 the differential diagnosis that may be present. If I  
10 still don't have an answer, and if it's indicated, I  
11 will do certain testing. The evaluation that I do  
12 will depend on the symptoms that present, and  
13 problems, and these may include neuroimaging,  
14 electrodiagnostic testing, such as EEGs, lab work.  
15 And so these are tests that we may do.

16 I may have a particular presumptive  
17 diagnosis that may get another piece of information  
18 down the road that may alter or fine-tune my thinking,  
19 and may cause me to refine my diagnosis. So that's  
20 the general approach that I take.

21 Q When did you first develop the opinion that  
22 Yates Hazlehurst's February 8, 2001, MMR vaccination  
23 and the thimerosal contained in vaccines he received  
24 then and earlier in his life, contributed  
25 substantially to his autism?

CORBIER - DIRECT

1           A     As I recall, with the first visit I was  
2           struck by a few things. I don't have that first visit  
3           in front of me, but I can basically remember that I  
4           was struck by the fact that he had regressive autism,  
5           based on the history. I was struck by the  
6           gastrointestinal problems that he had had, and I was  
7           struck with his recurrent infections.

8                     And so basically, I looked at the  
9           possibility that the vaccines could have played a  
10          role. I did not necessarily make up my mind. And in  
11          fact, my approach was to try to rule everything out  
12          first, and to use that as a diagnosis of exclusion,  
13          because there are other factors that can cause  
14          regression.

15                    For instance, if I have an 18-month-old in  
16          front of me who looks dazed, is not responding, that  
17          child may be having a type of seizure we call  
18          nonconvulsant status epilepticus. And we see this  
19          from time to time.

20                    There are other metabolic conditions that  
21          can present superficially like autism. So even if I  
22          had a history that suggests a possible vaccine injury,  
23          I would first, not just relying on the history, use  
24          that information, but try to rule out other factors  
25          first. And if I cannot come up with a better

CORBIER - DIRECT

1 explanation, then it's to do some testing or see what  
2 tests are done to support the notion that vaccines  
3 might play a role.

4 Q From the description you give us, I take it  
5 that you were aware of the proposition that MMR  
6 vaccines, or thimerosal, might cause autism before you  
7 saw Yates.

8 A Yes. Yes, I was aware of that, yes.

9 Q Did you apply the process you described two  
10 questions ago when you tried to determine what was  
11 causing Yates's autism?

12 A Yes, I did. We had done several tests, not  
13 only right after that visit, but with subsequent  
14 visits, just to try to get a sense of what might be  
15 present, what underlying problems might be present  
16 with Yates.

17 I also encouraged the parents to do  
18 everything they can in terms of other specialists,  
19 because I look at it as a team approach. No single  
20 physicians has all the answers. And I encouraged them  
21 to keep me informed of some of the tests.

22 And so, I think I did a prolonged EEG, a 24-  
23 hour EEG and several labs along the way to  
24 specifically try and find out what the underlying  
25 factors might be.

CORBIER - DIRECT

1 Q In your opinion, was the MMR vaccination

CORBIER - DIRECT

1 that Yates Hazlehurst received on February 8, 2001, a  
2 substantial contributing factor to his regressive  
3 autism?

4 A With all the evidence, both in Yates's case  
5 and also what I know in general based on studies, I  
6 would say that the MMR played a significant role. In  
7 the case of Yates, I could only reach that conclusion  
8 after several other tests had been ruled out. He's  
9 had a very extensive genetic workup. He's had a  
10 karyotype (phonetic) high resolution where his each  
11 chromosome was combed very carefully to see if he  
12 might have a specific genetic disorder, as various  
13 genetic disorders can cause autism.

14 He's had what's called subtelomeric deletion  
15 testing; it's one thing to rule out chromosomal  
16 disorders. He had another thing to look with a fine  
17 comb, if the ends of the chromosomes might have a  
18 little mutation that's called subtelomeric deletion.

19 He had fragile X, which is a fairly common,  
20 relatively speaking, genetic cause of autism. That  
21 was ruled out. He had methylation studies for  
22 Praterwilly and Angelman's Syndrome, which is located  
23 on chromosome 15. That was ruled out.

24 He's had a variety of metabolic testing,  
25 including amino acids. There's a condition called

CORBIER - DIRECT

1 phenylketonuria, or PKU, which can, untreated, present  
2 as autism. That was checked, and in fact, all the  
3 organic gases were normal. And a variety of metabolic  
4 testing. He has had an MRI of the brain that was also  
5 normal, ruling out a structural abnormality. There  
6 are some structural problems that could mimic autism.

7           So all of these things having been ruled  
8 out. And he did go to Harvard, and he saw Dr. Tim  
9 Buie, who did a scope. He also had a biopsy of the  
10 gut, which showed that he had colitis. He had also a  
11 finding of a nodular hyperplasia, which has been  
12 described by various individuals: Wakefield, Uhlman,  
13 and various others.

14           And along with his profile of regression,  
15 and also not only neurological deterioration, but  
16 gastrointestinal problems following the vaccine, I  
17 must say that I cannot find any better explanation, as  
18 a regular child neurologist seeing patients like Yates  
19 and others. I must conclude that that played a  
20 significant role in Yates's case.

21           Q     In your opinion, would Yates Hazlehurst have  
22 developed regressive autism if he had not received the  
23 MMR vaccination?

24           A     I really don't have any basis to say that he  
25 would not have, based on the evidence that I have at

CORBIER - DIRECT

1 hand. You know, we see a child who is developing very  
2 well, who I think was actually advanced in many ways,  
3 getting vaccinated. And then subsequently, a couple  
4 of months later, a slow regression into the world of  
5 autism.

6 Because I don't have any better explanation,  
7 with all of the labs that have been done, not just by  
8 me, but by two other neurologists. He saw Dr.  
9 Zimmerman at Johns Hopkins, and I think he saw  
10 another, I think Guggelheim or someone else in  
11 Tennessee. He's been seen by immunologists, he's been  
12 seen by Tim Buie. So I could not find any alternative  
13 explanation for that.

14 I just want to state that, you know,  
15 initially if you have a child who regresses after a  
16 vaccine, it could be coincidence; that's a  
17 possibility. Or the vaccine may play a role. And I  
18 think if you rule out all the other causes, and if you  
19 have a good explanation that supports the persistence  
20 of measles virus in the gut and the brain, then it's a  
21 very fair assumption to say that that plays a role.

22 We do not have tissue biopsies that show the  
23 measles virus in Yates, although I think that that was  
24 a goal to have that done, and I would welcome that if,  
25 you know, that were made available. But knowing that

CORBIER - DIRECT

1 children with, many children with lymphonodular  
2 hyperplasia who have gastrointestinal problems and  
3 autism, that it's been shown through several studies  
4 that the measles virus is present. We can then infer  
5 that, since he has the same findings, although we do  
6 not have the measles test done in Yates, that that's  
7 what is going on in this case.

8 Q When you introduced that answer, I'm not  
9 sure you answered it in a way that I understood. I'm  
10 trying to ask you, do you believe, well, do you think  
11 that Yates would have developed autism had he not  
12 received the MMR vaccine?

13 A No, I don't think he would.

14 Q You've mentioned some of the facts specific  
15 to Yates's regressive autism that made you think the  
16 vaccine contributed to this, was a substantial  
17 contributing factor in his disease. How important in  
18 your analysis was it that he was normal before the  
19 vaccination?

20 A I think it's very important. In fact, I  
21 don't think I would have considered at all this  
22 possibility if he was in the other group of children  
23 who have problems since early infancy.

24 What made me even consider this possibility  
25 is that he fit a particular profile. It's my belief,

CORBIER - DIRECT

1 having worked with hundreds of kids with autism, that  
2 we're not dealing with one disorder. Instead, we're  
3 dealing with a variety of subtypes of autism. And  
4 it's really a little bit problematic that we don't  
5 have any particular laboratory tests at this time  
6 today to diagnose autism. It's a clinical diagnosis.

7 So we have to do our very best to categorize  
8 the subtypes. Yates fits very well in the subclass of  
9 children with autism who not only has regressive  
10 autism, but also has gastrointestinal problems, who  
11 has been sickly with recurring infections. So that  
12 was the first step that led me to a consideration or  
13 inclusion in my differential diagnosis that that could  
14 be Yates's problem.

15 Q In order to fit this profile, how soon  
16 should a child's first symptoms of autism begin?

17 A As far as the regressive or the  
18 postvaccination?

19 Q I didn't make that clear enough, because I  
20 want to make it clear. You mentioned several times  
21 that Yates fits the profile that suggests the MMR  
22 vaccination contributed to his autism. And you said  
23 that regression after the MMR vaccination was a part  
24 of that.

25 And I'm trying to ask you if there's a time

CORBIER - DIRECT

1 period in which the regression should occur after the

CORBIER - DIRECT

1 MMR vaccination for a child to fit this profile.

2 A I see, yes. My answer would be based on  
3 multiple other cases that have fit a similar profile,  
4 and also what I know about the natural course of a  
5 post-measles-virus encephalitis.

6 We know that with postmeasles encephalitis,  
7 especially the subacute type, you can start having  
8 symptoms in the first month, or you may not have  
9 symptoms until nine months later. There is the case I  
10 mentioned earlier, I think the author is Pitnum, who  
11 demonstrated a case of postmeasles, post-MMR-measles  
12 encephalitis that occurred about eight and a half  
13 months after the child was vaccinated with MMR.

14 Based on all of that information, I would  
15 say that the range would be anywhere from eight to  
16 nine months, based on the factors that I have  
17 mentioned. And I think it would, of course, vary with  
18 the individual, and based on what other factors might  
19 be present, as I think the best way to look at these  
20 cases is to look at it in terms of contributing  
21 factors.

22 Q In your opinion, is the child's case  
23 stronger where the first symptoms of the autism are a  
24 month or two, than at eight or nine months?

25 A Well, not necessarily. Not necessarily,

CORBIER - DIRECT

1 because clinically, variation is the name of the game.

2 Some things occur early, some things occur late.

3 I think that one has to, I think that if  
4 you're in that one to nine months, that's pretty good.  
5 That's a good suggestion that you're dealing with a  
6 postinfectious problem, or a postmeasles vaccine  
7 problem.

8 But whether it occurs at one months or three  
9 months, I don't think that we have enough information  
10 to say that it's more likely at one month than four  
11 months, or at least I have not seen that in the  
12 literature.

13 Q In order to fit this profile, was it  
14 necessary that Yates had gastrointestinal symptoms?

15 A Yes. That's one of the other factors that I  
16 think from the very start caught my attention, is that  
17 Yates had a lot of gastrointestinal problems. The  
18 fact that he had gastrointestinal problems raises the  
19 issue of could he be in the subset of children that  
20 have this so-called lymphonodular hyperplasia colitis,  
21 or inflammation of the gut.

22 And frankly, I was not surprised when the  
23 testing from Dr. Buie showed that he did have colitis,  
24 he did have lymphonodular hyperplasia. And although  
25 the findings from Dr. Buie were called mild, they

CORBIER - DIRECT

1 weren't mild, they weren't so mild that he did not  
2 feel that medication was necessary. He placed him on  
3 medication, and he started doing a lot better.

4           So I think that the gastrointestinal  
5 symptoms, just based on what we know in the literature  
6 based on studies that have made this association of  
7 autism, developmental delay, lymphonodular  
8 hyperplasia, that that played a significant role in my  
9 diagnostic impression.

10           Q     What kind of gastrointestinal symptoms would  
11 you need in order, for a child to have, to fit this  
12 profile?

13           A     I would say you'd have to have a child who  
14 has diarrhea, who has constipation, but mostly the  
15 diarrhea. A child with reflux possibly, though I  
16 would say diarrhea would be a bigger component.  
17 Having evidence of a malabsorption would be an even  
18 stronger case.

19                     Because what the underlying pathology shows  
20 is basically a gut-related problem that's  
21 immunologically based. So there's something attacking  
22 the gut, causing swelling. That swelling can cause  
23 leakiness of the gut. That leakiness of the gut can  
24 cause certain nutrients that should properly be  
25 absorbed to get inside the bloodstream; hence, can

CORBIER - DIRECT

1 cause the multiple food allergies that we tend to  
2 find.

3 In fact, Dr. Buie's report mentioned that  
4 there was an eosinophilic component. I have spoken to  
5 several pediatric gastroenterologists to ask them what  
6 is the significance of the eosinophilia in the gut,  
7 and they say that it is an indication of some type of  
8 allergic response. So I think all of these things are  
9 quite significant.

10 Q In your opinion, was the thimerosal that  
11 Yates Hazlehurst was exposed to through his  
12 vaccinations a substantial contributing factor in his  
13 regressive autism?

14 A I think thimerosal played a role, but I  
15 don't think that it played a role that was as great as  
16 the MMR. And this is based on the following findings.

17 If you look at his vaccines, he had DTaP --  
18 diphtheria, tetanus, acellular pertussis -- of the  
19 infanrix type, which did not contain thimerosal. He  
20 did have hepatitis shots, which I think did contain  
21 the thimerosal.

22 But I was able to find in his labs some  
23 indicators that the thimerosal played a role. And  
24 these indicators were his glutathione, which is an  
25 antioxidant, one of the most important antioxidants in

CORBIER - DIRECT

1 the body. The glutathione is a ubiquitous molecule  
2 that counters free radicals throughout the body and  
3 the brain. And it is well known, very well known  
4 through the work of Dr. James and colleagues and  
5 various others, that children with autism have a very  
6 low, or have a lower glutathione compared to  
7 nonautistic individuals.

8 If your glutathione is low, of course, that  
9 can contribute to your recurrent infections. His  
10 glutathione level was low, and of course, that can  
11 also lead to oxidative stress.

12 The other finding in his labs is that he had  
13 significant elevation in one of his porphyrns.  
14 Porphyrn is a substance that is in our heme, which,  
15 when synthesized, becomes hemoglobin. And that heme  
16 is what gives the blood the redness of its color.

17 Well, his copoporphyrn, one of the porphyrns  
18 called the uroporphyrn (phonetic), the rate was  
19 significantly elevated. The problem is mercury has  
20 been shown, through a study from France with Dr.  
21 Nataf, and later replicated with a perspective study  
22 with Dr. Geier, that the mercury can literally inhibit  
23 the synthesis of the porphyrns.

24 With this inhibition of the synthesis, the  
25 porphyrns, some backup products will rise in the body

CORBIER - DIRECT

1 and will be excreted in the blood. You can check that  
2 in the blood. And so porphyrns are a good way to test  
3 for mercury toxicity, and his was elevated.

4 So we do have some laboratory evidence that  
5 the thimerosal played a role in Yates's case.  
6 Although in fact between MMR and thimerosal, I would  
7 say that the MMR had a larger contributing role.

8 Q Do you believe that the thimerosal's role  
9 was a substantial contributing factor to his autism?

10 A Well, I think, based on the lab tests, that  
11 it did contribute significantly enough to cause  
12 impairment in his porphyrns, and also significantly  
13 enough to cause his glutathione level to be lower than  
14 normal.

15 (Pause.)

16 Q Let me ask you a question about, you  
17 mentioned that you think that the MMR vaccine's role  
18 was more than the thimerosal -- if the Special Master  
19 were to, say, for one reason or another, not accept  
20 your testimony concerning the MMR vaccination, do you  
21 think the evidence concerning thimerosal is sufficient  
22 to stand by itself as a cause of his autism?

23 A From what we know, again with various  
24 studies looking at thimerosal, we know that thimerosal  
25 is linked to autism through various studies I pointed

CORBIER - DIRECT

1 to, studies from Dr. Holmes, Bradstreet, and others,  
2 that show that children with autism have a bigger  
3 burden of mercury, not just exposure, but in terms of  
4 excretion.

5 And so, based on the labs, if I did not have  
6 any labs the answer would be no. But because we do  
7 have some labs, I think that that's sufficient.

8 I did mention that the DTaP was not the type  
9 that contained mercury; but again, we can't just look  
10 at the amount. We can't look at the level of mercury.

11 So although I think that, comparatively  
12 speaking, the mercury may have had a lower case -- and  
13 I'm making that argument just based on all of the  
14 evidence that I have -- I do think this significant  
15 event would have played a significant role.

16 Q If, on the other hand, the Special Master  
17 were to, for whatever reason, reject your testimony  
18 and the other evidence available that thimerosal  
19 contributed to Yates Hazlehurst's autism, are you of  
20 the opinion that the evidence concerning the  
21 relationship between the MMR vaccination and Yates's  
22 regressive autism is sufficient to stand on its own?

23 A Yes. I think, based on the testing that he  
24 had that demonstrated lymphonodular hyperplasia  
25 colitis, his entire profile, and what I would call

CORBIER - DIRECT

1       pertinent negatives -- that is, exclusion of other  
2       possible causes for his autism -- I would say yes.

3           Q     Do you hold the opinions that you have  
4       expressed today to a reasonable degree of medical  
5       certainty?

6           A     Yes, to the best of my knowledge, the  
7       opinions that I expressed were correct, based on my  
8       way of thinking.

9           MR. WEBB:   That's all the questions I have.

10          THE COURT:   Thank you.   I have a few  
11       questions, Dr. Corbier.   I wonder if counsel is  
12       interested in a brief break before we move into cross?

13          MS. RENZI:   Actually, Special Master, if we  
14       could take maybe a half-hour at this point, then I  
15       know we could probably finish up before lunch for the  
16       end of the day.

17          THE COURT:   Okay.   All right.   I just have a  
18       couple of questions, Dr. Corbier, before we do this.

19                 You have referenced several times the  
20       profile.   As I go forward, would you tell me in your  
21       view, what is the profile that you believe Yates  
22       represents?

23          THE WITNESS:   He represents that profile of  
24       a specific subset of children with autism who, number  
25       one, have regressive autism.   So children who were

CORBIER - DIRECT

1 developing normally, and at a particular point

CORBIER - DIRECT

1 start to regress. That's number 1.

2 Number 2 in the profile are children with  
3 regressive autism, that in addition have significant  
4 gastrointestinal problems; so the chronic diarrhea,  
5 malabsorption problems. And included in that would be  
6 children who, along with the gastrointestinal  
7 problems, could be shown to have this nodular  
8 hyperplasia, which is a finding that Wakefield in  
9 England, acknowledged almost 10 years ago, recorded  
10 others, Uhlman, other people recorded. So the  
11 lymphonodular hyperplasia, in conjunction with the  
12 gastrointestinal problems.

13 And then a third, which is not necessarily  
14 present in the profile, but often is, is immunological  
15 disturbances. I say immunological because children  
16 that have this profile of regression and  
17 gastrointestinal problems have gastrointestinal  
18 problems that have been linked to immunological  
19 deficits.

20 THE COURT: What immunological deficits  
21 would you be looking for that would fit this profile?

22 THE WITNESS: I think the best would be, for  
23 instance, finding autoantibodies. Autoantibodies are  
24 antibodies where the immune system secretes  
25 antibodies, that instead of being directed against

CORBIER - DIRECT

1 viruses and bacteria, are directed against certain  
2 tissues, including brain cells.

3 Yates did have an immunological workup that  
4 was normal, at least the things that were tested. But  
5 I didn't see any mention of testing for antibodies to  
6 the brain, for example, which would show a, what we  
7 call skewing of TH1 to TH2, meaning going from a  
8 cellular immunity to one that's based on antibodies.  
9 So basically, these children may have a higher  
10 susceptibility to developing autoimmune problems.

11 THE COURT: Do you believe that, what in  
12 Yates's record supports your view about his  
13 immunological disturbance?

14 THE WITNESS: Yes. What makes me think that  
15 is that he was sickly. I believe that the infections  
16 that Yates had, his recurrent, not just, you know,  
17 viral infections, but also yeast infections, and his  
18 need for chronic antifungals. I feel that all of  
19 these things suggest that he was sickly, and was not  
20 just the average intermittent illness, intermittent  
21 infection, that type of child. He was a sickly child.  
22 So that suggests that his immune system was impaired.

23 Now, a lot of people, when they talk of  
24 immunological disturbances, a lot of physicians think  
25 of immunodeficiency the same way you would think of

CORBIER - DIRECT

1 AIDS or someone who's on chemotherapy. These people  
2 are severely depressed and show certain signs with  
3 their labs.

4           However, someone who is sick all the time,  
5 you can infer that there's something with their immune  
6 system; their immune system is impaired. The same way  
7 that I would say, you know, Yates's MRI of the brain  
8 was completely normal, but we know he has a  
9 neurological problem. He's lost the ability to speak  
10 the way he was talking before. He's not interacting  
11 well. So we know he has a brain problem, although I  
12 can't prove it with the MRI.

13           So I think that the fact that he was sickly,  
14 sick all the time, means -- and even the  
15 lymphadenopathy that several members have pointed out.  
16 Lymphadenopathy is just swollen lymph nodes that it's  
17 usually a reactive sign that there's an infection  
18 going on.

19           If the lymph nodes are swollen all the time  
20 or for a long period of time, it means that the body  
21 is still reacting to viruses. In fact, it would  
22 actually make me think of a persistence of some type  
23 of virus. And of course, we talked of persistence of  
24 measles virus.

25           If I may just elaborate a little bit

CORBIER - DIRECT

1 further. Once someone is normally vaccinated with the  
2 MMR, pediatricians tell parents that you may, after a  
3 few weeks, start experiencing some symptoms. Rash,  
4 you might experience flulike symptoms. When they say  
5 this, they're really saying that the measles virus may  
6 kick in a little bit, not too much, you don't actually  
7 want to create the illness, but just elicit an immune  
8 response. That tells a body that the body will not  
9 have memory for the virus, and then the clinical  
10 symptoms go away.

11 And later on, maybe two months later, you  
12 may have a few other symptoms referable to the mumps,  
13 rubella, et cetera.

14 But what happens if you have these symptoms  
15 in a persistent manner? Chronic lymphadenopathy,  
16 chronic not feeling well for a variety of processes.  
17 The parents talked about feeling warm. You know, a  
18 lot of times pediatricians would ask for the actual  
19 temperature. You know, was there a thermometer that  
20 was used.

21 So we don't know what the temperature was,  
22 but you know, let's say Yates felt warm  
23 intermittently. I would say that may not necessarily  
24 be significant, you know. But if he feels warm all of  
25 the time, even in the absence of the natural

CORBIER - DIRECT

1 measurement, although I couldn't prove it, but I would  
2 suspect that, along with the chronic lymphadonitis and  
3 all of the other symptoms, that there was something  
4 going on with his immune system.

5 THE COURT: Do you think that if, in your  
6 opinion, absent his autism diagnosis, with the number,  
7 Yates did have a number of ear infections and  
8 ultimately ended up with ear tubes. So there is  
9 evidence that he was reacting to something, true.

10 Absent a diagnosis of autism, would it  
11 strike you that the number of his ear infections and  
12 illnesses and that sort of thing, you would still  
13 characterize this as someone who was sickly? This is  
14 out of the ordinary for small children?

15 THE WITNESS: Well, I see a lot of children  
16 with neurological problems that I can see different  
17 children that are occasionally sick, and other  
18 children that are sickly; that is, they're sick all  
19 the time.

20 You know, I refuse to believe that children  
21 that are as sick as Yates was was just the norm. I  
22 do, however, accept the fact that it is normal, or it  
23 is acceptable, I should put it that way, to have a few  
24 infections when you're young, especially if you're  
25 exposed.

CORBIER - DIRECT

1           But if you keep getting sick recurrently, to  
2           the point of being on multiple, not just antibiotics,  
3           but multiple antifungals, then I must conclude that  
4           the immune system is impaired. Not necessarily  
5           immunodeficient. Most physicians, when we say  
6           immunodeficient, you're thinking of severe  
7           abnormalities that you can document with labs, and  
8           like I say, conditions like HIV, chemotherapy, certain  
9           immunological disorders. But I'm arguing for  
10          immunological impairment of some sort.

11           THE COURT: Let me ask you, as well, Dr.  
12          Buie has submitted a letter and found colitis, an  
13          allergic colitis, in Yates. Yates apparently does  
14          have some food allergies that have been established.

15           Absent any finding, because there hasn't  
16          been the presence of MMR that has been at least  
17          established by tests, that has been found in Yates's  
18          gut, do you think that it's sufficient for his food  
19          allergies to have established the colitis that was  
20          present for him? And if it were just attributable to  
21          food allergies, would that change your opinion about  
22          the MMR persistence?

23           THE WITNESS: If he did not have the  
24          clinical signs that I saw, the recurrent vomiting --  
25          or the recurrent, sorry, diarrhea; if it was just

CORBIER - DIRECT

1 that, with none of the clinical symptoms; I would yes,  
2 say that that's a possibility. I would say that the  
3 colitis, the allergy component or the eosinophilic  
4 could be due to food allergies.

5 But putting it together with the clinical  
6 profile of recurrent diarrhea, in fact if you look at  
7 his gastrointestinal symptoms, they almost seem out of  
8 proportion to the gut findings, which is not  
9 necessarily unusual with medicine. Sometimes you will  
10 see a lot of clinical symptoms, and then you may not  
11 see a lot of, or a significant -- or I shouldn't say  
12 significant -- a severe finding to match that.

13 So I think in order to answer that question,  
14 I'd have to look at the context. If he did not have  
15 any bloating, any diarrhea, any protuberant belly, and  
16 just that, I would say yes, there's a good possibility  
17 that the food allergy could do that.

18 THE COURT: One more question. You  
19 referenced a patient of yours who has developed tics  
20 following a vaccination, that were ameliorated or  
21 eliminated after he went through the mercury  
22 detoxification program. Did his behavioral problems  
23 improve at that point?

24 THE WITNESS: Yes, yes, they did. In fact,  
25 if I may explain this a little bit further. There has

CORBIER - DIRECT

1       been a lot of talk about chelating children with  
2       autism that were shown -- who have evidence of mercury  
3       toxicity.

4               As a neurologist, I was not trained to think  
5       of chelation that way. I had chelated kids with, who  
6       are in the ICU with lead toxicity, or in a coma, for  
7       example. I had chelated children like this. But that  
8       was the first case I saw of a child who was not that  
9       sick, in terms of, you know, coma, seizures, but just  
10      developed significant tics postvaccine.

11             That was the very first time I chelated  
12      someone, because I try and find evidence, laboratory-  
13      wise, that a child did have mercury postchelation. If  
14      I give a small dose, I was able to collect some  
15      mercury in the urine.

16             And so I used that, if you will, as a test  
17      case, which was very successful. Not only did the  
18      tics stop, but so did the ADHD symptoms and other  
19      conditions that the child had. Which gave me the  
20      impetus to consider chelation in other patients,  
21      including with autism.

22             THE COURT: Was that the most pronounced  
23      behavioral problem? The attention deficit?

24             THE WITNESS: The attention. He was  
25      aggressive, too. Intermittently he was aggressive,

CORBIER - DIRECT

1 but he was, yes, very hyperactive. And the tics were  
2 interfering with his daily routine.

3 THE COURT: At what age do you think normal  
4 development needs to occur? And then there's the  
5 taper-off before it can be termed regressive.

6 THE WITNESS: Regressive. Well, the  
7 regressive, the regression in a general sense, in a  
8 neurological sense, the regression may occur almost at  
9 any age.

10 For example, patients with Retts syndrome,  
11 which is another condition that presents with autistic  
12 features, the regression in that particular condition  
13 can start anywhere from six months to 18 months, on  
14 average. So --

15 THE COURT: I'm talking absent any of those;  
16 just with the profile that you were talking about.

17 THE WITNESS: Oh, with my profile, yes.

18 THE COURT: Your profile.

19 THE WITNESS: Yes. What I have seen with  
20 others and with personal experience, I would say the  
21 regression is often seen somewhere between the 12th  
22 month to 24-month period. And I really can't pinpoint  
23 very specifically where, you know, in that group, but  
24 usually children that have regressive autism can  
25 regress typically between 12 months to a couple of

## CORBIER - CROSS

1 years. But there are exceptions. There are some kids  
2 that do not regress until after three years of age.

3 THE COURT: Thank you. 10:50?

4 MS. RENZI: Thank you. That will be great.

5 THE COURT: We will recess until 10:50.

6 (Whereupon, a short recess was taken.)

7 THE CLERK: All rise.

8 THE COURT: Please be seated. We are back  
9 on the record. Respondent's counsel?

10 MS. RENZI: Thank you. Good morning, Dr.  
11 Corbier.

12 THE WITNESS: Good morning.

13 MS. RENZI: My name is Linda Renzi, and I  
14 represent Respondent in this case.

## 15 CROSS-EXAMINATION

16 BY MS. RENZI:

17 Q Dr. Corbier, throughout your report you used  
18 the term "biologically plausible." Do you recall  
19 that?

20 A Yes.

21 Q Could you please define "biologically  
22 plausible?"

23 A By biologically plausible, what I mean is if  
24 someone is going to make a hypothesis, it's always  
25 helpful to have an example that makes sense

CORBIER - CROSS

1 biologically.

2 For example, when we talk of MMR, any  
3 possible complications of MMR, it helps to have a  
4 model that exists that's undisputed, a model that is  
5 well accepted. It's well accepted, for example, that  
6 people who have measles infection can go on to have  
7 either acute, subacute, or the SSPV encephalitis. So  
8 that would be an example of a biological model that  
9 explains what a postinfectious process is.

10 So that's what I mean by -- or biologically  
11 plausible would be something that fits with a pre-  
12 existing understanding of a condition.

13 Q So biologically plausible then can be used  
14 within a hypothesis. It doesn't have to be proven.

15 A What's that?

16 Q To be biologically plausible, we can still  
17 be talking about a hypothesis; it does not have to be  
18 proven.

19 A Well, a biologically plausible factor or  
20 point is a starting point. So I'm suggesting that you  
21 go from something that's biologically plausible, and  
22 then you can confirm that through research and  
23 studies.

24 Q So it just means possible. It means  
25 possible.

CORBIER - CROSS

1           A     Possible, yes. Well, it means what it  
2 means -- yes, it means something can exist in the way  
3 that you're seeing, based on what is known.

4           Q     To be biologically plausible, does there  
5 have to be reliable scientific studies to support the  
6 hypothesis?

7           A     It, yes, you should have at least if not  
8 studies, you should have some type of elucidation of  
9 the mechanism. For example, if someone makes an  
10 observation, even if studies are not yet done,  
11 biological plausibility with someone who explains,  
12 someone who, a knowledgeable person who explains a  
13 mechanism that's observed, would qualify for  
14 biological plausibility, even if several studies are  
15 not done yet.

16          Q     I know in your testimony earlier you talked  
17 about Yates's profile. But could you - your report  
18 also contains a number of hypotheses as to the cause  
19 of autism. Could you explain in detail what you think  
20 Yates's vaccinations did to cause his autism?

21          A     What I see in Yates's case as far as  
22 profile, and as far as the vaccines -- and we'll just  
23 pick MMR for this discussion -- is that we see a child  
24 who fits under the category of a child with regressive  
25 autism. Normal development, he receives a set of

CORBIER - CROSS

1 vaccinations, and within a couple of months or so,

CORBIER - CROSS

1 give or take a few days, he starts to regress. Then  
2 we have a child who has a lot of gastrointestinal  
3 problems.

4 That is a set of conditions that have been  
5 found with many, many, many other children in a very  
6 similar fashion. And putting Yates in that profile is  
7 very important because, as I mentioned earlier, autism  
8 is not one disorder. There are so many causes and  
9 contributing factors for autism. Some, for example,  
10 if I saw a female who was degenerating and whose head  
11 size was small, who was losing ability to use the  
12 hands, but also had autistic symptom, I would think  
13 ah-ha, this was Rett syndrome. Or if I saw a child  
14 who had autistic characteristics, but had a lot of  
15 seizures and had some abnormal patches on his skin, I  
16 would say ah-ha, this most likely is tuberous  
17 sclerosis.

18 So basically, the profile that I talk about  
19 is basically a set of symptoms that collectively fit a  
20 pattern that's reproducible; a pattern that has been  
21 reported widely, and whose laboratory investigations  
22 fit also within that profile.

23 Q But do you know how the vaccines cause the  
24 autism? He fits a profile, but do you know what role  
25 exactly the vaccines played in that profile?

CORBIER - CROSS

1           A     The role that I believe the vaccines play,  
2     based on the research that has been done, is that  
3     children with MMR who regress tend to have changes in  
4     the gut: the lymphonodular hyperplasia, which several  
5     researchers have found measles, persistence of measles  
6     virus in the gut. Studies in these similar groups, or  
7     some reports have also shown viruses in the brain.  
8     So the way that I believe that the autism was caused  
9     by the MMR is the vaccine impaired his immune system.

10          Q     I'm sorry, which vaccine?

11          A     The measles. The measles. It probably also  
12     either went to the brain potentially, or he developed  
13     a postimmune reaction, where the antibodies themselves  
14     went to the brain. And one of the two caused the  
15     regression, leading to the autism, along with the  
16     gastrointestinal symptoms.

17          Q     You don't know which one? Whether it was  
18     the antibody or --

19          A     I don't know which one because we, I didn't  
20     do a brain biopsy, so I can't say for sure.

21          Q     Have you read the reports in the Cedillo  
22     hearing that were submitted in the testimony of the  
23     Cedillo hearing of Dr. Griffin, the virologist?

24          A     I've read several, but I don't know if I  
25     read that one in particular.

## CORBIER - CROSS

1 Q Have you read Dr. Ward's?

2 A I may have, but I don't remember. I looked  
3 at several of the reports, but I don't remember the  
4 names very well.

5 Q I'll ask you just a couple more, if you're  
6 familiar with them. Dr. Bustin?

7 A I've heard of his report, yes.

8 Q Dr. Brent?

9 A I read some of his work from previous  
10 situations.

11 Q And did you read the report of Dr. Michael  
12 Gershon?

13 A The name is familiar. I think I did, but I  
14 don't recall the details.

15 Q Doctor, you've been a practicing neurologist  
16 for five years?

17 A Seven years.

18 Q Seven years. And how long have you  
19 practiced in the Charlotte, North Carolina area?

20 A I started practicing February 13 of this  
21 year, 2007.

22 Q And in your seven years, how many autistic  
23 children have you treated?

24 A I haven't counted specifically, but it's  
25 probably several hundred patients.

CORBIER - CROSS

1 Q How are your patients referred to you?

2 A A variety of ways. Either through their  
3 pediatrician; a lot of patients have come through  
4 therapists, psychologists, or sometimes a patient will  
5 have an outside evaluation, say, by a  
6 neuropsychologist, and then that therapist or that  
7 specialist will refer to me. So various specialists.  
8 And some patients will come on their own. They've  
9 heard of me through, you know, different means, and  
10 then will come.

11 Q Have you heard of Defeat Autism Now  
12 Organization?

13 A Yes, I have.

14 Q Do you get referrals from, and I'll call it  
15 DAN, do you get referrals from DAN?

16 A I have in the past, yes.

17 Q Do you know what percentage of your practice  
18 are DAN referrals?

19 A I'm not sure what percentage. It's a little  
20 hard to say, because some parents, when they're  
21 researching a physician, they go online. And they,  
22 let's say it's a patient who's interested in looking  
23 at biomedical things, they may come across DAN on the  
24 internet, and then come that way. You know, they may  
25 see a name of a DAN practitioner, and then may come.

CORBIER - CROSS

1 So the referral could be made that way, so to speak.

2 THE COURT: Pardon me, counsel. I'm sorry.

3 It's been drawn to my attention that we have to speak

4 a little louder into the mic, that we're all

5 apparently soft-spoken. So if we could try to speak

6 up a little bit, that would be great.

7 MS. RENZI: I will.

8 THE COURT: Thank you.

9 THE WITNESS: So have I answered your  
10 question? What I'm trying to say is there are some  
11 parents that do their own evaluation. For example,  
12 they say we want to find a particular type of doctor,  
13 so they go on the internet or they talk to people, and  
14 then my name comes up. And then they come that way.

15 BY MS. RENZI:

16 Q So if they go on the DAN web site, they  
17 would find your name?

18 A My name is not there right now, no. It was  
19 there up until, when I left, it was there up until the  
20 time that I came here.

21 Q You left, you're talking about --

22 A Up until I left Alabama to come to North  
23 Carolina.

24 Q Of the children you treat with autism, how  
25 many have been diagnosed for the regressive autism?

CORBIER - CROSS

1           Q     I don't know the number, I haven't kept  
2     track of the number.  But my guess would be a third or  
3     so.  And the number could be higher, but the third  
4     would be those that probably truly have -- there are  
5     some kids that superficially may present with  
6     regressive autism, but when you look at the history  
7     you see that something else was going on.  Maybe they  
8     had seizures, weakness, fussiness, or some other  
9     problem, so I don't count those.

10          Q     Of those children that you believe have true  
11     regressive autism, how many of those do you believe  
12     developed regressive autism as a result of  
13     vaccinations?  Either MMR or thimerosal, or both.

14          A     Several.  I haven't counted, but I do, in  
15     terms of profiling, I hear very similar stories over  
16     and over with certain types of patients.  And all of  
17     those would be representative of what we see with  
18     Yates.

19          Q     Would you say more than 50 percent?

20          A     Fifty percent of the regressive autism?

21          Q     Yes.

22          A     Maybe, but I'm not sure.  I've really not  
23     kept track in that way.

24          Q     And of those numbers, how many do you  
25     believe developed regressive autism just as a result

## CORBIER - CROSS

1 of the MMR vaccine?

2 A Not very many. Let me elaborate. The  
3 reason is, I prefer to say contributed, because there  
4 are so many factors that I think play a role.

5 Other vaccines, for instance. Thimerosal,  
6 for instance. Other environmental factors, some of  
7 which we still have yet to identify. So I wouldn't  
8 say just, in most cases.

9 Q Doctor, you stated that you were on the DAN  
10 web site while you were practicing in Montgomery,  
11 Alabama.

12 A Yes.

13 Q But you are no longer on it now?

14 A Yes.

15 Q Why is that?

16 A I've attended I think at least two or so, or  
17 more, DAN meetings. And their requirement in the past  
18 was to attend at least a meeting, and to demonstrate a  
19 willingness to follow some of the principles of DAN,  
20 which I did. So I was listed as DAN.

21 And then I think recently they came up with  
22 a rule, they sent a letter to everyone, that in order  
23 to remain on the list, you have to attend a DAN  
24 meeting I think at least once a year. And by  
25 attending the yearly DAN, that shows that you're

CORBIER - CROSS

1 still, you know, following some of the treatments and  
2 recommendations.

3 I've not been able to attend the past, oh, I  
4 don't know, two or three years, because I attend a lot  
5 of conferences. Although a lot of my practice is  
6 devoted to autism, I attend a lot of conferences for  
7 epilepsy, spasticity, cerebral palsy, so a lot of  
8 conferences. So I've had to balance those, so I've  
9 not been able to attend regularly.

10 Q Although you no longer attend the DAN  
11 meetings, do you still subscribe to the DAN protocol  
12 that is required?

13 A I don't look at DAN as a rigid protocol, but  
14 I look at DAN as a movement. I've looked at a lot of  
15 the different therapies with DAN, and if I might  
16 answer your question by stating that the way I got  
17 involved with DAN is because before I ever heard of  
18 DAN, I was very involved in nutritional therapies  
19 myself, and then heard of DAN and thought, ah-ha,  
20 here's a group of physicians that subscribe to  
21 biochemical and nutritional things. So I joined DAN.

22 I think the DAN approach is very diverse.  
23 There are a lot of principles. For example, I believe  
24 that the gut and the brain immune system are related.  
25 //

CORBIER - CROSS

1 So there are a lot of things in DAN that I still  
2 adhere to.

3 I don't adhere to everything necessarily. I  
4 don't follow a rigid, if a patient comes in do this,  
5 do that first, necessarily. So I use a lot of the  
6 principles because I think that they're justifiable,  
7 yes.

8 Q When did you learn that Yates began to  
9 regress at 12 months of age?

10 A The first I saw Yates initially, September  
11 of 2002, as far as I recall. He had been diagnosed at  
12 Vanderbilt I think in July, and my initial history was  
13 obtained at that time.

14 Q And what does that initial history say? Do  
15 you know? As to the timing of the regression.

16 A I think -- I mean, I don't have it in front  
17 of me, but I think I might have reported 18 months,  
18 just like I did with this last case.

19 Q So when did you learn, then, that the  
20 regression began at 12 months of age?

21 A I think I probably learned about it, I don't  
22 recall exactly when. But I can tell you that over the  
23 course of several visits, we refined the history and  
24 findings. And I must say that the biggest refinement  
25 was yesterday; I got the clearest picture I've ever

CORBIER - CROSS

1 had

CORBIER - CROSS

1 with Yates listening to the different family members,  
2 looking at the videos. I did review some videos  
3 beforehand, but you know, everything was put in a very  
4 clear manner today, or yesterday.

5 Q But your report which you filed in July, the  
6 premise is that you have regression started after the  
7 vaccination to 12 months of age, correct?

8 A That's what I put in my report, that's  
9 correct.

10 THE COURT: For the record, the report to  
11 which you're referring is Petitioner's Trial Exhibit  
12 No. 1?

13 MS. RENZI: No, it's Dr. Corbier's report,  
14 which is --

15 THE COURT: His expert report?

16 MS. RENZI: His expert report, I'm sorry.

17 THE COURT: Okay.

18 THE WITNESS: I actually, if I may correct,  
19 here's what I wrote on the July 2 report. I wrote,  
20 "He developed normally up until the age of 18 months  
21 to 24 months." But I then wrote, "Just prior to that,  
22 he started to have regression."

23 So the just prior I admit is not very clear.  
24 But you know, this is saying that it actually occurred  
25 before, and I'm not very specific as to before. But

CORBIER - CROSS

1 like I say, yesterday I was able to, you know, put the  
2 whole picture together and understand.

3 BY MS. RENZI:

4 Q But your expert report, which is dated, was  
5 filed in July, Petitioner's Exhibit 26 -- the basis  
6 for your expert report is that regression began at 12  
7 months, is that correct?

8 A Well, I think I put in my report, I believe  
9 I put 12 to 18 months interval. I don't think that  
10 it's easy to just give a specific, you know, time when  
11 the regression -- I put it in an interval in my  
12 report, I believe. If I can refer to that.

13 Q You also state, Doctor, in your report that  
14 timing is paramount to your diagnosis, is that  
15 correct?

16 A Yes.

17 Q And the timing from your testimony today,  
18 the paramount timing is one to nine months following  
19 vaccination?

20 A Yes. Putting all of the information that I  
21 have found in articles -- we talked about biological  
22 possibility -- putting all of that information  
23 together, and based on known reports of post-MMR  
24 encephalitis, I would put it in the one- to nine-month  
25 period.

## CORBIER - CROSS

1 Q It seems like a broad range to be a  
2 paramount consideration, would you agree?

3 A Sure, it's a broad range. A lot of things  
4 tend to be very broad.

5 Q What vaccinations did Yates receive at 12  
6 months?

7 A He had the MMR. Let's see.

8 Q You're looking this up? What do you have in  
9 front of you, Doctor?

10 A I just have my report.

11 Q You have Exhibit 26, your expert report?

12 A Yes.

13 Q Okay.

14 A I have noted here that he had had the Hib,  
15 Hep B, MMR and Prevnar.

16 THE COURT: You're referring to page 5?

17 THE WITNESS: Oh, I'm sorry, page 5, yes.

18 BY MS. RENZI:

19 Q Which of those vaccines contained  
20 thimerosal?

21 A I believe he had the hepatitis B.

22 Q That's the only vaccine that contained  
23 thimerosal?

24 A That I know of.

25 Q That you know of.

CORBIER - CROSS

1 A Right.

2 Q Do you know how much thimerosal is contained  
3 in the hep-B vaccine?

4 A Not off the top of my head, no.

5 Q Is there, your timeframe is one to nine  
6 months, correct? For you to determine that there's a  
7 causal vaccine relation, is that correct, one to nine  
8 months?

9 A Yes. And not only vaccine, but also the  
10 live measles virus, as far as an acute or a subacute  
11 encephalitis.

12 Q What is the timeframe, absent the MMR, for  
13 thimerosal-containing vaccine, following a thimerosal-  
14 containing vaccine, for regression to begin that you  
15 would attribute the regression to the thimerosal-  
16 containing vaccines?

17 A I'm not sure. I'm not sure. I don't have a  
18 good paradigm for that one. And the studies that I  
19 have seen have not really addressed that question, so  
20 I cannot address that scientifically.

21 Q So you don't know.

22 A I don't know.

23 Q If Yates's regression had occurred prior to  
24 12 months, prior to the receipt of his MMR vaccine,  
25 would you have attributed his regression to his six-

CORBIER - CROSS

1 month vaccinations?

2 A The lab indicated some problems with  
3 thimerosal, so I would have, you know, included, as I  
4 do even now, the thimerosal as playing a role.

5 Is that your question? I'm sorry.

6 Q No. I'm saying absent the MMR vaccine,  
7 would you -- I'm sorry. What I said is, had he  
8 started to regress prior to his 12-month vaccination,  
9 would you have attributed the regression to either his  
10 six- or nine-month thimerosal-containing series of  
11 vaccinations?

12 A I would, what I would be able to say is that  
13 the thimerosal in the vaccines up to that point  
14 potentially played a role, contributed. That's all I  
15 would be able to say with any certainty.

16 Q Would that certainty, would -- what weight  
17 would you give to the vaccine's playing a role in the  
18 autism? In the onset of autism or the development of  
19 autism.

20 A Are you talking which --

21 Q What percentage?

22 A I'm sorry, which vaccine? I don't  
23 understand your question --

24 Q The vaccines at six and nine months.

25 A Okay.

CORBIER - CROSS

1 Q You say could potentially cause the autism.

## CORBIER - CROSS

1           A     There's no way for me to give a percentage,  
2     because that's not been studied scientifically.

3           Q     So you can't say more likely than not.

4           A     I could say more likely than not that that  
5     was a contributing factor. Yes, that I can say.

6           Q     So greater than 50 percent, you can address,  
7     you can attribute a percentage to it.

8           A     That that played a role, yes.

9           Q     What is the basis for that opinion?

10          A     Well, the basis is I was very struck by the  
11     fact that at six months, or after his six-months  
12     shots, he had a screaming episode which I think, to  
13     the best of my ability, was probably linked to the  
14     pertussis. He screamed unconsolably for several  
15     hours, according to the testimony we heard yesterday.

16                     For a neurologist or a pediatrician, if a  
17     patient screams unconsolably, we think of  
18     irritability. We think that there's something wrong.  
19     And so the only thing that I could link, based on the  
20     history, to that screaming episode would have been the  
21     pertussis. And therefore, I think that that, you  
22     know, played a role. That's an indicator, in other  
23     words, that the vaccine played a role; that he had  
24     some type of reaction.

25          Q     And is the six-month reaction reflected in

## CORBIER - CROSS

1 the medical records? Or is that from the testimony  
2 you heard yesterday?

3 A I've heard it before, as well. I've heard  
4 it in greater clarity yesterday, in terms of the  
5 duration. But in fact, I think that might be in my  
6 report here, so I must have heard it before.

7 Q Is it, to your knowledge, reflected in the  
8 contemporaneous medical records? A reaction to the  
9 six-month vaccination.

10 A Are you referring to the pediatricians?

11 Q Yes.

12 A I don't recall, but I can tell you that I  
13 reviewed the pediatrician's record and didn't feel  
14 that it was very thorough. So it wouldn't be too  
15 surprising if that was not included.

16 Q Did Yates exhibit signs of an encephalopathy  
17 due to his pertussis vaccine?

18 A The screaming for several hours in an  
19 unconsolable way is what many people would call an  
20 encephalopathy, albeit temporary, but an  
21 encephalopathy. In other words, he was in an  
22 encephalopathic state. He was crying; he could not be  
23 consoled. So likely, if you try to, you know, look at  
24 Yates, he probably would not, you know, interact in  
25 any meaningful way, so we could use the term

CORBIER - CROSS

1 "encephalopathy" in that state, in that condition.

2 Q And how does pertussis cause an  
3 encephalopathic state? Because there were no sequelae  
4 of that encephalopathy. He didn't regress at six  
5 months, is that correct?

6 A I don't think he had encephalitis. I think  
7 he had encephalopathy. If you have an encephalitis,  
8 that can cause damage to neurons, neuronal lots, and  
9 that can lead to sequelae. For example, if you have a  
10 herpes encephalitis or any type of encephalitis, you  
11 have death of brain cells. An encephalopathic state  
12 can occur with a variety of things. Certain drugs can  
13 make you encephalopathic, head trauma can make you  
14 encephalopathic temporarily, and you can recover.

15 So encephalopathy, all it means is a  
16 diseased state of the brain. And it could be due to  
17 any number of causes. It can last any number of time,  
18 or any length of time.

19 Q Why is it your opinion that it was caused by  
20 the pertussis?

21 A I just don't have a better explanation. And  
22 I know, based on the CDC, that crying for several  
23 hours is one of the things that should be instructed  
24 to parents to look out for. That, along with other  
25 manifestations.

CORBIER - CROSS

1                   So based on that, I think that that's likely  
2 what happened. I can't prove it, but that's likely.

3           Q     And you don't know how pertussis would do  
4 that, do you? Or do you?

5           A     Do I know how pertussis would cause the  
6 encephalopathy?

7           Q     Yes.

8           A     I know how regular pertussis would. Regular  
9 pertussis -- and I'm not talking about the acellular  
10 pertussis, but the whole-cell pertussis -- has three  
11 neurotoxins that can affect neurons in a very adverse  
12 way, and can cause a lot of neurological symptoms.

13                   The way the acellular pertussis can cause a  
14 child to scream, I don't know that that's been  
15 elucidated, but it is something that pediatricians are  
16 instructed to warn parents about.

17           Q     If we accept that Yates had a reaction at  
18 six months of age, how critical is this event to your  
19 opinion that Yates's autism was caused by his later  
20 vaccinations?

21           A     To me, his reaction, or I should say  
22 possible reaction to be precise, to what I think was  
23 the pertussis, just tells me that he may have some  
24 type of underlying predisposition that caused him to  
25 scream for several hours. After all, not every child

CORBIER - CROSS

1 who receives a pertussis vaccine would scream. But I  
2 don't think that that -- I think that's one among  
3 several factors. And that's why I always like to use  
4 the term "contributing factors." I think that could  
5 have played a role, thimerosal could have played a  
6 role, and the other vaccines could have played a role.  
7 But it just adds to the notion that he likely had a  
8 reaction. He was prone or susceptible to reactions.

9 Q On page 4 of your expert report you indicate  
10 that you performed a 24-hour EEG on Yates?

11 A Yes.

12 Q How is a 24-hour EEG taken? What is your  
13 role in administering that EEG?

14 A My role in obtaining that, maybe I should  
15 just, for clarity, kind of explain. First of all, an  
16 EEG is electroencephalogram. It's a brain wave test  
17 that allows us to determine several things. It allows  
18 us to determine whether a child is likely having  
19 seizures. It tells us what the status of the brain  
20 cells are. It actually looks at the cortex of the  
21 brain.

22 The reason why sometimes we'll do a 24-hour  
23 EEG is that a routine EEG, which can last 30 minutes,  
24 or even a little longer EEG that lasts one hour, can  
25 miss certain things going on in the brain. It's not

CORBIER - CROSS

1 unusual for a patient who has a lot of seizures to  
2 have a normal routine EEG, because the abnormal  
3 signals in the brain, the abnormal electrical activity  
4 occurs periodically. So it's a snapshot picture in  
5 time. So the 24-hour EEG just enhances our chances of  
6 finding abnormalities that you might miss on a routine  
7 EEG.

8           Moreover, when I do a 24-hour EEG on a  
9 patient with autism, I'm looking for some specific  
10 problems that can present as autism that I'd like to  
11 rule out, such as static epilepticus, that show in  
12 sleep. That is a condition that may not show on  
13 routine EEGs, but when you enter deep stages of  
14 sleep, the EEG becomes continually abnormal in the  
15 parietal occipital areas.

16           If I saw such a patient, whether Yates or  
17 someone else, that would lead me to a very specific  
18 direction. When we talk about profiles -- in EEG,  
19 that indicates a particular seizure syndrome. Let me  
20 treat the seizure and see if I can get the child to  
21 speak or improve, based on that diagnosis.

22           Q     And what did you read on this EEG?

23           A     I found that he had intermittent bifrontal,  
24 central, and generalized epileptiform discharges.

25           Q     And was this EEG ever read by a neurologist

CORBIER - CROSS

1 who is board-certified in clinical neurophysiology?

2 A No, but I don't think that's necessary,  
3 because I'm a board-certified child neurologist. And  
4 I've trained with some of the best epileptologists.  
5 So it's not necessary for the EEG to be reviewed.

6 Now, if I do have a question, I'll never  
7 hesitate to confer with a colleague or an  
8 epileptologist. If I'm not sure, if I think that  
9 there's a questionable finding, then usually I'll be  
10 the first one to call or send a copy of the EEG for  
11 review. But if I'm confident with my findings, then  
12 there's usually no need to have an epileptologist  
13 confirm the EEG. That's part of our training.

14 Q So if you had -- what epileptologist have  
15 you studied with? And where?

16 A I studied with Dr. Prevetara at the  
17 University of Cincinnati, when I did my year of adult  
18 neurology. And Dr. Tracy Glaucer, who is one of the  
19 most renowned pediatric epileptologists in the  
20 country, perhaps the world. And he was at the  
21 Children's Hospital of Cincinnati, where I did my  
22 fellowship training.

23 Q What is an epileptologist?

24 A An epileptologist is a neurologist that does  
25 further training in the field of epilepsy, whether

CORBIER - CROSS

1 epilepsy surgery, whether epilepsy treatment, or a  
2 variety of aspects of epilepsy. So an epileptologist  
3 has completed their neurology training, and then they  
4 do a year or two of extra training in epilepsy-related  
5 things, treatment.

6 Q Are you an epileptologist?

7 A No, I'm not an epileptologist. I'm a  
8 general child neurologist.

9 Q Has Yates ever been diagnosed with clinical  
10 seizures?

11 A There was a suspicion, and I can't remember  
12 if it was at nine months -- let me see. There was a,  
13 he had an episode of staring at one point, and was  
14 seen by a neurologist in Tennessee. Let me see if I  
15 can -- he saw Dr. Mark Bruggerman. This was on  
16 May 13, 2002. I'm looking at my, on page 3, under the  
17 neurological profile.

18 And I don't recall seeing what his final  
19 impression was, but his exact words were, let me see.  
20 He wrote, let's see, he wrote several, he basically  
21 was staring off for several minutes, and "still not  
22 back to himself." He did an EEG at that time that was  
23 unremarkable.

24 I don't recall what the report says, but  
25 most neurologists, when they read an EEG, will put, as

CORBIER - CROSS

1 a disclaimer, "a normal EEG does not rule out  
2 seizures."

3 To me, that episode looks like a seizure.  
4 The only way to truly confirm that that episode was a  
5 seizure would be to obtain an EEG while he's staring,  
6 which most of the time we don't have that luxury. So  
7 you have to go by clinical findings, and then your  
8 level of suspicion based on your expertise.

9 Q But was there a diagnosis of clinical  
10 seizures? There was a possibility of seizures. Was  
11 there a diagnosis?

12 A You mean by Dr. Bruggerman?

13 Q Yes.

14 A I don't recall seeing it. I didn't write  
15 that in my report. I don't remember what he put, what  
16 his diagnosis was.

17 Q Where are you currently employed, Dr.  
18 Corbier?

19 A I'm employed in Concord, North Carolina, at  
20 Concord, North Carolina, CMC Medical Center. CMC  
21 Northeast Medical Center.

22 Q Is that a hospital?

23 A It's a hospital, multispecialty group  
24 practice. I think we are probably 200 physicians and  
25 multiple pediatric subspecialists in this group.

CORBIER - CROSS

1 Q Do you have hospital privileges in the

## CORBIER - CROSS

1 Charlotte area?

2 A Yes, I do.

3 Q What hospital?

4 A It's called CMC Northeast Medical Center.

5 Q And did you have hospital privileges when  
6 you were practicing in Montgomery, Alabama?

7 A Yes. Since I started practicing up until  
8 now, I've always had hospital privileges.

9 Q Why did you leave Montgomery?

10 A I left Montgomery for several reasons. When  
11 I was doing my training in Cincinnati, I was  
12 approached by the medical director, who asked me to  
13 join the faculty to do research in pediatric stroke.  
14 I was going to do it, but I decided instead to go into  
15 private practice.

16 I'd been in private practice for six years  
17 in Montgomery, and worked well, enjoyed the clinical  
18 setting. But I was kind of missing the intellectual  
19 side of things, in terms of the possibility of doing  
20 research, possibility of doing medical education,  
21 teaching residents and others. In Montgomery I did  
22 not do a lot of these things, and wanted to kind of  
23 change that a little bit.

24 The other factor is that I was in solo  
25 practice. And if you know anything about solo

CORBIER - CROSS

1 practice, it means long hours, working all of the  
2 time. My wife, who is a pediatrician, was helping me  
3 run the office. We have a 10-year-old son that we  
4 were both trying to see patients, work all the time,  
5 and home-school in the office. So that became a  
6 little stressful.

7 So I decided to seek employment somewhere  
8 where I could be employed, and not have to have that  
9 amount of stress, and still continue or pursue my  
10 interests in academia, teaching, and other areas. So  
11 that's why I left.

12 Q But you say 100 percent of your time is  
13 devoted to your clinical practice, correct, at this  
14 point?

15 A At this time, it is. There's a very large  
16 research campus that's being built not too far from my  
17 hospital. And one of the reasons I chose Northeast is  
18 to hopefully have the opportunity to be involved in  
19 research.

20 I also chose this particular hospital  
21 because it's associated with Duke. There are a lot of  
22 collaborative things that we would like to be able to  
23 do in the future.

24 Q What is Mannatech?

25 A Mannatech, there is a company out of Texas

CORBIER - CROSS

1 called Mannatech. Mannatech is a company that  
2 produces a type of nutrient called glyconutrient. The  
3 flagship product is Ambrotose.

4           Ambrotose is a product that contains eight  
5 sugars, including cylose, manose, fucose, neuraminic  
6 acid. And the company basically has found a way to  
7 patent these eight sugars into a particular product.  
8 They've gone on to market other nutraceuticals, all of  
9 which contain these eight sugars: multivitamins,  
10 digestive enzymes. So that's what Mannatech is.

11           Q     And you prescribe it to your patients?

12           A     I'm what I call myself, I call myself a  
13 nutritional neurologist, which means that a lot of my  
14 practice, almost from day one up until the present, I  
15 spend a lot of time talking about nutrition, as I  
16 believe that dietary interventions are important.

17                     I became interested in Mannatech based on  
18 prior interest in a specific neurometabolic disorder  
19 called congenital disorder glycosylation (phonetic).

20                     When I was in Cincinnati, I took care of a  
21 young child who was about eight or nine, and kept  
22 having recurrent strokes. I mentioned earlier that I  
23 was going to join the faculty to do pediatric stroke,  
24 and here I was. I saw a little girl having strokes  
25 for no apparent reason, and multiple other problems.

## CORBIER - CROSS

1                   We were able to diagnose her with a specific  
2                   problem with glycosylation, which means that she,  
3                   herself, had a substance called glycoprotein, which  
4                   are proteins with a sugar moiety. And her body, for  
5                   some reason she was lacking a particular enzyme. She  
6                   was not able to make one of the sugars, called  
7                   neuraminic acid.

8                   I became very interested in that disorder.  
9                   At the time there were about 50 cases described in the  
10                  country; I took care of three of them, and interacted  
11                  with several kids throughout the world with this  
12                  condition.

13                  I've given several conferences on this  
14                  particular disorder. And my conclusion or thesis,  
15                  especially to neurology conferences, was that this  
16                  problem was probably underdiagnosed. I felt that many  
17                  individuals might have a glycosylation disorder.

18                  Getting to your question. Well, I called  
19                  Mark Patterson, who is a child neurologist at Mayo  
20                  Clinic. I did part of my training at the Mayo Clinic.  
21                  And he was having success treating some patients with  
22                  this disorder with a particular subtype called  
23                  congenital disorders of glycosylation type 1-B. This  
24                  is a type where children present with a lot of  
25                  gastrointestinal problems. They are bloated, they

CORBIER - CROSS

1 have massive diarrhea, they have blood in the stools.

2 So I said to Dr. Patterson, you know, have  
3 you thought of using this manose, which is a sugar  
4 that is implicated in the immune system, with other  
5 disorders? In particular, other congenital disorders  
6 of glycosylation. He said he had tried; it was not  
7 effective.

8 I thought of the glycosylation disorders for  
9 a long time. And when I became involved with autism,  
10 I thought that maybe a subset of children, perhaps a  
11 large subset, might have problems with glycosylation.

12 People in Montgomery and in the area knew  
13 that I was involved with nutrition. So there was a  
14 lady that came to me and said I see that you're  
15 involved with nutrition; have you heard of  
16 glyconutrients. I told her no, I've never heard of  
17 that. In other words, I'd never heard of the product  
18 that she presented.

19 But I told her, I said, I usually do -- I'll  
20 listen when people come to me and they present new  
21 ideas. I try to be open-minded. So she explained to  
22 me about the eight sugars.

23 I said, ah-ha, I know about manose and these  
24 other sugars. And she says you know, people are doing  
25 //

CORBIER - CROSS

1 quite well with this. I said well, let me see the  
2 research. And it dawned on me that this was something  
3 I could add to the list of things that I recommended  
4 beyond vitamins, minerals, the essential fatty acids.

5 But I told her before I'd put anyone on  
6 this, I'd need to do research. I spent several weeks,  
7 if not months, researching everything I could on this  
8 particular supplement, and then decided to go to  
9 Texas, where Mannatech Company is located.

10 I spoke to leading physicians, researchers,  
11 pathologists, neurosurgeons, a lot of people who were  
12 and biochemists involved with this; and made up my  
13 mind that this is something that I needed to recommend  
14 to my patients, along with other things that I  
15 recommend.

16 So I started to recommend Mannatech. And I  
17 must say that a subset of children who have taken the  
18 glyconutrients have done quite well. Not everyone,  
19 but I've found no therapy unit that works for  
20 everyone, but several people did respond to treatment  
21 with glyconutrients in terms of their symptoms.

22 Q What research did you review?

23 A For what?

24 Q To conclude these substances would work?

25 A I reviewed a lot of studies by, there is a

CORBIER - CROSS

1      biochemist -- I'm blanking on his name. He is the

CORBIER - CROSS

1 first one to look at the role of manose. I looked at  
2 research from a pathologist; his name is Dr. Reg  
3 McDaniels, O'Malcheck and several others who had  
4 written on the use of glyconutrients.

5 I also reviewed, in looking at how this  
6 might work, I reviewed work from Dr. Hudson Freeze,  
7 who is in La Jolla, California. I had called Dr.  
8 Hudson Freeze because when I was researching  
9 congenital disorders of glycosylation, he had  
10 collaborated with me and sent me some of his slides  
11 for some of my presentations. So I looked up some of  
12 his work, as well, in my research of the  
13 glyconutrients and how they might work in outpatients.

14 Q You've given presentations. Have you ever  
15 published on this?

16 A On what?

17 Q Glycosylation.

18 A I'm not published, but I've given several  
19 grant rounds to neurologists and pediatricians and  
20 medical individuals. Probably the reason I'm not  
21 published on this, though I would like to, is that  
22 when I moved from Cincinnati to Montgomery, my  
23 emphasis gradually shifted from congenital disorders  
24 of glycosylation to autism. So I kind of not  
25 necessarily lost interest, but most of my focus and

CORBIER - CROSS

1 energy was diverted to autism.

2 Q Did any of the studies you looked at look at  
3 the glycosylation in autism?

4 A Did any -- I did not see any direct studies,  
5 but I did see several things that might implicate  
6 glycosylation to autism. Such as, there have been  
7 several studies with the glyconutrients that have  
8 looked at gastrointestinal problems. As I mentioned,  
9 children with congenital disorders of glycosylation,  
10 especially type 1-B, have a lot of gastrointestinal  
11 difficulties, which I thought was applicable to  
12 autism.

13 Also, some of the most robust studies have  
14 been done with the immune system, with glyconutrients  
15 for other immune disorders, such as lupus, HIV, and  
16 other conditions, knowing what I know, say from the  
17 studies with Dr. Ashwood and others who have looked at  
18 the immune system and Dr. Zimmerman and others in  
19 autism, I thought that this would be a very good fit,  
20 in terms of applicability. But I didn't see any  
21 study, in fact that's one thing I was starting to work  
22 on is I was starting to maybe develop some informal  
23 studies that I might pursue down the road.

24 Q Is Mannatech FDA-approved for the treatment  
25 of autism?

CORBIER - CROSS

- 1           A     It's listed -- oh, for autism?
- 2           Q     Yes.
- 3           A     Unfortunately, the only thing that's FDA

CORBIER - CROSS

1 approved for autism is the drug Risperdol, and that,  
2 FDA approval just came one year ago. So in essence,  
3 all of the physicians, myself included, we were using  
4 unproven therapy as far as Risperdol. But it did  
5 finally get FDA-approved.

6 So no other drug that is used in autism,  
7 whether it's a stimulant or SSRI, nothing has at all  
8 been FDA-approved for autism. In fact, most drugs are  
9 not even FDA-approved for kids. Most of the drugs we  
10 use, we know they work, we know that they have a  
11 safety record for other conditions. So many  
12 pediatricians, neurologists, doctors feel safe using  
13 them in kids.

14 A good example is epilepsy. Very few drugs  
15 for epilepsy are approved in the very young children.  
16 So if we have a one-month-old who does not respond to,  
17 say, phenobarbital, instead of letting a child have a  
18 seizure until they die, we'll use a drug that we think  
19 is safe, although there is no approval. So to answer  
20 your question, since most drugs are not approved by  
21 FDA, it's even harder for a supplement to get approval  
22 from the FDA.

23 THE COURT: Pardon me. Dr. Corbier, please  
24 help me to make sure I'm oriented. You referred to  
25 SSRI?

CORBIER - CROSS

1

THE WITNESS: Oh, yes. That's selective

CORBIER - CROSS

1 serotonin reuptake inhibitors. These are  
2 antidepressants. They're used a lot in some children  
3 with autism, because some children with autism have  
4 mood disorders.

5 In fact, talking about profiling, I'm a big  
6 believer in profiling. There's a group of children  
7 with autism who, they look very depressed. And if you  
8 treat their depression properly, often their autism  
9 symptoms will disappear. We call that pseudoautism.  
10 A very important disorder. Because if you can  
11 identify that a child's autistic symptoms are actually  
12 due to some neurochemical defects that really cause a  
13 lot of emotional symptoms, mood problems, depression,  
14 and you treat it correctly, that child with that  
15 specific antidepressant treatment will resolve the  
16 autistic symptoms. So that's what an SSRI is.

17 THE COURT: Thank you.

18 THE WITNESS: You're welcome.

19 BY MS. RENZI:

20 Q What is Mannatech FDA approved for?

21 A What's that?

22 Q What is Mannatech FDA approved for?

23 A Well, I don't know that it's FDA-approved,  
24 but it's then looked at. It's received a patent, and  
25 it's in the PDR, Physician Desk Reference. So if you

CORBIER - CROSS

1 look at the PDR, you'll see Ambrotose.

2 I don't remember the specific indications,  
3 but all of the attributes of Ambrotose are listed,  
4 like other things that are listed in the Physician  
5 Desk Reference.

6 Q I want to move on to page 6 of your expert  
7 report, which you call the pertinent factors in  
8 Yates's case.

9 A Yes.

10 Q And I want to look specifically at the first  
11 five bullets.

12 A Yes.

13 Q Do you rely on all of these bullets in  
14 combination for your conclusion that Yates's autism,  
15 the development of Yates's autism was vaccine-related?

16 A You're saying do I rely on all of them  
17 collectively?

18 Q Yes.

19 A Yes. Each one I think is important, but  
20 each additional bullet point adds further credence or  
21 further support to that belief.

22 Q I'd like to go through these, then, one at a  
23 time. And if you could tell me, I'll ask some  
24 questions following up.

25 How important is it, in your opinion, that

CORBIER - CROSS

1 Yates was normal prior to the development of his  
2 autism?

3 A In terms of the MMR vaccine?

4 Q Yes.

5 A It's only important based on the timing. In  
6 other words, if the regression had occurred at 11  
7 months, obviously that would not be of any  
8 significance. But the fact that there was regression  
9 noted some time after 12 months, I think is the  
10 starting point.

11 We have an event or an intervention in this  
12 case, a set of vaccines. Subsequently we have  
13 regression. So the starting point is to ask myself,  
14 is it possible that the vaccines played a role? And  
15 until I have further information with that particular  
16 first bullet point, the answer is maybe it's  
17 coincidence, or maybe there's something to this  
18 association.

19 Q And how important is that factor in your  
20 opinion that thimerosal-containing vaccines caused  
21 Yates to develop autism?

22 A As I've noted throughout my report, I'm a  
23 believer, based on all of the studies and research,  
24 that we're dealing with, in many cases of autism, with  
25 several factors. Such as, as far as vaccines,

CORBIER - CROSS

1 thimerosal

CORBIER - CROSS

1 may play a role. I mentioned my belief that Yates was  
2 sickly, so if thimerosal contains mercury, that can  
3 further weaken your immune system that can have an  
4 adverse role not to mention that mercury can cause  
5 autistic symptoms. So we have to look at all of the  
6 factors involved, and there are many.

7 I might add that I think that his addiction  
8 to milk at one point probably had something to do with  
9 it, as well, or at least contributed to some of his  
10 symptoms. The parents reported that there was a time,  
11 I think it was in Norway -- I don't know if it was a  
12 little bit before, as well -- where he was addicted to  
13 milk.

14 Well, some researchers, like Dr. Paul  
15 Shattock and Pensup have looked at opioid therapies or  
16 opioid theories for autism. Some children with autism  
17 are unable to digest casein. Casein is a protein that  
18 is found in dairy products. And there are a variety  
19 of reasons why children, some children with autism,  
20 are unable to digest the casein. And when they try to  
21 digest it, it gets converted to a peptide called  
22 caseomorphine, which is similar to morphine, and that  
23 can make the child have a variety of symptoms.

24 Notice in the report, though, that when they  
25 went on a gluten-casein-free diet, he did get somewhat

CORBIER - CROSS

1 better. Not completely better, but he got a little  
2 bit better. So I would say it's a fair assumption  
3 that by going on a gluten-casein-free diet, they  
4 removed that particular contributing factor. He got a  
5 little bit better.

6 But I think it's not until he saw Dr. Buie  
7 and was placed on some medications that he had some  
8 real relief from his gastrointestinal symptoms.

9 Q So milk is one of the contributing factors?

10 A Milk was a contributing factor, in my  
11 opinion, to some of the symptoms he developed. Not  
12 necessarily causing it, but it probably made some of  
13 his symptoms, aggravated some of his symptoms.

14 Q The second bullet is, Yates had evidence of  
15 a compromised immune system.

16 A Yes.

17 Q How critical is that factor to your opinion  
18 that Yates's vaccines played a role in his development  
19 of autism?

20 A I can't give you a percentage. It helps.  
21 It's not a necessary component, but it does help. I  
22 say that it helps, because immunological problems are  
23 widely reported in children with autism, including  
24 children with gastrointestinal problems.

25 One mechanism by which vaccines such as, or

CORBIER - CROSS

1 vaccine adjuvants like thimerosal, causes problems is  
2 by altering the immune system. So the fact that Yates  
3 was sickly adds to the picture, the profile.

4 Q So assuming all other factors, but Yates had  
5 a normal immune system, would that change your opinion  
6 that his development of autism was vaccine-related?

7 A That's a difficult question, because how  
8 would I prove that his immune system was normal? Do  
9 you mean if he was not as sickly, perhaps? Normal  
10 children can get six to 10 infections per year when  
11 they're very young.

12 So are you saying if Yates had, say, six  
13 infections per year, for example, and was not as  
14 sickly as he has been, would that change my opinion?  
15 Is that what you're saying?

16 Q Yes. Let's say he only had six infections.

17 A Yes. Let's say he had just a few  
18 infections, as it's recognized that you can have a few  
19 infections early on, that would not alter my opinion.  
20 What I've mentioned in terms of the MMR, if the other  
21 factors were present, some of the other critical  
22 factors.

23 Q How critical is the third factor that Yates  
24 was sick at the time he received his MMR vaccination?

25 A Based on CDC recommendations, that if a

CORBIER - CROSS

1 child is moderately ill, that vaccine should be  
2 postponed; I think that that is yet another  
3 contributing factor.

4 Yates, I argue, had a compromised  
5 immunological system, and perhaps had an underlying  
6 genetic vulnerability. So it was very risky, in my  
7 opinion, to give a child vaccine who was sick at the  
8 time.

9 What I would have maybe recommended would  
10 have been to postpone the vaccine, and not to give it  
11 in a state where he might be further immunologically  
12 compromised by having an infection.

13 Q So if we take all of your other pertinent  
14 factors, and assuming all those factors, but he was  
15 perfectly healthy at the time that he had received his  
16 MMR vaccination, would that have changed your opinion  
17 that the MMR was related to the development of his  
18 autism?

19 A It depends what type of information I had.  
20 If, for example, his gastrointestinal workup did not  
21 show that he had lymphonodular hyperplasia and  
22 colitis, it would -- I'm sorry.

23 Q I'm sorry, Doctor. I'm saying all other  
24 circumstances the same, okay?

25 A Okay.

CORBIER - CROSS

1           Q     Except that Yates was perfectly healthy at  
2     the time he received his MMR vaccination.  Would that  
3     change your opinion?

4           A     No, it wouldn't.  Because we do see a subset  
5     of children who seem healthy, and after vaccines they  
6     get really sick.  So that would not change.  I just  
7     think that in Yates's case, it demonstrates the  
8     coexistence of underlying genetic predisposition and  
9     environmental etiology coming together to cause  
10    problems.

11          Q     So it's not a pertinent factor in your  
12    decision.

13          A     I didn't say it wasn't pertinent.  But the  
14    more points you have --

15          Q     It's not necessary.

16          A     Yes, that would be a better  
17    characterization.

18          Q     Yates had no family history of autism.  How  
19    important is that factor to your decision, to your  
20    opinion that MMR vaccine played a role in his  
21    developmental autism?

22          A     It's important, in the sense that if Yates,  
23    for instance, had had multiple family members with  
24    autism, I would really strongly think that he had a  
25    specific either genetic or neurometabolic problem,

CORBIER - CROSS

1 perhaps that I might be able to identify.

2 For instance, there are, at this time,  
3 approximately 100 candidate genes that have been  
4 looked at with autism. And there have been familial  
5 forms that have been identified.

6 So if Yates had multiple family members that  
7 had autism, I would think you know, maybe genes are  
8 playing a bigger role. Now, when I say genes, it's  
9 important to understand that some children with autism  
10 have identifiable genetic abnormalities that can be  
11 proven with the lab: for example, fragile X or  
12 neurometabolic disorders that are genetically based,  
13 such as PKU.

14 But there are other children who have a  
15 genetic predisposition, but detailed genetic testing,  
16 as in the case of Yates, does not reveal anything. So  
17 the fact that he did not have any family history that  
18 we know of just is yet another point to suggest that  
19 we're dealing with a problem where environmental  
20 factors play a significant role.

21 Q What percentage of children with regressive  
22 autism have no family history of autism?

23 A Studies show that autism in general, whether  
24 regressive or nonregressive, there are many families  
25 that don't actually have a family history of autism.

## CORBIER - CROSS

1 There is, however, a higher concordance rate if you  
2 look at twin studies, for example.

3 But what has been shown is that there are a  
4 lot of family members that may have traits. For  
5 example, you may have a child with autism, and there's  
6 a fairly good chance that a member may have speech  
7 delay, dyslexia, some other symptoms.

8 When you look specifically, though, at  
9 regressive autism, I'm not, I don't know what the  
10 numbers are in terms of family history aspect. I'm  
11 not sure what that is. It's probably, I would  
12 suspect, higher than the general population, but not  
13 necessarily very high.

14 Q On page 9 of your report you have a section  
15 called "Where is the Lesion."

16 A Yes.

17 Q And you state that the most important point  
18 that can be made clinically is that all parts of the  
19 brain can be involved with autism, is that correct?

20 A Yes.

21 Q And you believe that all parts of the brain  
22 can be involved in autism?

23 A I do.

24 Q What is the basis for that opinion?

25 A First of all, if I may back up just a little

CORBIER - CROSS

1 bit, I want to explain why I wrote, "Where is the  
2 Lesion?"

3 I'm an ordinary child neurologist, and based  
4 on my training, what all neurologists in the United  
5 States are taught to do when confronted with any  
6 neurological problem is to ask that very question:  
7 where is the lesion. Asking that question can help  
8 you to arrive at the proper diagnosis. So that's why  
9 I chose to put this in this form.

10 When we ask the question as neurologists,  
11 where is the lesion, before we get any type of labs,  
12 the first thing we try to do is, first of all, get a  
13 good history. And I mentioned earlier that history-  
14 taking is important in arriving at the right  
15 diagnosis.

16 The next thing we do as neurologists is do a  
17 good neurological examination. Most of the times,  
18 with a good history and a good neurological  
19 examination, if you've done the job correctly, you  
20 should be able to arrive at a very reasonable  
21 diagnosis, or at least a reasonable differential  
22 diagnosis. And then if you're not sure, you may get  
23 supportive tests, such as labs, EEGs, and MRIs.

24 Recall that I also said that autism is not  
25 one disorder. Autism, there are a lot of different

CORBIER - CROSS

1 causes for autism. And what has been shown through

## CORBIER - CROSS

1 both neuropathological studies, case reports,  
2 volumetric MRI studies, is that various parts of the  
3 brain are affected.

4 I did mention the case, I think it was  
5 Ghazinddin, the first report in my list, of a person  
6 that presented with autism due to lesions in a typical  
7 area. He had herpes encephalitis that affected the  
8 frontal lobe. Usually herpes encephalitis affects the  
9 temporal lobe. He went on to develop classic autistic  
10 symptoms.

11 While there are some studies that say well,  
12 you must have temporal lobe involvement, well, this  
13 person did not have temporal lobe involvement. If you  
14 just look at clinical manifestations of children with  
15 autism, they may have visual processing problems; that  
16 points to the occipital lobe. They may have mood  
17 problems; that points to the lymphatic system. They  
18 may have executive problems, motor problems; that  
19 points to the frontal lobe. They may have  
20 handflapping, involuntary movements; that points to  
21 the basal ganglia. And many studies have implicated  
22 the cerebellum as being involved.

23 When I review the literature to see what  
24 parts of the brain are likely involved, I see  
25 contradictions. Not because the studies are

CORBIER - CROSS

1 inadequate or because they're bad, but because  
2 probably they're looking at different groups of  
3 individuals.

4 I suspect that some individuals may not have  
5 any visible changes at all if you did a biopsy. The  
6 problem is when you diagnose someone with autism,  
7 there is not a single laboratory test that you can do  
8 that confirms the person has autism. In fact, you do  
9 not even have to be a medical doctor to make an  
10 official diagnosis of autism. A psychologist can make  
11 the diagnosis.

12 I mentioned this pseudoautism, which is  
13 caused by depression. Someone like that would not  
14 have any lesions at all; not in the temporal lobe, not  
15 in the, you know, lymphic system, and so forth. So my  
16 assertion that any and all parts of the brain can be  
17 involved is based on different reports of different  
18 areas being involved.

19 Also knowing any good neurologist who  
20 applies the rules that we're taught as neurologists to  
21 ask where is the lesion should come up with the fact  
22 that this is a diffuse problem, which involves various  
23 brain structures. So it is in that sense that I say  
24 any and all parts of the brain can be implicated.

25 Q Is it your opinion that both thimerosal-

CORBIER - CROSS

1 containing vaccines and the MMR vaccine worked in  
2 concert to cause Yates to develop autism?

3 A I believe that both of them had an impact.  
4 When you say in concert, I don't know that one caused  
5 the other, but I know that both of them, based on the  
6 laboratory evaluation that I have, likely, very  
7 likely, could have played a role. In the case of the  
8 thimerosal, I see evidence of oxidative stress due to  
9 his low glutathione. I see his porphyrns, urinary  
10 porphyrns, copoporphyrns being very elevated.

11 So that -- all that tells me is that there  
12 is evidence, laboratory evidence, that there is an  
13 insult caused by mercury causing these laboratory  
14 changes. They don't get there -- studies have shown,  
15 Dr. James has shown that if you compare kids with  
16 autism versus kids who do not have autism, if you look  
17 at their glutathione level, intracellular glutathione,  
18 the glutathione is lower in children with autism,  
19 which makes sense -- it fits with everything we know  
20 about autism, mercury toxicity, other problems -- than  
21 those that do not.

22 So in that sense, I would say that yes, the  
23 mercury component of the thimerosal, and then the MMR.  
24 I see evidence, you know, laboratory wise, that  
25 strongly suggests that this was not just a

CORBIER - CROSS

1 coincidence, this regression, post-MMR.

CORBIER - CROSS

1           Q     But you don't have a hypothesis as to how  
2     both of them combined, working in concert, to cause  
3     Yates to the development of autism.

4           A     Well, in concert in the sense that if you  
5     weaken the immune system, we know that thimerosal can,  
6     is a neurotoxic. So if we have evidence that  
7     thimerosal is involved, which we do, and that we know  
8     that it can depress neuronal function or brain cell  
9     function; and if we know that measles can affect the  
10    gut and can also affect the brain; then, in concert,  
11    you can say that there's possibly a synergistic effect  
12    of the two.

13          Q     What is the evidence that thimerosal causes  
14    neuronal, you said neuronal --

15          A     Oh, yes, neuronal.

16          Q     -- dysfunction? Is that what you --

17          A     Yes, neuronal. There have been several  
18    studies that have looked at, and some are listed  
19    here -- I'm blanking on the name of the author. But  
20    what they've done is they've compared thimerosal and  
21    methyl mercury.

22                   Most of the studies have initially been done  
23    with methyl mercury, which is an organic mercury.  
24    Thimerosal contains ethyl mercury, which is a  
25    different form of organic mercury. So what scientists

CORBIER - CROSS

1 have asked is, could thimerosal cause similar

CORBIER - CROSS

1 problems, as far as the brain, to that of methyl  
2 mercury. And the answer that was found is yes.

3 And as I mentioned earlier, and I don't  
4 remember the author's name, it's been found that when  
5 thimerosal goes to the brain, it actually converts to  
6 inorganic mercury, which binds very strongly to brain  
7 cells at a rate that's seven times faster than methyl  
8 mercury.

9 Also, scientists have said well, let's look  
10 at thimerosal. After all, thimerosal contains not  
11 only ethyl mercury, it also contains thiosalicylates.  
12 Thiosalicylate is just yet another component in  
13 thimerosal, so one researcher asked how do we know  
14 that it is specifically the ethyl mercury that's  
15 causing problems in the brain. Maybe it's the  
16 thiosalicylate.

17 What that particular study showed is that  
18 the thiosalicylate did not affect the immune system,  
19 but the ethyl mercury did. I mean, it affected the  
20 brain as well as the immune system it suppressed the  
21 T-cells.

22 So I think there is growing evidence to  
23 support the fact that ethyl mercury is neurotoxic.  
24 And I think that was part of the basis for the AAP and  
25 Human Health Services and other groups coming together

CORBIER - CROSS

1 to recommend the quick removal of thimerosal from the  
2 vaccines.

3 Q In what dose is ethyl mercury neurotoxic?

4 A Yes. I don't think --

5 Q Are these papers talking about ethyl mercury  
6 at the doses that are contained in thimerosal-  
7 containing vaccines?

8 A I think some studies are a little bit  
9 misleading, or I think not designed properly. Because  
10 we should not necessarily be talking about dosages or  
11 doses. Now, we know that the EPA said that if you  
12 have 187.5 micrograms of thimerosal, that exceeds the  
13 limit that they consider safe -- not for kids, but  
14 even for adults.

15 Q Who said that?

16 A The EPA.

17 Q Said that about ethyl mercury?

18 A What's that?

19 Q The EPA said what about ethyl mercury?

20 A No. They said that the level of mercury  
21 that is considered above what is considered safe is a  
22 cumulative dose of 187.5 micrograms. It was based on  
23 that recommendation, in large part, that the  
24 recommendation was made to remove thimerosal from the  
25 vaccines. However, I don't think looking at -- and

CORBIER - CROSS

1 that was for I think methyl mercury, but they applied  
2 it as well as ethyl mercury.

3 Q The EPA applied it to ethyl mercury?

4 A Yes, they did. Because ethyl mercury is  
5 also an organic mercurial, just like methyl mercury.  
6 And a lot of the data, most of the data, the initial  
7 data was with methyl mercury. All the epidemiologic,  
8 Seychelles Island and Faroe's Island, Minamata  
9 disease, these were all based on methyl mercury.

10 But some researchers have shown that ethyl  
11 mercury is also not only neurotoxic, but also it  
12 impairs the immune system.

13 Q What studies are you discussing that say  
14 that thimerosal suppresses the immune system?

15 A There is a study, let me see if I can find  
16 it. It's in one of the --

17 (Pause.)

18 A It's in one of the lists -- I don't know if  
19 I have it. It's basically a study, that's the same  
20 study that I'm referring to that looked at thimerosal  
21 and the subcomponents, ethyl mercury and the  
22 thiosalicylate. And I can find that for you for the  
23 record.

24 Q I'll ask you a few questions.

25 A Sure.

CORBIER - CROSS

1 Q Was it an in vitro or in vivo study?

CORBIER - CROSS

1           A     It was, I think, an in vitro, I believe. I  
2 believe.

3           Q     And were the doses of thimerosal equivalent  
4 to the doses that are contained in thimerosal-  
5 containing vaccines?

6           A     I don't remember specifically what the doses  
7 were. But regarding the dosage question -- which I  
8 think I kind of went on a tangent, I didn't answer  
9 your question -- the dosage is not the biggest issue  
10 when it comes to mercury, in the sense that if you  
11 look at all children that are vaccinated, if everyone  
12 receives the same dose of methyl mercury, or ethyl  
13 mercury for that matter, not every child will be  
14 affected.

15                     Instead, what most of the researchers have  
16 focused their attention on is the ability to excrete  
17 mercury from the body. I think most people tolerate  
18 thimerosal. Not that it's necessarily safe, but  
19 people have mechanisms in place in their body to  
20 excrete the thimerosal, excrete the ethyl mercury.

21                     However, if you're genetically predisposed  
22 to not eliminate the ethyl mercury, even if the dose  
23 is relatively small, you may have problems. So in  
24 other words, we're dealing with a sensitivity issue.

25                     There's a recent study that came out just

CORBIER - CROSS

1 this year, measuring mercury in baby teeth. And that  
2 was a good indicator of increased body burden of  
3 thimerosal in the body. I think Bradstreet did a  
4 study where he looked at over 200 kids with autism.  
5 He compared them with a control to see if, to look at  
6 how they're excreting the mercury, and found that the  
7 control, they were able to excrete the thimerosal, or  
8 mercury, I should say, but not the autism group.

9 Amy Holmes has done a study where she looked  
10 at first baby hairs, where it was found that children  
11 with autism were not able to excrete mercury. They  
12 were not able to eliminate it from their body. And if  
13 you have a high body burden of ethyl mercury, then it  
14 goes to the brain and other tissues, and can cause  
15 damage.

16 So I think it's very important to know that.  
17 We're really dealing with an underlying problem of  
18 elimination.

19 Talking about biological possibility, we do  
20 have other models. For example, one neurological  
21 problem where a heavy metal is not excreted is  
22 Wilson's disease. Wilson's disease is a condition  
23 where some individuals are not able to excrete copper.  
24 The copper goes to the brain, the retina, other  
25 conditions, and you have problems.

CORBIER - CROSS

1           Q     And that genetic condition has been  
2     identified, correct? We know the gene that causes  
3     Wilson's disease.

4           A     Yes, we know the gene that causes Wilson's  
5     disease.

6           Q     We can't identify the genetic causation of  
7     somebody's inability to excrete or not excrete  
8     mercury. There has been no identified genetic  
9     disorder, is that correct?

10          A     Well, since 2003, fortunately we've been  
11     able to -- not me, but other scientists have come up  
12     with a way to complete the human genome project. And  
13     there's a lot of research underway to understand  
14     underlying mechanisms. What I think will happen in  
15     the future is we'll never, ever -- I can make that  
16     statement with confidence -- we'll never find a or an  
17     autistic gene. We'll never do that.

18                     But what we will find in the future, or  
19     geneticists is, we'll find groups of genes and  
20     susceptibility factors that may account for different  
21     traits. For example, we may identify in the future  
22     particular genetic mutations or problems that  
23     interfere with the ability to excrete certain metals  
24     or other things of that nature.

25          Q     But as of right now, that's pure

## CORBIER - CROSS

1 speculation.

2 A What's a speculation?

3 Q That there is a genetically susceptible  
4 class of children that cannot excrete mercury.

5 A No, that's not speculation at all. There  
6 have been several studies that have compared children  
7 with autism versus children without autism. And it's  
8 been shown that the children with autism are not able  
9 to excrete the mercury, meaning that they have a  
10 greater body burden than those who do not have autism  
11 and are able to excrete the mercury. So that part is  
12 not speculation at all.

13 Q And what are those studies, again?

14 A I mentioned Bradstreet did a study where he  
15 looked at over 200 children.

16 Q And do you know where that was published?

17 A It was a few years ago. I don't remember a  
18 specific date.

19 Q And that's --

20 A And then there's -- I'm sorry?

21 Q I'm sorry. And that was this hair study, is  
22 that correct?

23 A No, the hair was yet another study. Amy  
24 Holmes did a study, that was also a few years ago.  
25 Don't quote me on the date, 2002, 2001, or something

CORBIER - CROSS

1 like that. Amy Holmes in Louisiana did a study where  
2 she looked at baby hairs.

3 Now, this was an interesting study, because  
4 she really did not anticipate the findings. She  
5 thought that the group of children with autism would  
6 probably have a lot of mercury excretion. But what  
7 she found was just the opposite: kids with autism had  
8 a very low amount of mercury in their hair, compared  
9 to other children with autism.

10 And so with the help of Boyd Haley I think,  
11 who was a toxicologist, they were able to work out the  
12 mechanism and show that there's a problem with  
13 excretion.

14 There's a study, and I apologize, I forget  
15 the name, but we can give it to you. I have it here.  
16 A study that came out just this year, in 2007, looking  
17 at increased mercury in the teeth of children with  
18 autism. Again showing this increased body burden  
19 concept.

20 Q That study was the baby teeth of autistic  
21 children, is that correct?

22 A Yes. I don't remember their age. I don't  
23 think they were young like the children in Amy  
24 Holmes's group.

25 Q Are teeth excretory organs? I mean, do

CORBIER - CROSS

1 people excrete mercury through their teeth normally?

CORBIER - CROSS

1           A     I don't know too much about dental  
2     physiology in that sense.  But it appears that the  
3     teeth is one way of measuring body burden of anything.  
4     In fact, in that study they looked not only at the  
5     mercury, but they looked at lead, and I think they  
6     looked at cadmium.

7                     They specifically found that if you looked  
8     at both groups, there was not a problem with lead,  
9     there was not a problem with cadmium, I think, but  
10    there was a problem with mercury.  So that was good,  
11    you know, selective proof of body burden.

12                    So that has been looked at in a variety of  
13    ways.  Teeth, hair, and other methods.  And that just  
14    gives credence to the fact that we're not looking at a  
15    dosage problem.  If you're able to eliminate,  
16    regardless of the dose -- I shouldn't say regardless.  
17    If it's an extremely high dose, you will be toxic.  
18    But if it's a reasonable dose, then you may be able to  
19    tolerate the effects, the toxic effects.

20                    But if you don't have the underlying genetic  
21    predisposition to clear the toxin from your body, then  
22    you'll have problems.  And I think that's what all of  
23    the studies that I have reviewed show, that we're  
24    dealing with a problem, genetically based  
25    susceptibility to clear mercury from the body, not

CORBIER - CROSS

1 necessarily the toxin. And I can, if I may --

2 MS. RENZI: Go ahead.

3 THE COURT: Go ahead. I was just going to  
4 say for the record, I believe the article to which  
5 you're referring is the 2007 Adams article.

6 THE WITNESS: Yes, that's it. Adams, yes.

7 THE COURT: Okay. Exhibit, Petitioner's  
8 Exhibit 47.

9 THE WITNESS: If I just might add that I  
10 think between 1850 and 1950, there was a disorder  
11 called Pink's disease, which baffled the medical  
12 community for about 100 years. This was a condition  
13 where children would just become very irritable for no  
14 apparent reason. They would cry for long hours, they  
15 had acrodynia. The extremities turned red and so  
16 forth, and no one could figure out what happened with  
17 these kids.

18 Until I think it was in the 1950s or so they  
19 discovered that they were using teething lotions and  
20 other things that contained mercury, that was getting  
21 in the system, and that was causing various problems,  
22 hypersensitivity and other types of things.

23 Now, some people have said that Pink's  
24 disease is synonymous with autism. This is one area I  
25 do not quite agree, because the symptoms, if you look

CORBIER - CROSS

1 at Pink's disease, there are some autistic  
2 characteristics with Pink's disease, but I don't think  
3 it matches up 100 percent. But I use that example  
4 just to say that many kids were using the same  
5 teething lotions, same concentrations, same dose, but  
6 only some came down with this Pink's disease.  
7 Suggesting that again, we're not dealing with a dose  
8 issue, but we're likely dealing with the genetically  
9 based underlying susceptibility to clear the toxin.

10 BY MS. RENZI:

11 Q In Pink's disease, the teething powder was  
12 administered by parents as needed, is that correct?  
13 To the gums of the children?

14 A I believe so.

15 Q Then how can you conclude that all the doses  
16 that the children received were the same?

17 A I don't mean to conclude or to say that they  
18 were the same. But if you look at an entire  
19 population, only some of the children came down with  
20 Pink's disease. But that is a valid point. We don't  
21 know exactly how much -- I don't think any study was  
22 done to look at, you know, how much they were given.

23 But the point I was trying to make is, you  
24 know, it's likely that some people came down with the  
25 disease because -- in other words, not everyone that

CORBIER - CROSS

1 used it. You might have a family where, you know, say  
2 you had twins, for example -- this part is  
3 speculation -- when someone might come down with it,  
4 and someone else, no.

5 But with vaccines, that is not the case,  
6 because we know exactly how much mercury everyone is  
7 getting. And we know that some people are  
8 susceptible; others are not.

9 Q How do we know that some people are  
10 susceptible and some people are not?

11 A How do we know that some people are  
12 susceptible to mercury toxicity?

13 Q Yes, and some people are not.

14 A I'm using that based on studies where, you  
15 know, children are compared that have an inability to  
16 clear mercury, versus individuals who are able to  
17 clear it very well. And the ones that are able to  
18 clear it do not have symptoms.

19 Going back to Amy Holmes's group, not only  
20 did she find that individuals who were able to, who  
21 had a low hair mercury level, not only did she find  
22 that these children were autistic; she was able to  
23 stratify. That is, those with a lower level, those  
24 that were not excreting things at all, were more  
25 severely affected.

CORBIER - CROSS

1                   So I think what I'm trying to emphasize is  
2                   the fact that dose I don't think is the biggest  
3                   player. What is a susceptibility to clear toxins.

4                   Q       What is the Bradstreet study that you rely  
5                   on?

6                   A       I don't remember the year, but it's  
7                   referenced in the Cedillo case. It was I think a few  
8                   years ago. He looked at 260 children or so, and he  
9                   compared them with a control; the control was smaller.  
10                  And he tried to measure, I believe, the excretion of  
11                  mercury in children with autism, versus children  
12                  without autism, and found a higher -- he found that, I  
13                  think, that the children with autism had a higher body  
14                  burden than the group that did not.

15                  Q       What did he study? It wasn't the hair. Was  
16                  it urine? Was it feces? What did he study?

17                  A       I think he might have done urine. I think  
18                  he might have done urine. Now, I'm not sure which  
19                  direction this question is going, but if I may just  
20                  kind of elaborate a little bit. There have been other  
21                  studies. I think Fombonne did a study -- I'm not sure  
22                  I'm pronouncing his name well -- where he looked at  
23                  blood levels of mercury, and concluded well, there are  
24                  no changes with autism and nonautistic children.

25                  //

CORBIER - CROSS

1           If one is going to do a study, it has to be  
2           done correctly. If someone is exposed to mercury,  
3           that mercury does not stay in the bloodstream for very  
4           long. So if you want to look at body burden, you have  
5           to do a chelation challenge, which means that you give  
6           a chelator, you check the urine in a few hours, and  
7           then you can measure if something is coming out.

8           Q     And to do a chelation study, don't you need  
9           both prechelation studies, prechelation levels and  
10          postchelation levels?

11          A     That's exactly the way I would do a study.  
12          That's right.

13          Q     And did Dr. Bradstreet do his study with  
14          prechelation levels?

15          A     I don't remember exactly how he did his  
16          study. But you know, I know Dr. Bradstreet is very  
17          well aware of pre and post chelation issues. So it  
18          wouldn't surprise me if he conducted his study the  
19          proper way.

20          Q     And if he did not? If he did not obtain  
21          prechelation levels, would you still rely on that  
22          study to show that there is a subset of children --

23          A     If he did not rely --

24          Q     -- who cannot excrete mercury?

25          A     I think there would still be an indication

CORBIER - CROSS

1 of something is wrong. If you don't do a  
2 prechelation, and you do a chelation challenge, and  
3 that one group is excreting a lot of mercury and a  
4 group is not, I think that already shows something.

5 But in terms of pre- and postchelation, that  
6 would be a, you know, that's something else that could  
7 be done, as well. But I think that even without doing  
8 the prechelation, just showing that one group has  
9 heavy metals that you are able to prove through  
10 chelation versus a group that you give a chelator, the  
11 same chelator, the same dose, and they're not  
12 excreting the mercury, you can conclude that there is  
13 a difference. That should be meaningful.

14 I think the purpose of the study was to  
15 show, through a laboratory test, that children with  
16 autism have a higher body burden of mercury. And the  
17 mechanism to explain that is inability to  
18 spontaneously excrete mercury.

19 Q How else can you get mercury in your body?

20 A Which type of mercury are you talking about?

21 Q Methyl mercury? Ethyl mercury?

22 A Methyl mercury can be obtained through a lot  
23 of different sources: fish, the environment. I think  
24 all of us are exposed to mercury in one way or  
25 another.

CORBIER - CROSS

1 Q And did Dr. Bradstreet's chelation study  
2 differentiate between ethyl mercury and methyl mercury  
3 found in the urine?

4 A I don't recall. I don't recall.

5 Q Did Dr. Bradstreet's study take into account  
6 dietary concerns?

7 A Such as?

8 Q Fish consumption.

9 A I don't know.

10 Q Would that make a difference to you in the  
11 reliability of that study, that it's due to  
12 thimerosal-containing vaccines, the chelation levels?

13 A Not really. In the sense that assuming,  
14 well, the research that I have found is that the fish  
15 that we consume are regulated. There are guidelines.  
16 The amount of mercury from fish, unless you get a lot  
17 of shark and some other types of fish, the smaller  
18 fish are fairly safe, based on the normal American  
19 consumption of fish, which is not extremely high.

20 So in, you know, generally speaking, unless  
21 you have someone who is eating a lot of shark and  
22 someone who had no exposure to fish at all, that might  
23 potentially play a role. Potentially.

24 Q Do you know how much mercury there is in a  
25 can of tuna fish?

## CORBIER - CROSS

1           A     I don't know, but I think that that's, you  
2     know, highly variable. Tuna is a bigger fish, and  
3     there are certain types of tunas that contain a lot of  
4     mercury. But the last reports that I saw is that the  
5     average can of tuna, with the regular consumption that  
6     most Americans would use, would not, you know,  
7     necessarily give a problem. But I can't tell you  
8     numbers.

9           Q     But we don't know whether Dr. Bradstreet did  
10    take a dietary profile of any of these children to  
11    know their fish consumption, what type of fish  
12    consumption they were eating.

13          A     Yes, I don't know.

14          Q     Do you agree that methyl mercury and ethyl  
15    mercury are different forms of --

16          A     Yes, I agree that they are two different  
17    organic mercurial compounds.

18          Q     And would you agree that they have different  
19    toxicological properties?

20          A     Yes. I think we still need to study them in  
21    more detail, but as I mentioned earlier, it seems that  
22    when ethyl mercury goes to the brain, it converts to  
23    inorganic mercury seven times faster than methyl  
24    mercury. So we know that there are differences.

25          Q     What is the basis for that statement?

CORBIER - CROSS

1           A     You mean, how did they do the study?

2           Q     No.  What is the basis for your statement  
3           that ethyl mercury converts into inorganic mercury  
4           seven times faster --

5           A     There was one study that looked at ethyl  
6           mercury in comparison to methyl mercury, and that's  
7           the conclusion, based on this study.  Based on their  
8           methodology, that's what they were able to report.  
9           I'm not a toxicologist, so I cannot comment on the  
10          intricacies of that particular study.  But that's what  
11          was --

12          Q     Can you name that study?

13          A     I don't remember the author, but I'd be  
14          happy to make sure that this is provided to the Court  
15          for the record.

16          Q     Do you know how long it takes for ethyl  
17          mercury to clear the bloodstream?

18          A     I'm not sure exactly how long.

19          Q     Do you know how long it takes for methyl  
20          mercury to clear the bloodstream?

21          A     I think methyl mercury can clear the  
22          bloodstream in, I've seen some reports that say weeks,  
23          I've seen some that say a little bit sooner.  But it  
24          does, you know -- maybe a few weeks.  And that's an  
25          important consideration.  Because if you're going to

CORBIER - CROSS

1 assess mercury levels in someone who has been exposed  
2 several months ago, that may skew your study results.

3 Q You talked about the studies at Minamata and  
4 Seychelles and Faroe's I think in your testimony  
5 today, and also in your report, is that correct?

6 A Yes, that's correct.

7 Q Were those prenatal or postnatal exposures  
8 at Minamata?

9 A My understanding from the studies is that  
10 they were mostly prenatal, it's my understanding.

11 Q Of methyl mercury, correct?

12 A Of methyl mercury, that's correct.

13 Q Through maternal consumption of fish, is  
14 that correct?

15 A Yes. Yes, contaminated maternal consumption  
16 of fish.

17 Q And how does that study compare to the dose  
18 of thimerosal that children receive postnatally in  
19 thimerosal-containing vaccines?

20 A First of all, that study did look at methyl  
21 mercury, not ethyl mercury. And they were looking at  
22 prenatal exposures, that children who were affected  
23 had a different type of problem. A lot of them had a  
24 cerebral palsy type of picture.

25 I use that example just to suggest that

CORBIER - CROSS

1 organic mercury in general, and specifically methyl  
2 mercury, does affect the developing brain. That's a  
3 starting point. We talked about biological  
4 plausibility. It is biologically plausible based on  
5 this model that organic mercury in the developing  
6 brain is toxic, neurotoxic.

7 At the same time, I think these studies show  
8 that the adults, as far as I recall from the study,  
9 were not affected, as the children were. Which  
10 suggests that if you have a young child who is having  
11 rapid brain development, which is the case for young  
12 infants, that they are particularly susceptible to  
13 toxins in general.

14 I think at Faroe Island, there was a  
15 differentiation between the Faroe Islands and the  
16 Seychelles, where I think there were more problems in  
17 the Faroe Islands, even though superficially they were  
18 both consuming, you know, contaminated fish. But they  
19 found that there were other environmental factors,  
20 such as PCBs and other toxins involved in the children  
21 within the Faroe Islands.

22 The other thing that was very interesting is  
23 the idea of pulsing. Instead of the one big bolus  
24 they were getting these exposures, you know,  
25 intermittently.

CORBIER - CROSS

1                   So I use this as a model, basically, to  
2           strongly suggest, based on epidemiologic studies, that

CORBIER - CROSS

1 organic mercury is toxic to the neurodevelopmental  
2 brain. I'm not using that study to say that this  
3 study shows that thimerosal causes autism, no. I'm  
4 saying that these studies point us to the direction of  
5 looking at environmental factors. That's why I  
6 strongly believe that environmental factors play a  
7 role in autism and other neurodevelopmental problems,  
8 as demonstrated by these cases.

9 Q Are those studies dose-related? Do we know  
10 the dose at Minamata?

11 A At Minamata in Japan?

12 Q Do we know the dose of methyl mercury?

13 A I don't know if we have -- you're talking  
14 about Minamata. You're not talking about the Faroe  
15 Islands or the Seychelles Islands.

16 Q We'll go there first. Let's start with  
17 Minamata. They are different.

18 A Yes. I don't recall the dose. I'm not  
19 saying they didn't say the dose, but I don't recall  
20 that, that detail. All I know is that with Minamata  
21 disease, you know, many individuals were affected.  
22 Older individuals, not children.

23 In fact, with Minamata disease -- and also  
24 Iraq, where grain was contaminated with methyl  
25 mercury. This is what led the U.S. to go and look at

CORBIER - CROSS

1 what's happening with the Faroe Islands and  
2 Seychelles.

3 So one group of epidemiologic problems led  
4 to further investigation. And I think that's the way  
5 science works. We look at all of the data, what I  
6 would call the natural history of things, and we try  
7 to apply what we know from nature, from past  
8 experiences, to what we see happening right now.

9 Q In the Seychelles and Faroe Islands, let's  
10 start with the Seychelles Islands. What was the  
11 consumption of fish in the Seychelles Islands?

12 A Do you mean what type of fish?

13 Q What type of fish.

14 A I don't recall.

15 Q What were the resulting neurological  
16 deficits that resulted from the prenatal exposure of  
17 fish consumption in the Seychelles?

18 A I think there was a range of problems,  
19 ranging from neurodevelopmental to motor problems,  
20 cerebral palsy-type pictures. So I think there was a  
21 range of neurological impairment, not just one  
22 particular problem. Learning deficits, things like  
23 that.

24 Q Cerebral palsy?

25 A Yes, cerebral palsy picture. In other

CORBIER - CROSS

1 words, cerebral palsy is a condition where there is an  
2 abnormality in your muscle tone. So children with  
3 cerebral palsy can either be spastic, where they're  
4 very tight, or they can be very floppy. And there is  
5 an arrest usually, or a problem with their motor  
6 development. And of course, kids with cerebral palsy  
7 may have seizures. Some have mental retardation,  
8 though not all of them. So that was also one of the  
9 several neurological complications seen there.

10 Q Was autism, was there an increase in autism  
11 in the Seychelles as a result of the methyl mercury?

12 A In the studies I've viewed -- I don't, at  
13 least in the articles I looked at, I didn't see  
14 autism. What I saw was a range of neurodevelopmental  
15 and, you know, motor problems.

16 Now, that does not mean that there was not  
17 autism, because I doubt very much that in the island,  
18 there were people who were very aware of autism and  
19 knew what to check. I think that there has been  
20 better recognition of autism over the years. So in  
21 fact, that's why some people believe that -- I'm one  
22 of them -- believe that better diagnostic or better  
23 awareness has led to better recognition of autism.

24 So I believe that if there were children  
25 with autism, and there's a possibility that there

CORBIER - CROSS

1 were, that investigators probably were either not

CORBIER - CROSS

1 aware of autism, and therefore did not ascertain  
2 whether that was present.

3 Q That's just speculation on your part,  
4 however, correct?

5 A Pure speculation, yes.

6 Q Faroe Islands? Do you know the consumption  
7 of fish?

8 A I don't know the type of fish. But what I  
9 do know with the Faroe Islands is that studies showed  
10 there was more involvement of neurological impairment  
11 than in the Seychelles Islands. And I think that that  
12 led to some comparative studies to see -- in fact, I'm  
13 sorry, the cerebral palsy-type picture. I think a lot  
14 of these things apply to the Faroe Islands.

15 With the Faroe Islands what was found is a  
16 lot of the children had more complications than the  
17 Seychelles Islands. At least that was statistically  
18 significant epidemiologically. And I thought that was  
19 important, too, because, you know, on the surface,  
20 they are both exposed to similar types of toxins. But  
21 later it was found that there was a different type of  
22 exposure, in terms of more toxicities in the Faroe  
23 Islands, not just the thimerosal, but according to  
24 what I've read, PCBs and other toxins.

25 And again, I want to emphasize, that's why I

## CORBIER - CROSS

1 talk of contributing factors. There may be other  
2 things out there in the environment that we do not yet  
3 know of, but hopefully in the future we'll have a  
4 better understanding of all of the various  
5 contributing factors to autism, both genetic and  
6 environmental.

7 Q Now, the Faroe Islands, you say thimerosal  
8 or methyl mercury?

9 A If I said thimerosal, I meant methyl  
10 mercury.

11 Q Okay. And then what was the other possible?

12 A PCBs.

13 Q Do you know if there is an increase in the  
14 diagnosis or incidence of autism in the Faroe Islands?

15 A I don't know. But the same thing I said  
16 about the Seychelles Islands would definitely apply to  
17 the Faroe Islands.

18 Q You state on page 15 of your report --

19 (Pause.)

20 Q It's the last paragraph. "Although signs of  
21 acute mercury toxicity are different from those of  
22 autism, it is very reasonable that ethyl mercury  
23 toxicity in chronic low doses with environmental  
24 triggers can contribute to the development of autism."

25 A Yes.

CORBIER - CROSS

1           Q     What is, could you please define "chronic  
2     low dose?"

3           A     What I meant when I was writing this  
4     particular paragraph, chronic low dose is instead of a  
5     one-time large bolus, whether by mouth or  
6     intravenously, I was trying to say a repetitive dosing  
7     pattern. For example, children who were vaccinated at  
8     two, four, six months, a year, and so forth. If we're  
9     looking at mercury, they're exposed in pulses, as  
10    opposed to someone who has a large bolus of  
11    intravenous ethyl mercury, or methyl mercury for that  
12    matter. I suspect, and I think these epidemiological  
13    studies would also support that, that the changes  
14    would be very different. So that's exactly what I  
15    meant.

16          Q     So your definition of chronic is every few  
17    months over the course of a specific time period.

18          A     And there's no specificity. I was just  
19    trying to say recurrent doses, whether it's, you know,  
20    a month, three months, but just recurrent doses, as  
21    opposed to a big, large dose.

22                A good example is lead. I've taken care of  
23    kids with acute lead toxicity. If you have a very  
24    high level of lead exposure, that may land you to the  
25    ICU with coma, seizures, and other types of problems.

CORBIER - CROSS

1 And by the way, you would need chelation with EDTA.

2 But if you're exposed to lead, for example  
3 if you live in a very old house and you're exposed to  
4 lead in a smaller level, but in a more chronic  
5 fashion, you may have other symptoms, such as  
6 attention deficit hyperactivity disorder. There may  
7 be changes in your blood in terms of small microcytic  
8 cells. So you may have a different set of clinical  
9 presentations.

10 Q So in that paragraph when you refer to  
11 chronic low doses, you just mean the normal  
12 vaccination schedule the children undergo.

13 A That would be one example. I wasn't just  
14 referring to that, but that would be one example of a  
15 repetitive small dose of toxin.

16 Q Chronic. You say chronic in your report.

17 A Yes.

18 Q What do you mean by "low dose?"

19 A Low is not very specific, but what I mean is  
20 a dose that in most healthy individuals should not  
21 cause sign of acute toxicity.

22 For instance, in that context, I did not  
23 include a specific value, because the only point I was  
24 trying to make is just a dose that's low enough to be  
25 considered tolerable to most people. Did I answer

CORBIER - CROSS

1 your question?

2 Q And that would be -- so chronic low doses is  
3 just simply saying the vaccines that children receive  
4 in the normal course of proper medical care?

5 A No. I mean, you can infer that. But all  
6 I'm trying to say, or what I was intending to say when  
7 I was writing this, is that, you know, if you have a  
8 repetitive dose that's low, you can have symptoms.

9 I was, in my mind, contrasting that with a  
10 situation where, instead of having several doses over  
11 a period of time, you just have one large bolus. And  
12 I think that question is pertinent to, you know, other  
13 conditions that have been attributed to autism, with  
14 methyl mercury presenting different ways.

15 You know, I think that if a person has a  
16 very high exposure to mercury, and that they have  
17 verifiable mercury toxicity, depending on how the  
18 mercury is given, they may have a totally different  
19 set of symptoms.

20 For example, if you give a very high dose of  
21 mercury, let's say methyl mercury, or even ethyl  
22 mercury, as one of my articles point or show, you may  
23 have renal shutdown. You may have renal failure. You  
24 may not necessarily have that if you have a small dose  
25 given in small repetitive dosages.

## CORBIER - CROSS

1 Q So dose is important, would you agree?

2 A What's that?

3 Q Dose is important?

4 A It's important in the sense that it can  
5 dictate the way certain symptoms manifest. In that  
6 sense. Low, one-time dose toxicity versus small  
7 recurrent dose. But it's not important in the sense,  
8 or as important in the sense of stating that in order  
9 to have certain symptoms, you have to have a  
10 particular dose, because that varies, depending on the  
11 individual.

12 If an individual has a very good ability to  
13 clear certain toxins, for example let's say they have  
14 a healthy set of enzymes we call metallothionine,  
15 which is an enzyme that's responsible for clearing or  
16 detoxifying heavy metals, then that person may  
17 tolerate a higher dose of mercury; versus someone who  
18 is exposed to just a low dose of mercury, but because  
19 a particular enzyme whose job it is to rid the mercury  
20 is not functioning properly, that individual with the  
21 relatively small dose may run into problems, or may  
22 develop symptoms.

23 THE COURT: Is it not also true, Dr.  
24 Corbier, that dose is important when you refer to  
25 chronic low dose? You say you didn't know what that

CORBIER - CROSS

1 was, but it was the

CORBIER - CROSS

1 level at which a dosage would be acceptable, or that  
2 most people could survive, could tolerate.

3 A Could tolerate, yes.

4 Q So dosage is also important.

5 A Yes, yes.

6 Q For that purpose.

7 A For that purpose, absolutely. Let's put it  
8 this way. Another way to rephrase what I'm trying to  
9 say is I don't think that the thimerosal that children  
10 receive that do not have symptoms, I don't think it's  
11 because necessarily that that level is safe. But I  
12 think that at that level, most people's immune system,  
13 most people have a level of, how should I say, they  
14 have enzymes that are competent enough to, at that  
15 level, detoxify the particular chemical.

16 So another way to put this yet is if we were  
17 to give higher and higher doses, there would be more  
18 and more people that would show vulnerabilities to the  
19 thimerosal, or any other agent, for that matter. So  
20 it's in that sense that I think -- I don't know if I'm  
21 making sense. I have one thing in mind, but I don't  
22 know if I'm expressing it. Did I explain it to you?

23 Q I understand your point.

24 MS. RENZI: Is the inability to excrete  
25 mercury a recognized condition in the general medical

CORBIER - CROSS

1 community?

## CORBIER - CROSS

1           A     What do you mean general medical community?

2     What is your definition of general medical community?

3           Q     Is there a diagnostic code for it?

4           A     I believe, well, I mean, that would go under  
5     a toxicological code. I can't tell you what that code  
6     is.

7           Q     But there is a specific code for an  
8     inability to excrete mercury?

9           A     I don't know that the code would just apply  
10    to mercury. It might, or it might just be a code  
11    dealing with heavy metal problems in general.

12          Q     If I asked a medical toxicologist if he  
13    recognized the condition of a person's inability to  
14    excrete mercury, do you think he would recognize that  
15    condition?

16          A     I have spoken to several, and know of  
17    several, very well-qualified professors in toxicology  
18    that would say yes. I also know others that would say  
19    no, but in medicine controversies occur all the time.

20          Q     Can you explain to me how an inability to  
21    excrete mercury causes autism?

22          A     You have to basically see what happens if  
23    you don't excrete mercury. The first thing that has  
24    been shown is if you do not excrete mercury, that  
25    mercury will leave the blood system -- so if you're

CORBIER - CROSS

1 exposed, it will first go to the blood system. And as  
2 we said earlier, after a few weeks or so that mercury  
3 will travel, and will go to different tissues,  
4 including the brain. That's an established fact.

5 We know that mercury is neurotoxic. That I  
6 think is very well established. And we also know that  
7 several studies, which I mentioned before, have shown  
8 that children with autism, as compared with children  
9 who do not have autism, have demonstrated inability to  
10 get rid of the mercury.

11 If they're not ridding the body of mercury,  
12 naturally, that is on their own, then that mercury is  
13 in the body. And I don't think, most people would say  
14 that mercury is not safe. It's one of the, you know,  
15 most remarkable toxins known to man.

16 So if you're exposed to mercury, if you're  
17 not excreting it, it's going to different tissues,  
18 such as the brain. And if we know that it's  
19 neurotoxic, and if we know that children with autism  
20 are shown, through several studies, to have a higher  
21 burden of mercury; then it is, that's good evidence to  
22 suggest that mercury toxicity is linked to autism.

23 I say linked, because I'm not suggesting  
24 that that's the only factor present. If a child with  
25 autism is exposed to mercury, and that child has the

CORBIER - CROSS

1 ability to properly excrete mercury, I don't think the  
2 mercury will necessarily contribute to that particular  
3 child's autism.

4 If, on the other child, it is a child with  
5 autism that has demonstrated inability to clear the  
6 body of mercury, then I think that that's good  
7 clinical evidence, better than some other evidence  
8 that we use all the time in medicine, to suggest that  
9 connection.

10 Q After mercury is injected, after thimerosal  
11 is injected into the body, what happens to that  
12 thimerosal?

13 A The thimerosal will, it will stay in the  
14 bloodstream for a period of time. And then what will  
15 happen to the thimerosal is, it will go to different  
16 tissues. And it's been shown that thimerosal can  
17 cross the blood brain barrier. So one of the places  
18 that the mercury will end up in the thimerosal is the  
19 brain. I believe other organs, it may also go to  
20 other organs. But as far as our discussion, the brain  
21 is the main concern.

22 Q What other organs does the thimerosal --

23 A I saw some studies that showed that it could  
24 go to the kidneys, for instance.

25 Q Do you know what percentage of the mercury

CORBIER - CROSS

1 goes to the kidneys?

2 A I don't know what percentage, but I can tell  
3 you that it's interesting that many children with  
4 autism have a specific metabolic condition called, let  
5 me see, it's a transsulfuration defect. It's a  
6 condition where -- I'm trying to remember the name of  
7 the enzyme. It's sulfa. It's phenosulfatetransferase  
8 deficiency, or PSD for short.

9 Phenosulfatetransferase deficiency is a  
10 condition where there is an enzyme that's not allowing  
11 proper excretion of sulfates, or there's a problem  
12 with excretion of sulfates. And that involves the  
13 renal system. That's important, because there are a  
14 lot of children that do not tolerate certain  
15 chemicals, including phenols. And that's attributed  
16 to this phenosulfatetransferase next to the renal  
17 system.

18 And so the kidneys I think can be a site of  
19 involvement in certain children with autism.

20 Q What else does the thimerosal go to?

21 A It I think can go to muscles. And I refer  
22 to a study that was done by Axton, where some  
23 individuals -- this was a little bit different case.  
24 They received a big bolus of thimerosal, and a lot of  
25 them had necrosis of the buttocks. So I assume that

CORBIER - CROSS

1     you can have some, you know, local reaction from the

CORBIER - CROSS

1 thimerosal.

2 Q Do you know the dose in that study?

3 A I don't know that the dose was identified,  
4 because the thimerosal was actually a contaminant of  
5 chlorine phenocoll, which is an antibiotic. And a lot  
6 of those studies were in Africa. So they did not even  
7 know initially that the thimerosal was present until  
8 later, until they started having problems.

9 It was not just children; there were adults,  
10 as well.

11 Q But you don't know whether dose was  
12 discussed in those papers?

13 A I don't know, but I know they were able to  
14 identify the thimerosal. But I don't know what the  
15 dose is. I would suspect it's a higher dose than what  
16 would be found in vaccines.

17 Q You state that the ethyl mercury crosses the  
18 blood brain barrier and goes to the brain, the  
19 thimerosal.

20 A Yes.

21 Q What percentage of that, what percentage  
22 goes to the brain?

23 A Versus other tissues?

24 Q Yes.

25 A I don't think we know that. Or at least I

CORBIER - CROSS

1 don't.

2 Q And what happens to the mercury once it goes  
3 to the brain?

4 A Once the organic mercury goes to the brain,  
5 it is fairly rapidly converted to the inorganic form.  
6 The inorganic form then will bind to certain proteins  
7 in the brain very tightly, and then it will stay there  
8 for prolonged periods of time. And that's according  
9 to the study.

10 Q How does mercury in the brain cause autism?

11 A I don't know exactly how mercury in the  
12 brain causes autism, but because some studies that I  
13 have reviewed show a loss of brain cells in particular  
14 areas, such as the temporal lobes, the limbic system.  
15 These are all areas where if you have any type of  
16 lesions -- it does not have to be thimerosal, it can  
17 be an encephalitis or a neurochemical change -- you  
18 can then develop autistic symptoms.

19 So I think it's very reasonable to say that  
20 because the thimerosal will affect certain parts of  
21 the brain that are known to be particular areas that  
22 are neuropathologic studies, volumetric, MRI studies  
23 shown to be associated with autism, that that could be  
24 how it does.

25 In other words, it's a question of, in that

## CORBIER - CROSS

1 case, location, and not necessarily mechanism.  
2 Various impairments in the appropriate parts of the  
3 brain can result in autism sometimes, and it doesn't  
4 matter if it's a virus, it doesn't matter if it's a  
5 toxin. It doesn't matter if it's trauma. Some people  
6 with trauma have developed autistic symptoms. So that  
7 would be my answer.

8 Q So is the inorganic mercury then in the  
9 temporal lobe?

10 A What's that?

11 Q Where is the inorganic mercury stored? All  
12 over?

13 A Well, in different areas. In the temporal  
14 lobe is one area. I believe the cerebellum is another  
15 area. The cerebellum has also been implicated in, one  
16 location that's been implicated in autism, the -- of  
17 the cerebellum, through neuropathic studies, is one  
18 area that's involved. So that's one area where  
19 there's nerve cell damage due to thimerosal.

20 Q What studies are you relying on for that?

21 A For which one?

22 Q Where the inorganic mercury goes in the  
23 brain?

24 A I don't remember the authors, but I can get  
25 that to you. I reviewed quite a few studies, but I

CORBIER - CROSS

1 can't remember all of the authors.

2 Q How does thimerosal or mercury cross -- does  
3 the thimerosal go to the brain, or the ethyl mercury  
4 that goes to the brain?

5 A Well, I don't know what happens to the  
6 thiosolicolate, and I don't know if that's been looked  
7 at very carefully. The only study that I'm aware of  
8 that looked at thiosolicolate, which is also part of  
9 thimerosal and ethyl mercury, what that study did was  
10 to specifically state that the ethyl mercury component  
11 had an effect on the immune system, but not the  
12 thiosolicolate. I think it was trying to look at the  
13 specific component that's toxic to the body. But  
14 that's all I know.

15 Q How does it cross the blood brain barrier?

16 A When you say how, what do you mean by that?

17 Q The mechanism.

18 A Well, I don't know exactly what the  
19 mechanism is. I don't think that that's been studied.  
20 But I would assume that it's the same way that any  
21 toxin that crosses the brain, that it would occur.

22 Q How does ethyl mercury convert into  
23 inorganic mercury?

24 A Ethyl mercury converts by a process called  
25 methylation. Methylation is an organic

CORBIER - CROSS

1 compound, only you have a carbon atom and three  
2 hydrogen molecules. So if you attach a methyl group  
3 to an organic mercury compound like ethyl mercury or  
4 methyl mercury, then that will convert to an inorganic  
5 form. That's kind of interesting, because some  
6 studies have shown that children with autism in  
7 general have a higher exposure to antibiotics. So  
8 there are multiple antibiotics.

9           That's a problem as well as far as mercury  
10 is concerned, because in the gut we usually have a  
11 natural gut flora where we have friendly bacteria, so-  
12 called probiotics. And what these do is that they  
13 will methylate; they will convert the organic form to  
14 the inorganic form. And then what happens is that the  
15 inorganic mercury could leave the body very easily.

16           If the gut flora is affected such that you  
17 wipe out the gut flora, and instead you have a buildup  
18 of yeast, which several studies show that kids with  
19 autism have yeast; or if you have e. coli, then they  
20 do the reverse. So instead of having a methylation  
21 from the organic to the inorganic, they do not do this  
22 methylation, so you have a -- remnants of the methyl  
23 mercury. And that is allowed to absorb more quickly  
24 in the system, and that can cause problems.

25           I mention that because it's important to

CORBIER - CROSS

1 look at the immune system, the gut, the brain. I  
2 think the more studies that are coming up, the more  
3 we're able to have a clearer and clearer picture of  
4 what happens to children with autism from a  
5 biochemical standpoint, from a gastrointestinal  
6 standpoint, from an immunological standpoint, and from  
7 a neurological standpoint.

8 Q What studies show that thimerosal causes  
9 brain damage?

10 A There is a study in Japan, and I don't  
11 remember the author. But he showed, I think that was  
12 one of the studies where they showed specific neuronal  
13 damage to brain cells with exposure to mercury. I  
14 mean to thimerosal.

15 Q Was dose a factor?

16 A I don't recall that detail. I don't  
17 remember exactly how that study was conducted, but  
18 that was found. And then, as I mentioned earlier,  
19 some other studies were able to find the specific  
20 location of methyl mercury.

21 Q Was the study in Japan an in vitro or in  
22 vivo study?

23 A I believe it was an in vivo, but I'd have to  
24 check.

25 Q I just want to go to the paragraph on page

CORBIER - CROSS

1 15, the second paragraph from the bottom. A statement  
2 by the U.S. Public Health Service, Department of  
3 Health and Human Services, that paragraph.

4 A Yes, I see it.

5 Q Has the Department of Health and Human  
6 Services ever stated that there is evidence that  
7 thimerosal-containing vaccines cause autism?

8 A I don't think I've seen that statement. But  
9 this statement basically suggests that there was  
10 enough concern that something had to be done. So I  
11 assume -- and this is just an assumption -- that with  
12 all of the information that they had at hand at that  
13 time, there was enough concern to request that  
14 thimerosal be removed urgently. I don't think if  
15 there was no concern whatsoever, that they would have  
16 made that comment.

17 I am aware that some of the people that were  
18 involved here were probably aware that not adequate  
19 studies, or not enough studies were done when  
20 thimerosal was added to vaccines as an adjuvant. So I  
21 think with that, and you know, research showing that  
22 there are problems, that that was enough to suggest  
23 that thimerosal be removed. And I think that should  
24 be applauded.

25 Q Hasn't the American Academy of Pediatrics

CORBIER - CROSS

1 specifically stated that thimerosal-containing  
2 vaccines pose no risk, increased risk, of autism?

3 A They may have, but that would contradict  
4 their position in this statement.

5 Q Has the EPA ever stated that thimerosal  
6 that's contained in thimerosal-containing vaccines  
7 causes autism?

8 A I don't think they were that specific, no.

9 Q Is it your opinion that Yates has persistent  
10 measles virus in his gut, which either caused or  
11 contributed to his autism?

12 A In his where?

13 Q In his gut.

14 A In his gut. I think it's more likely than  
15 not that that's the case. I can't prove it right now  
16 because I don't, labs were not done to prove it. But  
17 he does have similar findings, the lymphonodular  
18 hyperplasia, the colitis that children who have been  
19 shown to have the persistent measles virus were noted  
20 to have this persistent virus. So I'm kind of  
21 inferring, based on that, that that's a likely  
22 explanation.

23 Q Is it your opinion that Yates has autistic  
24 enterocolitis?

25 A Autistic enterocolitis is a term that Dr.

CORBIER - CROSS

1 Wakefield introduced to the medical community. And I  
2 think what Dr. Wakefield was saying with that term is  
3 that in the subset of children that he looked at that  
4 had gastrointestinal problems, lymphonodular  
5 hyperplasia, and autism, that they had this new entity  
6 that he described as enterocolitis, autistic  
7 enterocolitis.

8 In the sense that Yates had the  
9 lymphonodular hyperplasia, or has the lymphonodular  
10 hyperplasia, and autism, then that term would be  
11 applicable, yes.

12 Q Could you please describe any advanced  
13 training you've had in the specialty of pediatric  
14 immunology?

15 A What do you mean by specialized training?  
16 You mean like a fellowship?

17 Q Yes.

18 A No. I specialized in child neurology.

19 Q On issues related to immunology, would you  
20 then defer to an opinion of a board-certified  
21 pediatric immunologist?

22 A Yes, and I think in my initial visit we  
23 talked to Yates about needing to do further  
24 immunological workup with an immunologist. And I  
25 meant by that board-certified immunologist.

CORBIER - CROSS

1           In my approach to autism or any neurological  
2           problem, I look at it as a team effort. I'm trained  
3           in the field of neurology; I try to keep up as best I  
4           can with the other fields. But we do not hesitate to  
5           enlist the help of other specialists in other areas to  
6           make sure we have a comprehensive approach for the  
7           benefit of the patient.

8           Q     If there were no evidence of persistent  
9           measles virus in Yates's gut, if the tissue samples  
10          came back negative, would you still assume that the  
11          MMR vaccine played a role in his development of  
12          autism?

13          A     If that were done and there was no  
14          persistent measles, that would lessen my current  
15          position. But I would have to, before I, you know,  
16          made a definitive opinion, I would have to look at  
17          other mechanisms, such as autoimmunity. Yates did  
18          have an immunologic workup, but I don't think that  
19          included autoantibodies. So that would have to be  
20          included, as well.

21          Q     How certain would you be of the MMR  
22          contributing to the development of autism without the  
23          finding of persistent measles virus? Would it still  
24          be more likely than not?

25          A     Well, I would say yes. It would be less,

CORBIER - CROSS

1 but still, I mean, unless I can come up with an

CORBIER - CROSS

1 alternative explanation. You have a child who is  
2 doing well, who regresses after a very specific event.  
3 I would use the same approach for medications.

4 If I start someone on a new medication, such  
5 as Dilantin, and all of a sudden that individual  
6 starts having neutropenia or low blood count or some  
7 other symptoms, or ataxia, for example, yes, it could  
8 be coincidence. But I would say that unless I can  
9 find an alternative explanation, the drug I recently  
10 started that caused a change in a patient likely is  
11 the thing that may be the contributing factor.

12 Q You state that you're not arguing that MMR  
13 causes autism -- it's on page 14 of your report -- but  
14 can be one along with several other environmental  
15 triggers.

16 A Yes, that's correct.

17 Q And what I wanted to discuss next, what are  
18 the environmental triggers that you're talking about,  
19 environmental factors?

20 A One would be thimerosal. Another would  
21 be -- there are a number of viruses that have been  
22 implicated in autism. Certain herpes viruses. We  
23 mentioned herpes encephalitis, a few documented cases  
24 by not only the ones listed here, but Dr. DeLong.

25 So basically, there are other potential

CORBIER - CROSS

1 causes, or other contributing factors in the  
2 environment. Rubella, you know, we talked about  
3 measles, but rubella has been implicated. Certain  
4 medications, certain anticonvulsants.

5 So although our knowledge isn't complete at  
6 this point, there are various environmental triggers  
7 that I think are widely accepted by many individuals  
8 as contributing to autism.

9 Q Is the list that you've just recited an  
10 exhaustive list?

11 A Not at all. I just mentioned the ones that,  
12 you know, most people you know, talk about, or are  
13 reported in the literature. But there's a growing  
14 number of environmental factors that are implicated in  
15 autism.

16 The ones I mentioned are pretty much accepted across  
17 the board.

18 Q Are there any other ones that you can think  
19 of?

20 A Some people have looked at environmental  
21 toxins from the emissions from certain factories. For  
22 example, there was an epidemiologic study at Brick  
23 Township in New Jersey, where they found that there  
24 was a very big cluster of children with autism. And  
25 they found that not too far from where these families

CORBIER - CROSS

1 were staying, there was a factory. I don't remember

CORBIER - CROSS

1 the specific factory, but there was a very, very high  
2 concentration of children in that particular township  
3 in New Jersey that could not really be explained  
4 readily by just genetics alone.

5 So that particular set of environmental  
6 factors, or these emissions from the factories were  
7 implicated. I do believe personally that there are a  
8 lot of toxins in the environment that can play a role,  
9 not only with autism, but with the growing number of  
10 children we see with asthma, allergies, and also  
11 neurodevelopmental problems.

12 Q With all of these environmental toxins, how  
13 do you identify the two that you're speaking of  
14 today -- thimerosal-containing vaccines and MMR -- as  
15 being the more likely causes of the development of  
16 autism?

17 A That's an excellent question. I go by what  
18 we have, the evidence that we have. We know that  
19 these children are exposed to thimerosal; we know it's  
20 toxic. Or MMR, for that matter.

21 I always leave room for other agents, other  
22 environmental triggers being present. I don't know  
23 what they are yet; I would not be surprised if in the  
24 future a growing number of environmental factors are  
25 discovered. And that's why I almost insist on saying

CORBIER - CROSS

1 contributing factor, contributing factor, because  
2 there may be many. There may be many.

3 Q If there are many, do you know the  
4 percentage then, let's say, thimerosal-containing  
5 vaccines play amongst all of these contributing  
6 environmental factors?

7 A I don't know that answer, and I don't know  
8 if anyone does.

9 Q Could the exposure of these other  
10 environmental triggers that you have spoken of -- and  
11 I believe you said there are probably some out there  
12 that we don't even know about, is that correct?

13 A Yes, that's correct.

14 Q Could these other environmental triggers, in  
15 your opinion, cause the development of autism without  
16 exposure to either measles or thimerosal-containing  
17 vaccines?

18 A Are you saying if a child is not vaccinated  
19 with, say, MMR or thimerosal, could that child with  
20 those exposures develop autism? Is that your  
21 question?

22 Q Would you attribute it to other than  
23 toxicologic, or other environmental exposures?

24 A Oh, yes. Yes, I think that, I think that  
25 there are various different types of toxins that can

CORBIER - CROSS

1 play a role. This is purely, I mean, we don't know  
2 what these are but, I believe that any neurotoxic  
3 agent that the developing brain is exposed to in the  
4 right individual, right individual meaning someone  
5 that has the right genetic predisposition, can result  
6 not only in the development of autism, but could also  
7 contribute to other conditions, neurologic or  
8 nonneurologic.

9 Q Can the exposure of thimerosal and  
10 thimerosal-containing vaccines without the other  
11 environmental factors cause a child to develop autism?

12 A I believe, based on the studies that I have  
13 seen, that the best I could say is it's possible. The  
14 problem is, you know, many children that receive DTP  
15 and other vaccines containing thimerosal also receive  
16 MMR. There are other environmental triggers that are  
17 present. So at least at this time, it's a little hard  
18 to separate or distinguish each different factor.

19 Q And I'll ask the same question with MMR.  
20 Can exposure to MMR, without the other environmental  
21 factors, cause a child to develop autism?

22 A I think it's likely. But again, I think  
23 that I don't know how many studies, I don't think I've  
24 seen some that have isolated MMR as an only  
25 //

## CORBIER - CROSS

1 environmental factor. I don't think that we're  
2 anywhere near the level of technique necessary to  
3 isolate one particular environmental factor compared  
4 to another. And I would say the same applies to  
5 almost any aspect of medicine.

6 Q In your report, you rely on two in vitro  
7 studies with high doses of thimerosal to demonstrate  
8 that thimerosal can cause oxidative stress and/or the  
9 depletion of glutathione.

10 A Yes.

11 Q Are there any in vivo studies that support  
12 this hypothesis?

13 A I believe, I believe Dr. James has done a  
14 lot of work, which I believe is in vivo, but I'd have  
15 to double-check.

16 Q Do the in vivo studies that you refer to  
17 show similar results with doses of thimerosal that are  
18 contained in thimerosal-containing vaccines?

19 A I believe so, but I'm not sure that the  
20 doses are exactly similar.

21 Q And I am almost done, so, you state in your  
22 report that you're the recipient of the Rock Award.

23 A Yes.

24 Q What is the Rock Award?

25 A When I did my training as an adult neurology

CORBIER - CROSS

1 person-in-training at the University of Cincinnati, I  
2 distinguished myself academically in terms of my  
3 performance. So my program director gave me an award  
4 called the Rock Award that he named after me, for my  
5 academic performance in my adult year of neurology  
6 training.

7 Q Who is your program director?

8 A His name is John Quinlan.

9 Q Is that an award that's recognized by the  
10 University of Cincinnati Medical School?

11 A I don't know how to answer that question. I  
12 don't know that an award like that had been given  
13 before.

14 Q Has it been given since?

15 A What's that?

16 Q Has it been given since?

17 A I've not spoken to -- I've not asked that  
18 question to Dr. Quinlan. I have asked him to give me  
19 letters of recommendation when I needed a letter, but  
20 I did not discuss that particular finding. Actually,  
21 that's not too, too important to me, so I don't know.  
22 You know, having that continue, is what I'm saying, is  
23 not too relevant to me.

24 Q So the Rock Award was just something that  
25 was bestowed upon you by your program director.

CORBIER - CROSS

1           A     It was an award that was created, and that  
2     was named after me. I was the first recipient for  
3     that award, and it carried my name.

4           Q     And you may have also been the last  
5     recipient of that award, is that correct?

6           A     That I don't know. I have not tried to find  
7     out.

8           Q     And he was, Dr. Quinlan was, worked in the  
9     adult neurology section?

10          A     Yes. When you train as a child neurologist,  
11     you do a full year of adult neurology, and then you  
12     do -- first of all, you do pediatric training, and  
13     then you do a year of adult neurology, followed by two  
14     years of child neurology. My year of adult neurology  
15     was done at the University of Cincinnati, and John  
16     Quinlan was the program director there.

17          Q     If I told you that we contacted the Adult  
18     Neurology Department at the University of Cincinnati  
19     Medical School and they have not heard of that award,  
20     would that surprise you?

21          A     I would say that you probably did not talk  
22     to John Quinlan.

23          Q     You also state in your expert report that  
24     you did extra training at the Mayo Clinic and at Johns  
25     Hopkins University?

CORBIER - CROSS

1           A     That is correct.

2           Q     What extra training did you do in Johns  
3     Hopkins University?

4           A     I basically applied, I contacted them and  
5     told them that I wanted to further my training. I had  
6     already, you know, I was in a program where I had a  
7     complete medical training, but I wanted to do some  
8     further training in what I considered, you know, a  
9     very recognized institution. So I decided to go  
10    various places. The first place was University of  
11    Michigan, the next was Johns Hopkins, and then I went  
12    to the Mayo Clinic. So it was general.

13          Q     And you were at Johns --

14          A     Well, at the Mayo Clinic I did adult  
15    neurology, further adult neurology training. And at  
16    Johns Hopkins it was further pediatric neurology  
17    training, if that answers your question.

18          Q     And the further training, it was a year-long  
19    program that you went to?

20          A     A month at each place. A month.

21          Q     A month at Johns Hopkins, and a month at the  
22    Mayo Clinic.

23          A     At the Mayo Clinic. Yes, that's correct.

24          Q     On page 2 of your report you make the  
25    statement that given the discovery that immune

CORBIER - CROSS

1 mechanisms are implicated in autism. What studies are  
2 you referring to that immune mechanisms are implicated  
3 in autism?

4 A I'm sorry, where are you reading?

5 Q I'm sorry. It's on page 2 of your report.

6 A Okay. Which paragraph?

7 (Discussion held off the record.)

8 A Oh, yes. Okay, I see. Yes, what is the  
9 question?

10 Q What studies do you rely on for your  
11 statement that immune mechanisms, there's a discovery  
12 that immune mechanisms are implicated in autism.

13 A There are actually several studies. There's  
14 Dr. Zimmerman at Johns Hopkins. There's Dr. Ashwood.  
15 There's Dr. Gupta. There's Dr. Singh. And these are  
16 just some of the researchers that have done a lot of  
17 work in the immunology of autism, but I'm sure there  
18 are several more.

19 Q Singh is the last one?

20 A Zimmerman.

21 Q No, you said --

22 A Oh, Singh. I believe that's S-I-N-G-H, Dr.  
23 Singh.

24 Q Thank you.

25 A And Dr. Ashwood is one of the articles that

## CORBIER - CROSS

1 we have listed.

2 Q And Dr. Zimmerman, he's a pediatric  
3 neurologist?

4 A That is correct.

5 Q He's not an immunologist.

6 A No, he's a neurologist, but he has studied  
7 the immunology of autism.

8 Q Do you consider him an authority on the  
9 issue?

10 A In the issue of the immunological aspects?

11 Q Yes.

12 A I respect Dr. Zimmerman, and I consider him,  
13 yes, one of the authorities, yes.

14 Q You state in your opinion that Yates  
15 suffered from having a compromised immune system.

16 A Yes. I believe that his, he shows clinical  
17 signs of immunological disturbance, yes.

18 Q And what is the basis for that opinion?

19 A Being sick all of the time.

20 Q It's the number of upper respiratory  
21 infections?

22 A Upper respiratory infections, yeast  
23 infections, swollen lymphadenopathy, or swollen lymph  
24 nodes that persist. I don't think I'd be that  
25 concerned if he was periodically sick, but a child who

CORBIER - CROSS

1 is "sick all the time" I think, based on my  
2 experience, is significant clinically.

3 Q How many upper respiratory infections did  
4 Yates have that leads you to this conclusion that he  
5 had a compromised immune system?

6 A I list -- let me turn to my list. I list  
7 the different times, on page 4, under "Immunologic  
8 Profile," that he was, at least that I could find,  
9 that he went to the pediatrician for treatment. So I  
10 base my report in part on these visits.

11 But the sense that I get in talking to the  
12 parents is that he was not brought in every single  
13 time. We saw a report yesterday of Yates, for  
14 example, having a screaming fit, and I don't think he  
15 necessarily went to the doctor for that, or right  
16 away.

17 I think there are many cases where parents  
18 try not to go to the doctor if they don't have to.  
19 Sometimes they will, but a child can be sick and stay  
20 at home, or try home remedies. Several studies show  
21 that many individuals, you know, have infections that  
22 they try to handle themselves.

23 So if you look at all the infections, both  
24 the ones that are listed by the pediatricians and  
25 others, and the report that I heard that he was sick

CORBIER - CROSS

1 all the time, that is the basis for my saying that his  
2 immunologic system was impaired.

3           Again, I want to make a distinction between  
4 impairment and immunodeficiency. If you have classic  
5 immunodeficiency, that should show up on some of the,  
6 in most cases on some of the immunological workup that  
7 the immunologist did. But that does not rule out an  
8 impairment of the immune system, any more than, as I  
9 mentioned earlier, that a normal imaging -- he's had  
10 normal CT scan, EEG, and other testing -- that that  
11 would rule out the neurological problem.

12           Also, I might add that not all of the  
13 immunological tests were done. There are a lot of  
14 other neurological tests that could have been a little  
15 bit more, I don't want to say pertinent in a way to  
16 minimize what was done, but that could have provided  
17 some more useful information.

18           Q     How many episodes of thrush or candidis was  
19 Yates actually diagnosed with?

20           A     Let me count, on page 4. He had one on  
21 March 7. Let's see. On January 17 he had thrush with  
22 possible yeast involvement of the skin and hand. And  
23 I put in quotation the actual mark, or the actual  
24 statement of the pediatrician.

25                     On January 7, I think that would be the

CORBIER - CROSS

1 third episode. Let's see. There is mention of that,  
2 or that he had had thrush on May 4, that he had  
3 previously had thrush. On May 5 there is that  
4 mentioned, as well, so what's that? I don't know,  
5 five, four, five, six, something. Whatever is listed  
6 in my report is what I was able to see from the  
7 medical records reported as thrush.

8 Q Did an examining pediatrician ever diagnose  
9 Yates with chronic swollen lymph nodes? On  
10 examination.

11 A I read a note from his pediatrician, I think  
12 Dr. Carlton Hayes, that from what I read from that  
13 note, or from what I gather from that note, he was, it  
14 seemed, concerned enough to investigate that  
15 possibility, that he was referred to an immunologist.  
16 As far as I can remember.

17 Q For chronic lymph nodes?

18 A No, for evaluation of the immune system.

19 Q Okay. Did Dr. Hayes ever diagnose Yates  
20 with chronic --

21 A With a chronic lymph node. I don't recall.  
22 I don't recall seeing that.

23 Q So that comes from, as far as you know, your  
24 statement that he had chronic swollen lymph nodes  
25 comes from statements of the parents.

CORBIER - CROSS

1           A     The pediatric visits, what they would ask  
2     the parents to do is to list symptoms. And so the  
3     record contains both the physician's impression  
4     diagnostically, and also in the chart is incorporated  
5     all of the concerns that the parents had. I can't  
6     remember if he specifically said if he, you know,  
7     examined the lymph nodes and diagnosed him with  
8     lymphadenopathy.

9           Q     How do you reconcile your testimony today  
10    regarding measles virus with the testimony of the  
11    virologist, Dr. Griffin, in the Cedillo case?

12          A     I don't recall specifically what she said  
13    about viruses and the persistence of virus. Can you  
14    refresh my mind as to what particular aspect of her  
15    report?

16          Q     You have no recollection of that report?

17          A     Well, I read a report, I remember. Oh, in  
18    the Cedillo. I'm sorry, I was thinking of a  
19    respondent to this case. I don't remember. I looked  
20    at some of these a while ago. But can you maybe point  
21    out a particular statement she made that I could  
22    discuss?

23          Q     It was a very long testimony that I don't  
24    want to go through. So any of the experts that  
25    testified in the Cedillo case, you're not familiar

CORBIER - CROSS

1 enough with their testimony to know whether your  
2 testimony conflicts or confirms any of the testimony  
3 of the experts in the Cedillo case?

4 A Well, I've looked at some of the, several of  
5 the reports a while ago. And some of them I know of  
6 from, you know, previous writings, so I kind of know  
7 the way people think. And I've read the respondents,  
8 the virologist, to my report, or the immunologist.

9 Q Are you familiar with the testimony given by  
10 Dr. Ward, Dr. Bustin, Dr. Fuginami's report, and Dr.  
11 Chadwick regarding the reliability of the works of Dr.  
12 Uhlman, Kawashima, Walker and Dr. Wakefield?

13 A A little bit more Dr. Bustin than the rest.

14 Q And how do you reconcile your testimony then  
15 with the testimony of Dr. Bustin?

16 A My testimony, first of all, the, I think  
17 there were some questions about methodology with PCR  
18 and the reliability of some labs, such as Eugenics  
19 with Dr. O'Leary in Ireland.

20 My assessment is made with some more recent  
21 reports, with Dr. Stephen Walker's lab and Dr. Hepner,  
22 who had reviewed not just Dr. Uhlman's work, but had  
23 reviewed some of the criticisms that had been made.

24 And what I got out of that is that some of  
25 the labs that have tried to replicate some of the

CORBIER - CROSS

1 earlier studies -- for example, from Dr. Uhlman's  
2 lab -- did not quite match up, if you look at the  
3 methodology.

4 For example, there's one lab that tried to  
5 look at measles virus in a group of children that did  
6 not even have gastrointestinal problems. Also, I  
7 think one of the labs looked at kids that had  
8 gastrointestinal problems, but looked at blood samples  
9 instead of tissue samples from the gut.

10 I also looked at Dr. Hepburn's, the way she  
11 characterized the methodology of Dr. Uhlman, all of  
12 the controls that were used, to make sure that there  
13 was no cross-contamination, to make sure that  
14 repeating techniques or repetitive techniques,  
15 different techniques, were used to arrive at the same  
16 conclusion. And I felt very comfortable on, I'm not a  
17 molecular biologist, but based on my reading, based on  
18 the fact that she looked at it, Dr. Walker looked at  
19 it in a separate way. That they felt comfortable  
20 upholding Dr. Uhlman's work, and the validity of his  
21 conclusions.

22 So I, for me, reading that type of  
23 literature, I'm comfortable with that, as opposed to  
24 what Dr. Bustin would say about the reliability of  
25 those studies.

## CORBIER - CROSS

1 Q Have you ever done PCR?

2 A I've ordered it several times. But do you  
3 mean did I work as a technologist doing PCR?

4 A Yes.

5 Q No. I'm not a technologist. I'm a  
6 physician.

7 Q You referred to Dr. Walker.

8 A Yes.

9 Q Is Dr. Walker published? What reports by  
10 Dr. Walker are you referring to?

11 A I'm referring to a poster presentation that  
12 was done I think about a year ago. And the findings  
13 of which were mentioned by Dr., I think her name was  
14 Hepburn, in the Cedillo case.

15 Q Has Dr. Walker published the results that  
16 were on that posterboard?

17 A Has he published it yet? I don't know that  
18 he's already published it. I think he's, I believe  
19 he's doing some other aspects of his study. I don't  
20 think that, I haven't seen the published results yet,  
21 so I would assume, just an assumption, that it's not  
22 yet published.

23 Q Is the study complete? Do you know that?

24 A I don't know that it's completed, either.

25 Q So you're basing your assertions of Dr.

## CORBIER - CROSS

1 Walker's report on a posterboard of a study that has  
2 not yet been published, that you don't know the  
3 results of, because it's not yet complete.

4 A I have reviewed Dr. Hepburn's study, and I'm  
5 very comfortable with her -- first of all, I believe  
6 she's an expert, based on her credentials. And based  
7 on her assessment, I've actually read through --  
8 again, I'm not a molecular biologist, but I've read  
9 through carefully the arguments that she made, not  
10 only for Dr. Uhlman's study, but I looked at what some  
11 of the other labs did. And I feel very comfortable at  
12 least with the information that I have present, that  
13 Uhlman's study could be upheld scientifically.

14 Q Have you ever done any scientific studies on  
15 thimerosal yourself?

16 A I'm a clinician, I'm not a researcher.

17 Q So you've never published any articles on  
18 thimerosal?

19 A No.

20 Q Have you ever published any articles on MMR?

21 A No.

22 Q Have you ever published a peer-reviewed  
23 article on autism?

24 A No.

25 Q No? Have you ever published --

CORBIER - CROSS

1 MR. MATANOSKI: I'm sorry, did we get an  
2 audible answer to that last question?

3 THE WITNESS: Yes. No, I have not published  
4 a peer-reviewed article on autism.

5 BY MS. RENZI:

6 Q Have you published a peer-reviewed article  
7 on any subject relating to autism or developmental  
8 disorders?

9 A I've written a couple of books, but I have  
10 not, as a clinician, I have not chosen the path at  
11 this time, or at least early in my career, to get into  
12 the field of research with publication. I did mention  
13 earlier that I was invited to join the medical staff  
14 in Cincinnati to do pediatric stroke, which would have  
15 been a, you know, path that would have led to a lot of  
16 publications by this time. But I am a clinician, so I  
17 have not had the time to publish and peer review in  
18 that sense.

19 Q What are the books that you have published?

20 A All of them? Or the ones pertaining to  
21 autism?

22 Q The ones pertaining to autism.

23 A Okay. The first one was Solving the Enigma  
24 of Autism, and the second one is Optimal Treatment for  
25 Children with Autism and Other Neuropsychiatric

## CORBIER - CROSS

1       Illnesses. The last one I mentioned is the second  
2       book that was published in 2005, I think, and the  
3       other one was published earlier.

4           Q     And this is, I have Solving the Enigma of  
5       Autism, this is one of your books, is that correct?

6           A     Yes, that's the first book.

7           Q     Did you pay to have that book published?

8           A     Are you asking if, are you inferring was  
9       this a self-published book?

10          Q     Yes.

11          A     Yes, it was a self-published. Both of them,  
12       all five of my books have been self-published.

13          Q     Were they peer-reviewed by any other  
14       pediatric neurologists?

15          A     I have shared that particular book with  
16       other neurologists to kind of get their thoughts. But  
17       when you say peer-reviewed, in terms of, you know, did  
18       they accept what I wrote? I mean, I got comments from  
19       other professionals, yes, after it was published.

20          Q     How many patients have you ever treated with  
21       SSPE?

22          A     I have not treated any patients with SSPE.  
23       SSPE I would say is extremely rare at this time, so I  
24       have not treated anyone with SSPE that I could recall.

25          Q     Have you ever treated someone with measles

## CORBIER - CROSS

1 inclusion body encephalitis?

2 A No.

3 Q What kind of virus is measles virus?

4 A I think it's a paramyxovirus.

5 Q A paramyxovirus?

6 A I believe so.

7 Q And what is a paramyxovirus?

8 A It's a specific type of virus that is very  
9 virulent, and can cause a lot of immunosuppressive  
10 symptoms. That's what I can tell you on that type of  
11 virus.

12 MS. RENZI: I have no further questions.

13 THE COURT: Mr. Webb?

14 MR. WEBB: I don't have any further  
15 questions.

16 THE COURT: I do. And I have to say, we're  
17 probably at the limit. Maybe nobody else had as much  
18 coffee, tea, and Diet Coke as I have, so I'll try and  
19 move through this very quickly.

20 (Laughter.)

21 THE COURT: Dr. Corbier, you are a  
22 clinician. In your current patients about the ages of  
23 two, three, and four years old now who have recently  
24 been diagnosed with autism, do any of these clients  
25 fit the profile that you've described for Yates?

## CORBIER - CROSS

1                   THE WITNESS:  There are some -- but well,  
2                   since I moved to North Carolina, a lot of the patients  
3                   that I see are quite a bit older.  And a lot of the  
4                   patients that I've seen recently have had problems,  
5                   not so much with the regressive types.  I have to say  
6                   that I have seen less patients with autism here than I  
7                   did in Montgomery, so the ratio is less.

8                   THE COURT:  So you're seeing fewer autism  
9                   patients.

10                  THE WITNESS:  In general, yes.  Right now,  
11                  yes.

12                  THE COURT:  The ones that you're seeing who  
13                  are older, have they followed you generally from your  
14                  practice in Alabama?

15                  THE WITNESS:  I've had several patients that  
16                  have come from Alabama to North Carolina to see me.  
17                  And I've had some individuals from out of state.  I  
18                  think Yates is an example.  Even when I was in  
19                  Alabama, they drove from Tennessee to see me, and I'm  
20                  still following them.  The last visit was in July.  So  
21                  does that answer your question?

22                  THE COURT:  It does.  Have my questions  
23                  triggered any further questions by counsel?

24                  MS. RENZI:  No.

25                  MR. WEBB:  I don't have any.

## CORBIER - CROSS

1 THE COURT: Okay. I thank you all. I think  
2 we are scheduled to resume tomorrow with the testimony  
3 of Dr. Rust, am I correct?

4 MS. RENZI: Yes.

5 THE COURT: And we'll look to resume again  
6 at 9:00 a.m. We are in recess until 9:00 a.m.  
7 tomorrow.

8 (Whereupon, at 1:28 p.m., the hearing in the  
9 above-entitled matter was recessed, to reconvene at  
10 9:00 a.m. the following day, Wednesday, October 17,  
11 2007.)

12 //

13 //

14 //

15 //

16 //

17 //

18 //

19 //

20 //

21 //

22 //

23 //

24 //

25 //

## REPORTER'S CERTIFICATE

DOCKET NO.: 03-654V  
CASE TITLE: Hazlehurst v. Secretary, HHS  
HEARING DATE: October 16, 2007  
LOCATION: Charlotte, North Carolina

I hereby certify that the proceedings and evidence are contained fully and accurately on the tapes and notes reported by me at the hearing in the above case before the United States Court of Federal Claims.

Date: October 16, 2007

Mona McClellan  
Official Reporter  
Heritage Reporting Corporation  
Suite 600  
1220 L Street, N.W.  
Washington, D.C. 20005-4018