



IN THE UNITED STATES COURT OF FEDERAL CLAIMS

HAZLEHURST, )  
 )  
 Petitioner, )  
 )  
 v. ) Docket No. 03-654V  
 )  
 SECRETARY OF HEALTH AND )  
 HUMAN SERVICES, )  
 )  
 Respondent. )

Courtroom 6330  
 North Carolina Superior Court  
 832 East Fourth Street  
 Charlotte, North Carolina

Wednesday,  
 October 17, 2007

The parties met, pursuant to notice of the  
 Court, at 9:00 a.m.

BEFORE: HONORABLE PATRICIA CAMPBELL-SMITH  
 Special Master

APPEARANCES:

For the Petitioner:

CURTIS WEBB, Esquire  
 Webb, Webb and Guerry  
 155 Second Avenue North  
 Twin Falls, Idaho 83303  
 (208) 734-1616

For the Respondent:

VINCENT MATANOSKI, Esquire  
 LYNN RICCIARDELLA, Esquire  
 LINDA S. RENZI, Esquire  
 U.S. Department of Justice  
 Civil Division, Torts Branch  
 P.O. Box 146, Ben Franklin Station  
 Washington, D.C. 20044  
 (202) 616-4356, 4133

Heritage Reporting Corporation  
 (202) 628-4888



## C O N T E N T S

WITNESSES:	DIRECT	CROSS	REDIRECT	RECROSS	VOIR DIRE
For the Respondent:					
Robert Rust, M.D.	446	532	--	--	--
	--	550	--	--	--

1 P R O C E E D I N G S

2 (9:00 a.m.)

3 THE COURT: We are back on the record in the  
4 matter of Hazlehurst v. Secretary of the Department of  
5 Health and Human Services, Case No. 03-654V.  
6 Respondent to present your case, would you call your  
7 first witness, please?

8 MS. RENZI: We'd like to call Dr. Robert  
9 Rust.

10 THE COURT: Dr. Rust, I think you'll find  
11 some water there. You can help yourself, and then  
12 we'll administer the oath.

13 DR. RUST: Thank you, Judge. Should I stand  
14 for the oath?

15 THE COURT: You don't have to, but be on  
16 notice that the chair does not move.

17 DR. RUST: Okay.

18 THE COURT: Would you care to raise your  
19 right hand, please?

20 Whereupon,

21 ROBERT RUST, M.D.

22 having been duly sworn, was called as a  
23 witness and was examined and testified as follows:

24 THE COURT: Thank you.

25 //

DR. RUST - DIRECT

1 DIRECT EXAMINATION

2 BY MS. RENZI:

3 Q Good morning, Dr. Rust.

4 A Good morning. Wrong one?

5 Q Yes.

6 A Good morning.

7 MR. WEBB: Excuse me. Might I just ask one  
8 thing before we begin? Both Mr. Hazlehurst, Sr. and I  
9 had a little trouble hearing the questions.

10 MS. RENZI: Okay.

11 MR. WEBB: If you could remember to keep  
12 your voice up to the extent you can remember?

13 MS. RENZI: Thank you. I will.

14 BY MS. RENZI:

15 Q Could you please state your name for the  
16 record?

17 A Dr. Robert Rust.

18 Q And what is your current position?

19 A I'm the Director of Child Neurology and  
20 Director of the Child Neurology Training Programs,  
21 Co-Director of the Epilepsy and Child Neurology Clinic  
22 at the University of Virginia.

23 Q Dr. Rust, you were present for the testimony  
24 yesterday of Dr. Corbier, is that correct?

25 A Yes, I was.

DR. RUST - DIRECT

1 Q Is there any credible evidence that the MMR  
2 vaccine causes autism?

3 A No, ma'am.

4 Q This hypothesis has been well-studied,  
5 hasn't it?

6 A Yes, ma'am, it has.

7 Q And could you please tell us about the  
8 studies that are out there that discuss the MMR  
9 vaccine?

10 A There are a number of studies of varying  
11 quality that have tried to make a correlation between  
12 various factors that can include relationship to the  
13 time of vaccination. Really most of the evidence  
14 involves that, but that sort of evidence needs to be  
15 placed within the context of epidemiological studies,  
16 and the epidemiological studies have not supported  
17 that association.

18 Q Is there any credible evidence in peer-  
19 reviewed medical literature that MMR vaccine can cause  
20 autism?

21 A No, ma'am, not to my knowledge.

22 Q Is there any credible evidence that  
23 thimerosal-containing vaccines can cause autism?

24 A No, ma'am, not to my knowledge.

25 Q What is the basis for your opinion on that?

DR. RUST - DIRECT

1           A     The same information, the lack of any kind  
2     of epidemiological correlation.  There's the  
3     additional fact that especially in consideration of  
4     thimerosal, that --  
5     What one needs of course in support of such a  
6     hypothesis is a reasonable biological explanation.  
7     And there is no such reasonable biological  
8     explanation, and there have been considerable studies  
9     concerning mercury and its toxicity to brain, and they  
10    haven't supported that contention either.

11           Q     Doctor, I'd like to go over your CV, which  
12    was filed as Respondent's Exhibit F.  Could you  
13    briefly describe your educational background, starting  
14    with your undergraduate degree?

15           A     Undergraduate education was at four separate  
16    universities.  After completion of that, I did  
17    graduate school at the University of Virginia.  This  
18    was graduate work in history, history of science and  
19    biology, in particular immunology and that was  
20    followed by several years of teaching in Europe, then  
21    several years of immunological research at the  
22    University of Virginia.  That was followed by medical  
23    school at the University of Virginia, finishing up in  
24    1981.

25                   I then trained first in pediatrics at Yale

DR. RUST - DIRECT

1 and then in child neurology and neurochemistry at  
2 Washington University in St. Louis. I did as well a

DR. RUST - DIRECT

1 fellowship in neonatal neurology. On completion of  
2 that work, I remained on the faculty at Washington  
3 University before taking a position at the University  
4 of Wisconsin where I was the Program Director and  
5 Training Director in Child Neurology and Director of  
6 the Cerebral Palsy clinic.

7 That was followed by appointment at Boston  
8 Children's Hospital where I was again Training  
9 Director in Child Neurology, Director of the  
10 outpatient clinics among the three Co-Directors of our  
11 Intensive Care Service and other physicians during  
12 that tenure. I returned to the University of Virginia  
13 as Professor of Epileptology and Neurology holding the  
14 royal chair in those disciplines as Director of Child  
15 Neurology as I mentioned and the training program as  
16 well as their outpatient clinics.

17 Q And what board certifications do you hold?

18 A I'm board-certified in pediatrics and  
19 neurology with special qualifications in child  
20 neurology.

21 Q Could you please describe some of the honors  
22 and awards you have recently received or in the recent  
23 past?

24 A Not usually the kind of thing I say too much  
25 about. What would you like to know?

DR. RUST - DIRECT

1 Q Just maybe a few in the last couple of  
2 years?

3 A Well, over the years I've had quite a few  
4 teaching awards. I have had recognition for my  
5 research in terms of fellowships and support for  
6 research programs, numerous visiting professorships  
7 and just last Saturday received the Hauer Award  
8 (phonetic) of the Child Neurology Society.

9 Q And what is that award? What is that in  
10 recognition of?

11 A It's meant to recognize the person who has  
12 made the most distinguished contributions to child  
13 neurology. It's a yearly award, and somebody is  
14 selected each year, and this was my year for it.

15 Q Congratulations, Doctor.

16 A Thank you so much.

17 Q Do you serve on editorial boards?

18 A Yes, I've served on quite a few of them.  
19 There are I think six or seven of them or something  
20 like that.

21 Q Could you name a couple?

22 A Journal of Child Neurology, Pediatric  
23 Neurology and a number of other things over the years.

24 Q And are you a reviewer for any scientific  
25 journals?

DR. RUST - DIRECT

1           A     I've provided reviews for I think some 20 or

DR. RUST - DIRECT

1 22 different journals over the years.

2 Q Could you just name two or three of the  
3 journals that you would serve as a reviewer for?

4 A Acta Scandinavia Neurologicaca, Lancet  
5 Neurology, and all of the North American child  
6 neurology journals, the Journal of Neurology, Annals  
7 of Neurology, those sorts of things.

8 Q You've also published approximately 100  
9 peer-reviewed articles, is that correct?

10 A About 50 peer-reviewed articles and about 50  
11 chapters. That's right.

12 Q Could you describe your responsibilities at  
13 the University of Virginia?

14 A Well, I'm responsible for making sure the  
15 child neurology program functions properly and that we  
16 have everybody where they're supposed to be on time  
17 and so forth. It's our belief and some others, but  
18 for the training of our child neurology candidates,  
19 for the child neurological training of our adult  
20 neurology trainees, for the neurological training of  
21 our pediatricians, for the neurological training of  
22 our medical students at all four years of their  
23 training. I make contributions to that.

24 I'm responsible for our outreach clinics in  
25 child neurology in Virginia of which we have four.

DR. RUST - DIRECT

1 I'm responsible for my own activities as a researcher  
2 and some other things I guess.

3 Q So you have a clinical practice in which you  
4 see patients?

5 A I have a very busy clinical practice and  
6 have had throughout my career.

7 Q How many children over the years have you  
8 treated with the diagnosis of autism?

9 A I must say I've not counted. They've been  
10 seen of course in two capacities: One as the person  
11 overseeing the training of child neurologists or  
12 neurologists or pediatricians, and one as a person  
13 seeing patients in the ward service as well as my own  
14 private clinic. I would suspect several hundred  
15 patients at least.

16 Q And do you diagnose children with autism?

17 A It has become a very common experience to do  
18 that, yes.

19 Q Why do you believe it's become such a common  
20 experience?

21 A It's quite clear to me based on my own  
22 career that we've become more sensitive to what it is  
23 that causes somebody to have that diagnosis, that in  
24 the past we may have assigned other diagnoses to  
25 patients, especially mental retardation, static

DR. RUST - DIRECT

1       encephalopathy, an injury to the brain, and now we  
2       know a great deal more about how to sort these things  
3       out.

4                   For me, over the last 15 years it's been a  
5       considerable interest to make a contribution to  
6       understanding the manifestations of children that have  
7       autism. One must dig for the diagnosis, ask  
8       particular questions, and it generates particular  
9       phenotypes or particular appearances that young men  
10      particularly but some young women as well have, which  
11      is consistent with the diagnosis of autism in several  
12      forms.

13                Q     Have you ever reviewed videos to diagnose or  
14      determine when the onset of autism is?

15                A     Quite a large number. That's one of the  
16      most helpful things for us. We typically make the  
17      diagnosis by observation of the child in the clinic,  
18      but in order to get some better understanding of the  
19      natural history of autism, we find that videos can be  
20      very helpful.

21                   We're also called upon to view videos of  
22      individuals who haven't yet come to the clinic or  
23      individuals that won't be coming to the clinic because  
24      we're consulted by parents, who are entertaining the  
25      possibility of adopting a child from overseas and were

DR. RUST - DIRECT

1 meant to try to provide them with the best information  
2 they can about the neurological health of that child.

3 Q In addition to the testimony of Dr. Corbier,  
4 which you heard yesterday, what else have you reviewed  
5 in preparation for your testimony today?

6 A Well, I'm pretty widely connected with the  
7 literature on this subject, but it's a literature that  
8 grows very rapidly and I've provided I think several  
9 lists of papers that are pertinent and was asked to  
10 pick 10 in particular, which I did, and so that's I  
11 think been provided to everybody.

12 Q Have you reviewed the medical records?

13 A Yes, I have in detail.

14 Q The videotapes?

15 A Yes, ma'am. There are many videotapes, and  
16 I've reviewed them.

17 Q And you've read the report of Dr. Corbier?

18 A Yes, ma'am, and responded to it.

19 Q And you responded to that in an expert  
20 report that we filed as Respondent's Exhibit E. Dr.  
21 Rust, Petitioners have put forward a hypothesis that  
22 thimerosal-containing vaccines cause autism. What is  
23 your number one reason that that hypothesis will be  
24 proven wrong?

25 A That we're coming to some considerable

DR. RUST - DIRECT

1 understanding of actually what happens in autism and  
2 why this terrible illness develops in children. This  
3 has been the progress of the decade of the brain and  
4 the very excellent work of a number of developmental  
5 neuroscientists. So we're understanding this and  
6 other disorders with regard to problems in the working  
7 out of a genetic code for the development of the  
8 brain.

9 THE COURT: Pardon me. I'm concentrating  
10 very carefully here, and I'm having a little  
11 difficulty hearing, and I'm getting a note that there  
12 are others in the back who have indicate they're  
13 having trouble hearing. We all want to make sure we  
14 hear what's being said.

15 THE WITNESS: I'll try to speak up a little  
16 bit more.

17 THE COURT: Thank you. Is that better,  
18 Madam reporter? Okay.

19 THE WITNESS: Sometimes I'm told I speak too  
20 fast, so feel free to slow me down.

21 THE COURT: Okay.

22 MS. RENZI: Dr. Rust, I'm just going to back  
23 up for a second. You've prepared some slides for  
24 today, and I apologize, Special Master, we do not have  
25 photocopies of these slides for you. We will provide

DR. RUST - DIRECT

1           them for you tomorrow, if that's okay.

2                   THE COURT:   Okay.  There are copies for  
3           everybody to be provided?

4                   MS. RENZI:  We will have copies for  
5           everybody tomorrow and file them as an exhibit.

6                   THE COURT:  Okay.

7                   BY MS. RENZI:

8           Q       We still don't have a screen, but we will  
9           put these slides up on the wall, and if you could just  
10          go through a few of them?

11                   THE COURT:  I'm just going to ask that as  
12          you refer to this, particularly because we don't have  
13          the actual copies, you would make reference to the  
14          slide number with your comments as well, please?

15                   BY MS. RENZI:

16          Q       And we have slide no. 1, which is autism  
17          pathophysiology.  Could you explain what  
18          pathophysiology is?

19          A       Physiology is the way in which organ systems  
20          function in the body, and pathophysiology is a way in  
21          which their function is abnormal.  It's pertinent to  
22          particular diseases, and so we like to be able to sort  
23          out how the manifestations that we see in a particular  
24          disease are explained by an adequate and sufficiently  
25          detailed pathophysiological understanding.

DR. RUST - DIRECT

1           Q     And if you could go through the points that  
2     you have on your slide, please?

3           A     Well, what we do know about autism of course  
4     is it's highly age dependent with regard to its  
5     manifestations.  It's a collection of clinical  
6     entities, some of which have already been explained on  
7     the basis of particular single genes, but others that  
8     likely involve as well the epigenetic effects of other  
9     aspects, especially the sex of a person and some  
10    aspects of pregnancy in some instances.

11                   We feel that autism is likely the result of  
12    a number of different genetic problems.  Not all those  
13    genes represented in every individual, not all those  
14    abnormal genes, but these may provide some subtle  
15    differences between patients and certainly provide  
16    differences that are not subtle, and that's the time  
17    of onset of disease, which is likely the result of a  
18    combination of influences.

19                   We know for example that there are patients  
20    that have manifestations of autism at birth, and they  
21    must very carefully be sorted out in those patients  
22    from other entities, from static encephalopathy, which  
23    simply means a patient who has had an insult at one  
24    time in their development.  It's not going to come  
25    again, but it leaves its own often tragic footprint on

DR. RUST - DIRECT

1 the

DR. RUST - DIRECT

1 patient.

2           It takes some time during the first few  
3 months of life to sort that out, and as the child  
4 acquires additional skills, and as their nervous  
5 system develops, we can see what does or doesn't  
6 develop in the way in which the program development of  
7 brain and nervous system should take place. We see  
8 another interval then after birth at about two and a  
9 half or three months when a good deal more in the way  
10 of cortical activity of the brain comes on.

11           At that point, we can see more about a  
12 child's activity and interests in response to  
13 surroundings, many of which things are again built  
14 into the genetic code of the child. It's a curious  
15 thing that in fact the cortical aspects of brain  
16 function are really not online to a considerable  
17 extent, or one might say hardly at all online when a  
18 child is born even at full term.

19           So, if we see a baby that's had a stroke  
20 involving both hemispheres where virtually all of the  
21 neocortex, virtually all of what we call the  
22 forebrain, and this is all the parts of the brain that  
23 represent the human potentials that we all cherish for  
24 our children and for ourselves. Nonetheless, a child  
25 born without some two-thirds of the brain oftentimes

DR. RUST - DIRECT

1 appears perfectly normal to examination.

2           It's only when at two and a half or three  
3 months those cortical centers are meant to take the  
4 place of deeper centers in the brain, and not only  
5 meant to take the place, but have no choice but to do  
6 so, and the centers that are deeper go offline.  
7 That's the point at which we see abnormalities. It's  
8 often hard to convince parents and grandparents that  
9 their child has such an injury because of that, but  
10 then we see that at two and a half or three or four  
11 months.

12           Obviously, a child is called on to do more  
13 things, interact with their surroundings during the  
14 second half of the first year of life, and that's a  
15 period during which we can see additional changes.  
16 Many children to which we give the diagnosis autism  
17 have that diagnosis during that first year of life,  
18 and these are the babies that can fit into several  
19 categories of congenital autism. During the second --  
20 am I speaking loud enough?

21           Q     I think so. Okay

22           A     During the second year of life, we see  
23 another large and strikingly homogeneous population of  
24 patients, of children with autism, and this is the  
25 category that is either a degenerative or acquired

DR. RUST - DIRECT

1 form of autism, a

DR. RUST - DIRECT

1 regressive form. It goes under various names, and  
2 very typically we see that progression some time  
3 between 12 or 14 and 26 or 27 months. As we look back  
4 at those children in terms of gathering additional  
5 history, we find that they've already had  
6 manifestations of the illness.

7 In most instances, the distinction between  
8 the congenital and the regressive form is in a sense  
9 artificial because children typically don't have  
10 normal language development, typically don't have  
11 normal interaction with their surroundings, even if  
12 they fit into this acquired form. There are however a  
13 great many patients that do have a perfectly normal  
14 history so far as we can determine, and then a sudden  
15 deterioration.

16 So, it seems that that's a point at which  
17 additional genetic signals have come on board that are  
18 meant to take the place of preceding signals. The  
19 cortex of the brain and the -- what we call a fiber  
20 pathway, so the cortex consists of the thinking cells,  
21 and their supportive cells, the neurons and the  
22 astroglial cells and other kinds of cells that  
23 function together in a very complex way and talk to  
24 each other with various pathways.

25 They talk to themselves in the cortical

DR. RUST - DIRECT

1 layers in which they find themselves. All of these  
2 cells have to migrate from deep in the brain to form  
3 the cortex, which is the outer part of the brain so  
4 this is as if you have a coating on the brain in which  
5 all these cells finally find themselves and talk to  
6 one another with an exceedingly complex system of  
7 fiber pathways or connections that we call axons and  
8 dendrites.

9 They also talk to the layers beneath and  
10 talk to the other areas in the cortex so the cortex is  
11 subspecialized. It's a remarkable miracle that these  
12 cells arrive where they need to be in most individuals  
13 and function in a way that's distinct, one area from  
14 another, to do all the things that we can do. This  
15 not only involves single areas, but connections  
16 between areas that's exceedingly complicated and  
17 coming to be far more well understood than it was even  
18 five years ago.

19 Then the two sides of the brain have to talk  
20 to one another, which they do through large fiber  
21 pathways from one side to the other, so we know of  
22 neurological syndromes where the disconnection between  
23 the two sides of the brain accounts for problems. We  
24 know of those where the communication between one or  
25 another subarea of brain is interfered with, and we

DR. RUST - DIRECT

1 know of those where the organization of activity even

DR. RUST - DIRECT

1 in the tiny small area where initially the neurologic  
2 task is undertaken in those small areas.

3 Things can go wrong with communication as  
4 well. The understanding of this is proceeding at a  
5 remarkable pace with what we need to have in the way  
6 of science. We need to have first a hypothesis that  
7 makes sense biologically and neurologically, and then  
8 we need to have the observations of one group and then  
9 another group validating the first group.

10 Then additional points made as we go back to  
11 the patient, or if we go to an experimental model to  
12 prove that these things in fact can be replicated in  
13 either circumstance. We usually observe things we  
14 hadn't observed before because of what we've learned  
15 and then make an understanding of the particular  
16 syndromes far more complicated, far more sophisticated  
17 and in fact in the long run far more simplified in  
18 that we can fit so many things together.

19 That is the period that's pertinent to this  
20 particular case, but there are other intervals. In  
21 little girls with Rett syndrome, they have an  
22 additional period of deterioration at five or six  
23 years of age. In children with autism, there's an  
24 additional period of deterioration in the teenage  
25 years and so forth that are at various other

DR. RUST - DIRECT

1 stages, so the general concept I hope I've made  
2 reasonably understandable.

3 Influenced not only by genes but by  
4 epigenetic influences. These can include  
5 environmental influences, usually deprivation being  
6 the most important one where children don't get  
7 sensory input, sensory experience. The sex of the  
8 child is very important in several different ways.  
9 The sex of the parents may be important in the newly  
10 understood system of parental imprinting for diseases.

11 So a disease that has some autistic  
12 manifestations, Angelman's syndrome is one where the  
13 effect of one parent's genetic contribution may  
14 influence the outcome. The syndromes are highly  
15 consistent and readily recognizable. We need only  
16 watch the child for a few minutes typically to come to  
17 some conclusion about whether that child has autism in  
18 the second year of life or the first year of life.

19 Rett syndrome is the same thing, and the  
20 clinical differences between these are often striking  
21 as well, particular ways of dealing with the  
22 environment.

23 Q Doctor, if I could just interrupt? What is  
24 epigenetics?

25 A Epigenetics are those influences that play a

DR. RUST - DIRECT

1 role in the working out of what the genes are trying

DR. RUST - DIRECT

1 to do, and it can as I mentioned be deprivation. It  
2 can be a negative influence of something in the  
3 environment. That can be during the pregnancy in  
4 particular with reference to autism, it's a time  
5 during which infections may play a role, and rubella  
6 is the classic one.

7 Now, a child with rubella encephalopathy has  
8 striking autistic manifestations, but those children  
9 differ from other individuals with autism in that they  
10 have other abnormalities, other areas that are  
11 afflicted by the infection and so they can be set  
12 apart from other kinds of autistic syndromes. The sex  
13 of the child or the maternal hormones may also play a  
14 role. These are things we're coming to understand  
15 better, but this is an area that one might call a work  
16 in progress.

17 Q And when you say environmental influences,  
18 do you mean things such as the introduction of toxins?

19 A Yes. It's possible that toxins may play a  
20 role in injuring the developing nervous system, and  
21 there are quite a few examples of this. Again, they  
22 usually have a particular syndrome with appearance, so  
23 with reference to mercury, for example, and I'll say  
24 something more about this, there's a particular  
25 appearance of the children that are injured by

DR. RUST - DIRECT

1      intrauterine mercury.

DR. RUST - DIRECT

1                   Unfortunately, because of blood flow to baby  
2                   and other kinetic considerations, and by that I mean  
3                   other ways in which substances move from one area to  
4                   another and cross various membranes and become  
5                   concentrated there. If a mother has a considerable  
6                   amount of mercury in her system, that mercury actually  
7                   gets concentrated in her fetus resulting in mother  
8                   having less of a mercury burden and the child having  
9                   more.

10                   Tragically, when that happens, we do see  
11                   children that are severely afflicted with  
12                   manifestations that only superficially resemble  
13                   autism. In fact, the children have what we call a  
14                   static encephalopathy with neurological problems and  
15                   things very different from those in autism that  
16                   interfere with their communication with their  
17                   surroundings.

18                   Q     Can autism be caused by a toxic insult?

19                   A     You can produce again a child's interference  
20                   with surroundings that may appear like autism, but we  
21                   don't have in fact a good example of a toxin that  
22                   produces something that is the same as the syndrome of  
23                   regressive childhood autism. I'm not aware of a toxin  
24                   that produces the phenotype that we in fact see.

25                   Q     What are the core features in autism that

DR. RUST - DIRECT

1 you would not see in a brain injury that is caused by  
2 trauma or toxic insult?

3 A It's a very complex thing autism in  
4 children. The regressive form has its own set of  
5 peculiarities that I mentioned are so characteristic  
6 from child to child. Children don't have all of them  
7 necessarily, but they have very many of them, and  
8 these represent probably the preservation to some  
9 extent of skills that come online very early for the  
10 child and are not replaced by skills that are at the  
11 higher level.

12 So with many children, who have regressive  
13 autism or high-functioning autism or other types of  
14 autism, we see things that we have described as  
15 splitter skills. We may have a child that has little  
16 or no language or at least little language who then  
17 has an astonishing capacity to deal with numbers or to  
18 know a great many words in lists. It's likely that  
19 that capacity to gain knowledge about lists of things  
20 is a way in which we initially learn our language.

21 Ninety-five percent of children acquire  
22 nouns predominantly, lists and lists of nouns that  
23 they can use to name things. And we see in autism  
24 some individuals that -- I had a patient who knew  
25 every name in the phone book this big. If I asked him

DR. RUST - DIRECT

1 the phone number for any patient in that book, he

DR. RUST - DIRECT

1 would immediately give it to me very rapidly in a  
2 strange way, so there's a strangeness that accompanies  
3 these kinds of skills and probably because we learned  
4 certain kinds of social skills and things as time goes  
5 on.

6 And probably part of this is genetically  
7 determined and part is experiential. He would give  
8 those things to me very rapidly. The idea that  
9 because of his capacity to memorize, he might find  
10 useful employment was one that we explored, and it did  
11 not work out as it often doesn't because of the very  
12 complex aspects of the strangeness, I guess you might  
13 say, associated with autism.

14 Of course, we say strangeness because we're  
15 different from the children with autism, and perhaps  
16 if they were the dominant group of people, they would  
17 think us strange. But nonetheless it does appear  
18 strange, and the quality of strange is the way in  
19 which we help to make the diagnosis. Parents, if you  
20 ask them does the child do something strange, and  
21 they'll give you a list of such things, they're often  
22 the same list.

23 The parents are often gratified by the  
24 opportunity of trying to explain these things.  
25 They're often taken to task by other parents or

DR. RUST - DIRECT

1 cousins or aunts or something else or people in the  
2 supermarket when their child is having difficulties in  
3 that setting and told why don't you discipline your  
4 child more properly or something like that. I provide  
5 my parents with a little card that says you don't have  
6 any idea what I'm dealing with here, so please shut  
7 up.

8 It's important for the parents to have that  
9 kind of support I think with my signature on it. The  
10 long list includes odd things. Children that cover  
11 their ears when the vacuum sweeper is turned on, so  
12 sensitivity to loud noises. This sets most autistic  
13 children apart from those with deafness, who go and  
14 hug the vacuum sweeper because they're actually  
15 hearing a sound and gratified by that.

16 There are peculiarities about eating. Foods  
17 are allowed to go to room temperature rather than  
18 being hot or cold. Oftentimes, it's fright related to  
19 people wearing masks to people wearing hats. There  
20 are other kinds of things, and it's a long list, and  
21 it's not always gathered on every patient, but it does  
22 help to demonstrate both the fact that this is likely  
23 almost entirely genetically determined because we have  
24 things that are so true from patient to patient.

25 That's the way in which we can understand

DR. RUST - DIRECT

1 those things. Toxic influences or injuries or  
2 infections produce a wide variety of changes  
3 typically, and that's not what we see in regressive  
4 autism. Does that answer your question? I apologize  
5 for the lengthy answer.

6 Q I believe it does, but what wouldn't you see  
7 in a toxic injury that you would see in autism? For  
8 instance, in this case we know from the videotapes and  
9 from the testimony on Monday that you weren't here  
10 that Yates knew his alphabet and his numbers, but had  
11 no expressive language.

12 A Well, one must be cautious about --

13 THE COURT: Pardon me. I think what might  
14 be helpful is if Dr. Rust would address the phenotype  
15 first and then distinguish it. It might actually be  
16 more helpful if he would address the regressive  
17 phenotype first.

18 THE WITNESS: That seems right to me as  
19 well. I think that's the best way to do it.

20 THE COURT: Okay.

21 BY MS. RENZI:

22 Q Is there another slide that we should --

23 A Yes. There are several.

24 Q Okay.

25 A Perhaps if I could go through the slides and

DR. RUST - DIRECT

1 then add what needs to be added?

2 Q That would be fine.

3 A Thank you. The second one, the features of  
4 classic or regressive autism. As I mentioned, the  
5 classic type are those children that were improperly  
6 characterized a long time ago by Bruno Bettelheim as  
7 being children that didn't respond to their  
8 surroundings because of mother not raising them  
9 properly, the so-called refrigerator mother.

10 It's an example of the many blind alleys and  
11 inappropriate and injurious ways in which the  
12 understanding of autism has worked its way out over  
13 the last 40 or 50 years, but Canner described these  
14 children, who from very early on, are not normally  
15 responsive to their surroundings. This is meant to be  
16 set apart from the regressive form, but as I  
17 mentioned, as we look back a videotapes of children  
18 that can be said to have suddenly regressed, we find  
19 features that are not typical for children in many.

20 I mention both of them at the same time  
21 because there is an overlap between these two things,  
22 but with the regressive type, you have the second  
23 phase of regression taking place, so the onset is  
24 typically before three years of age. It typically is  
25 between about 15 to 24 months, but the broader

DR. RUST - DIRECT

1 interval would be something like 12 months to 28  
2 months typically.

3           These children have severe verbal and  
4 nonverbal language impairment, and to speak with  
5 regard to the current situation, one must be careful  
6 again about making judgments based on videotapes  
7 rather than on -- I guess they're not videotapes, but  
8 CDs or whatever they are, rather than seeing the child  
9 and doing the kinds of things we do to confirm the  
10 diagnosis.

11           But, in viewing the videotapes in this  
12 instance, language development was abnormal prior to  
13 12 months, at least based on what I saw in the  
14 videotapes. It may have been something that was not  
15 captured, but not only expressive language, but the  
16 kind of language we provide in the way of facial  
17 expression. This young man didn't have the typical  
18 array of facial expressiveness that one sees in normal  
19 children at 12 months.

20           Based on the kinds of tasks the child is  
21 meant to do whether being with other people or whether  
22 being videotaped himself, his facial expression again  
23 was not normal. There were only a few smiles, and  
24 even at 13« months, the child was still using  
25 utterance such as mu mu mu, which is an utterance that

DR. RUST - DIRECT

1 extinguishes typically at about seven or eight months  
2 in 75 percent of individuals as they replace that kind  
3 of utterance with other things with their first words,  
4 so we see that fairly frequently.

5           Then there's a very sudden, sometimes  
6 overnight, and sometimes over weeks, regression that  
7 children experience and where the child really changes  
8 remarkably and where parents tell us that it's not the  
9 same child that they had before. Likely, as I  
10 mentioned, this is because of replacement of more  
11 primitive systems of wiring with more sophisticated  
12 systems of wiring and some things going offline, but  
13 the pattern is fairly consistent.

14           And so, its language impairment, it's social  
15 impairment, restricted interests and repetitive  
16 behaviors. Those are the things that are part of this  
17 syndrome, the kinds of repetitive behaviors that we  
18 look for typically at the outset are limitations in  
19 play where a child may tap at a drum, for example, and  
20 seem not to be paying attention to it for an interval  
21 and then go off to do something else.

22           May use objects inappropriately, may pick up  
23 small toys and run them back and forth on the carpet,  
24 which is a quite typical behavior. A little bit later  
25 on, typically but sometimes quite early

DR. RUST - DIRECT

1 flapping activities, spinning activities and so forth  
2 come on as well, so that's the syndrome that we're  
3 talking about here. If I can have the next one?

4 THE COURT: Thank you. Before we leave, the  
5 reference that you just made was to slide no. 2. To  
6 proceed.

7 THE WITNESS: Thank you, Special Master.

8 BY MS. RENZI:

9 Q Now, Doctor, we're on slide no. 3.

10 A As far as heritability is concerned,  
11 although again all the details aren't worked out, this  
12 is strikingly one of the most heritable severe  
13 neurological disorders that we're aware of. It may  
14 occur on the basis of quite a long list of genes at  
15 least being isolated. This is still something of a  
16 work in progress, but the concordance between  
17 identical twins is quite high and between siblings a  
18 reasonably high risk also for autism unfortunately.

19 So with fraternal twins or with siblings of  
20 a first child with autism, there's a risk of something  
21 between 10 and 27 percent for some kind of autistic  
22 syndrome. This needn't necessarily be classic or  
23 regressive autism. It may be other things that fit  
24 within this general framework, high-functioning autism  
25 being one of them. Probably certain kinds of OCD.

DR. RUST - DIRECT

1                   This, what we call the autistic spectrum, is  
2                   not as well-defined or refined as we really need for  
3                   it to be, and this is the reason that we go to such  
4                   painstaking detail to get all the characteristics  
5                   either prior to the child coming to us or when they  
6                   come to us, so we have a list of some 40 things that  
7                   we want to know about. The reason for this is we want  
8                   to make sure that we're not jumping to conclusions.

9                   A great deal of jumping to conclusions  
10                  regarding behavior of humans has been made in medicine  
11                  and science for a long time, and that includes the  
12                  last 20 years, and so people do make long lists of  
13                  those that are said to have this or that label in  
14                  terms of their behavior, and this needs to be  
15                  carefully worked out, but it does appear as if  
16                  features such as anxiety, features such as restriction  
17                  in interest and so forth are part of families with  
18                  children with autism.

19                  It's not known yet whether this is a  
20                  combination of the genetic problems, whether it's what  
21                  people call gene dose, meaning the amount of that gene  
22                  that's expressed in the child because genes are turned  
23                  on and off in the developmental process, and it may  
24                  account for some things coming on earlier than others.  
25                  The best evidence for this is related to Rett syndrome

DR. RUST - DIRECT

1 where we now see a very wide set of diseases that go  
2 under that heading of Rett syndrome.

3 The point is that it's clear that there's a  
4 heritable aspect to this that this quite striking and  
5 supports the idea that the chief abnormality and the  
6 probably the abnormality is sine quo none, the thing  
7 that has to be there, is a genetic defect and other  
8 things are not necessary for it to express itself in  
9 that way. The risk of classic autism in siblings is  
10 20 to 50 times higher than it is in the general  
11 population, and so as I mentioned it's a highly  
12 heritable condition. I can go on to the next one.

13 Q Now we're looking at Slide 4.

14 A So this is one example of a gene that's of  
15 great important because this, as with so many other  
16 genes, there are incredible numbers of genes that  
17 contribute to the making of our brains and our nervous  
18 system, and these genes account for the extraordinary  
19 things that we can do with our brains, and this is one  
20 of great importance. It codes for a protein that  
21 initiates some cascades of cellular development.  
22 There are plenty of other that do this and probably  
23 plenty of others that account for autism.

24 This one is particularly an interest to  
25 people now because of its contributions perhaps

DR. RUST - DIRECT

1 to several different diseases or several different  
2 kinds of things in the body, so cascades of signaling  
3 take place where one gene turns on and another and  
4 another and signals and multiply themselves. You make  
5 material in the cell that moves to other parts of the  
6 cell, that talk to other parts of the cell, and with  
7 this you get cells that multiply and become more  
8 specific and more sophisticated themselves.

9           So there's replacement, for example, of  
10 neurons with other neurons and replacement of  
11 connections of neurons with each other, and this in  
12 fact continues through the first three decades of  
13 life, at least, and in fact some of this takes place  
14 in adults as well, so it's cells that multiply. They  
15 grow connections with other nerves, touch them and  
16 talk to each other.

17           This is becoming a more complex thing in  
18 science as time goes on. They differentiate over  
19 time. They may remove some of the connections and  
20 replace them with others, and this may have --  
21 probably has something to do with environmental  
22 influences, so this becomes an epigenetic phenomenon  
23 as well. If you don't use a portion of the system,  
24 you may lose its function.

25           This is exemplified by a young lady in 1956,

DR. RUST - DIRECT

1 who was seen at UCLA, whose parents had shut her in a  
2 closet for the first 11 years of her life with only a  
3 tiny crack of light showing, and the opening of the  
4 door to bring her food, and her visual system  
5 developed improperly. And she had manifestations that  
6 were suggestive of an autistic syndrome probably  
7 because the experience was necessary for the system to  
8 develop properly and therefore did not take its  
9 opportunities to develop.

10 So we have that kind of acquired epigenetic  
11 effect as well. The patients -- the young children  
12 that have the CC phenotype of this have a markedly  
13 increased risk for autism, so that's a question of  
14 gene dose. They got two copies of an abnormal gene  
15 and more autistic manifestations. The next one then.

16 Q Doctor, if I can just interrupt, could you  
17 define how you are using the term "environmental."

18 A Environmental refers to everything that a  
19 person experiences from the time of conception. It  
20 includes all those things that take place in the womb  
21 and thereafter, which do influence our development and  
22 do influence the way in which we function. Some of  
23 those influences are transient in the sense that if we  
24 have something bad happen and become depressed, we're  
25 generally able to snap out of it and move on to

DR. RUST - DIRECT

1 something else.

2           With enough of those events, some people  
3 find it more difficult to recover, but there are some  
4 kinds of genetic influences as I mentioned with the  
5 little girl at UCLA that if again the opportunity for  
6 a system to come online. And what happens probably in  
7 the brain is the same thing that you or I do when you  
8 learn something. If you want to learn the piano, you  
9 can't learn it without sitting at the keyboard, we  
10 have to sit there and practice and learn things, and  
11 the nervous systems lays down tracks to do this.

12           So, if you learn to play a piece of music  
13 well, the system then takes it offline in the  
14 neocortex and places it online in the cerebellum in  
15 the back of the brain so that people that learn the  
16 song reasonably well find if they try to think about  
17 what they're doing instead of allowing the cerebellum  
18 to be on autopilot, they stop and can't play and have  
19 to go back somewhere and start over again.

20           So the pattern has been transferred to  
21 another part of the brain. This is what happens with  
22 all of the automatic tasks that we learn. If somebody  
23 practices the piano more and more and becomes a  
24 professional, the cortex comes back online so that  
25 people can in fact pay attention to what they're doing

DR. RUST - DIRECT

1 because additional connections have been made, so  
2 experience and environment in those ways modifies what  
3 we do, and it's a very important thing.

4 That is one of few tools we have with  
5 children with autism where we're trying to make them  
6 as functional as possible to find the right way to  
7 approach the things that they know about and can do  
8 and make connections to other things. This idea has  
9 been around for 150 or more years. Madame Montessori  
10 put it best when she said our job is to find the  
11 little glowing embers and to blow on them and make  
12 them burn brighter, and that's what we try to do with  
13 children with autism.

14 We're trying to make connections in new  
15 areas so that they can function better.

16 Q So, Doctor, you're not using the word  
17 environmental in the same way Dr. Corbier was using  
18 environmental factors?

19 A I believe he used it in the setting of  
20 exposure to toxins or potentially toxic substances or  
21 things that potentially cause inflammation. That is  
22 part of the environment, but it's a very tiny part and  
23 not in fact pertinent to this particular set of  
24 diseases.

25 Q Thank you.

DR. RUST - DIRECT

1           A     The next one?

2           Q     And we're looking at pathology of classic  
3     regressive autism, slide 5.

4           THE COURT:   Thank you.

5           THE WITNESS:   So the next step for the  
6     neurologist, once we've defined something clinically  
7     is to see where it is in the brain.  We do this on  
8     examination by finding things that are wrong and  
9     knowing where they are in the brain and seeing whether  
10    they all seem to be in the same location.  That's  
11    where we start.  And for a very long time we then  
12    looked at the brain itself when the opportunity arises  
13    to see what we can find in the way of abnormalities.

14                   And in fact, with autism, this opportunity  
15    is there, and very important pathological analyses  
16    have been performed on children with autism, most  
17    especially the work that Dr. Baumann started back in  
18    the late '80s with Dr. Adams and other in Boston and  
19    identified by making very thin sections through entire  
20    brains that the critical pathological change in autism  
21    is in a structure called the amygdala, which sits deep  
22    in the brain, and is a very, very complex organ that  
23    connects what we call the limbic system.

24                   This is the part of our brain that has to do  
25    with fight and flight and strong emotions, sexual

DR. RUST - DIRECT

1 activity, other kinds of things. It's the thing that  
2 motivates us. I suppose the most amygdaloid complex  
3 is now known to have something to do in fact with  
4 alertness, of great importance to know about that. It  
5 connects with the basal forebrain and with the forward  
6 parts of the brain, which as they mature provide us  
7 with ideas about what we ought to do and restraints on  
8 what we do.

9 It connects with the thinking portions of  
10 the cortex with slower connections with the thinking  
11 portions. This we think somehow explains that  
12 particular disease that we all run into whether for  
13 ourselves or others called adolescence during which  
14 these connections are just maturing and people are a  
15 little more impulsive and more likely to be swayed by  
16 emotion perhaps than later on. Older adults are not  
17 immune to that either, but the amygdala seems to be  
18 the critical place here.

19 Then selective changes in what the amygdala  
20 connects to, and this is an extraordinarily beautiful  
21 part of working out of the pathology of this condition  
22 because in the brain these exceedingly complex  
23 connections through five layers of neurons, one to  
24 another and to other portions of the same side and  
25 other side of the brain and brain stem into the body.

DR. RUST - DIRECT

1 All of these things organize themselves into mini  
2 columns.

3           These are areas of columnar structure  
4 talking to each other in a particular portion of the  
5 brain and to other minicolumns laterally and to other  
6 parts of the brain. In looking at these minicolumns,  
7 there are particular areas. Autism is not a condition  
8 that can happen anywhere in the brain. It happens in  
9 very specific parts of the brain as I've said here,  
10 and this dysgenesis is found in brains of people with  
11 autism.

12           We see not only the column itself being  
13 abnormal and its connections being abnormal, but  
14 thickness of the cortex over it, it may be larger than  
15 it out to be, and then the thing that you wouldn't  
16 necessarily think to be true but is true is the  
17 abnormal tissue is actually thicker than it ought to  
18 be. When we cause injury to a tissue, we make it  
19 smaller because we cause cellular elements to be  
20 disrupted and killed.

21           In this instance, it's larger, and during  
22 brain development in the first year and a half of  
23 life, lots of areas of the brain become larger than  
24 they will be in time because they've got all those  
25 recruited neurons that are excited about doing

DR. RUST - DIRECT

1 something and are not yet connected with each other,  
2 and once they do connect, some are eliminated, and so  
3 this enlargement becomes something that's smaller and  
4 more compact, very much like the way in which  
5 electronic devices have become smaller.

6 I reckon you can say that first they were  
7 huge and didn't do very much, and then they become  
8 smaller and more technical and do a great deal. These  
9 are very specific changes of dysgenesis that are  
10 found, and especially it involves connections that we  
11 call GABAergic. I apologize for all the technical  
12 terms, but there are no others to take their place,  
13 but what I can say about the GABAergic system is that  
14 this is a system that controls things.

15 It makes some things that we don't want to  
16 happen less likely to happen, and with these  
17 particular what we call synapses, connections taking  
18 place in the brain, this controls things like epilepsy  
19 and convulsions and abnormal electrical surges in the  
20 brain, so in a sense it's kind of like the way in  
21 which we control electrical systems with different  
22 insulation and other kinds of corrections.

23 This likely accounts for the very common  
24 problem in autism of abnormalities of EEG that we see  
25 in an overwhelming number of children with autism,

DR. RUST - DIRECT

1 usually not associated with epilepsy until later on in  
2 life, and again that has to do with the working out  
3 over time of vulnerabilities to dysfunction. These  
4 changes are identified in specific areas of brain  
5 pathologically, especially its some frontal areas and  
6 in several portions of the temporal lobe.

7 I can show you a picture of those in a  
8 moment, but what we see in the brain itself is  
9 underneath the cortical margin, which is the outside  
10 of the brain, underneath it is an inner layer and an  
11 even more inner layer, and it's the inner layer that  
12 becomes too large and too thick and too unwieldy and  
13 doesn't do its job quite right, and the layer under  
14 that that tends to do its job appropriately, even  
15 though there are some problems that we can see when we  
16 look clinically at patients, so this volume increases.

17 This is a characteristic thing. It's  
18 characteristic of particular brain regions, so again  
19 the idea that autism can happen because of injury  
20 anywhere in the brain is quite wrong, and persons who  
21 would maintain their position perhaps don't look  
22 carefully at patients. I can't say. The inner  
23 bridging area, which is this inner area looks more  
24 normal, and again these changes are in specific areas  
25 of the brain.

DR. RUST - DIRECT

1                   If I could have the next one? I lost track  
2 of the number I'm afraid. I'm afraid I don't have a  
3 pointer. I perhaps should. Does anybody have a  
4 pointer? I could walk over there. Is that permitted  
5 in the courtroom?

6                   THE COURT: We don't have a microphone.

7                   THE WITNESS: I see. Well, let me try to  
8 describe it.

9                   BY MS. RENZI:

10                  Q     And we're looking at slide no. 6, Doctor.

11                  A     Okay. You can see this brain, it looks like  
12 a cauliflower. This is what brains look like when you  
13 cut through them. It's been cut through in a coronal  
14 plane here, and as you can see as in every brain  
15 almost there are these areas in the middle that have a  
16 waterlike substance in them that's perfectly normal to  
17 have. We need to have that. On the outer side of  
18 this, you can see that grayish area. That's the  
19 cortex of the brain, and that's again where all of the  
20 thinking cells find themselves.

21                         There's a slightly lighter subcortical  
22 layer, and throughout that cortex are all these layers  
23 that I talked about are very, very carefully arranged  
24 connections of neurons. Then as you see in the frons  
25 of this thing, you see something. It's a little

DR. RUST - DIRECT

1 brighter white, these little fingers of white matter  
2 that go into the gray matter, and that's the outer  
3 white matter layer, and underneath that is the inner  
4 white matter layer.

5           It's that outer layer that represents the  
6 problem together with the cortex at least to autism  
7 with very specifically distributed abnormalities.  
8 There are other kinds of brain problems that can lead  
9 to difficulties in all these areas, but they don't  
10 look like this clinically, and they don't look like  
11 this pathologically. This is a specific entity. If I  
12 could have the next one?

13           Q     And this is slide no. 7.

14           A     Pathologically these are the cell losses  
15 that occur, and they're in highly selected areas in  
16 the brain, and these tend to be what we call  
17 evolutionarily advanced architecture of the brain,  
18 things that in our species and other species have  
19 learned over time that these happen perhaps by chance  
20 or by intent of some kind we don't understand, and as  
21 they develop, they get retained because they provide  
22 advantages.

23                     These are the particular frontal  
24 associational areas that seem to be involved. These  
25 are the areas that are involved with recognition and

DR. RUST - DIRECT

1 appreciation of certain kinds of things, recognition  
2 of faces being a very important one, retention of that  
3 memory of faces. This is also probably epigenetically  
4 determined as well, because women have a tendency to  
5 take -- it's quite striking when you study it  
6 carefully.

7           Normal women take inventories of what they  
8 see and retain that information in relationship to the  
9 environment. Men can walk into the same environment  
10 and not retain the slightest idea of what they've just  
11 seen. I don't think I need to convince you of that.  
12 There is a tendency on the other hand for even  
13 perfectly normal men to have restricted interests, and  
14 I don't think I need to convince you of that one  
15 either.

16           This has to do with the important male  
17 theory of brain with regard to autism and perhaps some  
18 aspect of the effects of testosterone and other things  
19 on brain development very early on and not later may  
20 have something to do with that. I mention again that  
21 these terms are big and fancy, but dysplastic meaning  
22 improperly formed, and axodendritic meaning the cell  
23 body of the neuron and its connections to other kinds  
24 of cells have either axons or dendrites.

25           Long connections we call axons and shorter

DR. RUST - DIRECT

1 connections we call dendrites that cause the system to  
2 communicate. I want to point out very particularly  
3 that not only are these specific areas that I've  
4 mentioned here, and it's hard to remember these  
5 things, but these are the areas that make the  
6 amygdala, B1 frontal associational areas, superior  
7 frontal gyrus and other areas, but the areas don't  
8 matter insomuch as saying this is very particular.

9           It's not what you see if somebody has  
10 mercury or if somebody has arsenic or any other kind  
11 of toxin. It's not what you see after encephalitis.  
12 It's not what you see after acquired brain injury.  
13 This cannot be the way it is without having its basis  
14 in a developmental process. There's no other way in  
15 which this inducted change, things touching one  
16 another and having the first events take place. The  
17 only possible way in which this can take place is by  
18 the unraveling of genetic code.

19           You lose large perametal neurons, and I'll  
20 emphasize it's the large ones, the things that used to  
21 be called Bett cells and other kinds of things, but  
22 it's the very large ones, and you lose some small  
23 neurons in the limbic system, and that's the thing I  
24 told you about that has to do with emotions and  
25 connection with our surroundings in an emotional way.

DR. RUST - DIRECT

1 You lose these GABA projections.

2 This is what I talked about in terms of  
3 something that exhibits some control over the system,  
4 so it may have something to do with outbursts that are  
5 seen in individuals with or without autism because  
6 they can't always exhibit the equanimity that's  
7 necessary. We must be very careful about this because  
8 individuals with autism are individuals.

9 They're people like everybody else and are  
10 subject to emotions like everybody else and must be  
11 subject to frustrations based on the limitations  
12 that's placed on communication and other kinds of  
13 things. We don't fully understand it, but we do see  
14 those kinds of outbursts, and very importantly a quite  
15 significant loss of what we call Purkinje cells, these  
16 are in the cerebellum, the back of the brain, the  
17 coordination part of the brain. It does other things.

18 It has to do with language and music and  
19 other kinds of things. It's a very important center,  
20 but those cells are lost specifically and very  
21 characteristically, so this is the pathology. Highly  
22 characteristic so that a person who cut through the  
23 brain in these serial sections could identify the  
24 disease on the basis of the pathology, not necessarily  
25 looking at the patient to come to that conclusion.

DR. RUST - DIRECT

1 The next slide?

2 Q We're looking at slide no. 8.

3 A The results we can begin to sort out, and  
4 this is what we do in neurology. We try to gather  
5 information that makes sense and is proven. We put  
6 that together. Then to go back to the person with the  
7 disease and find out what they have that can be  
8 explained in that way, and in doing that, we try to  
9 find ways in which we can make things better. There  
10 are plenty of things we don't understand.

11 There are plenty of things we don't even  
12 know the pathology of, but these kinds of observations  
13 help us to find ways to treat individuals and maybe  
14 we'll come to the point at which the salvage of these  
15 pathways with genetic treatment might be undertaken,  
16 so reduction of functional boundaries in the  
17 minicolumns and reduced these association and  
18 integrative capacities.

19 So, that this I believe explains very  
20 convincingly the fact that an individual with autism  
21 may in a restricted area become very interested in  
22 that problem and acquire enormous facility with that  
23 particular thing. There's oftentimes a repetitiveness  
24 to that, and it's something we can take advantage of  
25 therapeutically.

DR. RUST - DIRECT

1 Music being a very important preserved area  
2 in children with autism and adults with autism where  
3 we can use this as a calming effect and use this as  
4 something that a person enjoys and that something that  
5 a person gains greater sophistication with over time.  
6 It's a way of communicating. It's guerilla warfare in  
7 all of our patients, but this is a particular kind of  
8 guerilla warfare where we're trying to do something  
9 better.

10 But this is what's lost, the integrative  
11 part of it where things cannot be made to have the  
12 same response that perhaps that a person with this  
13 preserved architecture might have. So excitement,  
14 overplay and activity, losing interest in one and  
15 moving onto another in a systematic way are things we  
16 don't see in autism, and it's almost certainly the  
17 result of this loss of integrative capacity.

18 That doesn't mean there aren't other  
19 strengths, and one of our jobs as neurologists is  
20 finding the strengths of our patients as well as the  
21 deficits. Reduced higher level conceptual capacity is  
22 a characteristic of autism in patients with high-  
23 functioning autism. It's oftentimes the conceptual  
24 understanding of the other person. We don't fully  
25 understand the child with autism, and autistic

DR. RUST - DIRECT

1 patients.

2 Patients with autism don't necessarily  
3 understand the social context as readily as we do, and  
4 so in patients with high-functioning autism, you may  
5 see patients that get very close to other people, in  
6 their face as we call it, not understanding that  
7 important boundary, who may not appreciate how another  
8 person is thinking about things, and this is a  
9 spectrum that falls into the normal population.

10 We've all observed people, who don't have  
11 other features of autism, but who just don't get it as  
12 far as the other person's point of view is concerned,  
13 and it may be that there -- and I think there's  
14 excellent psychological information to suggest this is  
15 more of a problem in men than in women looking at  
16 normal populations of men and women. But there's  
17 probably something that's right about people who jump  
18 to conclusions at the right time as compared to those  
19 who wait and think about it longer.

20 There's a reduced conceptualization as I  
21 mentioned. Abnormal sensitivity to certain stimuli,  
22 and this is so characteristic that we almost always  
23 have the report as I mentioned that a child would  
24 cover their ears at certain loud noises, that a child  
25 will have difficulty with certain kinds of sensory

DR. RUST - DIRECT

1 stimulation, mixed textures that they're eating in  
2 foods.

3 They'll have other kinds of sensory  
4 experiences that others don't have, waving hands in  
5 front of the eyes, or even feeling the hands move. A  
6 child with autistic movements of the hands usually  
7 doesn't look at them, but has that and we don't  
8 understand why, but it's so characteristic. Then  
9 increased risk for seizures or for -- and outbursts of  
10 behavior, which come on very early in autism,  
11 unfortunately. The next one.

12 Q This is slide no. 9.

13 A This is the architecture we're talking  
14 about. It's quite beautiful and complex, and this  
15 doesn't even do justice to it with the overlying blood  
16 vessels that you see there feeding these regions of  
17 the brain and then these connections that are so  
18 extraordinarily elaborate between portions of the  
19 brain and that not only developed in the womb and the  
20 first year of life and the second year of life, but at  
21 least down to the third decade of life or even fourth  
22 decade in some, including some repair taking place  
23 into the 60s or 70s. The next one?

24 Q This is Slide 10.

25 A These are some of the areas. It doesn't

DR. RUST - DIRECT

1 display very well, but we see a region with a circle  
2 around it that involves facial perception. I know  
3 that it's hard for me even as a neurologist to think  
4 that we can reduce human beings to electricity in  
5 connections, and I'm a strong believer in Wordsworth  
6 saying that man is greater than he knows, but we do  
7 know that certain things --

8 THE COURT: Pardon me, Dr. Rust. I'm sorry.  
9 Just so that we can orient to the slide, you're  
10 talking about the circle on the slide?

11 THE WITNESS: Yes.

12 THE COURT: This is slide 10 in Section A?

13 THE WITNESS: Yes. I'm sorry. That's  
14 right. In Section A and in Section B, and what you  
15 can see inside that circle again this is an area  
16 that's involved with face recognition. What can be  
17 measured here is perhaps a surge of connections that  
18 take place as recognizing a face causes us to think.  
19 The more work an area of the brain does, the more  
20 blood flow goes to that region, and you can see in a  
21 normal control individual on that side, you can see  
22 those yellow and red things inside the circle.

23 That's activation of this very particular  
24 area that's involved in autism. It's abnormal. It  
25 doesn't activate, and you can see with same face

DR. RUST - DIRECT

1 recognition task, the individual with autism on the  
2 other side doesn't have that activation. And the same  
3 can be said of other areas, and these are represented  
4 in the green here, but they include two portions of  
5 what we call the temporal lobes.

6           If you look at the side of the brain here,  
7 this is the outside, just a drawing of the brain, the  
8 part that sticks down below there is called the  
9 temporal lobe. That has a lot to do with hearing and  
10 recognition of things we hear, and you can see two  
11 particular areas there that are characteristically  
12 involved in autism. You've got that inferior frontal  
13 gyrus that is one the same slide over on the far side,  
14 and you can see several other areas that are involved.

15           These all have names. These all have their  
16 very particular architecture. These particular areas  
17 are different than the area next to it. They have  
18 specified functions. These are the areas that are  
19 abnormal in autism, so it is a specific syndrome.  
20 There is no way in which a toxic event can produce  
21 this combination of changes. There's no way in which  
22 an inflammatory event so far as we currently  
23 understand can produce this combination of changes.

24           There is a tendency of certain kinds of  
25 infections or inflammations or toxins to go to certain

DR. RUST - DIRECT

1 portions of the brain, and there is an increased  
2 vulnerability of certain portions of the brain. But  
3 this varies from individual to individual, so if you  
4 look at one brain and another brain and another brain,  
5 you'll see some similarities, but you won't see an  
6 overlap that's so dramatic as it is in autism. This  
7 overlap is seen in autistic individuals.

8           Those lower brains represent autistic  
9 activation, areas that don't activate properly. The  
10 next one? All right. So this is meant to be compared  
11 to something else, and autism or at least the  
12 injurious effects produced by organic mercury have  
13 come up here in this trial as they do elsewhere, and  
14 we do have pathology in that condition as well. I'd  
15 say at the outset the children who have been injured  
16 by large amounts of methyl mercury do not have autism.

17           They have a combination of findings that are  
18 consistent with what we call a static encephalopathy.  
19 It has its own characteristics. It's tragic when it  
20 occurs, blindness and abnormal hearing that deprive  
21 the child of sensory input that may produce to the  
22 casual observer or the untrained observer the  
23 appearance of autism, but it's far from the fact you  
24 see as well malformation of limbs.

25           You see injuries to brain, so the usual

DR. RUST - DIRECT

1 thing is to injure the visual cortex and the auditory  
2 cortex vision and hearing. It usually spares the  
3 large neurons. The large neurons in the cortex are  
4 exactly those that go wrong in autism, and the small  
5 neurons are spared. These are the sensitive cells.  
6 They're the wrong ones for autism. It does not  
7 produce the same appearance clinically.

8           You also damage not the outer laminae, the  
9 outer portion of the brain, the outer white matter,  
10 but the inner white matter and the deeper cortical  
11 laminae. This is a different pattern from what's seen  
12 in autism. It's virtually the opposite, and you  
13 injure the cerebellum, the organ that I talked about,  
14 but you injure it in a very different layer, a very  
15 different part of the cerebellum.

16           It's the most extraordinarily complex thing  
17 the cerebellum, and the Purkinje cells are  
18 characteristically spared. They don't get injured.  
19 It's exactly the thing that goes wrong in autism, the  
20 Purkinje cell injury. It does not happen in mercury  
21 toxicity, even in these little babies who had more  
22 mercury than anybody else again because it was  
23 concentrated in large quantities from their mother  
24 into the babies and again spared the mother's lives.

25           Because of that, mothers that had similar

DR. RUST - DIRECT

1 burdens of mercury would survive if they were  
2 pregnant, but produce a tragically injured baby. This  
3 was all despite very uniform distribution of --  
4 Dorothy Russell, a superb and marvelous  
5 neuropathologist, who did these studies after Minimata  
6 Bay carefully studied the amount of mercury you found  
7 throughout the brain. It was uniformly distributed,  
8 so it's not that you're only getting mercury one place  
9 or another.

10 It's throughout the brain, and therefore all  
11 of the things that could have gotten injured in  
12 autism, could have gotten injured because there was  
13 plenty of mercury there to injure these other kinds of  
14 cells, far more, extraordinarily more, 10, 12 digits  
15 more of concentration than we might see from very tiny  
16 amounts of mercury exposure. It didn't injure the  
17 areas that are involved with autism. It did injure  
18 other sensitive areas, and in fact it was difficult to  
19 get into the brain.

20 It was less of it than in non-brain tissues.  
21 Mercury has a difficult time crossing the blood brain  
22 barrier, and so the brain had less than other portions  
23 of the nervous system that are outside the blood brain  
24 barrier, and so that what we call sensory ganglia that  
25 are not invested with blood brain barrier were

DR. RUST - DIRECT

1 injured even more than the brain itself so that the  
2 children, their limb deformities, were a product of  
3 the injury to the peripheral sensory nerves. The next  
4 one?

5 Q This is slide 12.

6 A In this instance, as we suggested we have a  
7 particular syndrome that's a product of a particular  
8 neurological process or injury, and so these children  
9 tragically had visual and hearing deficits. They had  
10 dysfunction of the central nervous system motor  
11 system. What we know about autism is that motor  
12 functions are entirely preserved.

13 At least in classic and regressive autism,  
14 we have impairment of function to some extent in  
15 children with some other syndromes, or at least we  
16 have some strange aspects of motor function, but one  
17 of the important things to make sure of when you're  
18 trying to diagnose autism is that the motor system is  
19 in tact.

20 It's not being used necessarily in the same  
21 way of other people, but it is quite in tact, and yet  
22 we have a severe abnormality of motor systems if you  
23 injure the brain with mercury, and the peripheral  
24 sensory system I mentioned. I don't know whether  
25 there's another slide. I think there is. This is

DR. RUST - DIRECT

1 demonstrating -- what the greenish

DR. RUST - DIRECT

1 and reddish areas represent here are areas that are  
2 immature becoming mature into the 20s.

3 This slide very importantly illustrates I  
4 think, and many of us do, with great support for this  
5 idea that the second deterioration in higher  
6 functioning autism in the teenage years is not because  
7 of battering or bruising in life or because people are  
8 not trying hard or anything else. It's because new  
9 systems that have to come online that treat this, what  
10 we might call an illness, adolescence, it's a very  
11 important kind of illness because that's where we all  
12 get our experiences.

13 Don't we? We do things impulsively, and  
14 sometimes people convince us to go off to wars and  
15 things like that. You perhaps wouldn't convince a 40-  
16 year-old the same with the same readiness. These  
17 things happen 15, 20, 25 years into life when new  
18 systems come on that may account not only for what we  
19 facetiously call the disease of adolescence, but the  
20 wonder of adolescence and maybe the systems coming  
21 online account for what we call the feet of clay that  
22 we develop as time goes on and perhaps don't have that  
23 same enthusiasm. Next one?

24 THE COURT: Pardon me. Before we go on, the  
25 slide to which you were referring with the reds is

DR. RUST - DIRECT

1 slide no. 13. To proceed.

2 THE WITNESS: A few other things could be  
3 said that I think are pertinent. There is the fact  
4 that some people have demonstrated that glutathione,  
5 peroxidasin and glutathione dismutase activities may  
6 be low. This is based on information in the  
7 peripheral circulation, and this is important to know  
8 about because it is possible that environmental  
9 influences could have some effect on the Purkinje cell  
10 loss.

11 I'm not entirely excluding environmental  
12 events, but these may be the sorts of things that  
13 people naturally experience, and it may be that at  
14 least this particular system has something to do with  
15 the sensitivity of Purkinje cells. It's not fully  
16 understood yet, but we must keep an open mind about  
17 these things. Nonetheless, this is pretty good  
18 information and may have something to do with a  
19 combination of genetic sensitivity and other things  
20 that happened. It may have nothing to do with it.  
21 The next one?

22 THE COURT: That was slide 14. We're now  
23 moving to slide 15.

24 THE WITNESS: People are trying to look as  
25 we know there's a great deal now of very important

DR. RUST - DIRECT

1 information about mercury and the brain, not only what  
2 I've shown so far, but information that tells us a lot  
3 about the kinetics of mercury, a lot about its  
4 excretion. In the excellent work done in the  
5 Seychelles, the kinetics of mercury transport were  
6 worked out into a single and a double volume way of  
7 looking at these things.

8           That's a technical term, but there are ways  
9 in which we understand how elements and medicines and  
10 other things move around in the body, and there is no  
11 evidence that there is transport difficulty with  
12 mercury. It does as I mention get excluded from the  
13 brain to some extent by blood brain barrier, which  
14 influences the kinetics, and one of the problems with  
15 kinetic studies is when we look at a safe drug, we  
16 just give the drug to somebody and see where it gets  
17 in all the compartments.

18           Obviously, nobody is going to do this  
19 mercury, so these kinds of studies are dependent on  
20 knowing what the predicted mercury burden of an  
21 individual has been. It can be quite high, and as you  
22 all know in the studies of the Inuits where that  
23 burden might be quite high, there's no epidemiological  
24 demonstration whatsoever of a risk of the development  
25 of autism on the basis of that considerable mercury

DR. RUST - DIRECT

1 burden.

2 Many studies that are done on mercury don't  
3 have this kind of sophisticated careful estimation,  
4 most of them probably, of the burden that's ingested.  
5 There are areas into which mercury goes in the body  
6 that are what we call sinks, areas where it doesn't  
7 cause severe injury, usually bones, hair and so forth,  
8 but it can be mobilized from these areas by chelation,  
9 and when that is done, we can estimate the body  
10 burden, but the chelation requires all of the  
11 excretion systems to be in tact.

12 If they're impaired or in tact, you cause  
13 injury to the individual by chelating them. This has  
14 been most carefully worked out after initial bad  
15 experiences with chelation of toxic substances.  
16 Likely, without much question I believe it's a  
17 question of the amount of mercury that produces injury  
18 to sensitive tissues as it is with virtually all  
19 toxins that we're aware of.

20 Some are more potent than others, but in  
21 Wilson's disease where there is a transport problem,  
22 and that's the basis of the disease, it's still the  
23 amount of copper that causes the injury. It is a  
24 dose-related effect quite distinctly dose related. It  
25 has nothing to do with the transport process itself

DR. RUST - DIRECT

1       except to the extent that that transport takes the  
2       copper to tissues, accumulates in those tissues and  
3       causes injury.

4               We know this because individuals who are fed  
5       out of copper pots, such as certain people from Japan  
6       get their Wilson's disease earlier and have higher  
7       burdens of copper. Finally, because autoimmunity has  
8       been a career-long interest of mine and because I've  
9       reviewed this literature with particular care, there  
10      are plenty of papers, there are no markers for  
11      autoimmunity to suggest that this is an autoimmune  
12      condition.

13             I've tried to cover the waterfront as far as  
14      central nervous system autoimmune conditions are  
15      concerned, including a forthcoming book on the  
16      subject, and there is nothing to suggest currently  
17      that this is an autoimmune condition in any way.

18             BY MS. RENZI:

19             Q     Thank you. Doctor, based on the pathology  
20      that you have just described in detail for autism, is  
21      there any evidence that Yates' autism was caused by  
22      mercury toxicity?

23             A     There's no evidence whatsoever, ma'am.

24             Q     Petitioners have also put forth the  
25      hypothesis that measles virus causes autism. What are

DR. RUST - DIRECT

1 the problems with Dr. Corbier's hypothesis in this  
2 respect?

3 A Well, as far as I can understand the  
4 hypothesis, it has to do with either effects of  
5 administration of the vaccine or with persistence of  
6 measles virus in the system causing the difficulties  
7 in various portions of the body. There are a number  
8 of papers on this subject related to both nervous  
9 system and areas outside of the nervous system.

10 The most striking observations have I can  
11 say with confidence been thoroughly discredited in the  
12 medical literature and in medical communities on the  
13 basis of the ways in which we usually thoroughly  
14 discredit things: The lack of validation, the lack of  
15 a capacity to repeat the same observations, review of  
16 the tissue specimens and the techniques that were used  
17 to study those tissue specimens demonstrating that the  
18 methods were faulty and the observations were  
19 incorrect.

20 The gathering of information from  
21 nonsequential patients, demonstrating those patients  
22 in the medical literature in ways that misrepresent  
23 the manner in which those patients were gathered,  
24 failure to misrepresent economic advantage related to  
25 publication, a wide variety of things. The medical

DR. RUST - DIRECT

1 community is relatively forgiving about some things in  
2 its community, but scientific fraud is not one of  
3 those things that we forgive.

4 We'd be very careful before we assign that  
5 sort of thing, but there is abundant evidence that  
6 that was the case here, and for us, it's something  
7 that we don't like because we try so very hard to do  
8 what we can for patients. We try to provide them with  
9 appropriate counseling and care. We try to provide  
10 them with an accurate explanation for why that child  
11 is afflicted with something.

12 We try to provide appropriate therapies. We  
13 try to protect them from inappropriate therapies. We  
14 try to make sure that our parents in trying to do  
15 something for their child about whom they're  
16 understandably upset are brought to spend money in  
17 therapies that are both expensive and potentially  
18 dangerous, so that aspect of things, thoroughly  
19 discredited.

20 Other attempts to look at this question  
21 remain and probably are some subareas, especially with  
22 regard to stimulation of the immune response that one  
23 must consider as possibilities, but thus far, no  
24 evidence as I mentioned in the literature to support  
25 that point of view. Insofar as persistence of virus

DR. RUST - DIRECT

1 in the central nervous system is concerned, we have a  
2 considerable understanding of this process.

3 We understand especially what measles virus  
4 may or may not do within the nervous system. We know  
5 exactly what acute measles encephalitis is. It's a  
6 horrible illness, which used to cause millions of  
7 deaths every year before vaccination. Personally, I  
8 had a friend in high school, who died within 36 hours  
9 of measles encephalitis, and I've taken care of  
10 patients with measles encephalitis as well.

11 We also worry about the possibility that  
12 folks will not be willing to vaccinate, and these  
13 illnesses will return. We have measles that persists  
14 in the tissues and produces SSPE. This is especially  
15 pertinent to some argument that some measles acquired  
16 early in life might give rise to an illness that  
17 doesn't produce the severity of early measles in terms  
18 of SSPE.

19 We don't understand why the latency. I  
20 believe the other day it was mentioned one to nine  
21 months, but that's by no means my understanding of the  
22 latency. In fact, quite characteristically, the  
23 latency is between four, and in a patient I took care  
24 of, 14 years after measles in the first year of life.  
25 We understand something about why this happens,

DR. RUST - DIRECT

1 something about the inadequacy of the immune response.

2 It's likely that the measles virus remains  
3 resident in the central nervous system. The measles  
4 virus in SSPE has been carefully studied. It has  
5 never been the A1 strain that's used for vaccine.  
6 It's always wild type and it comes on with an illness  
7 that once there is any suggestion of neurologic  
8 disease, the illness universally progresses to death  
9 within three years.

10 It's a terrible illness to follow with  
11 initial behavioral manifestations that are different  
12 from those seen in autism and sometimes are  
13 misunderstood by those, who take care of the child.  
14 Then we have inclusion body measles, which is another  
15 entirely different disease in no way to be confused  
16 with autism, so as far as virus resident within the  
17 nervous system is concerned, there is not one particle  
18 information in the medical literature or in medical  
19 experience to suggest that that happened.

20 As we try to keep an open mind, there are  
21 sometimes things that surprise us, but thus far people  
22 have gone at that with a will, and it's not founded to  
23 be the case.

24 Q Dr. Corbier yesterday put forth a profile of  
25 children that he believed you could determine that MMR

DR. RUST - DIRECT

1 was the cause of their autism based on a profile, and  
2 that profile was normal then with regression, GI  
3 dysfunction including malabsorption and diarrhea and  
4 the onset of symptoms one to nine months following  
5 vaccination. Could you please address the doctor's  
6 profile and how significant that profile is?

7 A Well, it would cover virtually all of the  
8 children that I've taken care of with regressive  
9 autism in some way or another. Although, then it  
10 depends on how you define those entities. As far as  
11 normal to a child deteriorating in terms of  
12 intellectual and other functions, that covers a great  
13 many of the children that have the regressive form of  
14 autism.

15 As I mentioned, if you look carefully prior  
16 to that time, you frequently find things. Parents  
17 have high expectations for their children, and  
18 sometimes it's only with careful history taking we  
19 find out that there are things that they haven't done  
20 on time, but that would cover virtually all of the  
21 children that fall into the regressive category. The  
22 second portion of this was the GI complaints. Is that  
23 what --

24 Q Yes.

25 A Well, again we have a little bit of black

DR. RUST - DIRECT

1 humor in medicine from time to time and a common  
2 saying in medicine is that one man's diarrhea is  
3 another man's constipation. It depends on what  
4 people's attention is drawn to. I'm a pediatrician as  
5 well as a neurologist. I've taken care of a great  
6 many children in my career in various settings,  
7 including emergency rooms where children come in with  
8 a complaint of diarrhea.

9 Children get three to five bouts of diarrhea  
10 a year quite typically, especially those that are in  
11 daycare settings because the transient of infectious  
12 agents in that way, viruses typically. Children also  
13 get diarrhea from noninfectious causes. The most  
14 common one is treatment of ear infections with  
15 antibiotics. That treatment with ear infections  
16 interfering with what we call the gut flora or the  
17 kinds of bacteria that are in the gut to producing  
18 diarrhea.

19 Maybe in some instances because of other  
20 effects of medication, and the medications typically  
21 used for ear infections are among those that quite  
22 commonly cause this problem, so again it depends on  
23 how you define it. Children that have autism  
24 sometimes have an element of anxiety, which we're  
25 still trying to work out, but we find an overlap

DR. RUST - DIRECT

1      between children that have anxiety and children that

DR. RUST - DIRECT

1 have stool retention.

2 Stool retention producing overflow diarrhea  
3 in lesser quantities, but more persistent than is seen  
4 without these large retained stools. This is another  
5 behavioral phenotype in children, and it's not limited  
6 to autism. If one were to try to make some sense of  
7 those things, one would have to perform careful, very  
8 careful epidemiological studies to find out if that is  
9 something that sets these children apart from other  
10 children because again it's such a common phenomenon.

11 I believe another thing that we frequently  
12 see is again after treatment with antibiotics we see  
13 the adventitial development of thrush, sometimes  
14 involving both ends of the GI tract, again because of  
15 the influence of antibiotics I would say, so that was  
16 Part 2. I think those are such common things that  
17 again one would be making a case that all children are  
18 having injury from something that has no basis in  
19 medical science.

20 Q The last one was the one- to nine-month  
21 period of onset following the vaccination.

22 A Well, we don't have any information upon  
23 which we can base such a judgment. But again, I think  
24 an illustrative example would be the set of  
25 information that was gathered about the second DPT

DR. RUST - DIRECT

1 immunization.

DR. RUST - DIRECT

1 Those immunizations were given at two, four and six  
2 months, and again there are not only autism but other  
3 conditions that develop at a certain time in the  
4 development of the nervous system characteristically.

5 Not only does autism develop in the  
6 regressive form in some kind of proximity to the 12-  
7 month or the 15-month or the 18-month vaccinations but  
8 so too with an even tighter correlation, a thing that  
9 we call infantile spasms, and we still call it that.  
10 Infantile spasms is a severe seizure disorder that  
11 comes on between four and six months  
12 characteristically right around four or five months.

13 That's the time at which it manifests itself  
14 in most children. Because the four-month vaccination  
15 was given, people understandably wondered whether  
16 there was a connection between the two things.  
17 Additionally, with the second pertussis vaccination,  
18 very frequently children get a local inflammatory  
19 reaction in the leg or the thigh that causes them to  
20 cry within the first 24 to 36 hours.

21 The children don't seem to be themselves.  
22 They seem to be sleepy and restless and so forth.  
23 Then it goes away. The way to answer this question  
24 because it was an important one -- was to take away  
25 the four-month vaccination, and the UCLA/Denmark study

DR. RUST - DIRECT

1 did exactly that. They moved the second immunization  
2 to 10 months and then looked at the population  
3 frequency of infantile spasms.

4 Infantile spasms onset at four to six months  
5 was exactly overlaying the onset prior to the  
6 immunization being moved. And it was felt by the  
7 scientific and medical community that this proved that  
8 at least in most instances if perhaps all that the  
9 vaccination had nothing to do with that, so we do have  
10 things that take place in the second year of life  
11 because of the working out of a genetic code.

12 If you provide a wide range of possibilities  
13 one month to nine months, it becomes very confusing  
14 for us. In trying to sort out pertussis, we tried,  
15 because again we had an open mind, we tried to get the  
16 population most likely to have a cause and effect  
17 relationship, and so we considered the children that  
18 had the onset within the first 24 to 48 hours, and we  
19 considered those children that had a behavioral change  
20 as well.

21 That subpopulation of patients did not  
22 change whatsoever when the four-month vaccination was  
23 taken away, so the same thing is true of inflammatory  
24 or toxic illnesses. Proximity to the stimulus is very  
25 important as a starting point to come up with

DR. RUST - DIRECT

1 epidemiological information. For illnesses that I

DR. RUST - DIRECT

1 study that are inflammatory, we sometimes use  
2 intervals as long as three or four weeks, but not  
3 longer than that.

4 If we were to try to associate certain kinds  
5 of brain inflammation, for example, with colds or  
6 fevers or infections, the children have five or six of  
7 those a year, so if you said it could be anything  
8 within six months, every single child is going to seem  
9 to have had an effect, so for vaccinations you need to  
10 move that proximity up to within a very short interval  
11 so one would think something like a week or three  
12 weeks or something like that.

13 Such studies I believe have been undertaken  
14 and have not shown correlation with the onset of  
15 regressive autism, so that's the starting point, and  
16 so far no support for that point of view. Doing  
17 things otherwise leads to very untidy science, and we  
18 learned we didn't always do science well.

19 We learned our lesson about doing things  
20 well because we could waste a great deal of time with  
21 theories and other sorts of things if we didn't have  
22 rules that we followed that got us into the best  
23 possible place for coming to an accurate and honest  
24 conclusion.

25 Q And to follow up, this profile Dr. Corbier

DR. RUST - DIRECT

1 said represented a genetic subset of autistic  
2 children. Is there a phenotype in autism of children  
3 that fit this profile?

4 A No, ma'am, there is not.

5 Q Doctor, now I want to move on to  
6 Petitioner's hypothesis that thimerosal-containing  
7 vaccines and in conjunction with MMR work in concert,  
8 a synergy, that causes autism. Could you please  
9 comment on that hypothesis?

10 A There is no I think the word plausible was  
11 used yesterday, and I'd say there's no biologically  
12 plausible information to explain why that would  
13 happen. We know something about the potential  
14 mechanisms for either one, but again when you  
15 formulate a hypothesis, you meant to do so on the  
16 basis of a current understanding of mechanisms and  
17 trying to see what might be what.

18 Obviously, the other approach that can be  
19 undertaken is tight epidemiological correlation  
20 between two things happening at once, but if you  
21 select things that happen during an interval during  
22 which we know a disease develops and just say maybe  
23 they're correlated and provide a wide range of  
24 possible times at which that effect is produced, the  
25 possibility is overwhelming that the conclusion of

DR. RUST - DIRECT

1 such study will not be useful.

2 We have to refine things further, and there  
3 isn't any plausible explanation that I'm aware of as  
4 to why these two effects taken together would produce  
5 autism. We don't know of mechanisms whereby  
6 thimerosal could produce this injury of brain. We  
7 don't know of mechanisms whereby thimerosal that's  
8 involved even sustained in the body over long  
9 intervals could produce that effect.

10 That kind of a theory is one that goes under  
11 the name of a homeopathic theory. Those theories are  
12 applied both to tiny amounts of substances given in  
13 treatment and tiny amounts of substances producing an  
14 effect over the long period of time. This is a  
15 theoretical construct in one branch of medicine that's  
16 not accepted by all the other branches and has not  
17 been proven even with carefully controlled studies to  
18 be a mechanism that in fact is valid, so that's what  
19 I'd say I think.

20 Q Would such hypothesis be contrary to the  
21 neuropathology of autism that you described today?

22 A It would not be in keeping with anything I  
23 know about neuropathology.

24 Q I want to focus now on Yates Hazlehurst. In  
25 Dr. Corbier's report, Yates was immune-compromised.

DR. RUST - DIRECT

1 Is there any evidence in the medical records based on  
2 Yates' first year of life, first two years of life  
3 that he was immune-compromised?

4 A Because of that question, I carefully  
5 reviewed the records that were made available to me,  
6 which struck me I might add as ones that were kept by  
7 a very careful pediatrician, who seemed to pay  
8 attention to the parents' complaints and concerns,  
9 recorded these in the chart and all of the other sorts  
10 of things that are typically recorded in a well-run  
11 pediatric practice.

12 The health of this young man during that  
13 interval appeared to me to be typical of young  
14 children, in no way different from perhaps the  
15 majority of other children that come into a pediatric  
16 practice. There are some children that have more ear  
17 infections than others, but this was not an  
18 overwhelmingly large number of ear infections here.

19 Ear infections we don't recognize as  
20 something that's caused by immunodeficiency in  
21 combination with problems in the gut and so forth, at  
22 least I don't, without other attended circumstances,  
23 so in children that are immunocompromised, you can see  
24 these things, but together with other kinds of  
25 manifestations. None of those were present here.

DR. RUST - DIRECT

1                   In children with immunocompromised or  
2 failure to thrive in association with ongoing gut  
3 problems, the first place one looks is at the growth  
4 curve of the child. The growth curves of this child  
5 had several important features that need to have been  
6 paid attention to, and again carefully recorded, and  
7 what we see is that this was a child whose growth  
8 picked up early in the first year of life and was  
9 maintained at or above the 95th percentile in weight  
10 throughout the intervals where diarrhea was recorded.

11                   This is not what one finds in a child that  
12 has ongoing gut difficulties with malabsorption or gut  
13 difficulties on the basis of immunodeficiency. This  
14 is not what one sees in a child with chronic infection  
15 due to immunodeficiency, so the growth profile was not  
16 consistent. The other interesting feature was the  
17 head growth, which increased within the first three to  
18 five months to the 70th percentile.

19                   This of course happens in many children, but  
20 it is something that we observe quite regularly in  
21 children with acquired autism or with regressive  
22 autism. It doesn't prove the case, but it's one more  
23 consistent feature, so I didn't see any evidence of  
24 immunocompromise whatsoever. Certainly nothing that  
25 would explain ongoing infections being any way other

DR. RUST - DIRECT

1 than usual for children, and certainly something that  
2 would have nothing to do with the question of  
3 autoimmunity related to viruses.

4 Q Dr. Corbier also mentions the chronic  
5 lymphadenopathy that Petitioners claim they felt on  
6 the swollen lymph nodes on Yates' neck.

7 A I'm sorry?

8 Q I'm sorry. You've published on this topic,  
9 correct?

10 A Well, on lymph node function, the immunology  
11 of lymph nodes. That's right. Every pediatrician is  
12 familiar with the fact that lymph node swelling to a  
13 modest to moderate degree, sometimes larger, is very  
14 common in childhood. It's one of those things that we  
15 see. It's very common. It's not an aspect of immune  
16 dysfunction. It's an aspect of normal immune  
17 function. Our studies involved trying to associate  
18 the swelling of lymph nodes with the fact that they  
19 provide a regional signal to immune responses.

20 So we placed them, transplanted them, into  
21 pedicle grafts and provided them with stimulus from  
22 bacteria and things, and so what the lymph nodes do is  
23 if somebody gets an infection in the arm or in the  
24 neck or in the back, the regional lymph nodes swell  
25 because of the immune response. That immune response

DR. RUST - DIRECT

1 is meant to contain the microorganisms that have come  
2 to the lymph node, and so they won't spread to the  
3 rest of the body.

4 It's meant to eliminate them, and it's meant  
5 as well to provide a regional signal very probably so  
6 that you can recruit additional immune cells to come  
7 to that region. That's what lymph nodes do. Because  
8 children get so many oropharyngeal infections, other  
9 infections, lymph node swelling is very common. When  
10 one is a brand new intern, when we start out, we don't  
11 know perhaps as much as we do later on.

12 We write these lengthy notes in our  
13 descriptions of patients, and almost all of them have  
14 the annotation shotty, meaning like little shots, and  
15 so with enlargement of lymph nodes until we get sick  
16 of writing it down because it's almost always there,  
17 so that's a normal aspect of immune function.

18 Q Were there any contraindications for Yates  
19 to receive his vaccinations on February 8, 2001?

20 A None, whatsoever in my opinion within a  
21 reasonable degree of medical certainty.

22 Q Dr. Corbier also relied on a 2007 article  
23 published in the New England Journal of Medicine to  
24 support his hypothesis that thimerosal-containing  
25 vaccines can cause neurodevelopmental problems. Do

DR. RUST - DIRECT

1 you agree with this assessment of the article?

2 A I'd have to look at it. If you have a copy  
3 of it, I can make a comment.

4 MS. RENZI: Special Master, could we just  
5 take a five-minute break and then come back to that  
6 question? Or a 10-minute break?

7 THE COURT: We sure can. Actually, do you  
8 have much longer do you anticipate on your direct?

9 MS. RENZI: Maybe 10 or 15 minutes.

10 THE COURT: Okay. Let's do a five-minute  
11 break, and we'll come back at quarter to. We're in  
12 recess.

13 (Whereupon, a short recess was taken.)

14 MS. RENZI: Thank you. And for the record I  
15 have given Dr. Rust the 2007 New England Journal of  
16 Medicine article that's in front of him, and that was  
17 filed as Petitioner's Exhibit 48.

18 BY MS. RENZI:

19 Q Doctor, the question I asked you before the  
20 break was Dr. Corbier relied on this article to  
21 support his hypothesis that thimerosal-containing  
22 vaccines can cause neurodevelopmental problems. Do  
23 you agree that that's the conclusion of that article?

24 A No, I don't.

25 Q What does that article stand for?

DR. RUST - DIRECT

1           A     I looked at this article just after it came  
2     out, and I just wanted to make sure that I hadn't  
3     overlooked anything in it. The point of the study was  
4     to try to find if there is any association between  
5     early thimerosal exposure and neuropsychological  
6     outcomes, and it's important to do such detailed  
7     studies, and in fact the result of the study is  
8     absolutely contrary to the idea that there's some  
9     association.

10                     It shows no association between those two  
11     things. I believe reference was made to the  
12     occurrence of tics. This is interesting but not  
13     pertinent. Phonic and motor tics are fairly common in  
14     children. It's another problem that one could wonder  
15     why we're seeing so much more of them than we were in  
16     the past, and there's no doubt that in fact it's just  
17     because things are being brought to our attention that  
18     weren't being brought there before.

19                     Enormous numbers of children have tics, and  
20     this is a small study, and probably there's a  
21     selection bias or something of that sort that caused  
22     this particular problem to rise to the surface.  
23     Again, what we do is confidence intervals trying to  
24     see not only that we find something, that it's more  
25     common in one group than the other but that's

DR. RUST - DIRECT

1 significantly more common, and this rose to a level

DR. RUST - DIRECT

1 that it requires further study.

2 Tics are not a feature of autism.

3 Typically, there are patients with autism that have  
4 tics. About eight to 11 percent of boys have tics at  
5 some point in their lives, a slightly smaller number  
6 of girls, at least so we think. We think that perhaps  
7 they're overlooked in girls because what brings the  
8 boys to our attention is the hyperactive component of  
9 Attention Deficit Hyperactivity Disorder. It's not  
10 even either one of those things.

11 It's a part of a normal human function that  
12 can cause problems, and the girls tend not to have  
13 that, so they don't come to our attention. They may  
14 have attention problems. They may have tics, but we  
15 don't get the chance to see them, so what this shows  
16 is that maybe there's something there in terms of an  
17 association, but further study is required. And it's  
18 not in any way pertinent to the consideration of  
19 autism.

20 The important message of this paper is in  
21 one well-performed study it was demonstrated that  
22 there isn't any association between thimerosal early  
23 exposure and things related to neuropsychological  
24 abnormalities or autism.

25 Q Dr. Corbier was talking about tics in his

DR. RUST - DIRECT

1 testimony yesterday. What is a tic?

DR. RUST - DIRECT

1           A     Well, there are two kinds of tics. There's  
2     a motor tic, and there's a phonic tic. Motor tics can  
3     -- somebody, who actually has tics and are frequently  
4     associated OCD features, wrote a large book and a set  
5     of studies on this thing and divides motor tics into  
6     56 different types and phonic tics into 47 or 48  
7     different types. It's a common thing amongst people.  
8     It's sort of catching in that somebody has a tic, some  
9     other people pick it up.

10           If it persists for more than one year with  
11     both motor and phonic tics, then we make the diagnosis  
12     of Tourette's syndrome, which is generally a benign  
13     condition that's also a developmental thing. And as  
14     with other developmental processes involving the  
15     intellect and emotions and behavior of people, it has  
16     it's positive sides and it's negative sides, and it's  
17     something we're called on to address because of that.

18           Q     And you just stated that if tics go on for  
19     more than a year, then you'd label them Tourette's  
20     syndrome. What is a usual course of tics?

21           A     Usually they go away within a year, so the  
22     enormous number of children that have tics, people  
23     that have sat in classrooms observing children find a  
24     huge number that have tics. This is a report by a  
25     neuropsychologist as you can see reported more tics in

DR. RUST - DIRECT

1 the girls than their parents did, and again we see  
2 that kind of bias in observation, so these are  
3 exceedingly common things.

4 You can see them in bus stations and with  
5 baseball players when they get up to bat. Nomar  
6 Garciaparra has the most remarkable stereotypical  
7 tics, which are quite repetitive, so they seem to have  
8 a function for people in terms of allaying different  
9 kinds of feeling that people might have and become  
10 more common when people are anxious during test-taking  
11 situations.

12 Q I just want to wrap with just a couple of  
13 questions on Dr. Corbier's testimony and on his  
14 report. I want to refer you, and I don't know if you  
15 have it in front of you, to Petitioner's Exhibit 26,  
16 which is Dr. Corbier's report, and on page 7 of that  
17 report he discusses the conventional view of autism.  
18 Could we hand you a copy, or do you have it with you?

19 A I believe so, yes. Page 7?

20 Q It's page 7.

21 A What would you like me to comment on?

22 Q Does Dr. Corbier accurately describe the  
23 conventional view of autism, and is there a term  
24 called "conventional view of autism?"

25 A Well, I believe I commented on this section

DR. RUST - DIRECT

1 in my own report. One person's view of what's  
2 conventional and another's may not be the same, and  
3 this arises all the time in medicine. So what we tend  
4 to do is to hold large conferences and arrive at  
5 criteria for things, and so criteria are a little bit  
6 different than conventional views. Conventional views  
7 could be an inaccurate reflection of people if they've  
8 not been assembled in one place, and in fact that's  
9 not happened.

10 We haven't assembled the neurological  
11 community all into one place to come up with  
12 diagnostic criteria. But we have assembled experts on  
13 the field, and there are features that people regard  
14 as being important elements of diagnosis. The view  
15 that's expressed here did not strike me as what I  
16 would call conventional, however. And I think that  
17 the views of this section are not those of most people  
18 with whom I work on autism.

19 Q Doctor, he follows up with the parental  
20 hypothesis, which is also on page 7.

21 A Yes. I'm sorry?

22 Q Dr. Rust, have you read the parental  
23 hypothesis?

24 A Yes, I read that section as well. I can't  
25 comment on it specifically because I haven't spoken to

DR. RUST - DIRECT

1 the parents about the hypothesis, and I would just  
2 have to presume that this is an accurate reflection of  
3 the parental point of view about what's going on.  
4 It's similar to points of view that parents of  
5 children that I care for with autism have, and it's  
6 the privilege of a parent to have their view about  
7 things.

8           There are many aspects of this that as you  
9 can understand from what I've said earlier I disagree  
10 with, and I think it's important that these things  
11 surface in meeting with parents of children with  
12 autism so that we at least understand that we're  
13 listening to each other.

14           Q     Dr. Corbier's opinion also rests on that  
15 there are multiple environmental factors, some of  
16 which cannot be identified, that all contribute to the  
17 development of autism. Could you comment on whether  
18 that is a hypothesis accepted in the medical  
19 community?

20           A     Well, the emerging view of autism as I've  
21 described is the working out of a genetic development  
22 of brain that doesn't develop properly, and the degree  
23 of that abnormality helps to differentiate the time of  
24 onset of subtypes of autistic disorders and may be  
25 related to conditions that are now in the autistic

DR. RUST - DIRECT

1 spectrum that might belong elsewhere, so we know the  
2 most about the classic autism and the regressive  
3 autism. That's our largest number of patients, and  
4 remind me what your question was now?

5 Q About whether there's other environmental  
6 factors along with vaccines that all contribute to the  
7 development of autism?

8 A I think that would represent too difficult a  
9 point of view to perform careful research on. We need  
10 to start with the most promising areas in which we can  
11 then make an observation and then try to sort out as  
12 time goes on what other things may contribute to it.  
13 It's quite clear that the genetic view of autism is  
14 going to represent the overwhelmingly most important  
15 aspect of autism.

16 It's also quite clear that children can have  
17 features that to various degrees resemble autism from  
18 other kinds of environmental insults, but those  
19 conditions need to be set apart for the very important  
20 reason that first of all families might not because of  
21 the question of guilt over genetically inherited  
22 disease, because of the question of what the risk for  
23 other children in the family is, future children,  
24 these need to be carefully addressed by us.

25 We need to set those apart from environment

DR. RUST - DIRECT

1 stimuli that may do things. In parents that have had  
2 a child that had something that's related to an  
3 extrinsic nongenetic insult we can provide counseling  
4 that is a little different in terms of whether the  
5 family would like to have another child and so forth,  
6 so we need to make these distinctions very carefully.

7           If you multiply determinants, the  
8 statistical evaluation, which is the way in which we  
9 see whether things that repeat themselves that are  
10 validated in studies become very difficult to perform.  
11 Oftentimes people multiply two, three, four, five  
12 different things and say which one had something to do  
13 with things. And oftentimes those studies are done  
14 without the kind of corrections that are necessary for  
15 what we call multivariate analysis.

16           It's very difficult to do multivariate  
17 analysis if you don't first know the frequency of some  
18 particular problem in the environment, so that's the  
19 first step toward doing these multivariate analyses.  
20 Once you get some information suggesting repeatable  
21 observations, that's the point at which they need to  
22 be parsed out, the individual part of it then  
23 reconsidered.

24           If we consider a combination of things, the  
25 //

DR. RUST - DIRECT

1 typical outcome of that, and there have been such  
2 studies in medicine, is to generate data that's not of  
3 any value.

4 Q Doctor, you have reviewed the video of Yates  
5 Hazlehurst, correct?

6 A Yes, I have.

7 Q And in some of the videos, Yates has a  
8 little bit of a distended belly. Would you agree?

9 A Yes. There was one in particular. He was  
10 running in a diaper in something that looked like  
11 Scandinavia or something. I don't know where.

12 Q In your opinion, did Yates look like a  
13 child, who was suffering from malabsorption?

14 A No. As I mentioned, his growth and  
15 development includes weight at the 95th percentile or  
16 above in a steady way. The belly was a little bit  
17 big. It must be said that in a child that has a  
18 recent change of diet, or in a child that has stool  
19 retention or a child that's eaten a great deal of  
20 particular foods, that kind of distension typically is  
21 either gaseousness or contents.

22 The child with quasioko (phonetic), we think  
23 about those children as having distended bellies  
24 appear absolutely differently from what we saw in that  
25 picture there.

DR. RUST - DIRECT

1 Q Doctor, have you ever testified in Vaccine  
2 Act cases?

3 A Twice.

4 Q And did you testify on behalf of Respondent  
5 or Petitioner in those cases?

6 A In both those cases it was on behalf of a  
7 child and family.

8 Q And why have you agreed to testify and serve  
9 as an expert for Respondent today?

10 A Well, I was asked, and I thought it was  
11 important.

12 MS. RENZI: I have no further questions.  
13 Thank you.

14 THE COURT: Mr. Webb, you wanted a period of  
15 time?

16 MR. WEBB: I would like to have if we can  
17 about a half-hour break?

18 THE COURT: How long are you planning to go?

19 MR. WEBB: I'm not sure I'll need it all,  
20 but I need to put my notes together. On cross-  
21 examination, I do not anticipate being very long. It  
22 would be about 12:30? 11:30 I mean.

23 THE COURT: 12:30? That's quite an extended  
24 period time there, Mr. Webb.

25 MR. WEBB: Yes, 11:30.

DR. RUST - CROSS

1 THE COURT: Let's see. It's about seven  
2 after. We'll give you 20 minutes to collect your  
3 thoughts.

4 MR. WEBB: Okay.

5 THE COURT: Let's be back here by 11:25, and  
6 go forward, okay? We're in recess until 11:25.

7 (Whereupon, a short recess was taken.)

8 CROSS-EXAMINATION

9 BY MR. WEBB:

10 Q Doctor, would you see evidence of a  
11 relationship between vaccination and autism in a case  
12 in which a child suffered an acute encephalopathy nine  
13 days after an MMR vaccination and that encephalopathy  
14 was immediately followed by symptoms of regressive  
15 autism?

16 A No, I wouldn't, particularly without being  
17 able to look at the facts of the case. I think it's  
18 the overwhelming likelihood in that instance is that  
19 the child was mislabeled.

20 Q If there were a subclass of children with  
21 regressive autism, whose clinical profile was truly  
22 distinctive, would their clinical profile be relevant  
23 to the etiology of their autism?

24 A Potentially. That's why we try to designate  
25 things as carefully as we can in subcategories to see

DR. RUST - CROSS

1 whether there is within a larger group a smaller group  
2 that might have some particular vulnerability. But it  
3 would not include children that have the onset of  
4 their disease in the pattern of regressive autism  
5 because of an insult occurring in the second year of  
6 life, could not be because that's not the way in which  
7 the genetic code that's leading to the disorder would  
8 work its way out.

9 Q What is Childhood Disintegrative Disorder?

10 A Well, it was described a long time ago back  
11 in the '20s, and there still are occasional cases of  
12 Childhood Disintegrative Disorder that go under that  
13 heading, and we do see children that have this  
14 significant deterioration in later stages of life. It  
15 is not regressive autism. It has its own particular  
16 manifestations, and it's a different condition and one  
17 that's rare, and has been insufficiently studied.

18 Q Do you believe that the difference in the  
19 age of onset and prognosis in Childhood Disintegrative  
20 Disorder suggest a different etiology for Childhood  
21 Disintegrative Disorder as opposed to regressive  
22 autism?

23 A It's possible. As I say, it's a rare  
24 condition despite it's descriptions very long ago, and  
25 it is not autism. It is something separate.

DR. RUST - CROSS

1           Q     Do you believe that environmental factors  
2     play a significant role in the evolution or the  
3     causation of Childhood Disintegrative Disorder?

4           A     As I say, it's still unknown, and it can  
5     readily be set apart from cases like the present one  
6     where it's classic regressive autism. It has its own  
7     natural history that needs to be better understood.  
8     The trouble with that particular disorder is that the  
9     rare cases that are described are described in  
10    insufficient detail to know for sure what in the world  
11    people are talking about.

12                     That goes back to Heller's original  
13    description, which is a few cases in the 1920s,  
14    additional descriptions in the late 1940s and then  
15    some subsequent cases. We need to come to a better  
16    understanding of that syndrome distinct from the  
17    current one we're dealing with here.

18           Q     Is it an autism spectrum disorder?

19           A     It's been included in that spectrum. There  
20    are a lot of apples and oranges in the autistic  
21    spectrum designation, and whether some of them share  
22    pathogenesis with autism or not is not entirely clear.

23                     (Away from microphone.)

24           Q     How much of the testimony that you shared on  
25    the anatomy of autism is based on pathological

DR. RUST - CROSS

1 examinations of brains?

2 A All of the pathology I spoke about is based  
3 on pathological analysis. The functional MR studies  
4 are based on anatomic observations in regions where  
5 pathology has been observed.

6 Q Do you know the typical age of the  
7 individual from whom the brains were taken?

8 A Yes. That's an important point. Typically,  
9 brains don't become available until individuals are  
10 much older. Autism is associated with a normal  
11 lifespan, so is Rett syndrome in most instances, and  
12 so it's not until individuals are older when the brain  
13 can be studied in that way. This is why it's been so  
14 important to observe those regional pathological  
15 observations and find out whether they're true of  
16 younger individuals, but that's what functional MR is  
17 doing in order to demonstrate that there is a  
18 consistency between those pathological observations  
19 and the onset of disease earlier. If one observes  
20 however in pathological specimens abnormal development  
21 of brain, we can generally time that fairly well  
22 because we know when these things manifest themselves,  
23 these developmental effects. And so finding  
24 dysgenesis in the brain we have an abundant amount of  
25 //

DR. RUST - CROSS

1 information about when the onset of dysgenetic  
2 elements take place.

3 As in this instance, the dysgenetic changes  
4 in the minicolumns occur early, and so they're early  
5 childhood manifestations. The somewhat dissimilar  
6 changes that are seen on the basis of intrauterine  
7 effects have to take place early as well so they can  
8 be timed with regard to when we know that normal  
9 development takes place.

10 Q With regard to the pathological examination  
11 of brains, is it fair to say it's often hard to tell  
12 whether you're finding the cause or the consequence of  
13 a disorder when you look at the samples?

14 A The important next step I think I mentioned  
15 once you observe something pathologically is to  
16 compare that to the known function of that portion of  
17 the brain and find out what period in a person's life  
18 that dysfunction manifested itself. And in doing this  
19 we find that the pathology that Dr. Baumann and others  
20 have observed is in keeping with the timing of  
21 development of regression, for example, in regressive  
22 autism. We have very sound evidence that the timing  
23 is at the time or prior to the time that the child has  
24 provided the manifestations of autism.

25 Q With regard to the MRI, PET scans and other

DR. RUST - CROSS

1 computer imaging of the brain in which you've  
2 described the pathology and the physiology of autism,  
3 what was the typical age of the persons that were  
4 scanned for those exams?

5 A Some early childhood scans, some older  
6 individuals were also scanned, and so additional  
7 information is likely to be necessary. But again, we  
8 know for pretty dead certain when these changes occur  
9 in terms of cortical development because the timing of  
10 cortical development and the timing of onset of  
11 dysgenetic portions of cortex has been worked out in  
12 the brains of individuals of all ages.

13 In large series of patients, who have had  
14 their brains examined after their death at varied  
15 ages, both from the Perinatal Collaborative Project  
16 for Small Children that died and from the older  
17 individuals for at least 50 years, more like 70 years,  
18 we know the sequence with which certain aspects of  
19 cortical development occur, and so we have a very good  
20 timeframe within which to place the timing of brain  
21 development.

22 Q In your opinion, what percent of the  
23 children with regressive autism have gastrointestinal  
24 problems that merit a colonoscopy? Roughly, how  
25 common is it for that procedure to be reasonably

DR. RUST - CROSS

1      necessary

DR. RUST - CROSS

1 for a child with autism?

2 A It's uncommon in my experience.

3 Q I'm sorry?

4 A It's uncommon in my experience.

5 Q How common is it for children with  
6 regressive autism to require pancreatic enzymes?

7 A Well, the word "require" is a difficult one  
8 for me. I have plenty of patients, who are on  
9 pancreatic enzymes, that if I were their pediatrician  
10 I wouldn't be giving it to them, so it's difficult to  
11 know.

12 Q Do you have any idea of how many children  
13 with regressive autism are prescribed pancreatic  
14 enzymes and gastrointestinal medicine designed to  
15 reduce inflammation in the intestines by a well-  
16 qualified pediatric gastroenterologist?

17 A Well, I know of patients that are treated in  
18 this way and have oftentimes wondered whether it's the  
19 right thing to have done for whoever did it. Usually  
20 in those instances, the gastrointestinal complaints in  
21 the patients that I've seen don't really merit that  
22 sort of intervention so far as I'm concerned, and at  
23 least as regards our gastroenterologists at the  
24 University of Virginia, I believe that their opinion  
25 is similar to mine.

DR. RUST - CROSS

1           Q     So would it be fair to say that in your  
2     opinion the children with regressive autism, who in  
3     fact need a colonoscopy and in fact require pancreatic  
4     enzymes and anti-inflammatory medication for their  
5     intestines is rare? Small percentage?

6           A     Well, I would say that in my experience if  
7     one were to decide that this designates a separate  
8     subcategory of children with autism, and one wished to  
9     study it, the important first step would be to have  
10    independent confirmation by other gastroenterologists  
11    that this in fact was a necessary step and was in  
12    some way justified.

13                     At least in my opinion having taken care of  
14    a lot of children as a pediatrician, a child that's  
15    growing well and maintaining weight at the 95th  
16    percentile and having no other significant medical  
17    difficulties, it would seem to me that somebody is  
18    likely overreaching.

19           Q     You reviewed the medical records for the  
20    February 8, 2001, doctor's visit, is that correct?

21           A     Yes, I did.

22           Q     Yates was ill. Wasn't he?

23           A     I'd have to be refreshed on the details of  
24    that particular day. I'd be happy to take a look at  
25    that record.

DR. RUST - CROSS

1           Q     Under what circumstances did you as a  
2     pediatrician prescribe antibiotics to a child with an  
3     ear infection?

4           A     I probably did it much less often than some  
5     other pediatricians and as often as many  
6     pediatricians, which is seldom.  Almost all of the  
7     time inner ear infections in children are caused by  
8     viruses and almost always occurring in association  
9     with coryza and other upper respiratory illnesses, and  
10    almost all of the time they get better without  
11    antibiotics, and almost all of the time parents don't  
12    give the full 10-day course of antibiotics, so it's an  
13    overtreatment issue.

14          Q     I'm trying to understand.  Was your practice  
15    different from that of some pediatricians?

16          A     I'm sure it is.  There are people that do  
17    all kinds of things in the world.

18          Q     Under what circumstances would it be  
19    appropriate for a pediatrician to give a child  
20    antibiotics for ear infection?

21          A     If they felt that there was puss in the  
22    inner ear, if they felt there was considerable amount  
23    of detraction of movement or interference with  
24    movement of the drum, that could be considered in  
25    those instances.  If the child had high fever and one

DR. RUST - CROSS

1 were concerned about the possibility that that's a  
2 manifestation of a bacterial illness, it could be  
3 considered there as well, but most of the time it's  
4 virus.

5 Q I'm trying to get the sense if you can, and  
6 maybe my question doesn't make sense, and tell me if  
7 it doesn't, would the child's illness need to be more  
8 than mild? Would it have to be at least a moderately  
9 severe ear infection before antibiotics should be  
10 considered?

11 A Well, pediatricians used to puncture the  
12 eardrum, even in cases where there's a considerable  
13 amount of reddening, in order to obtain some material  
14 to do a slide and so forth, but puncturing the ear  
15 drum of a child that's struggling and so forth is an  
16 awkward procedure, and many people settle with the  
17 fact that if the child seems more ill, perhaps we'll  
18 give some antibiotics.

19 Antibiotics are not entirely safe, but  
20 generally safe, but overuse of antibiotics contributes  
21 to other problems downstream, so the evidence would be  
22 that in most instances, even in a child that has a  
23 fever of a fair degree, that the virus is causing the  
24 problem, and that antibiotics are going to play no  
25 role in treatment. The one instance I suppose

DR. RUST - CROSS

1 when I do end up thinking antibiotics are more  
2 appropriate if the child has a runny nose with  
3 purulent discharge form the nose.

4 That's bacterial overgrowth in a viral  
5 situation. Whether the antibiotic plays any role in  
6 making that better is not clear, but it's one of the  
7 times when I'll give antibiotics.

8 Q In your commentary on the description of  
9 onset of Yates' autism in your report, why did you  
10 omit the reference to the records that describe his  
11 regression as beginning at 12 months of age?

12 A My comments were on Dr. Corbier's report  
13 chiefly, and that's where my attention was directed.

14 Q Do you know or by his reputation Dr.  
15 Zimmerman?

16 A I don't know Dr. Zimmerman.

17 Q Do you of his reputation as a physician, who  
18 treats kids with autism?

19 A I've heard of him

20 Q Did you review Dr. Zimmerman's report  
21 concerning the onset of Yates' autism?

22 A I believe I did.

23 MR. WEBB: That's all the questions I have.

24 THE COURT: Ms. Renzi?

25 MS. RENZI: I have no redirect. Thank you.

DR. RUST - CROSS

1                   THE COURT: I do have a few questions, Dr.  
2                   Rust. You had referenced several times in your  
3                   testimony and on your slide classic regressive autism.  
4                   Are you using that term as meaning one in the same  
5                   thing?

6                   THE WITNESS: Thank you, Special Master. I  
7                   would like to make that point clear. We distinguish  
8                   the classic form, which has it's early onset from the  
9                   regressive form, typically in the second year of life,  
10                  and the hyphen that I placed between those two  
11                  designations was meant to suggest that as we look  
12                  closely, we see typically that there are some  
13                  abnormalities.

14                  I wouldn't say typically. I wouldn't say it  
15                  that strongly. We can only do this in instances where  
16                  a family can provide us preceding videotapes or CDs,  
17                  and they can do that increasingly for us because so  
18                  many tapes are taken of babies, and when we look  
19                  closely at those tapes, we see children that aren't  
20                  entirely normal, oftentimes even prior to the point at  
21                  which the parents have really noted a significant  
22                  change in the child.

23                  They're not entirely distinct from each  
24                  other these two categories, but they are distinguished  
25                  from one another very reliably by the fact that

DR. RUST - CROSS

1 children are not so severely impaired as those  
2 children we call classic autism.

3 THE COURT: You also reference in your  
4 expert opinion, you use the term CPRL, Conventional  
5 Peer-reviewed Literature?

6 THE WITNESS: Yes.

7 THE COURT: Would you describe what your  
8 view of CPRL is?

9 THE WITNESS: Yes. I made the term up I'm  
10 afraid, but it was the best way I can deal with mixed  
11 literature on the subject, and the term conventional  
12 is used in other ways in Dr. Corbier's report, and so  
13 perhaps I'm imprecise in a way too, but I really do  
14 mean something specific by it.

15 What I mean is the medical literature where  
16 the process or review of articles is undertaken by  
17 known experts in the field, who read those articles  
18 carefully to make sure that the method of the  
19 research, the manner in which it's carried out, the  
20 appropriacy of that manner and the interpretation of  
21 results are all done with the care that we need in  
22 papers that are brought to our attention.

23 There's so much in the medical literature.  
24 It's overwhelming to all of us to keep up. It's very  
25 helpful that this well worked out process of review is

DR. RUST - CROSS

1       there so that we at least are starting with those  
2       papers that have adhered to what are the usual  
3       standards. They now involve not only knowledge on the  
4       part of the reviewer, and not only a very careful  
5       review by several, two, three, four, five reviewers  
6       sometimes, but then the process is very important.

7                 Providing that information to the author of  
8       the paper so that in papers where there are problems,  
9       but there's a kernel of information that's of some  
10      importance or information you can't get elsewhere that  
11      those papers be improved and then published, so  
12      there's that teaching aspect of critical review and  
13      catching any mistakes and catching any things that are  
14      mislabeled, all those things are done by the careful  
15      reviewer.

16                That's the point at which it then enters the  
17      large collection of medical literature where we know  
18      that there are some journals that are more reliable  
19      than others. We keep up with those more closely than  
20      others. It doesn't mean we ignore a paper that  
21      happens to be somewhere where it is less impact,  
22      especially if that's an area there aren't so many  
23      papers.

24                It allows us to get on with the process of  
25      keeping up and revising our opinions and knowing that

DR. RUST - CROSS

1 the information from which we're spending what time we  
2 have is time comparatively well spent. There is yet  
3 another aspect in that especially over the last 10 to  
4 15 years the peer-reviewed literature has taken  
5 excruciating pains to make sure that there's no  
6 conflict of interest on the part of those publishing  
7 the papers, and that they're not in any way going to  
8 gain financially from the report that they're  
9 providing.

10 All this must be attested to by the authors  
11 of the paper that all of the authors have participated  
12 appropriately and that the methods that are described  
13 in the papers are ones that they would stand by in a  
14 courtroom if they had to, to say that this is what  
15 they've done, so that's why we rely on that  
16 literature.

17 THE COURT: Dr. Corbier relied on a number  
18 of articles in support of his -- I believe his  
19 characterization were several, several studies support  
20 his propositions. What is your view of those  
21 articles?

22 THE WITNESS: Well, he obviously spent a  
23 great deal of time thinking and worrying about this  
24 case, and if something has come to his attention that  
25 I'm not aware of that would alter my opinion, and I

DR. RUST - CROSS

- 1 know he's said he's going to provide those articles.
- 2 I'd be very happy to review them to see if there's

DR. RUST - CROSS

1 anything that would cause me to think otherwise. It's  
2 terribly important in medicine to keep an open mind.

3 If you don't, you're going to miss  
4 something, or you're going to continue to practice in  
5 a way in which things are not quite correct, but I  
6 would say that for 20 some years now, I've tried to  
7 keep up with this as with other literature and to have  
8 a reasonably comprehensive view of something that's  
9 very important to me. I would be happy to look at  
10 anything else that anybody would like to bring to my  
11 attention, however.

12 THE COURT: One final question. You  
13 referred very early in your testimony to the spectrum  
14 of autism disorders. You've clarified your reference  
15 to classic versus regressive. What neurological  
16 disorders do you think properly fall within the range  
17 of autism spectrum disorders?

18 THE WITNESS: Well, a wide variety of  
19 disorders have been placed there. Some just go under  
20 the designation of autistic spectrum or other diseases  
21 that seem to have autistic qualities to them. This  
22 has not been a very helpful approach in my view. The  
23 labels that are applied are oftentimes inaccurate and  
24 sometimes we're missing something. There's a problem  
25 with applying a label to somebody.

DR. RUST - CROSS

1           If we know exactly what we're talking about,  
2           applying that label is appropriate. There are times  
3           when labels related to autism and autistic spectrum  
4           disorders are provided in the medical community for  
5           the sake of provision of services. This is in  
6           instances where children require the understanding of  
7           patient teachers, who give the student who's having  
8           difficulties special time.

9           I think that many, if perhaps most, teachers  
10          do this anyway, but there are particular skills that  
11          are required for students that have behavioral issues  
12          in relationship to other things. And sometimes we  
13          know that the teacher that has those special qualities  
14          that make them a teacher of children with autism will  
15          give them that advantage, so I must say that sometimes  
16          we apply these labels imprecisely for that reason.

17          On the other hand, children get labels  
18          related to their behavior, their development, their  
19          capacities and their capabilities in ways that I think  
20          are not helpful. We have an overlabeling of children  
21          in general nowadays with all kinds of initial  
22          disorders. And so I've become increasingly careful  
23          about the labels that I apply to children because it  
24          doesn't help to have them in a category, and that's  
25          what I'd say about that.

DR. RUST - CROSS

1 THE COURT: With that said, your focus in  
2 terms of what would be regarded as autistic behavior  
3 would turn on the core features that you addressed  
4 earlier?

5 THE WITNESS: Yes, that's exactly right.  
6 With the categories of classic autism and regressive  
7 autism being very distinct, very carefully worked out,  
8 very, very repetitive in terms of the combination of  
9 symptoms and signs that are present in the children.  
10 And there are still other things to look at and are  
11 being looked at, and then the children that get the  
12 Asperger's designation, which is applied more  
13 imprecisely than the other disorders, but is one that  
14 is very distinctive. Then there are other things that  
15 are found within the spectrum of disorders that  
16 probably are separate illnesses, and so we use other  
17 labels for those.

18 THE COURT: Thank you, Dr. Rust.

19 THE WITNESS: Thank you, Special Master.

20 THE COURT: Have my questions triggered any  
21 questions from counsel? Ms. Renzi?

22 MS. RENZI: No, thank you.

23 THE COURT: Mr. Webb?

24 MR. WEBB: Well -- two to four.

25 //

DR. RUST - CROSS ( CONT'D )

1 CROSS-EXAMINATION (RESUMED)

2 BY MR. WEBB:

3 Q Several times in your testimony and in your  
4 slides, you listed classic and regressive autism. Are  
5 they the same thing in your mind?

6 A No. They're different from one another.  
7 There just happens to be an earlier onset of some of  
8 the features of regressive autism of indistinct onset,  
9 which is a little different than what we usually have  
10 designated as the onset of regressive autism.

11 Q In your opinion, are there different genetic  
12 or environmental factors involved in the causation of  
13 classic as opposed to regressive autism?

14 A I don't think that environmental factors are  
15 involved at all in any way, and I can say that with 99  
16 percent confidence I believe, but with regard to the  
17 cause, it could be different genes. It could be  
18 different genes, it could be a different combination  
19 of genes. It could be gene dose such that some  
20 children have a greater dose of the genetic problem.  
21 We know that that's true with the CC Med problem with  
22 genetic determination of autism.

23 The more copies you get, if you get two  
24 copies instead of one, you have earlier onset of  
25 manifestations, so there are without doubt genetic

DR. RUST - CROSS ( CONT'D )

- 1 determinants for the onset of these things. It is
- 2 possible that dose of other things that are

DR. RUST - CROSS ( CONT'D )

1 experienced in the childhood environment in the uterus  
2 play a role, and this may have to do with imprinting  
3 or with hormonal effects in keeping with the currently  
4 very interesting, but as yet unproven, set of  
5 hypotheses that surround the male theory of mind  
6 approach to autism.

7 As was mentioned, one intrauterine infection  
8 produces something that resembles autism, and that's  
9 congenital rubella, but that illness as well can  
10 likely be distinguished from classic autism even  
11 though its onset of manifestations is after birth.

12 Q And several, if I recall correctly, several  
13 of the slides that described pathological and  
14 physiological characteristics of autism had that  
15 classic and regressive autism label on them. Were the  
16 examples studied systematically classified as either  
17 classic or regressive in the studies?

18 A Yes. Dr. Baumann's studies have tried to  
19 distinguish the patients on the basis of time of onset  
20 of the illness.

21 Q Where any of those studies cited in your  
22 report?

23 A No, I don't believe they were, but I could  
24 provide those citations.

25 Q In fact, your report didn't go into much

DR. RUST - CROSS ( CONT'D )

1 detail on the anatomy or physiology of autism. Did

DR. RUST - CROSS ( CONT'D )

1 it?

2 A I was asked to address Dr. Corbier's report,  
3 and that's what I did.

4 Q You weren't asked to give a report that  
5 would give a preview of the testimony that wasn't a  
6 direct response to Dr. Corbier?

7 A That wasn't my understanding of what I was  
8 meant to do.

9 MR. WEBB: That's all the questions I have.  
10 It was more than four. I apologize.

11 THE COURT: No worries. Ms. Renzi?

12 MS. RENZI: I have no followup. Thank you.

13 THE COURT: Thank you, Dr. Rust.

14 THE WITNESS: Thank you, Special Master.

15 THE COURT: You're excused.

16 (Witness excused.)

17 THE COURT: I think this leaves us with our  
18 day today, and we are to anticipate a 10:30  
19 commencement time. I'm anticipating a late arrival of  
20 Drs. McCusker and MacDonald this evening. It is  
21 further my understanding, brace yourselves, that  
22 tomorrow may be our longest day yet.

23 We're anticipating the testimony of both Dr.  
24 McCusker and Dr. MacDonald and any concluding  
25 statements that counsel wish to make, and I've ceded

1 our courtroom for Friday, so we'll run through until  
2 9:00 p.m., if that's required and possibly walk out  
3 with the sheriffs tomorrow evening. That will be our  
4 thought, so until tomorrow, we're in recess until  
5 10:30. Thank you.

6 (Whereupon, at 11:55 a.m., the hearing in  
7 the above-entitled matter was adjourned, to reconvene  
8 at 10:30 a.m. on Thursday, October 18, 2007.)

9 //  
10 //  
11 //  
12 //  
13 //  
14 //  
15 //  
16 //  
17 //  
18 //  
19 //  
20 //  
21 //  
22 //  
23 //  
24 //  
25 //

REPORTER'S CERTIFICATE

DOCKET NO.: 03-654V  
CASE TITLE: Hazlehurst v. HHS  
HEARING DATE: October 17, 2007  
LOCATION: Charlotte, North Carolina

I hereby certify that the proceedings and evidence are contained fully and accurately on the tapes and notes reported by me at the hearing in the above case before the United States Court of Federal Claims.

Date: October 17, 2007

Mona McClellan  
Official Reporter  
Heritage Reporting Corporation  
Suite 600  
1220 L Street, N.W.  
Washington, D.C. 20005-4018