



IN THE UNITED STATES COURT OF FEDERAL CLAIMS

HAZLEHURST, )  
 )  
 Petitioner, )  
 )  
 v. ) Docket No. 03-654V  
 )  
 SECRETARY OF HEALTH AND )  
 HUMAN SERVICES, )  
 )  
 Respondent. )

Courtroom 6330  
 North Carolina Superior Court  
 832 East Fourth Street  
 Charlotte, North Carolina

Thursday,  
 October 18, 2007

The parties met, pursuant to notice of the  
 Court, at 10:30 a.m.

BEFORE: HONORABLE PATRICIA CAMPBELL-SMITH  
 Special Master

APPEARANCES:

For the Petitioner:

CURTIS WEBB, Esquire  
 Webb, Webb and Guerry  
 155 Second Avenue North  
 Twin Falls, Idaho 83303  
 (208) 734-1616

For the Respondent:

VINCENT MATANOSKI, Esquire  
 LYNN RICCIARDELLA, Esquire  
 LINDA S. RENZI, Esquire  
 U.S. Department of Justice  
 Civil Division  
 Torts Branch  
 P.O. Box 146, Ben Franklin Station  
 Washington, D.C. 20044  
 (202) 616-4356, 4133

Heritage Reporting Corporation  
 (202) 628-4888

APPEARANCES: (Cont'd.)

DENISE VOWELL  
Special Master

JOSEPH T. LOWE, Esquire  
U.S. Court of Federal Claims  
Office of Special Masters  
1440 New York Avenue, N.W.  
Suite 200  
Washington, D.C. 20005  
(202) 357-6347

## C O N T E N T S

WITNESSES:	DIRECT	CROSS	REDIRECT	RECROSS	VOIR DIRE
For the Respondent:					
Dr. Christine McCusker	559	586	601	--	--
Thomas T. MacDonald	603	666	673	675	--
REBUTTAL					
WITNESSES:	DIRECT	CROSS	REDIRECT	RECROSS	VOIR DIRE
For the Petitioner:					
Angela Hazlehurst	677	--	--	--	--

## E X H I B I T S

PETITIONER'S EXHIBITS:	IDENTIFIED	RECEIVED	DESCRIPTION
2	578	578	Pediatric record of Yates Hazlehurst dated January 18, 2002

1 PROCEEDINGS

2 (10:30 a.m.)

3 THE COURT: We are back on the record in the  
4 matter of Hazlehurst v. Secretary of the Department of  
5 Health and Human Services, Case No. 03-654.  
6 Respondent to call your next witness, please?

7 MS. RICCIARDELLA: Yes. We'd like to call  
8 Dr. Christine McCusker.

9 THE COURT: Dr. McCusker right here. Would  
10 you like to pour yourself a cup of water, and I'll  
11 administer the oath.

12 DR. MCCUSKER: Thank you.

13 THE COURT: Would you raise your right hand  
14 please?

15 Whereupon,

16 DR. CHRISTINE MCCUSKER

17 having been duly sworn, was called as a  
18 witness and was examined and testified as follows:

19 THE COURT: To proceed.

20 MS. RICCIARDELLA: Thank you.

21 DIRECT EXAMINATION

22 BY MS. RICCIARDELLA:

23 Q Good morning, Dr. McCusker. Would you  
24 please state and spell your name for the record?

25 A It's Christine McCusker, and the last name

Heritage Reporting Corporation  
(202) 628-4888

MCCUSKER - DIRECT

1 is spelled M-C-C-U-S-K-E-R.

2 Q And what is your profession?

3 A I'm a pediatric immunologist.

4 Q And what is your current title?

5 A I'm an Assistant Professor of Pediatrics and  
6 Research Director at McGill University and Montreal  
7 Children's Hospital.

8 Q Doctor, would you briefly describe your  
9 educational background?

10 A I did a bachelors in microbiology and  
11 immunology at the University of Toronto, and following  
12 that, I did a masters in molecular virology at  
13 McMaster University followed by three years of a PhD  
14 in immunology also at McMaster.

15 Following that I did my medical degree at  
16 McMaster University and then moved to McGill  
17 University where I did a residency in pediatrics  
18 followed by a fellowship in allergy and clinical  
19 immunology and then followed that with a two-year  
20 postdoctoral fellowship in fundamental immunology  
21 research at Meakins-Christie Laboratory at McGill.

22 Q And are you board certified?

23 A I am certified in the Royal College of  
24 Physicians and Surgeons of Canada in both pediatrics  
25 and allergy and immunology as well as the Collège des

MCCUSKER - DIRECT

1 M,decins due Qu,bec in Canada as well I have board  
2 certification in pediatrics in the United States.

3 Q Doctor, would you briefly highlight some of  
4 the honors that you have received in your career?

5 A During my postdoctoral fellowship I received  
6 a Salary Award from the Canadian Society of Allergy  
7 and Clinical Immunology for two years to support my  
8 work. I've also a Recherche Clinique CA -- I'm sorry,  
9 habit. A Clinician Researcher through the -- I'm  
10 going to have to say this one in French, Fonds de  
11 recherche en sant, du Qu,bec, the Foundation for  
12 Health Research in Qu,bec where they have supported me  
13 with awards twice now. They're two- to four-year  
14 awards.

15 Q And of what professional organizations are  
16 you a member?

17 A I'm a member of the Canadian Allergy and  
18 Immunology Society, the CSACI. I'm a member of the  
19 Allergy and Immunology Association of Qu,bec. I'm a  
20 member of the Royal College of Physicians and Surgeons  
21 of Canada. I think that might be about all.

22 Q And do you hold any teaching positions in  
23 your specialty?

24 A Yes. I'm Assistant Professor at McGill  
25 University, so my teaching requirements include

MCCUSKER - DIRECT

1 teaching undergraduate students basic immunology in  
2 the science microimmuno program. I also teach the  
3 medical school students, both basic and clinical  
4 allergy and immunology, and I teach graduate students  
5 and postdoctoral fellows in the graduate school at  
6 McGill.

7 Q Do you hold any laboratory positions?

8 A I'm the Clinical Director of the Clinical  
9 Immunology Laboratory at Montreal Children's Hospital.

10 Q And what are your research laboratory  
11 responsibilities?

12 A My appointment is 50 percent of clinical  
13 duties and 50 percent research duties, and I'm a  
14 Research Director at the Meakins-Christie  
15 Laboratories, where I run a fundamental research lab  
16 working on immunoregulation of the immune system  
17 through development, so in a model of infancy through  
18 adulthood.

19 Q What division of your time is spent between  
20 your research and your clinical work? Is it 50/50?

21 A Theoretically.

22 Q Approximately how many patients to you see  
23 per month?

24 A On a monthly basis to break it down it's  
25 probably in the order of 200 to 300 depending on the

MCCUSKER - DIRECT

1 month.

2 Q And are the majority of those patients  
3 children?

4 A Almost all of them are children.

5 Q And do you have a general pediatric practice  
6 as well?

7 A I work both in two different practices for  
8 general pediatrics. The first is at a private clinic  
9 where I fill in for doing what's called emergency  
10 visits or walk-ins, and the second is through the  
11 emergency room, where I act as an Emergentologist.

12 Q Approximately how many of those patients do  
13 you see per week?

14 A It varies depending on the week, but it's  
15 probably about 50 patients a week.

16 Q Are you an examiner for any licensing  
17 boards?

18 A I'm an examiner for the Royal College of  
19 Physicians and Surgeons of Canada for allergy and  
20 clinical immunology.

21 Q And what does that mean to be an examiner?

22 A Well, you're asked to or invited to  
23 participate on the examination boards. You have to be  
24 nominated by your peers in the specialty, and then  
25 you're invited to be an examiner on the boards, and

MCCUSKER - DIRECT

1      you're responsible for the development of examination

MCCUSKER - DIRECT

1 questions for the specialty as well as the oral exam  
2 component where you're examining the fellows who have  
3 completed their training and who are asking to be  
4 allowed to practice as specialists.

5 Q Okay. And have you published in the field  
6 of pediatric immunology?

7 A Yes.

8 Q Are those publications referenced on your  
9 CV?

10 A Yes, they are.

11 Q Are they all peer-reviewed?

12 A Yes, they are.

13 Q Are you a reviewer for any scientific  
14 journals?

15 A Yes, I am.

16 Q Which ones? Name a few.

17 A I've been a reviewer for the Blue Journal,  
18 which is the American Journal of Respiratory and  
19 Critical Care Medicine. I've been a reviewer for the  
20 Journal of Immunology. I've been a reviewer for the  
21 Journal of Allergy and Clinical Immunology. I've been  
22 a reviewer for Clinical and Experimental Allergy,  
23 Clinical and Experimental Immunology, the Annals of  
24 Allergy and Immunology. There might be a couple more.

25 Q Have you ever testified as an expert witness

## MCCUSKER - DIRECT

1 in a legal case?

2 A Yes, I have.

3 Q Approximately how many times?

4 A This would be my fourth case.

5 Q And did you testify in the Cedillo case?

6 A Yes, I did.

7 Q After turning to this case, did you review  
8 Yates' Hazlehurst medical records that had been filed?

9 A Yes, I have.

10 Q And did you review the expert report of Dr.  
11 Corbier?

12 A Yes, I did.

13 Q And do you agree with Dr. Corbier that Yates  
14 has a weakened immune system or a compromised immune  
15 system?

16 A No, I do not.

17 Q Does he have a dysregulated immune system?

18 A I see no evidence for a dysregulated immune  
19 system.

20 Q Based on your review of the records, do you  
21 believe that Yates' immune system is at all abnormal?

22 A No, I do not.

23 Q In your opinion, Doctor, did the  
24 vaccinations that Yates received cause or contribute  
25 to his autism?

MCCUSKER - DIRECT

1           A     No, I do not think so.

2           Q     Would your opinion change if it was  
3           determined that Yates' developmental regression began  
4           at 12 months as the family and Dr. Corbier allege?

5           A     No.

6           Q     I'd like to turn specifically to the facts  
7           of this case, and before you took the stand, did I  
8           hand to you Petitioner's Exhibit 16 for the record?

9           A     Yes, you did.

10          Q     Okay. Before we get to that, I'd like to  
11          talk about the upper respiratory tract infections that  
12          Yates had during the first two years of his life. Do  
13          you recall seeing those notations in the record?

14          A     Yes, I did.

15          Q     Is this evidence to you of a weakened immune  
16          system?

17          A     No.

18          Q     Why not?

19          A     As I elucidated in my report, I looked at  
20          the frequency of infections that this child  
21          experienced and found that he did not have a frequency  
22          of infection that was any more or less than his peer  
23          group. His frequency was somewhere in the order of  
24          four physician diagnosed upper respiratory tract  
25          infections and seven ear infections, five of which

MCCUSKER - DIRECT

1 were associated with documented viral illnesses.

2 That is entirely within keeping with a  
3 normal range of infection or a normal frequency of  
4 infection in a child of his age.

5 Q Could you briefly describe or explain the  
6 immune system of a child up to two years old? In a  
7 nutshell.

8 A Briefly?

9 Q I know that's your profession, but in a  
10 nutshell?

11 A Essentially, when a child is firstborn,  
12 obviously their immune system, although partly  
13 protected by maternal antibodies, their immune system  
14 is essentially having to learn from first principles  
15 how to fight and combat infection and to remember how  
16 these infections look like so that they can fight them  
17 again should the need arise, and so usually in the  
18 first four months, children don't have that high a  
19 frequency of infection, but as the maternal antibodies  
20 begin to wane, the infection frequency increases.

21 Now, in this particular child, for example,  
22 he was the third child in the family, so there would  
23 have been more circulation of viruses.

24 Q I believe he was the first-born child.

25 A Is he the first-born?

MCCUSKER - DIRECT

1           Q     He's the first-born child.  There are some  
2     cousins, but in the immediate family, he is the first  
3     one.

4           A     Well, the circulation of viruses begins  
5     fairly early on in a child's life, usually between the  
6     ages of four and six months is when they start to  
7     catch their first infections.  From the age of six  
8     months to the age of somewhere, and it depends on the  
9     child, threeish, two to three, a child will catch  
10    probably in the range of six to 10 infections per  
11    year.  That's the average that a general pediatrician  
12    would look at as within the normal range.

13                     Some children have a few more, some children  
14    have a few less, but that's sort of the range.  In  
15    that time period, their immune system is learning, and  
16    what it's learning to do is it's learning to recognize  
17    the infections and fight them and to generate what's  
18    called immunological memory.  That immunological  
19    memory allows them the next time they see the  
20    infection to fight it without apparent illness.

21                     That doesn't mean that they don't see the  
22    viruses, they don't come into contact with the same  
23    number of viruses because obviously they do.  It's  
24    just they don't manifest the illness as frequently as  
25    //

MCCUSKER - DIRECT

1 they would early on in the first two years of life, so  
2 somewhere between the ages of two and six, the  
3 frequency of infection begins to decrease  
4 significantly.

5 So by the time a child is sort of through  
6 the first year of school, past grade one, you see that  
7 their school absences and their frequency of infection  
8 markedly decreases, and that's because their immune  
9 system has learned, and so they're not manifesting  
10 illness quickly.

11 Q Now, you briefly touched on Yates' otitis  
12 media infections. Approximately how many otitis media  
13 infections do you see documented in the medical  
14 records? Approximately how many?

15 A I actually counted seven in the first two  
16 years of life.

17 Q Is that a normal amount?

18 A That's within the normal range.

19 Q Okay.

20 A Some children have more, some children have  
21 less.

22 Q Now, Yates had tubes put in his ears,  
23 correct?

24 A Yes.

25 Q If Yates' immune system were abnormal or

MCCUSKER - DIRECT

1 weakened, what would you expect would be the clinical  
2 course of future infections following the installation  
3 of tubes?

4 A As a general rule, you put tubes in the ears  
5 to allow the ear canals to drain freely because the  
6 eustachian tube is blocked from usually the mucus in  
7 the nose, so you put the tubes in the ears to allow  
8 the mucus and the accumulated liquid from the inner  
9 ear to drain out to the outside, and that prevents or  
10 reduces the frequency at which those kind of blocking  
11 of the nose will allow the bacteria or the virus to  
12 grow in the ear and manifest itself as an ear  
13 infection, so you put the tubes in.

14 You will expect to see a decrease in the  
15 frequency of infection following the installation of  
16 tubes.

17 Q Is that with a normal immune system?

18 A Yes.

19 Q What about if one's immune system were  
20 abnormal or weakened, and he or she had tubes put in.  
21 What would you expect the clinical course of  
22 infections to become?

23 A As a general rule in the patients that we  
24 follow with primary immunodeficiency, who have  
25 documented problems with their immune system, the

MCCUSKER - DIRECT

1 installation of tubes may somewhat reduce the  
2 frequency of ear infections, but does not completely  
3 eliminate it. The tubes frequently block because the  
4 amount of inflammatory mediators generated is  
5 generally much higher in those patients, so they often  
6 have trouble even with the installation of tubes.

7 In addition, their immune system is  
8 compromised, and it's compromised from birth, so their  
9 frequency of infection does not change. It's just the  
10 characteristics of the infection changes, and these  
11 children go on to have more sinusitis for example, and  
12 they also go on to have lower respiratory tract  
13 infections such as pneumonias, so what you see in a  
14 clinical course is you'll see a baby, who has many  
15 otitis media developing to pneumonia to sinusitis.

16 Q Did you see evidence of that in the medical  
17 records of this case?

18 A No, I did not.

19 Q Now, Dr. Corbier in his report states that  
20 Yates developed chronic, and I'm going to butcher the  
21 word, lymph --

22 A Lymphadenopathy?

23 Q It's easy for you to say, yes. Swollen  
24 lymph nodes, right?

25 A That's correct.

MCCUSKER - DIRECT

1 Q Did you see evidence of this in the medical  
2 record?

3 A No, I did not. There was one episode where  
4 the parents brought Yates in because they were  
5 concerned because they could feel some lymph nodes in  
6 the child's neck, but it was noted by the physician to  
7 be within normal. I'm just looking for the -- It's  
8 about nine months of age. There was one note. I  
9 don't actually have it written in my notes because it  
10 wasn't considered normal, but there was one, and it  
11 would be in the record.

12 Q Are swollen or palpable lymph nodes normal  
13 in young children?

14 A Yes.

15 Q Okay. Doctor, if lymph nodes are indeed  
16 swollen, is that a sign of a healthy immune system?

17 A It's a question of degree. Certainly, we  
18 expect that especially in the first years of life that  
19 you will have what's called palpable lymph nodes,  
20 particularly in the back of the neck or the posterior  
21 cervical chain, and that's in part a sign that the  
22 immune system is responding normally to the onslaught  
23 of infectious agents that it's seeing, and also it's  
24 in part an issue of children that actually don't have  
25 a lot of subcutaneous tissue in their neck, so you can

MCCUSKER - DIRECT

1      feel the

MCCUSKER - DIRECT

1 nodes much easier than you can in an adult.

2 We expect in pediatrics to palpate, touch,  
3 lymph nodes, particularly in the back of the neck,  
4 sometimes in the front and all the way down just above  
5 the clavicles here, and that's considered to be  
6 normal. It's the draining lymph nodes from the head  
7 and neck and to be able to feel them as small shotty  
8 nodes is normal.

9 THE COURT: For the record, the reference to  
10 mom and the lymph nodes, Petitioner's Exhibit 2, 22,  
11 and that's at about seven months.

12 THE WITNESS: Seven months.

13 THE COURT: September 5, 2000.

14 THE WITNESS: Thank you, and it was noted I  
15 think by the doctor to be normal. Sorry.

16 BY MS. RICCIARDELLA:

17 Q Doctor, there's also been testimony in this  
18 case that Yates' head felt constantly hot after his  
19 first birthday. It was described as possibly a low-  
20 grade fever. Does that have any clinical value to a  
21 pediatrician or pediatric immunologist?

22 A I would say no. In truth, children have a  
23 temperature range, and it's quite broad ranging in  
24 celsius anywhere from 36 to 38.5, and in fahrenheit,  
25 that would be up to 101.3 as core temperature, and

MCCUSKER - DIRECT

1 that's considered normal, and our normal variations  
2 are some children run sort of around 100. Some  
3 children run at the classic 98.6 and some children run  
4 at 97 and a little bit lower than your classic core  
5 body temperature, and it's within normal.

6 As long as it is below 101.3 or 38.5, it is  
7 not fever, and it is not abnormal. It's just normal  
8 metabolic rate and normal metabolic variance. Parents  
9 sometimes come in to me and say I brought him in  
10 because he feels hot, or I brought him in because he's  
11 sweating, or every time I put a blanket on him, he  
12 kicks it off because he's too hot, and all those  
13 things are concerns that parents will raise to you as  
14 a doctor, and you go and you measure the child's  
15 temperature core, and it's completely normal.

16 You evaluate the child, and there's nothing  
17 wrong with the child, so feeling hot is not  
18 significant unless it correlates with the presence of  
19 fever. Children as a general rule, if they are truly  
20 feverish, have a change in their behavior such that  
21 they tend to be more sleepy, they tend to be more  
22 quiet, they tend to be less interactive, so the  
23 parents note that usually before they note the feeling  
24 warm.

25 Q Now, the records also reflect that Yates had

MCCUSKER - DIRECT

1 several episodes of candida or thrush. Do you recall  
2 seeing those records?

3 A Yes.

4 Q How many documented episodes of thrush do  
5 you recall seeing?

6 A I counted three that were documented as a  
7 notation in the notes as seeing a thrush, and then  
8 there were two others that I found a reference to, but  
9 couldn't find the actual physician note saying what  
10 they saw, and so I qualified those as sort of possible  
11 or probable, and then there was the episode where he  
12 was treated because of the dermatitis of his thumb,  
13 which was treated "in case" in the physician note.

14 Q Assume for purposes of argument that there  
15 were five episodes of thrush, would that be abnormal?

16 A In a child, with absolutely nothing, who is  
17 five or six years old, that's abnormal. In a child,  
18 who is between the ages of zero and two, that is  
19 considered to be something that happens. I think that  
20 it's something that you would note, but you wouldn't  
21 necessarily worry about, especially in a child who  
22 such as in this case was a thumb sucker because that  
23 promotes the adherence of the candida to the oral  
24 mucosa, and as well in the case of a child who  
25 required a lot of antibiotic use.

MCCUSKER - DIRECT

1 Q What is candida or thrush?

MCCUSKER - DIRECT

1           A     Candida is a yeast or a fungus.  It's  
2     colonized, found in our mouths, and in most children  
3     they're found colonized with candida in the oral  
4     mucosa.  It's a commensal organism.  It usually is  
5     competed out by other organisms in the mouth, so you  
6     usually don't get an "infection" with it.

7           Q     Is it normal in young children?

8           A     Very common in young children.

9           Q     Are developmentally delayed children more  
10    prone to candida?

11          A     It seems that children with developmental  
12    delay have more frequency of candida, yes.

13          Q     Why is that.

14          A     There's several different theories on that.  
15    Most of the feeling is it has to do with the mouthing  
16    behaviors, but it's largely unknown.

17          Q     And does the chance of developing candida  
18    increase with antibiotic use?

19          A     Yes, it does.  That's considered a risk  
20    factor.

21          Q     Is Yates' experience with candida evidence  
22    of a systemic immunodeficiency or a compromised immune  
23    system?

24          A     Yates' frequency of candida infection, with  
25    or without the fact that we know his immune system is

MCCUSKER - DIRECT

1 normal from the immune workup, the frequency of  
2 candida infection is there. It's not zero.

3 In the face of the frequent antibiotic use,  
4 you could explain it, but in and of itself that would  
5 be something that might warrant examination of the  
6 immune system to ensure that there is no underlying  
7 other cause, other than the ones that we already know,  
8 the mouthing behaviors and the frequent antibiotic  
9 use, so the answer is I guess yes and no. In the face  
10 of the immune workup that was done, the child's immune  
11 system was completely normal.

12 And any abnormality that you would be  
13 looking for in a child, who as recurrent thrush, which  
14 is abnormalities in the functioning of the T-cells  
15 really wasn't found.

16 Q In fact, that's exactly what the  
17 pediatrician questioned. You were presented today  
18 with a new record dated January 18, 2002, a pediatric  
19 record. Is that correct?

20 A Yes.

21 Q What was reflected on that record to the  
22 best of your recollection?

23 A The family had come in questioning the  
24 frequency of the child's infections with thrush, and  
25 the physician felt that it, while again explainable by

MCCUSKER - DIRECT

1 the extant

MCCUSKER - DIRECT

1 circumstances of the child's first two years of life  
2 was enough to warrant a further immune evaluation.

3 THE COURT: Pardon me. For the record, just  
4 so what we know what that record is, I'm going to  
5 designate that as Petitioner's Trial Exhibit 2.

6 (The document referred to was  
7 marked for identification as  
8 Petitioner's Exhibit No. 2  
9 and was received in  
10 evidence.)

11 MR. WEBB: Absolutely.

12 BY MS. RICCIARDELLA:

13 Q And then immune function testing was done,  
14 correct?

15 A That's correct.

16 Q And that was tested in August 2002 by Dr.  
17 Blaiss? Is that --

18 A Yes, that's correct.

19 Q And I'm referring to Petitioner's Exhibit  
20 16. Do you have a copy of that in front of you?

21 A Yes, I do.

22 Q I'm specifically referring to Petitioner's  
23 Exhibit 16 at 3. What do the medical records say  
24 about Yates' immunoglobulin levels?

25 A According to the medical records, they're

MCCUSKER - DIRECT

1 entirely within normal limits for age.

2 Q And what's the purpose of testing  
3 immunoglobulin levels?

4 A The immunoglobulin levels themselves are a  
5 fairly reasonable screen to determine whether or not  
6 there's a profound immunodeficiency. In and of  
7 itself, it's just a level, and it doesn't necessarily  
8 denote function. You can have children who have  
9 normal levels but poor function, so it is a good first  
10 step, and it denotes that his body was able to make  
11 antibodies and to maintain a level.

12 The more important study or results is the  
13 fact that when his immune system was asked to make an  
14 immune response so that when he received his  
15 diphtheria and tetanus vaccine, and he was asked to  
16 make a response to tetanus, he was able to do that.

17 Q For the record, are you referring to  
18 Petitioner's Exhibit 16 at 9?

19 A Let me just verify, but I believe so. It's  
20 repeated a few times during that. Yes. Essentially,  
21 when you're doing an immune evaluation of a child, you  
22 are asking two different questions: The first  
23 question is do we have the building blocks. Are the  
24 numbers of all the building blocks, that means the T-  
25 cells, the B-cells, the other cells of the immune

MCCUSKER - DIRECT

1 system and the antibody

MCCUSKER - DIRECT

1 levels, are they within a normal range? That's your  
2 first step.

3 Then the next question, and really for an  
4 immunologist the more important question is it doesn't  
5 matter if you have a thousand T-cells if none of them  
6 function, so what you really want to know is can we  
7 make these T-cells and B-cells talk to each other, and  
8 can we make them function to generate immunity that's  
9 longlasting?

10 What the tetanus antibody tells me is it's  
11 actually a very good screen because in order to make  
12 tetanus antibody, in order to have a level, you  
13 actually have to have a fairly comprehensive immune  
14 system. You have to have B-cells that are present,  
15 and the B-cells have to be able to make antibodies,  
16 but most B-cells can't make antibody by themselves.

17 They actually have to be told what to do, so  
18 what you really need to do is you need to have the T-  
19 cells that are there and that can recognize the  
20 tetanus in this case and tell the B-cells okay, go  
21 ahead make the antibody, so what the presence of that  
22 specific antibody tells me is that his body was able  
23 to see these antigens. They were able to recognize  
24 them as something that you should make an antibody to.

25 The T-cells were able to talk to the

MCCUSKER - DIRECT

1 B-cells,

MCCUSKER - DIRECT

1 and the B-cells could do their job, so that tells me  
2 you have a very comprehensive functioning immune  
3 system from a specific or adaptive point of view.

4 Q What were the findings for Yates' compliment  
5 levels, and I'm referring to Petitioner's Exhibit 16  
6 at 4?

7 A He had normal compliment levels and as well,  
8 they also did the compliment function that told  
9 hemolytic compliment, and again levels are  
10 interesting, and they're very helpful, and if they're  
11 abnormal, they tell us something, but normal levels in  
12 and of themselves don't necessarily tell us that the  
13 immune system is competent, but Dr. Blaiss here went  
14 the step further, and he said can I make it function?  
15 If I demand this compliment system to work, will it  
16 function, and it did completely normally.

17 Q What were the findings for the T and B cell  
18 numbers?

19 A They were entirely normal for age.

20 Q Doctor, did the testing of Yates' immune  
21 system in August 2002 show any evidence of immune  
22 dysfunction?

23 A No.

24 Q Or immune compromise?

25 A No.

MCCUSKER - DIRECT

1 Q Are you satisfied with the testing that was  
2 done by Dr. Blaiss in August of 2002?

3 A Yes, I am.

4 Q Doctor, was Yates also tested for antibodies  
5 to candida?

6 A Yes, he was.

7 Q And I'm referring to Petitioner's Exhibit 17  
8 at 3. What were the results?

9 A They were negative.

10 Q Does that mean he did not have antibodies to  
11 candida?

12 A It means that he did not have an infection  
13 of candida that was invasive. Children with primary  
14 immunodeficiency, when they do have problems with  
15 candida, their candida tends not to remain in the  
16 mouths. It tends to become invasive, and so invasive  
17 candidiasis is a sign of a compromised immune system.  
18 In that situation, the children will make antibodies  
19 to candida.

20 If it remains local, sort of just on the  
21 skin or in the oral mucosa or even in the diaper area,  
22 you do not make antibodies to candida, and there are  
23 papers that are quoted in my report that have looked  
24 at that in normal children.

25 Q Doctor, turning to the date of vaccination,

MCCUSKER - DIRECT

1 February 8, 2001, the medical records reflect, and  
2 there was testimony that Yates was sick that day that  
3 he received his vaccinations. Is being sick with an  
4 upper respiratory infection and a fever  
5 contraindication to vaccination?

6 A No. The Redbook, which is sort of the  
7 infectious disease bible that's used by many  
8 physicians does not recommend withholding a vaccine  
9 from a child because of an upper respiratory tract  
10 infection, with or without fever.

11 Specifically, when they look at the live  
12 viral vaccines, specifically the MMR, studies have  
13 shown that the presence of fever and upper respiratory  
14 tract infection do not compromise your ability to  
15 mount an immune response to measles, mumps or rubella  
16 under those circumstances, and so particularly for the  
17 live viral vaccines, it is not a contraindication.

18 If the child is considered to be severely  
19 ill, then the vaccine is withheld, but a child with a  
20 cold and an ear infection needing some antibiotics  
21 would not warrant withholding vaccine.

22 Q Doctor, if Yates had or has an  
23 immunodeficiency as Dr. Corbier opines, what would you  
24 expect to be his clinical course throughout the seven  
25 years of his life?

MCCUSKER - DIRECT

1           A     If you have a primary immunodeficiency, it's  
2 something you're born with. The immune system will  
3 struggle to try and keep ahead of the infections, but  
4 essentially over time, the child will become sicker  
5 and sicker and sicker with recurrent infections, and  
6 that's really what we see.

7                     While children with immunodeficiency can  
8 have ear infections in the first year of life, by the  
9 time they're two or three, they've already had one or  
10 two or three pneumonias because their immune system  
11 just cannot cope with the flood of virus and bacteria  
12 that they're being exposed to, and it gets weaker and  
13 weaker and weaker, and eventually they present and are  
14 diagnosed with primary immunodeficiency.

15                    That's children who have more subtle  
16 immunodeficiencies. Obviously, those that have severe  
17 combined immunodeficiency, where their immune systems  
18 don't work at all, present very early on in life,  
19 usually within the first year, and those children are  
20 very sick and will die without medical intervention,  
21 so when we're talking about the more subtle  
22 immunodeficiencies, what you really find is that you  
23 have a child, who maybe in the first two years of life  
24 was sick, maybe a little bit more sick than his peers.

25                    As time goes on, they continue to be sick.

MCCUSKER - DIRECT

1 They don't have that period where they're well, and  
2 really these children are never well.

3 Q Did you see any evidence of that in the  
4 medical records in this case?

5 A No, I did not. This child followed the  
6 normal course of few infections in the first two years  
7 of life, and a slow or a predictable reduced frequency  
8 as he aged, at least in the records that I reviewed.

9 Q Doctor, would an immune defect ever resolve  
10 or dissipate over time?

11 A A true primary immunodeficiency does not,  
12 and that would be something that has clinical  
13 relevance.

14 Q Is there any evidence in your experience as  
15 a pediatric immunologist that as Dr. Corbier states  
16 immune mechanisms are implicated in autism?

17 A No.

18 Q Is there any reliable medical evidence in  
19 the peer-reviewed literature that immune mechanisms  
20 are implicated in autism?

21 A Not that I have been able to find.

22 Q In your practice, have you tested the immune  
23 profiles of autistic children?

24 A Yes, I have.

25 Q Approximately how many?



## MCCUSKER - CROSS

1 fever. I would say off the top of my head no.

2 Q You indicated that there was a fairly broad  
3 or you're saying a variety of normal temperatures for  
4 individuals?

5 A Yes.

6 Q But if the child was in fact beyond that  
7 normal range for six months --

8 A Yes.

9 Q Would that merit some kind of evaluation?

10 A I suppose it would merit some kind of  
11 evaluation, except that I've never seen a case report  
12 of a child with documented fever for more than six  
13 months. I mean, during those six months, one would  
14 presume that this child had been seen by his  
15 pediatrician, and there would have been a note of it  
16 in the case history, but I failed to find a note to  
17 fever of the significant frequency of fever in the  
18 case history notes.

19 I'll give you an example. We've recently  
20 followed a child, who does have a primary  
21 immunodeficiency, who presented to our hospital with a  
22 history of fever for a month. Now, that child had had  
23 weight loss, that child had had significant  
24 symptomatology associated with that and did have  
25 what's called an immune activation syndrome and

## MCCUSKER - CROSS

1 macrophage activation syndrome that was clearly and  
2 easily documented by the testing that was done.

3 But that was after months of fever, and this  
4 child was quite sick and very close to death at the  
5 time when she presented to the hospital, so six months  
6 I think would be unbelievably unusual and would  
7 warrant a case report.

8 Q Would warrant some kind of immunological  
9 evaluation?

10 A Absolutely. Absolutely, but I would think  
11 that six months of daily fever to that extent, even  
12 "low-grade," which would be 38.5 and above core would  
13 significantly debilitate the child. It wouldn't just  
14 be a child who felt warm. It would be a child who  
15 didn't grow.

16 Q How about kids that develop sinusitis? Am I  
17 pronouncing that right?

18 A Yes.

19 Q Sinus infection.

20 A Yes.

21 Q Those infections persist for months, do they  
22 not?

23 A The mucosal thickening can persist for a  
24 long time. The bacteria can remain in the sinuses for  
25 a while, but clinical sinusitis is actually a

MCCUSKER - CROSS

1 relatively acute event. There are two different  
2 etiologies: There's acute and chronic sinusitis.  
3 Acute sinusitis is the one that's characterized by  
4 facial pain and fever. Chronic sinusitis is the one  
5 that's characterized by chronic congestion, and it's  
6 usually in the absence of fever.

7 Q Usually? Does that mean that there are  
8 cases that unless you have a chronic sinusitis in  
9 which there is a fever despite the absence of acute  
10 infectious symptoms?

11 A No. In fact -- when I say usually what I  
12 mean is that a patient will come in -- I mean as an  
13 allergist, I see a lot of sinusitis. A patient will  
14 come in, and there will be a history of nighttime  
15 snoring, poor sleep, chronic congestion, a nasal  
16 voice, not being able to breath through the nose,  
17 anosmia, which is unable to smell, which is really a  
18 sign of a chronic congestion of the sinuses, and so  
19 you would call that child to have chronic sinusitis.

20 Now, sinusitis doesn't necessarily mean  
21 infection. It means inflammation, so you would have  
22 inflammation of the sinuses, but the reason they're  
23 coming to you because the parents assume it's just a  
24 snotty kid and don't think that it's worthy of coming  
25 to the doctor, so the reason the child comes to you is

MCCUSKER - CROSS

1 because now he's developed a fever and green nasal

MCCUSKER - CROSS

1 discharge and now has an acute sinusitis over top of  
2 what really is a chronic inflammation of the sinuses.

3           When the child presents to medical  
4 attention, it's when the symptoms become acute as  
5 opposed to when they're chronic. Kids with chronic  
6 sinusitis who present because they do too. It's true.  
7 It's because they've been waking up at night. They  
8 have poor sleep and things like that, and that's  
9 usually a sign of inflammation of the sinuses and is  
10 often related to the presence of allergens in the  
11 environment and things like that and not related to  
12 acute infection.

13           Chronic sinusitis in particular is usually  
14 not an infectious process.

15           Q     Do you have a sense of what percentage of  
16 children develop a thrush infection, a candida  
17 infection in their first year of life?

18           A     There's something in the order of one in  
19 three will have thrush in the first year of life. It's  
20 quite common, 30 percent.

21           Q     And how many did you count in the first year  
22 of Yates' Hazlehurst's life?

23           A     I counted as I mentioned three documented  
24 thrush, not in the first year. Sorry. Three  
25 documented, two probables in the first two years.

## MCCUSKER - CROSS

1 Q How many did you say?

2 A Sorry. Three documented that I was able to  
3 find documentation for, and two more probable  
4 infections in the first two years of life.

5 Q Three and two, did you say?

6 A Yes, five.

7 Q Five? Do you have any idea how many  
8 children would develop five in the first two years of  
9 life?

10 A The studies have not been done, so no is the  
11 short answer. The long answer is in a child who  
12 receives antibiotics, it's not unusual for you to have  
13 a problem with thrush.

14 Q One thing you did say when you were  
15 discussing thrush was that some of the findings would  
16 be different for a child who sucked his thumb more  
17 than those who didn't. Is that correct?

18 A No, I didn't. I actually said that children  
19 who mouth, so who suck their thumbs or suck soothers  
20 or have a blanket that's always in their mouth or  
21 something like that, who tend to be mouthers, that's a  
22 risk factor for recurrent thrush, so it's just a risk  
23 factor.

24 Q So your opinion wouldn't change based on the  
25 extent to which Yates sucked his thumb?

MCCUSKER - CROSS

1           A     It's like everything else in life.  It's a  
2     risk factor.  It cumulates or not.

3           Q     Now, I'm going to hand you again a document  
4     dated January 18, 2002, physicians visit that's been  
5     marked as Petitioner's Trial Exhibit 2, and I again  
6     apologize for having only the one copy.  I guess the  
7     question I have is do you agree with the note in the  
8     pediatrician's records that you should consider an  
9     immune evaluation in this child's case if this kind of  
10    problem continues to persist.

11          A     Yes, I agree.  I also should note that we  
12    see these patients all the time.  In my practice as a  
13    clinical immunologist, we're referred patients who  
14    have recurrent otitis media, we're referred patients  
15    with recurrent thrush, we're referred patients with  
16    recurrent pneumonias even, and we will see 15  
17    patients a week referred in to evaluate their immune  
18    system.

19                    We will diagnose primary immunodeficiency  
20    six, maybe 10 times a year, and 10 times a year would  
21    be considered a banner year, so that means that I  
22    evaluate hundreds of children for immune  
23    "deficiencies" based on the history of their  
24    infections and the type of infections they have.  
25    These are even children who have severe invasive

## MCCUSKER - CROSS

1 infections, meningitis and septicemia, and they don't  
2 have a bad immune system.

3           They just got a bad bug, so the incidence of  
4 primary immunodeficiency while from my point of view I  
5 think it's important, from the general population  
6 point of view, we evaluate a lot of normal children  
7 with the same history.

8           Q     That's the fundamental question I had, and  
9 you said that 10 or 15 a week that you looked at that  
10 might be someone like Yates Hazlehurst in his first  
11 two years of life. Is that right?

12           A     That's correct.

13           Q     And so there are large number of children  
14 who merit an immune workup?

15           A     Yes.

16           Q     Because they fit a profile somewhat like  
17 Yates Hazlehurst?

18           A     It's not really because they fit a profile.  
19 It's because our thinking about immunodeficiency has  
20 changed, and it's a bit philosophical, and I'm going  
21 to get on a soap box I hope you don't mind about this,  
22 but the truth is that in the '80s and '90s, the  
23 frequency of the time from onset of first infection to  
24 diagnosis of primary immunodeficiency was somewhere in  
25 the order of five to six years, and immunologists

MCCUSKER - CROSS

1 looked at that and said well, that's not right,.

2 Really, essentially children had to follow  
3 that pattern that I talked about where they had to  
4 have the frequency of infections in the first two  
5 years of life and then it just not go away, not go  
6 away, and they were getting more and more debilitated,  
7 and then finally somebody looked at their immune  
8 system. What has happened is that through campaigns  
9 to make primary caregivers aware that primary  
10 immunodeficiency should be examined, children are  
11 referred earlier for looking at their immune system.

12 Now because we don't know what's going to  
13 happen after age two or age three whether or not  
14 they're just going to behave like every other kids, or  
15 whether they're going to have this downward spiral,  
16 it's better to catch them at 18 months or age two and  
17 start treatment if you can. That's really the goal,  
18 so the purpose of having a lot of these evaluations  
19 and my knowing that when I walk into clinic on Tuesday  
20 morning I'm going to see 15 kids and probably all of  
21 them will be normal is that I pick up that one, and  
22 then I make an improvement in that one child's life,  
23 but the truth is, based on history alone, you can't  
24 make a diagnosis of immunodeficiency because  
25 infections happen, and so the

MCCUSKER - CROSS

1 frequency in infections happen, and it's not an  
2 indicator of a primary immunodeficiency. It's an  
3 indicator that maybe you should look.

4 Having said that, I have children who I  
5 diagnose at nine or 10 with a primary immunodeficiency  
6 who have really nothing from zero to 10, so infection  
7 is a sign, and it should be examined, but it doesn't  
8 make the diagnosis. It doesn't even say the child's  
9 immune system is abnormal by any means, and it may not  
10 even be there in a child who has clearly abnormal  
11 immune system, so in and of itself it's helpful.

12 It gives us information, it warrants a  
13 consult, and it warrants an evaluation, but the  
14 evaluation when done is clear.

15 Q This is what I'm trying to ask, and maybe I  
16 misheard it. Did you say that some of these 15 or 30  
17 or 90 that you see that end up not having primary  
18 immune deficiencies might have an efficient immune  
19 system?

20 A Might have what? I'm sorry.

21 Q I thought you said something about bad  
22 immune systems as something different than primary  
23 immune deficiency. Am I incorrect there?

24 A Yes. I'm sorry.

25 Q Do I understand that kids that have primary

## MCCUSKER - CROSS

1 immune deficiency that this is a serious and often  
2 lifelong condition that requires attention because  
3 they can't deal with infection?

4 A Yes, it's always lifelong unless you  
5 intervene.

6 Q As opposed to these children, are there some  
7 children that just don't deal with infections well,  
8 but don't have a primary immune deficiency?

9 A I'm not sure I know the answer to that  
10 question. There is a range of what is considered  
11 normal, so if you have a child who has 10 infections  
12 in a year, is your child not dealing well with  
13 infection versus the kid who only had three? That's  
14 hard to know, or did they just come into contact with  
15 a virus at a different time. It's multifactorial, and  
16 really in pediatrics, what are you looking at? You  
17 have to look at the child.

18 You have to say is he growing? Is he  
19 missing a lot of school? Is he not missing a lot of  
20 school? Is the infection frequency decreasing or not?  
21 Is it continuing and persisting? Is it affecting his  
22 activities of daily living over the long term rather  
23 than in the acute first two years of life. All those  
24 things you have to ask yourself before you say it's  
25 outside normal because we all have to go through it.

## MCCUSKER - CROSS

1           Anybody in this room, who has children,  
2           knows that kids go through a series of infections in  
3           the first two or three years of life. Especially if  
4           they're in daycare, especially if they go to parks, if  
5           they go to malls, if they're brought outside through  
6           the family unit. It's just normal, and it's what  
7           their immune system is supposed to do. It's supposed  
8           to learn when we're young, and we have a little bit of  
9           plasticity.

10           Q     Can there be selective immune deficiencies?

11           A     Yes, there can.

12           Q     Are there selective immune deficiencies that  
13           would not have been detected by the immune workup that  
14           was done in Yates' Hazlehurst case?

15           A     Yes, there are.

16           Q     And does the ability to develop a specific  
17           immune response to tetanus tell us with certainty the  
18           ability to develop a specific immune response to other  
19           bacteria?

20           A     As a general rule, it's a very good  
21           indicator. There is one exception to that rule, and  
22           that's what's called polysaccharide antibodies, and  
23           those are four encapsulated bacteria such as  
24           pneumococcus, which in children who have a specific  
25           immunodeficiency to pneumococcus, or polysaccharide

MCCUSKER - CROSS

1 antibody deficiency is what it's called, you're unable  
2 to form antibodies against encapsulated organisms such  
3 as strep, pneumonia, neisseria meningitides and others  
4 in that group.

5 Those children present with severe  
6 infections, pneumococcal septicemia, pneumococcal  
7 pneumonias, meningitis caused by pneumococemia or  
8 pneumococcus, and they're evaluated because of  
9 recurrence of those types of infections, and they are  
10 unable to perform those antibodies. There is a  
11 vaccine now that allows us to get around that  
12 somewhat, but it's not perfect.

13 Q Are there specific immune deficiencies to  
14 viruses or certain viruses?

15 A Not that have been reported to specific  
16 viruses.

17 Q I just seem to remember in another case  
18 looking at a report of a child that had a specific  
19 immune deficiency to varicella, for example, that  
20 couldn't generate natural killer cells that would deal  
21 with varicella. Does that ring any bells or not?

22 A It's not exclusive to varicella. It's to --

23 Q That was my recollection.

24 A Okay. Sorry.

25 Q No. I'm not saying --

MCCUSKER - CROSS

1           A     NK cell dysfunction exists.  Usually, you  
2     have a very low NK cell number.  Yates has a normal NK  
3     cell number, and it leaves you at risk for acute viral  
4     illnesses and tumors and cancer, and these are  
5     invasive viral illnesses, so not your typical upper  
6     respiratory tract infections, but things that will  
7     invade and will cause, for example, a viral  
8     encephalitis or a viral pneumonitis.

9           They're related to defects in the cascade  
10    related to the NK cell function, and usually related  
11    to low NK cell numbers and also can be related to  
12    specific T-cell dysfunction.

13          Q     Is there any significance in your mind to  
14    the physician's decision to change from nystatin for  
15    the thrush early in Yates' life to the Diflucan later?

16          A     Fluconazole?

17          Q     Does that mean anything?

18          A     Because nystatin has been used a lot, there  
19    is some resistance to nystatin in the environment.  At  
20    the time when Yates was a child or young, diflucan or  
21    fluconazole came out as an easy to give medication, so  
22    it was easy.  It's a couple of doses, and it  
23    eradicates candida, so a lot pediatricians started  
24    using it in place of nystatin because they were  
25    getting some treatment failures because of resistance.

MCCUSKER - CROSS

1                   That's sort of been put aside now because we  
2                   want to use it for other things, and we don't want  
3                   fluconazole resistance, but at the time, it was one of  
4                   those things that would have been used more  
5                   frequently.

6                   Q     If a child is moderately ill with otitis  
7                   media, is that a contraindication to giving the MMR  
8                   vaccination?

9                   A     I guess it would depend on what you'd define  
10                  as moderately ill. The Redbook says that a physician  
11                  can choose not to give the MMR vaccine if a child is  
12                  moderate to severely ill but excludes the idea of  
13                  upper respiratory tract infections and their  
14                  complications as being an indication. Otitis media is  
15                  a common complication of upper respiratory tract  
16                  infections.

17                  Q     What is the recommendation for the  
18                  vaccination with MMR vaccine? When should a child  
19                  receive the MMR vaccine?

20                  A     It actually varies depending on where you  
21                  live in the world. Certainly, in North America our  
22                  first MMR is given at 12 months. In underdeveloped  
23                  countries, the MMR is pushed back a little earlier and  
24                  can be given at nine months of age. The reason that  
25                  it's not here is that you don't develop perfect

## MCCUSKER - REDIRECT

1 immunity at nine months of age to MMR.

2           They want children to be at the older end  
3 because we have herd immunities so that the risk of  
4 the measles, mumps of rubella disease associated with  
5 waiting the extra three months is significantly less,  
6 but in the underdeveloped world where measles kills  
7 millions of children, it can be given earlier because  
8 even though you're not going to cover all children,  
9 you'll reduce the death rate during an outbreak.

10           Q     Do you see anything in Yates' medical  
11 history up through the age of one year that indicated  
12 that he couldn't have waited for three months to  
13 receive the MMR vaccination at 15 months rather than  
14 12?

15           A     I see no indication that he couldn't have  
16 waited. I see no indication that he should have  
17 waited.

18           MR. WEBB: That's all the questions I have.

19           MS. RICCIARDELLA: I just have one redirect  
20 question, Special Master.

21                                 REDIRECT EXAMINATION

22           BY MR. WEBB:

23           Q     Doctor, is there anything in Yates' clinical  
24 picture or the immune testing that was done to  
25 indicate that he needed further immune testing for

## MCCUSKER - REDIRECT

1 selective immune deficiencies?

2 A No. Yates' infection history markedly  
3 decreased. If he has an important primary  
4 immunodeficiency, he would continue to have infections  
5 at a frequency that would increase, and you would  
6 predict the severity would worsen as well if he was  
7 following that path.

8 MS. RICCIARDELLA: Thank you. That's all I  
9 have.

10 THE COURT: Mr. Webb?

11 MR. WEBB: Nothing further.

12 THE COURT: Thank you, Dr. McCusker. You're  
13 excused.

14 (Witness excused.)

15 THE COURT: Is this your trial exhibit here,  
16 Mr. Webb?

17 MR. WEBB: Yes.

18 DR. MCCUSKER: I'm sorry.

19 THE COURT: Let's hold onto that. We're  
20 going to need that.

21 MS. RICCIARDELLA: Can we just take a quick  
22 five-minute break between witnesses?

23 THE COURT: Five minutes? We're in a five-  
24 minute recess.

25 (Whereupon, a short recess was taken.)

MACDONALD - DIRECT

1 THE COURT: We are back on the record  
2 anticipating Dr. MacDonald as Respondent's next  
3 witness. Dr. MacDonald, would you raise your right  
4 hand, please?

5 Whereupon,

6 DR. THOMAS T. MACDONALD

7 having been duly sworn, was called as a  
8 witness and was examined and testified as follows:

9 THE COURT: To proceed.

10 MS. RICCIARDELLA: Yes.

11 DIRECT EXAMINATION

12 BY MS. RICCIARDELLA:

13 Q Hi, Dr. MacDonald, would you please state  
14 and spell your name for the record?

15 A Thomas T. MacDonald, M-A-C-D-O-N-A-L-D.

16 Q Dr. MacDonald, what is your current  
17 profession?

18 A I'm Professor of Immunology and Dean for  
19 research at Barts and the London School of Medicine  
20 and Dentistry.

21 Q Doctor, would you please briefly describe  
22 your university and graduate education?

23 A I'm an Immunologist, but when I started off  
24 doing immunology many years ago, immunology wasn't a  
25 discrete course, and so as an undergraduate took the

MACDONALD - DIRECT

1       only option to learning immunology which is a  
2       parasitology course. After I graduated, I worked on  
3       my doctorate, and I wanted to do immunology, so I did  
4       a doctorate on how immune reactions particularly T-  
5       cell mediated immune reactions could damage the human  
6       and mouse gut.

7                   I got my PhD in 1976 and the title of my PhD  
8       was called Delayed Hypersensitivity Reactions in the  
9       Small Intestine, and subsequently I did postdoctoral  
10      training in upstate New York at the Trudeau Institute  
11      in Saranac Lake, New York, where I went because I  
12      really wanted to learn about T-cells from one of the  
13      world's leading laboratories, and the person I worked  
14      with was actually particularly interested in the way  
15      in which the normal microbes in the gut could  
16      influence T-cell function.

17           Q       And would you briefly describe your work  
18      history in the field of immunology?

19           A       I have been a researcher in the Laboratory  
20      for Medicines that's actually been doing experiments  
21      since 1973, and have been publishing papers throughout  
22      this period. I run an active research group, which is  
23      funded by external bodies and peer-reviewed external  
24      bodies including the European Union, the Medical  
25      Research Council of the UK and the Biotechnology

MACDONALD - DIRECT

- 1 Science Research Consulate in the UK.

MACDONALD - DIRECT

1           Most of my research work these days is now  
2           studying the human gastrointestinal immune system  
3           instead of the Murine gastrointestinal immune system,  
4           so I like to try and look in people, especially  
5           children, to try and find what is causing these  
6           terrible devastating diseases, inflammatory bowel  
7           disease particularly.

8           Q     Doctor, did you work for a time for Merck?

9           A     Yes, I did actually. I had a very  
10          interesting time at Merck. I was working in  
11          Philadelphia as an associate professor at Jefferson  
12          Medical College, and I don't know what happened. I  
13          think I was seduced to go to north Jersey and work in  
14          Rahway, and when I went on my interview the sun was  
15          shining, it seemed very good to me to go there.

16                 In fact, when I got up to Rahway, I  
17          discovered that not only Merck was not a particular  
18          sort of place I wanted to work in, but also I didn't  
19          want to live in north Jersey, so I had to make a  
20          decision what to do, whether to go back into academia  
21          in the U.S., and I was offered a position at Yale, but  
22          then for personal reasons, I decided to move back to  
23          London, really for family reasons.

24          Q     And what is your current position at the  
25          Barts and London?

MACDONALD - DIRECT

1           A     I'm a Professor of Immunology and Dean for

## MACDONALD - DIRECT

1 Research in the Medical and Dental School.

2 Q And what is your research budget?

3 A The research budget of the Medical and  
4 Dental School, the spend last year, was \$76 million.

5 Q And would you just describe some of your  
6 responsibilities in your position?

7 A Okay. I run a lab where I do research on  
8 inflammation, mostly in the human gastrointestinal  
9 tract, but most of my time these days is actually  
10 taken up with looking after and administering the  
11 research portfolio of the Medical and Dental School,  
12 which has six institutes with 300 independent  
13 researchers, about 2,000 staff in total.

14 It covers a whole range of medical  
15 disciplines from diabetology to cardiology,  
16 inflammation biology, surgery, microbiology,  
17 immunology, so I have a broad portfolio. Essentially,  
18 I'm in charge of the research direction of the School  
19 of Medicine and Dentistry and also ensuring  
20 performance standards to make sure that our research  
21 meets the standards that it needs to be competitive in  
22 the 21st century.

23 Q Do you teach at the Barts in London?

24 A Yes. I'm in charge of all the immunology

25 //

MACDONALD - DIRECT

1 teaching, and I teach about inflammatory bowel  
2 disease and gastroenterology. I teach the  
3 undergraduate medical students. I teach the  
4 undergraduate science students in a very popular  
5 course, and I teach the PhD students, the postgraduate  
6 students doing MSCs and PhDs. I also teach the  
7 immunology to the young trainee doctors, who graduated  
8 from medical school because we have a different system  
9 in the UK, which is medicine is not a postgraduate  
10 degree.

11 It's an undergraduate degree, so after five  
12 years, they have to go into further training, and  
13 there I teach them in immunology subsequently to try  
14 to get them up to speed.

15 Q Have you published articles in the field of  
16 gut immunology?

17 A Yes, I've published many, many articles in  
18 the field of gut immunology over many years.

19 Q Your CV lists 158, and you had refereed  
20 articles. Is that the same thing as peer-reviewed?

21 A That means peer-reviewed.

22 Q Have you written a book on gut immunology?

23 A Yes, I've just published a book called gut  
24 immunology. It's actually called Immunology in Gut  
25 Disease because I felt that there was a problem.

MACDONALD - DIRECT

1 Immunology is a rather complicated discipline anyway,  
2 and gut immunology is a little part of that, and  
3 that's also quite complicated, and I felt that there  
4 was a need for quite a simple book that explained the  
5 basic aspects of immunology and gut immunology and  
6 then showed how these mechanisms were important in gut  
7 diseases.

8 I also set up an A to Z of gut diseases  
9 starting off with allergic colitis and going down to  
10 yersiniosis, so if someone wanted to know what was  
11 happening in say ulcerative colitis or Crohn's  
12 disease, they can read a bit about the immunology.  
13 They just go to C, flip open, and there would be the  
14 key things about Crohn's disease in a very easily  
15 digestible fashion.

16 Q How many books have you edited on the  
17 immunology of the gut?

18 A Seven or eight I think actually, and I'm  
19 doing another two at the moment.

20 Q Have you written any book chapters or other  
21 publications?

22 A I've written hundreds of book chapters.

23 Q Do you currently or have you ever served on  
24 the editorial board of a scientific journal?

25 A Yes, I was on the editorial board of the

MACDONALD - DIRECT

1 British Center for Gastroenterologies official journal  
2 Gut, from 1996 to 2003. I was also on the editorial  
3 board of the American Gastroenterological  
4 Association's Journal, "Gastroenterology" the top  
5 journal in its field from 2000 to 2006. I'm also  
6 currently an associate editor of the journal of the  
7 Crohn's and Colitis Foundation of America. The  
8 journal is called Inflammatory Bowel Diseases, and  
9 I've also been on various other smaller, less  
10 significant journals.

11 Q Are you a reviewer for any scientific  
12 journals?

13 A Yes, I review all the time actually. I  
14 review a lot for Gastroenterology because I feel that  
15 the quality of the journal depends on good and  
16 adequate refereeing to make sure that things that are  
17 bad don't get into the literature, but I also review a  
18 lot for Science and Nature, PNAS, GX Med, so all the  
19 top notch scientific journals in the world. If  
20 anything comes up that's vaguely gut associated that  
21 goes to Nature Medicine, the premier journal in  
22 medical research, I tend to see it.

23 I also do papers for the Lancet. I did one  
24 for the New England Journal of Medicine once.

25 Q And do you sit on any research panels?

MACDONALD - DIRECT

1           A     Yes.  I sit on the physiological systems

## MACDONALD - DIRECT

1 panel of the medical research council of the UK, which  
2 is the panel that reviews grants on the organ-specific  
3 diseases of the gut, the airway and the skin, so  
4 traditionally actually medicine, so I'm also on the  
5 MRC's experimental medicine panel.

6 Q What is the MRC?

7 A Sorry. The Medical Research Council of the  
8 UK. It's the UK's equivalent to the NIH with a much  
9 smaller budget, of course. I also sit on other  
10 smaller grant giving bodies as well such as the  
11 Crohn's and Childhood Research Association. A grant  
12 giving body of which I'm on the medical advisory board  
13 also.

14 Q Do you have any learned society memberships?

15 A Yes. Actually, I'm a Fellow at the Royal  
16 College of Pathologists, which is an honorary degree  
17 that's given for people who have published lots of  
18 papers in an area. I got that in 1995 on the basis of  
19 the quality of my published works. The thing I'm most  
20 proud of though is I was elected in 2002 to be a  
21 Fellow of the Academy of Medical Science in the UK,  
22 which I think I'm the only gut immunologist on it.

23 It was a body put together as sort of a  
24 side-by-side with the Royal Society of Britain, which  
25 actually is all science. It was actually to put up a

MACDONALD - DIRECT

1 learned body of experts in medicine, who could help  
2 the government and advise on policy and give them a  
3 source of really authoritative opinions on medical  
4 issues of the day, so I was elected to that in 2002.

5 Q And do you speak frequently on the topic of  
6 gut immunology?

7 A I speak on gut immunology and inflammation  
8 and inflammatory bowel disease all the time. I was in  
9 Dresden last week talking at a folk symposium on  
10 inflammatory bowel disease. In a few weeks I'm going  
11 to talk at the inflammatory bowel disease think tank,  
12 which the Swedish government have put forward to think  
13 about new ways in which we can think about treating  
14 these diseases and the understanding of these  
15 diseases.

16 I do this thing all the time. I also work  
17 very closely with industry to try to develop new  
18 therapies for treating inflammatory bowel disease.

19 Q Doctor, did you participate as an expert  
20 witness in the MMR litigation in the United Kingdom?

21 A I didn't get to the witness part. I only  
22 got to the expert part because the litigation was  
23 stopped after expert witness reports were submitted to  
24 the Legal Aid Board.

25 Q What role did you have during the expert

MACDONALD - DIRECT

1 part of that litigation?

2 A I was approached in 1998 by Lovells, a law  
3 company representing Merck against the litigation in  
4 the UK, for the idea that MMR was associated or caused  
5 autism, so my role in that was to evaluate essentially  
6 the evidence that measles virus was present in the gut  
7 of autistic children using techniques of immunohistic  
8 chemistry and PCR and also to talk about whether in  
9 fact there was such a thing as gut inflammation in  
10 autistic children.

11 In other words, really does autistic  
12 enterocolitis exist, so it was an interesting time  
13 because there really wasn't any literature until the  
14 1998 paper came out, which is the Wakefield paper.  
15 But then subsequent in the next five years actually,  
16 it sort of rolled out as the data came along and more  
17 papers were published. I'm familiar with these  
18 papers.

19 Q Doctor, you said you didn't get to the  
20 witness part. Have you ever testified in Court  
21 before?

22 A Never.

23 Q Turning to this case, what material did you  
24 review in preparation for your testimony today?

25 A Well, I read Dr. Buie's report. I reviewed

## MACDONALD - DIRECT

1 Krigsman's report in the Cedillo case, and I reviewed  
2 Corbier's report, and I reviewed lots of relevant  
3 publications, newer publications, later publications,  
4 older publications, so essentially it had been  
5 mentioned in either Krigsman or Buie's report or  
6 Corbier's report, I went over these papers again just  
7 to refresh my memory.

8 Q Did you review the medical records of Yates  
9 pertaining to any of his GI issues?

10 A Yes, I did. Yes.

11 Q Do you agree with Dr. Corbier that Yates  
12 likely has an immunological disturbance affecting his  
13 gut?

14 A No.

15 Q Now, based on your review of the records,  
16 has Yates experienced gastrointestinal symptoms?

17 A Yes.

18 Q And in your opinion, are those symptoms  
19 causally related to the MMR vaccine?

20 A No.

21 Q Does Yates have inflammatory bowel disease?

22 A No.

23 Q In your opinion is Yates' autism causally  
24 related to the MMR vaccine?

25 A No.

## MACDONALD - DIRECT

1 Q Would your opinion be different if his  
2 regression into autism had an onset at 12 months?

3 A No.

4 Q Let's look at the basis of your opinions and  
5 start with the medical records that have been filed in  
6 this case. Did you review the medical records  
7 pertaining to the upper endoscopy and the colonoscopy  
8 that Dr. Buie performed on April 17, 2003?

9 A Yes.

10 Q For the record, I'm referring to  
11 Petitioner's Exhibit 20. Did I hand you before you  
12 took the stand a copy of Petitioner's Exhibit 20?

13 A Yes.

14 Q If you could please turn to page 2?

15 A Yes.

16 Q Is this the report of the upper endoscopy?

17 A Yes.

18 Q What were the findings?

19 A When the endoscope was put down, he saw some  
20 inflammation from an endoscopic point of view in the  
21 esophagus. The stomach was normal, and the upper  
22 duodenum was normal, and then some biopsies were taken  
23 of stomach, of the duodenum and of the esophagus to be  
24 sent for histopathology.

25 Q Was reflux esophagitis found during this

MACDONALD - DIRECT

1 upper endoscopy?

2 A Reflux esophagitis cannot be found during  
3 the -- reflux esophagitis is a functional thing in  
4 which acid goes back up. When you see inflammation in  
5 the esophagus of a child, and this is actually a  
6 rather common observation that is seen by a reddening  
7 of the mucosa, which is consistent with reflux  
8 esophagitis, but doesn't actually show reflux  
9 esophagitis.

10 Q And a biopsy was taken of the esophagus?

11 A Yes.

12 Q I'm referring to Petitioner's Exhibit 20 at  
13 8, which is the pathology report of the biopsy taken.

14 A Yes.

15 Q What was the pathology finding of the  
16 esophageal biopsy?

17 A It was no diagnostic abnormality recognized.

18 Q Doctor, if you could please turn to  
19 Petitioner's Exhibit 20 at 4 through 6?

20 A Say that again?

21 Q Four and really through 6.

22 A Page 4?

23 Q Four. Right. Is this the report of Yates'  
24 colonoscopy?

25 A Yes.

## MACDONALD - DIRECT

1           Q     And what were the visual findings of the  
2     colonoscopy?

3           A     The mucosa was normal of the colon, and the  
4     ileum was also normal, but what was noted was some  
5     nodular lymphoid hyperplasia at the sigmoid colon and  
6     the rectum.

7           Q     Doctor, have you ever observed a  
8     colonoscopy?

9           A     Yes, I've seen hundreds and thousands of  
10    colonoscopies.

11          Q     Now, you mentioned that nodular lymphoid  
12    hyperplasia was found at the sigmoid colon and the  
13    rectum. What is lymphoid nodular hyperplasia?

14          A     Lymphoid nodular hyperplasia is actually an  
15    enlargement of the lymph nodes in the small intestine  
16    and the colon. Children generally have a more  
17    abundant immune system than adults. They have larger  
18    lymph nodes. This is particularly true in the  
19    gastrointestinal tract because children are not only  
20    susceptible to upper respiratory tract infections, but  
21    they also suffer lots of gastrointestinal infections.

22                 So it's extremely well-documented that if  
23    you look into the intestine of a child compared to the  
24    intestine of an adult, there are more lymph nodes as  
25    part of the normal situation. Lymphoid nodule

MACDONALD - DIRECT

1 hyperplasia is a very subjective assessment, in which  
2 it is felt by the endoscopist as they're looking  
3 through that these are larger or more prominent than  
4 would normally be seen, and it's very much a  
5 subjective assessment.

6 Q Is it a pathological diagnosis?

7 A No.

8 Q would you please explain why that is?

9 A The histopathology or the histology of  
10 lymphoid follicles in autism, in lymphoid hyperplasia  
11 is identical to the histopathology of the lymphoid  
12 follicles in all healthy individuals. Just to prepare  
13 for this actually, I went over some of the older  
14 literature, and this is something, which is really  
15 well-acknowledged. For example, this is a paper of  
16 the normal histology of the colon in the American  
17 Journal of Surgical Pathology quite a long time ago.

18 It says, "One to two mucosal lymphoid  
19 follicles or lymphoglandular complexes may be present  
20 in the normal colorectal biopsy and should not be  
21 mistaken for increased mononuclear density due to  
22 inflammation. It's extremely well-recognized actually  
23 that lymphoid follicles are part of the normal  
24 component of the gastrointestinal tract.

25 THE COURT: Dr. MacDonald, would you note

MACDONALD - DIRECT

1 the author's name?

MACDONALD - DIRECT

1 THE WITNESS: Yes. Certainly, so this is  
2 the paper by Levine and Haggitt, and I'll just leave  
3 it. It's not controversial actually. I shall just  
4 leave it here.

5 THE COURT: Okay. And the page numbers that  
6 you read from?

7 THE WITNESS: Okay. That was pages 978 to  
8 979.

9 THE COURT: Thank you.

10 BY MS. RICCIARDELLA:

11 Q Doctor, does a finding of lymphoid nodular  
12 hyperplasia mean that one has an inflammatory bowel  
13 disease?

14 A Absolutely not. In fact quite the reverse.

15 Q Is a finding of lymphoid hyperplasia mean  
16 that one has an inflammatory condition at all?

17 A No.

18 Q Is the finding of lymphoid nodular  
19 hyperplasia in the lower bowel of a child considered  
20 an abnormal finding?

21 A No.

22 Q Doctor, did you review the medical records  
23 in this case pertaining to Dr. Buie?

24 A Yes.

25 Q Did Dr. Buie anywhere in those medical

## MACDONALD - DIRECT

1 records state that the finding of lymphoid nodular  
2 hyperplasia in Yates indicated an inflammatory bowel  
3 disease?

4 A No, because that would be wrong, and he's a  
5 very good physician, and when endoscopists and  
6 pathologists see lymphoid follicles in either tissue  
7 section or through the endoscope, they note it, but it  
8 is of no diagnostic significance.

9 Q Did you review the expert report submitted  
10 by Dr. Buie in this case as Petitioner's Exhibit 50?

11 A Yes,

12 Q Does Dr. Buie in that report state that the  
13 finding of lymphoid nodular hyperplasia is evidence of  
14 inflammatory bowel disease?

15 A No.

16 Q In fact, does Dr. Buie state that lymphoid  
17 nodular hyperplasia is evidence of inflammation at  
18 all?

19 A No.

20 Q I'd like to turn to the pathology that was  
21 taken of the biopsies of the colonoscopy. If you  
22 could please look at Petitioner's Exhibit 20 at 8?  
23 What was the pathology findings of the biopsy taken of  
24 the ileum?

25 A It was completely normal.

## MACDONALD - DIRECT

1 Q And is the ileum part of the small bowel?

2 A Yes, it's the end of the small bowel, just  
3 before the ileocecal valve before the food goes into  
4 the colon into the cecum.

5 Q And what were the pathology findings of the  
6 biopsies of Yates' colon?

7 A Would you like me to say these individually  
8 or actually through summation? His colon and his  
9 cecum, which is the first part of the colon scattered  
10 into epithelial eosinophils and increased cellularity  
11 of the lamina propria. In colon transverse biopsy,  
12 essentially the same. In the sigmoid colon, which is  
13 just before the rectum, the same thing. Also, in the  
14 biopsies of the rectum were fragments of unremarkable  
15 colon mucosa.

16 I think it's important to note that actually  
17 there is some slight differences between the comments  
18 at the top and in the note in which there's some  
19 important information talking about the eosinophils in  
20 the lamina propria, which is not mentioned. That is  
21 the area of tissue below the epithelium, and  
22 particularly the pathologist looked to see if there  
23 was some of the more characteristic features of  
24 allergic enterocolitis such as eosinophils in the  
25 crypts.

MACDONALD - DIRECT

1

And these were not seen after examination at

MACDONALD - DIRECT

1 multiple levels, so the pathologist made a good effort  
2 trying to look to see if this was a really severe  
3 eosinophilic colitis, but could not find them, so the  
4 comments at the end was a mild eosinophilic colitis,  
5 perhaps related to food allergies.

6 Q Let's break down what the pathology findings  
7 were. What are intraepithelial eosinophils?

8 A Okay. Eosinophils is a type of inflammatory  
9 cell, which moves into tissues usually during allergic  
10 diseases. Eosinophils live in the blood normally at  
11 low levels, and when you have an allergic response for  
12 example in the airway of the skin, eosinophils move  
13 into the tissue. It's very much a nonspecific cell,  
14 so, for example, ulcerative colitis, a classical  
15 inflammatory bowel disease, there are more eosinophils  
16 in the gut wall because it is inflamed.

17 People tend to see eosinophils in a colonic  
18 biopsy. The bells start saying allergy of some sort,  
19 which is why the pathologist cut through the tissues  
20 to look for real more evidence of severe allergic  
21 enterocolitis.

22 Q Is a finding of intraepithelial eosinophils  
23 evidence of inflammatory bowel disease?

24 A No. It is not pathognomonic of inflammatory  
25 bowel disease.

MACDONALD - DIRECT

1           Q    Are intraepithelial eosinophils found in  
2   developmentally normal children?

3           A    Yes.

4           Q    And do you have a slide on this?

5           A    Yes, if you go to the first slide, this is a  
6   study for Lano, which is one of the largest studies  
7   from the Royal Free Hospital, which has the largest  
8   experience of looking at the gastrointestinal tract of  
9   autistic children, and this is Table 2, and this is a  
10   very interesting study because it looked in autistic  
11   children, which is you see the left, normal control  
12   subjects, that's children without gastrointestinal  
13   inflammation, but the next column is children with  
14   lymphoid hyperplasia control subjects.

15                    These children did not have lymphoid  
16   hyperplasia, but were colonoscoped because they had  
17   severe, intractable constipation. That was a clinical  
18   reason for undergoing an endoscopy, which is a fairly  
19   serious procedure. When biopsies were taken of all of  
20   these children, what you can see is interesting, which  
21   is that actually the colitis score on autistic  
22   children is 1.4, which is significantly higher than  
23   normal control subjects, but it's not different from  
24   the children with lymphoid hyperplasia, which is 0.6.

25                    Importantly, in terms of what we are saying

MACDONALD - DIRECT

1 here, if you could look at the lamina propria  
2 eosinophils, it's 0.9 in the autistic children, zero  
3 in the normal subjects, and 2.1 in lymphoid  
4 hyperplasia, children who have severe and chronic  
5 constipation. These children are developmentally  
6 normal, and their problem is not inflammatory bowel  
7 disease, but they have constipation.

8           What this shows quite clearly is that an  
9 increase in eosinophils in the gut is a consequence of  
10 constipation and the inflammation caused in the gut  
11 wall by having impacted stools.

12           Q     In the second pathological finding that you  
13 read out were increased cellularity of the lamina  
14 propria. What is that?

15           A     It almost certainly means, but doesn't  
16 specifically say, that it is what's called a  
17 mononuclear cell infiltrate, slightly more lymphocytes  
18 and macrophages in the gut wall, which was the finding  
19 of the autistic children in this case actually. By  
20 and large the colitis score was nearly all due to  
21 increased mononuclear cells in the lamina propria,  
22 some more lymphocytes, sometimes perhaps more T-cells.

23           Q     Are findings of increased cellularity of the  
24 Lamina propria found in developmentally normal  
25 children?

MACDONALD - DIRECT

1           A     Yes.

2           Q     Are they also found in children who do not  
3     have inflammatory bowel disease?

4           A     Yes.

5           Q     And do you have a slide on that?

6           A     Okay.  This is a study that I performed with  
7     Professor John Walker Smith when I came back to  
8     England in 1985.  I worked with Professor Walker  
9     Smith, and Simon Murch was my graduate student at this  
10    time.  We did a very big study, which published in  
11    Gastroenterology in 2004, which looking at the levels  
12    of a cytokine called tumor necrosis factor alpha,  
13    which treatment against tumor necrosis factor alpha  
14    has been the major breakthrough in treating  
15    inflammatory bowel disease for -- essentially in the  
16    last 30 years.

17                    This paper has about 250 or 300 citations in  
18    the medical literatures.  It's extremely well cited.  
19    John Walker Smith when I worked with him was a very  
20    caring man, and he felt it reasonable to assess  
21    children to give parents of children with gut problems  
22    an exclusion diagnosis.

23                    He felt it was quite good if a child came  
24    along with some gut symptoms that there was a  
25    tremendous relief to them to say well, actually it's

## MACDONALD - DIRECT

1 fine. We looked at quite a lot of normal children,  
2 and this is a list of the 46 control children, who we  
3 studied in this paper.

4 What we did here actually because we  
5 essentially transcribed the histopathology, the  
6 reports from the pathologists, onto this table, and so  
7 we're looking at the last 15 children and you'll  
8 notice that some familiar tabs come up, lamina propria  
9 eosinophils, eosinophils and follicles, eosinophilic  
10 ileitis patient No. 36, prominent follicles, chronic  
11 inflammation, which actually is another way of saying  
12 an increase in mononuclear cells and nuclear density.

13 Mild follicular inflammatory cells, these  
14 words keep on coming up. These children were sent  
15 home as non-IBD and never came back again because it  
16 is part of the normal range that you see in children,  
17 who are getting gut infections, who are get all sorts  
18 of strange things that we don't understand and when  
19 you do a colonoscopy you see a mild increase in  
20 inflammatory cells, and it's part of the normal range.

21 Now, it is quite difficult to actually say  
22 this is the normal range for children who undergo  
23 colonoscopy. The question really would be do  
24 absolutely normal children have an increase in  
25 inflammatory cells sometimes, and that question will

MACDONALD - DIRECT

1 never be answered. There was a very recent paper  
2 that's just been published from Bernstein. It had  
3 just come out in the American Journal of  
4 Gastroenterology, which I have here someplace.

5 They have gone to a great deal of effort to  
6 biopsy the gut of completely healthy adults, and what  
7 they find is actually that in completely healthy  
8 adults, the diagnosis of nonspecific colitis can also  
9 be made, and if I can find the paper -- excuse me a  
10 moment. The title of the paper, which is by Paski et.  
11 al. that appeared in the American Journal is the  
12 Importance of Recognizing Increased Cecal Inflammation  
13 in Health and Avoiding the Misdiagnosis of Nonspecific  
14 Colitis.

15 They were concerned that actually this  
16 phrase "nonspecific colitis" actually may be part of  
17 the normal range and have shown in adults, but not in  
18 children, that in fact it is normal.

19 Q Doctor, who is the first author?

20 A This is Paski.

21 Q Could you spell that please?

22 A P-A-S-K-I.

23 Q And what journal is that found in?

24 A American Journal of Gastroenterology.

25 Q And it was published recently?

MACDONALD - DIRECT

1           A     It was published recently, which is between  
2     the time when I saw the first papers and this came  
3     out.

4           Q     Now you said that the question will never be  
5     answered in children. What do you mean by that? Why?

6           A     Because it is unethical to biopsy and give a  
7     general anesthesia to a normal child to find out what  
8     the gastrointestinal tract is like. There are clear  
9     guidelines set by the North American Society for  
10    Pediatric Gastroenterology and Nutrition as to what  
11    the justification for a colonoscopy is. The only way  
12    that people can get round is actually to look for  
13    biomarkers of inflammation.

14                    It's also interesting why it's probable that  
15    the Wakefield studies from the Royal Free will never  
16    be repeated ever again because it's unethical to do  
17    endoscopy on children with autism whose primary  
18    problem is constipation, so we have to look at  
19    biomarkers to see if there's inflammation, and there  
20    is really a number of papers now suggesting actually  
21    that if you use these biomarkers of inflammation that  
22    autistic children don't have any inflammation in their  
23    gastrointestinal tract.

24           Q     Doctor, are increased cellularity of the  
25    lamina propria found in children with constipation?

MACDONALD - DIRECT

1           A     It appears so based on the previous slide,  
2     yes.

3           Q     Slide one?

4           A     So if you go back to actually this colitis  
5     score, actually you can see that. You can look at  
6     lymphoid hyperplasia control subjects, 0.6 is their  
7     colitis score, but as the autistic children at 1.4,  
8     there's no significant difference, and in the text of  
9     this paper, it makes the point that actually six out  
10    of the 10 children with developmentally normal  
11    children with constipation and lymphoid hyperplasia  
12    had some nonspecific colitis, and that wasn't  
13    significantly different from autistic children.

14                    If you look in children with chronic  
15    constipation, which again is very unusual to do, and  
16    the reason why it's justified in these cases is that  
17    sometimes, really very rarely, there are developmental  
18    problems of the gastrointestinal tract, so the  
19    functional problem with the bowel actually that's more  
20    serious than just chronic constipation, so  
21    occasionally they do colonoscopy and they find that  
22    they have lymphoid hyperplasia.

23                    A reasonable hypothesis is that actually the  
24    constipation causes lymphoid hyperplasia, which causes  
25    the mild inflammation if it's there at all.

## MACDONALD - DIRECT

1           Q     Doctor, in this case, Dr. Corbier talks  
2     about different biologically plausible mechanisms in  
3     the development of Yates' autism, and one such  
4     mechanism that he advances is that the MRI vaccine  
5     played a significant role in his development of  
6     autism, and in support, he cited the work of Dr.  
7     Andrew Wakefield, and in those studies, Dr. Wakefield  
8     described a phenotype of autism that he has termed  
9     "autistic enterocolitis." Are you familiar with that  
10    term?

11          A     I am familiar with the term.

12          Q     And are you familiar with Dr. Wakefield's  
13    work?

14          A     I am very familiar with Dr. Wakefield's  
15    work?

16          Q     How did you become familiar with his work?

17          A     Where can one start actually? There's been  
18    great advance in understanding inflammatory bowel  
19    disease really since about 1989, and Wakefield first  
20    came into public prominence in 1989 when he published  
21    a paper in the Lancet with an accompanying press  
22    conference and media interviews where he said that  
23    Crohn's disease was not due to an immune response in  
24    the gut wall, but in fact was due to lots of small  
25    blockages of the blood vessels along the gut wall,

MACDONALD - DIRECT

1 which stopped the blood getting into the gut and the  
2 gut died, sort of like little heart attacks along the  
3 bowel wall, and so this paper received a huge amount  
4 of attention.

5 Q Are you talking about the 1993 Wakefield  
6 paper?

7 A No, the 1989 paper.

8 Q 1989. Okay.

9 A Now, this was sort of put aside as something  
10 well, an interesting idea, but just wrong. We just  
11 ignored it, but then in 1993, he really put the cat  
12 amongst the pigeons when he took this hypothesis  
13 further and said that these little infarctions in the  
14 gut wall were caused by measles virus, and that was  
15 the 1993 paper.

16 MS. RICCIARDELLA: Dr. MacDonald is  
17 testifying about Respondent's Exhibit BB at 1098 in  
18 the Cedillo case.

19 THE WITNESS: That's right.

20 BY MS. RICCIARDELLA:

21 Q I'm sorry. Go ahead.

22 A So this paper again received a huge amount  
23 of media attention, and again when it was looked at  
24 closely by those of us in the field, we felt that it  
25 was lacking how can I say important controls and

MACDONALD - DIRECT

1 important things

MACDONALD - DIRECT

1 that a credible, decent scientist would feel duty  
2 bound to do but actually hadn't been done. There was  
3 a huge furor about this, and Wakefield continued to  
4 publish papers in 1995 and 1997 saying that measles  
5 virus was causing Crohn's disease.

6 He actually had moved onto the stage  
7 actually saying that measles vaccine was causing  
8 Crohn's disease. This caused such problems in the UK  
9 with the publicity that the Medical Research Council  
10 of the UK had a special meeting to evaluate the  
11 quality of Dr. Wakefield's work, that there was  
12 measles virus in Crohn's disease.

13 The conclusion of this report, which was  
14 published in 1998, was that a lot of his studies were  
15 done using reagents identifying measles virus with  
16 reagents that weren't specific for measles virus and  
17 also leaving important controls that were on the  
18 manufacturers instructions when you used the  
19 techniques. He didn't bother to do them.

20 He was asked to repeat the studies by the  
21 Provost of his then employer, Royal Free Hospital, who  
22 wrote to him and asked him to repeat these studies  
23 because of the problems. He agreed to do this in  
24 1999, but actually they have never been repeated.

25 Q Doctor, how does the scientific community

MACDONALD - DIRECT

1 today view Dr. Wakefield's claim that he found measles  
2 virus in Crohn's disease?

3 A Well, the thing about Crohn's disease is  
4 Crohn's disease is a relatively common disease, and  
5 it's really quite easy to get tissue from other  
6 patients to repeat what Wakefield has seen. The first  
7 rumblings that there's something wrong was when he  
8 sent the antibody that he used to detect measles virus  
9 in the 1993 paper, and he sent it to a very good  
10 researcher in France, who used this antibody in other  
11 Crohn's disease samples, and said it stained all  
12 tissues.

13 In other words, it wasn't specific for  
14 Crohn's disease, so it saw ulcerative colitis, it saw  
15 a normal bowel, and this was published. Then a  
16 Japanese group, who actually wrote a letter to the  
17 Lancet saying they used PCR and there was no measles  
18 virus in Crohn's disease, and this Japanese group then  
19 went on to show that the other antibody that Wakefield  
20 had used to identify measles virus in fact also saw a  
21 human protein.

22 Then between about 1996 and 2000, there's a  
23 number of publications showing that measles virus was  
24 not present in Crohn's disease, and finally Wakefield  
25 was persuaded to publish the results of his graduate

MACDONALD - DIRECT

1 student, Nick Chadwick, who since 1994 had been  
2 failing to find measles virus in the gut of Crohn's  
3 disease patients, but actually this information had  
4 been suppressed while Wakefield was still publishing  
5 other papers using other techniques.

6 It's now realized quite widely that this was  
7 either a case of Wakefield being too enthusiastic over  
8 his interpretation of the flawed data, or there was  
9 perhaps something slightly more sinister behind it,  
10 and that there was some degree of scientific fraud  
11 behind it also.

12 Q Doctor, Dr. Wakefield published a paper in  
13 1998 in the Lancet that's been the subject of a lot of  
14 discussion in these cases, and I'm referring for the  
15 record to Petitioner's Exhibit 37 at Tab C. Could you  
16 briefly describe what that paper, the study entailed?

17 A That study entails investigating a group of  
18 12 autistic children, who were investigated at the  
19 Royal Free Hospital for gastrointestinal symptoms.  
20 The gastrointestinal symptoms in the paper were  
21 abdominal pain and food intolerance. I think there  
22 was something else, and they were colonoscoped, and  
23 Wakefield claimed to find an unusual condition in  
24 these guts.

25 It was called ileo small intestinal

MACDONALD - DIRECT

1 lymphoid hyperplasia and a mild nonspecific colitis in  
2 the 12 children. It was an observational study of 12  
3 children, and the controls for the study were provided  
4 by a doctor, a colleague of mine, called Dr. Paula  
5 Domizio at Barts Hospital, who was asked to supply  
6 normal samples to him as controls, but the study had  
7 no controls. It was probably the worst paper that's  
8 ever been published in the history of the journal.

9 Q Is this the paper that he coined the term  
10 autistic enterocolitis?

11 A No. Actually, not really, no. Autistic  
12 enterocolitis sort of evolved. The disease that these  
13 children have sort of popped along. The title  
14 actually of the paper is Ileal-Lymphoid Hyperplasia.  
15 Autistic enterocolitis sort of popped out a bit later  
16 on actually. He didn't start using it until about  
17 2000 or so, so that paper was about nonspecific  
18 colitis and Ileal-lymphoid hyperplasia.

19 Q And did he describe this ILNH as a new  
20 variant of inflammatory bowel disease?

21 A I think there was some allusion towards  
22 that, but most of the discussion of the paper was  
23 actually nothing to do with inflammatory bowel  
24 disease. The discussion of the paper was about  
25 measles and MMR and GI problems and patients with

MACDONALD - DIRECT

1       them.

2           Q     Doctor, did you prepare a slide today about  
3       what ILNH is?

4           A     Yes.

5           Q     This is now Slide 3.

6           A     Yes, so on the upper left, and you can't  
7       actually see. I don't suppose we could put the lights  
8       out. Can we put the lights out?

9           Q     No. I'm afraid not.

10          A     Okay. So the Panel A and B are taken from  
11       the Wakefield publication of ileal-lymphoid  
12       hyperplasia, so in A it's not actually quite sure what  
13       that big white thing in the side is, but if you look  
14       in B, you can perhaps see I put some arrows on it. I  
15       should have made them white instead of black to  
16       identify the little lymph nodes in the gut wall.  
17       There's one on Panel A to the left.

18                   Can you see that arrow on the left-hand  
19       side? On Panel B, to the top right-hand side,  
20       underneath the line, there's a little lymphoid  
21       follicle. The one on the bottom is actually one of my  
22       pictures of the lymphoid follicles in a  
23       developmentally normal, healthy child's ileum, and the  
24       reason I picked this picture is I have a research  
25       program funded by VSRC and funded by a number of other

MACDONALD - DIRECT

1 people.

2 We biopsy these tissues and take them out  
3 and study the immune function, so I'm very familiar  
4 with actually these things, so I think you can see  
5 rather easily that in children lymphoid follicles are  
6 present in the gastrointestinal tract.

7 Q Doctor, what were some of the problems that  
8 you found with the 1998 paper published by Wakefield  
9 in the Lancet?

10 A Well, it was an observational study. It was  
11 a minor paper reporting some slightly unusual changes  
12 in the gut of autistic children, which probably  
13 deserved a place lower down in the publication ranks,  
14 but would have been worthy of other investigation.  
15 The problem with the paper is it was accompanied by a  
16 news conference and a video by the Royal Free  
17 suggesting that these changes were caused by MMR, but  
18 there was no evidence actually that the pathology was  
19 caused by MMR.

20 The only part of the paper that dealt with  
21 MMR was to say that actually that colitis occurred  
22 shortly after the MMR in a nonobjective way. Just  
23 asked the mothers, and they said yes, and that was  
24 what most of the discussion was, and this paper really  
25 caused a huge furor in the UK because it was vastly

MACDONALD - DIRECT

1 overinterpreted and caused drops in vaccination  
2 rates and essentially a worldwide health scare, and  
3 it's a modest little observational paper.

4 Q Were you satisfied with the controls that  
5 Dr. Wakefield used?

6 A There was no controls. It's difficult to  
7 say actually, but if you read the paper of what was  
8 wrong with the children to justify colonoscopy because  
9 it's not entirely clear that the children fulfill the  
10 criteria for having a diagnostic colonoscopy, but  
11 putting that aside, the paper talked about the  
12 children having abdominal pain and food intolerance.  
13 That in fact wasn't the gastrointestinal problem these  
14 children had.

15 As was revealed several weeks later in the  
16 correspondence section of the Lancet in a report from  
17 Simon Murch, Mike Thompson and John Walker Smith, who  
18 were the pediatricians looking after the children,  
19 it's important to realize that Wakefield is a surgeon.  
20 He's not a pediatrician. He's not an immunologist.  
21 He's not a histopathologist, so the physicians in  
22 charge of these children told us what was wrong with  
23 these kids.

24 And what they're saying here is, "Plain  
25 radiography confirms severe constipation with acquired

MACDONALD - DIRECT

1 mega rectum in almost all affected children, despite  
2 many receiving treatment for constipation. Most  
3 parents note a honeymoon period of behavioral  
4 improvement after the bowel preparation for  
5 colonoscopy, and this is maintained if recurrent  
6 constipation can be prevented."

7 Q And what are you reading from, Doctor?

8 A I'm reading from a letter to the Lancet by  
9 Simon Murch, Mike Thompson and John Walker Smith in  
10 response to the scathing criticism of the Wakefield  
11 paper.

12 Q What's the date of the letter?

13 A It was published on March 21, 1998.

14 Q Thank you.

15 A It's my belief that in fact if it had been  
16 noted that the children were severely and chronically  
17 constipated, knowing that any decent referee would  
18 have said well, before we go ahead and publish this,  
19 we need to know what's happening in developmentally  
20 normal children who also have this severe  
21 constipation, and as I pointed out before in my  
22 earlier table of the other three, when you look in  
23 developmentally normal children, you'll get LNH and  
24 chronic constipation.

25 They also have ILH and some inflammation, so

MACDONALD - DIRECT

1 this is what was wrong with the children, and I think  
2 this --

3 THE COURT: I'm sorry. Go ahead.

4 THE WITNESS: That's okay. Sorry.

5 THE COURT: Just for clarity so that we're  
6 clear as to what we've referred to, the first article  
7 that you made reference to with respect to the colon  
8 and the Levine article, pages 978.

9 THE WITNESS: I'm sorry. Can you say that  
10 again?

11 THE COURT: The first article that you had?

12 THE WITNESS: Which one?

13 THE COURT: The first one. I think it said  
14 the normal histology of the colon?

15 THE WITNESS: Yes. Okay. That's Levine.

16 THE COURT: Levine.

17 THE WITNESS: That's Levine and Haggitt.

18 THE COURT: Okay.

19 THE WITNESS: And then I pulled another one,  
20 which is Paski, et al.

21 THE COURT: And the third one is your 1998  
22 letter from the Lancet?

23 THE WITNESS: It was, although this was in  
24 my report.

25 //

MACDONALD - DIRECT

1 THE COURT: Right.

2 THE WITNESS: This is actually part of the  
3 record.

4 BY MS. RICCIARDELLA:

5 Q So just to be clear, Doctor, what in your  
6 opinion was wrong with the children, who were the  
7 subject of this 1998 paper?

8 A I think they had abdominal pain because of  
9 severe and chronic constipation. I think the  
10 physicians in charge of the children made this quite  
11 clear that there was an improvement after colonoscopy,  
12 and of course before you can do a colonoscopy, you  
13 have to flush out the colon, you have remove all the  
14 stools.

15 This is actually confirmed again in  
16 subsequent papers from the Royal Free Hospital where  
17 they've actually noted that most of these children  
18 were severely and chronically constipated, and  
19 actually in other papers where they have mentioned  
20 that the abdominal pain that these children  
21 undoubtedly suffer and which gives them a terrible  
22 time is relieved by the bowel prep for colonoscopy or  
23 evacuation of the bowels.

24 Q Now, before we leave this paper, Dr.  
25 Wakefield in the 1998 Lancet paper articulates a

## MACDONALD - DIRECT

1 theory as to how the MMR vaccine causes autism?

2 A Yes.

3 Q And you prepared a slide to show this?

4 A I have a slide of this.

5 Q And this is Slide 4.

6 A This was the slide that underpinned the UK  
7 litigation, which was that children, who receive  
8 measles, mumps and rubella because mumps and rubella  
9 were in the vaccine, that they somehow interfered with  
10 the immune response against measles and allowed  
11 measles virus to persist, and it went to the  
12 gastrointestinal tract.

13 When measles infected the gut, and therefore  
14 measles had to be present in the gut, this measles  
15 infection caused gut inflammation in lymphoid  
16 hyperplasia. The gut inflammation made the gut leaky,  
17 and therefore this leaky gut allowed things called  
18 opioid peptides, or products of digestion, to go  
19 through the gut wall, and these peptides from the  
20 damaged gut enter the bloodstream and damage the  
21 developing brain and cause autism.

22 This would have happened in the first few  
23 weeks after MMR presumably for there to be a temporal  
24 association between the MMR vaccination and the  
25 development of the autism, and when we're looking at

MACDONALD - DIRECT

1 children as in Yates many years afterwards and in the  
2 children in the UK many years after, what we're  
3 looking at is essentially a shadow of this event,  
4 which happened and precipitated the autism.

5           It requires a number of actually highly  
6 improbable events to occur. This is the Wakefield  
7 hypothesis, and of course it's critically dependent on  
8 children having gut inflammation, children having  
9 measles virus in the gut because children have an  
10 increased permeability, and if none of these things  
11 happen, the whole case falls apart, and I think you  
12 heard from my colleague, Professor Bustin, about the  
13 quality of the evidence of the measles virus in the  
14 gastrointestinal tract of these children.

15           Q     Doctor, as a gut immunologist, do you find  
16 this theory credible?

17           A     Credible or incredible?

18           Q     Either. Which one?

19           A     It's incredible. When I was first  
20 approached to work for Lovells for Merck, it was with  
21 absolute incredulity that anyone could possibly take  
22 this seriously as a hypothesis for serious diseases  
23 such as inflammatory bowel disease and a serious  
24 disease such as autism. When I told my colleagues in  
25 the academic community about this, they were

MACDONALD - DIRECT

1 gobsmacked. I'm sorry, I shouldn't say -- they were  
2 surprised that this should form the basis for  
3 litigation that cost lots of money in the UK.

4 Yes, it is fantastic, improbable and also I  
5 think most importantly not based on any data or any  
6 hypothesis. The theory was made up in January 1997 by  
7 a lawyer, Richard Barr, a woman called Rosemary  
8 Kessick and Andy Wakefield before they had seen a  
9 single patient.

10 Q Doctor, I'd like to turn next to Dr.  
11 Wakefield's paper he published in 2000, and for the  
12 record I'm referring to Petitioner's Exhibit 37 at Tab  
13 D. Would you briefly describe what this study  
14 entailed?

15 A This is a study in which instead of the  
16 initial 12 patients, another 48 patients have been  
17 studied. Sixty autistic children were studied,  
18 including the original 12, so it's not a completely  
19 new study. Importantly, this study had some controls,  
20 which was children who were turning up to the Royal  
21 Free Hospital and were having a colonoscopy, and there  
22 was 37 controls.

23 They were examined, and what they were  
24 specifically looking for really was look for ILH, they  
25 looked for large lymphoid follicles in the ileum as

MACDONALD - DIRECT

1 evidenced endoscopically, and they also looked for  
2 nonspecific colitis for these inflammatory changes  
3 that they had seen earlier on, so it's essentially a  
4 larger study, but no different really from -- how can  
5 I say it -- an intellectually previous study.

6 Q And what were the claims made in this study?

7 A Well, the claims were that enterocolitis was  
8 seen in children with developmental disorders, which  
9 is the title of the paper, and so these claims are  
10 actually unsustainable. Do you want me to elaborate  
11 on that?

12 Q Sure.

13 A Enterocolitis is an inflammation of the  
14 ileum and the colon.

15 Q And by ileum, is it the same thing that we  
16 call ileum?

17 A Yes, ileum and the colon, the end of the  
18 small intestine. This is well-recognized by  
19 histopathologists when they take biopsies, so it was  
20 very important for this theory here that there is a  
21 small bowel inflammation so that the peptides can  
22 cross because the small intestine is the organ of  
23 digestion, not the colon, so these children had to  
24 have had inflammation of the ileum.

25 If you look at this paper, you discover of

MACDONALD - DIRECT

1 the 60 children, who were analyzed, only eight of them  
2 had what pathologists considered to be inflammation,  
3 and that was no different from the 37 normal controls,  
4 a few of whom also had mild inflammation of the colon.  
5 However, the paper said that 88 percent of the  
6 children with autism had pathology in the ileum, and  
7 that's because they had ILH.

8 What Wakefield did is he called ILH  
9 pathology so he could say they had small bowel  
10 pathology so that he could substantiate this argument.  
11 The other problem with the paper was that they  
12 invented new pathological abnormalities which were not  
13 recognized by anyone in the world.

14 When pathologists look at specimens, it's  
15 really quite important that pathologists are very  
16 rigorous because they're often diagnosing colorectal  
17 cancer and important diseases, so they recognize  
18 patterns, and so in this paper, they invented some,  
19 which was interesting, they invented disruption of the  
20 epithelial basil lamina, condensation of the lamina  
21 propria, loss of stratification within lamina propria,  
22 and most importantly they put down normal lymphoid  
23 follicles as pathology.

24 They actually said this is a pathological  
25 abnormality. Now, I've told you earlier on that large

MACDONALD - DIRECT

1 lymphoid follicles are not a pathological abnormality,  
2 so by essentially double counting they were able to  
3 say that these children had an enterocolitis when in  
4 fact they didn't. So this is something that Professor  
5 Paula Domizio and I felt really quite strongly about  
6 and published an article that appeared actually  
7 earlier on this year about this, which we consider  
8 actually to be something of a deception.

9 THE COURT: Dr. MacDonald, would you give us  
10 a page cite for the record please?

11 THE WITNESS: Okay. That was on page 2287.

12 THE COURT: Thank you.

13 THE WITNESS: I think the deception in this  
14 paper goes further than this. If I can have the next  
15 slide?

16 MS. RICCIARDELLA: We're on Slide 5.

17 THE WITNESS: Okay. All right. From this  
18 paper, the top image is taken from the paper, and it  
19 says, "Grade 2 Lymphoid Hyperplasia in the Ileum of an  
20 Autistic Child." I think it's better on the black and  
21 white copy. You can see that the bottom picture is a  
22 picture of mine, so I would think that any reasonable  
23 person would say -- actually the lymphoid hyperplasia  
24 is more abundant in the bottom picture than the top  
25 picture.

MACDONALD - DIRECT

1                   The bottom picture is from a child who was  
2                   admitted to St. Bartholomew's Hospital, seen by  
3                   Professor John Walker Smith in my presence and  
4                   discharged and sent away with ileum lymphoid  
5                   hyperplasia, not as a diagnosis, as an observation,  
6                   who never came back. This is a normal finding in  
7                   children. Go to the next slide.

8                   BY MS. RICCIARDELLA:

9                   Q     Slide 6?

10                  A     Yes. This was something that I only  
11                  actually noticed quite recently because I was dealing  
12                  with a photocopy and not an original, and where you  
13                  couldn't see the letters. Can you see that actually  
14                  this is supposed to be taken from this publication?  
15                  Panel A is normal ileum. In other words, this is what  
16                  normal children should look like. Panel B is, I think  
17                  it's better on your copy actually.

18                  Panel B is Grade 1 lymphoid hyperplasia.  
19                  Panel C you've seen before is Grade 2 lymphoid  
20                  hyperplasia, and Panel D is Grade 3 lymphoid  
21                  hyperplasia, but if you look at the times that these  
22                  were taken, you'll see they were taken 03-03-97. They  
23                  took Panel A at 10:00 in the morning, 36 minutes past  
24                  the hour and 50 seconds, and the panel of the Grade 3  
25                  lymphoid hyperplasia was taken one minute and 54

MACDONALD - DIRECT

1 seconds later.

2 A colonoscopy takes about 20 minutes if  
3 you're really fast, so in fact what's happened is  
4 Panel A is not normal ileum. Panel A is cecum, it's  
5 the end of the large intestine just prior, one minute  
6 and 54 seconds before the endoscopist put the tube  
7 through and took the picture, and so I think this is  
8 quite symptomatic of the quality of this publication,  
9 in which as soon as you start digging below the veneer  
10 of the numbers, you realize actually that there is  
11 some strange things happening.

12 This may be a mistake. I think it's highly  
13 unlikely it's a mistake.

14 Q Doctor, there are problems with the controls  
15 in this 2000 paper?

16 A Yes. They didn't use constipated controls.

17 Q And what's the significance of using the  
18 constipated controls?

19 A Well, if you do an observation in children  
20 with autism and who've got -- as is admitted by the  
21 attending physicians -- severe and chronic  
22 constipation, and you find some changes, ileal  
23 lymphoid hyperplasia, which I'm quite willing to  
24 accept is more abundant in autistic children. I have  
25 no doubt about that. It's trivial, and you find some

MACDONALD - DIRECT

1 inflammatory cells. A scientist of any repute would  
2 say well, what I've seen could be due to two things.

3 It could be due to the autism, or it could  
4 be due to the gastrointestinal problem of severe  
5 constipation, and you would then study constipated  
6 children as the appropriate control before you really  
7 went on to overinterpret results and say it was  
8 associated with autism. Science is about being  
9 conservative and safe and careful and making sure that  
10 before you go out into the world that you've actually  
11 covered all your bases, and this was not done in this  
12 case.

13 Q Doctor, I'd like to move on to a paper  
14 published by Dr. Uhlmann in 2002 that we've also heard  
15 a lot of discussion about, and I'm referring to  
16 Petitioner's Exhibit 37 at Tab E. What did that study  
17 entail?

18 A The Uhlmann paper appeared in probably the  
19 worst journal that has ever been seen, a journal which  
20 is subsequently no longer in existence. It claimed to  
21 detect by PCR and by a technique called in-cell PCR  
22 that measles virus was present in the gut of autistic  
23 children but not present in controls. A number of  
24 experts looked at this in the UK, and you have  
25 Professor Bustin, talked about the PCR.

MACDONALD - DIRECT

1                   My job as an expert witness in UK was to  
2                   look at the technique called the in-cell PCR to  
3                   determine its validity, but I'm not privileged to  
4                   discuss this.

5                   Q     Right. Under the UK laws, you're not at  
6                   liberty to discuss your findings?

7                   A     I cannot discuss this.

8                   Q     We understand. Does the scientific  
9                   community find the 2002 claims made by Dr. Uhlmann in  
10                  that paper credible and reliable?

11                  A     No, no. Again, this is one of the worst  
12                  papers. I think you have to realize the tempo and the  
13                  way in which these publications were coming out into  
14                  the literature. The litigation in the UK was being  
15                  driven by the hypothesis that I showed you, that  
16                  measles was present in the gut, and this had been  
17                  claimed since 1998, and the defendants have repeatedly  
18                  asked the claimants where's the evidence for measles  
19                  virus in the gut?

20                  And it was always forthcoming; the big  
21                  breakthrough was always coming through, and there was  
22                  no publication. As we got nearer to 2003 and the  
23                  trial date, the pressure increased, and I certainly  
24                  personally felt that the Uhlmann paper was essentially  
25                  just an attempt to try to get something into the

MACDONALD - DIRECT

1 public domain, to get something in publication that  
2 claimed there was measles virus in the gut of these  
3 children despite the fact that the paper was  
4 essentially untenable.

5 There had to be measles virus in the gut of  
6 these children for the UK litigation to go forward, so  
7 I think it was by and large a device.

8 Q Doctor, I'd like to look at the paper  
9 published in 2003 by Ashwood, and I'm referring to  
10 Petitioner's Exhibit 63 at Tab 4 in the Cedillo case.

11 A Yes.

12 Q What were the claims made in this 2003  
13 paper?

14 A Could you read the title actually? I can't  
15 remember the title, but I've got the date here in my  
16 head. I know the paper.

17 Q I don't have the title written down.

18 THE COURT: Just a moment. I can get it for  
19 you.

20 THE WITNESS: I think I got it. It's called  
21 Intestinal Lymphocyte Populations in Children with  
22 Progressive Autism, Evidence for Extensive Mucosal  
23 Immunopathology.

24 BY MS. RICCIARDELLA:

25 Q And what were the claims made in this paper?

## MACDONALD - DIRECT

1           A     The claims made were that if you took  
2     biopsies from autistic children and biopsies from  
3     normal children and then use a technique called flow  
4     cytometry to count the number of T-cells and B-cells.  
5     In the samples that the autistic children in their gut  
6     had many more T- and B-cells than the control  
7     children. It's indirectly related to histopathology,  
8     but it's just another way of looking at the same  
9     thing.

10                   The paper appeared in a journal of really no  
11     great significance, but it's the paper which does  
12     mention our observations that gastrointestinal  
13     symptoms peak prior to bowel evacuation and are  
14     relieved by the latter observed in a clinical setting  
15     of bowel preparation for a colonoscopy are a clue that  
16     they may reflect visceral pain.

17                   This is a paper where they say that actually  
18     the abdominal pain in the children is due to the  
19     constipation and not due to the inflammation, but the  
20     important problem with this paper is that if I can  
21     just sort of say -- this is actually quite incredible.  
22     We have lymphoid tissue. We have lymph nodes because  
23     lymph nodes are where the immune system recognize  
24     antigens, and there are accumulations of lymphocytes,  
25     so, for example, if you go back a picture and look at

MACDONALD - DIRECT

1 the bottom of that picture?

2 Q Slide 5.

3 A Okay. Go to that bottom picture. If you  
4 take a biopsy of that little lymph node, it will  
5 contain lots of lymphocytes, so in other words if you  
6 biopsy the tonsil, which is a lymph node, you get lots  
7 of lymphocytes in the same ways if you biopsy the  
8 spleen you'd get lots of splenocytes. It's an  
9 artifact of the --

10 You grab these little things, and you take  
11 them out, and you say wow, there's lots of  
12 lymphocytes, but you then grab a little bit from  
13 someone who doesn't have that, you're not grabbing the  
14 same bit of gut. You're grabbing a bit of gut mucosa,  
15 which doesn't have many lymphocytes, so the whole  
16 thing is a sampling artifact due to the fact that they  
17 went and grabbed these little things.

18 I know this is the case because one of the  
19 cell types they saw increased was CD19 B-cells, and  
20 CD19 B-cells are only present on these little  
21 follicles but not present in the rest of the gut, so  
22 it's just an artifact actually. It's quite clever the  
23 way they managed to do that, but the paper has no  
24 validity at all.

25 THE COURT: Dr. MacDonald, just for the

MACDONALD - DIRECT

1 record, in the Ashwood article, would you reference  
2 the page from which you read?

3 THE WITNESS: Well, I think it's actually  
4 the whole paper.

5 THE COURT: It was the particular part that  
6 you read?

7 THE WITNESS: So that was on page 504.

8 THE COURT: Thank you.

9 THE WITNESS: That was the aside. I could  
10 mention they were also by then quite aware that  
11 abdominal pain in the autistic children is related to  
12 constipation and bowel movements.

13 BY MS. RICCIARDELLA:

14 Q Now, Dr. Ashwood published another paper in  
15 2004, and I'm referring to Respondent's Exhibit E at  
16 Tab 3.

17 A Yes, I have that.

18 Q What's the title of that paper?

19 A Spontaneously Mucosal Lymphocytes Cytokine  
20 Profiles in Children with Autism and Gastrointestinal  
21 Symptoms Mucosal Immune Activation and Reduced Counter  
22 Regulatory Interleukin-10.

23 Q What journal is this published in?

24 A It was again the Journal of Clinical  
25 Immunology.

MACDONALD - DIRECT

1 Q Is the published article the only version of  
2 this paper?

3 A No. I saw a previous version of it when it  
4 was submitted for publication to another journal.

5 Q And is the published version the same as the  
6 version you saw?

7 A No.

8 Q What does the published version claim?

9 A The published version has omission of the  
10 data and the original version that made the paper  
11 unbelievable, so the first version of the paper I saw,  
12 they were claiming cell yields and some various  
13 technical things that could not possibly be true.  
14 When the paper appeared in this other journal a few  
15 years later, these had been omitted.

16 Q And what are the claims made in the paper  
17 that was ultimately published in the other journal?

18 A The claims made were really quite  
19 remarkable. What this study is about is to try to  
20 look at the molecules made by the immune cells in the  
21 guts of autistic children and normal children using a  
22 technique called intracellular flow cytometry. They  
23 claim that in the gut of autistic children they find  
24 the same levels of these molecules called cytokines as  
25 one finds in children with Crohn's disease.

## MACDONALD - DIRECT

1 Crohn's disease is a very severe lifelong  
2 condition of extensive mucosal inflammation, and it is  
3 not biologically plausible for Crohn's disease  
4 patients who get severe inflammation and children with  
5 so-called autistic enterocolitis who by Wakefield and  
6 his colleagues' own admission is a mild and subtle  
7 disease to have the same results. It is just not  
8 biologically plausible.

9 The reason probably for the results is it's  
10 a very difficult technique. I think they didn't  
11 really use the appropriate controls, and you can make  
12 that data appear any way you wish it.

13 Q Dr. Ashwood published another paper in 2006,  
14 and I'm referring to Petitioner's Exhibit 37 at Tab G.

15 A Yes.

16 Q What were the claims made in this paper?

17 A This is almost exactly the same as the 2004  
18 paper. The 2004 paper studied the lymphocytes from  
19 the duodenum and the colon of autistic children. This  
20 study reports results from the ileum and blood of the  
21 children. Same problems, same critiques. It's the  
22 same cohort of patients. The experiments were done at  
23 the same time. I think you have to remember that this  
24 paper came out in 2006, but the last experiments were  
25 done at Royal Free Hospital at least five or six years

MACDONALD - DIRECT

1 before.

MACDONALD - DIRECT

1           Q     Dr. Wakefield published another paper in  
2           2005, and I'm referring to Respondent's Exhibit T at  
3           Tab 35 in the Cedillo case.

4           A     Yes. This is a paper called The  
5           Significance of Ileocolonic Lymphoid Nodular  
6           Hyperplasia in Children with Autistic Spectrum  
7           Disorder. This sort of appeared as an abstract a  
8           couple of years before with different authors, and the  
9           authors were the physicians at the Royal Free  
10          Hospital, who were in charge of the children who were  
11          being studied.

12                     That's primarily Simon Murch and John Walker  
13          Smith. They were on the abstract, in fact were not  
14          present as authors on this paper. It's my  
15          understanding they withdrew their names from the  
16          publication and did not want to be associated with  
17          this publication. Again, I think you have to remember  
18          that this is four years, five years after all work has  
19          finished, and essentially this is the 12 in the Lancet  
20          paper, who have then been republished as 12 of the 60  
21          kids in the 2000 paper.

22          Q     Is that proper to do that, Doctor?

23          A     No.

24          Q     Why not?

25          A     Well, you can't keep on putting the same

MACDONALD - DIRECT

1 children into different studies and claiming that it's  
2 new because it's not, so half the children in this  
3 study had been reported before. In fact, the  
4 repetition in this paper is actually so remarkable.  
5 In fact, they've actually just reproduced some of the  
6 original work as tables.

7 They've actually just done it again, and so  
8 when this paper came out, I wrote to the editor of the  
9 journal pointing this out because when you publish a  
10 paper, you have to sign a form saying the work is  
11 original, has not been published before. I felt it  
12 was a bit of a problem that the tables and the  
13 children had been published before.

14 Q Doctor, one of the named authors on this  
15 paper is Kirstin Limb. Who is Kirstin Limb?

16 A Kirstin Limb, at the time the paper was  
17 submitted was working for a charity called Visceral,  
18 which was the charity which had supported Wakefield's  
19 work over many years. I think she may be a lawyer or  
20 paralegal.

21 Q Is she a scientist?

22 A No.

23 Q Does she have a relationship with one of the  
24 attorneys from the UK litigation for the particular  
25 plaintiff?

MACDONALD - DIRECT

1           A     I think she's the partner of Richard Barr,

MACDONALD - DIRECT

1 the attorney, who actually worked with Wakefield and  
2 who retained Wakefield in February 1996 to act as an  
3 expert witness in the UK litigation.

4 Q Doctor, in your report, you state that the  
5 slides of the alleged enterocolitis allegedly seen by  
6 Dr. Wakefield and his colleagues have never been made  
7 available for "anonomized objective examination by  
8 independent experts."

9 A Yes.

10 Q What did you mean by that?

11 A What I mean by this is if you have done a  
12 study that has huge public health implications, and  
13 the worldwide implications that one of the pillars of  
14 public health policy in the developed world, the MMR  
15 vaccine is causing an inflammatory bowel disease that  
16 is also causing autism, this is not a little thing.

17 This is actually a hugely, hugely important  
18 thing of worldwide significance given that autism is  
19 such a serious condition with a lifelong problem, and  
20 inflammatory bowel disease is a very serious  
21 condition, lifelong problem, incurable, and also  
22 because the gastrointestinal inflammation can cause  
23 colorectal cancer, so we're not talking about  
24 something that's really quite a little bit of academic  
25 argument. This is a very, very big thing.

MACDONALD - DIRECT

1           If this was me, and if it was me, and I had  
2           said this, the first thing I would want would be to  
3           get somebody to say yes, you're right because it would  
4           take the weight off your shoulders. It would show  
5           confirmation. It would show acceptability amongst the  
6           community. If you showed the slides to somebody else  
7           and said well, actually you're right. There is a new  
8           disease here -- so these slides have never been passed  
9           around.

10           The slides exist of the original Lancet 12.  
11           They're being examined at the moment in the UK because  
12           Wakefield and colleagues are under fitness to practice  
13           procedure, but it would seem to be common sense to try  
14           to get some independent corroboration of his  
15           observations.

16           Q     And when you use the word "anonomize," is  
17           that the same thing as what we refer to as blinded?

18           A     Blind. It would take about a day. All you  
19           do is you tape over the slides, send them to somebody  
20           and say what do you think? It's not hard. It's  
21           really easy.

22           Q     Are these studies by Drs. Wakefield, Uhlmann  
23           and Ashwood currently viewed by the scientific  
24           community as credible and reliable?

25           A     No, completely incredible and unreliable.

MACDONALD - DIRECT

1 Everything that Wakefield has done now is actually  
2 considered to be -- unreliable I think is the best way  
3 to say it.

4 Q Has Dr. Wakefield's data ever been  
5 confirmed?

6 A No. In fact, it's quite the reverse.  
7 Everything Dr. Wakefield has done has always been not  
8 confirmed wherever it is possible to do it.

9 Q Now, you've published this year an article  
10 analyzing these studies, and I'm referring to  
11 Respondent's Exhibit A at Tab 1. Why? Why did you  
12 feel the need to publish that article.

13 A I think my co-author was Professor Paula  
14 Domizio. There was a great deal of frustration in the  
15 UK when after five or six years of a lot of work and a  
16 lot of effort, the expert witness testimonies of the  
17 claimants and the defendants were in October 2003 when  
18 the case collapsed after the legal aid in the UK  
19 decided to withdraw support for the UK litigation.

20 I personally was very keen from my report  
21 and for what I had found going over the primary data  
22 of Wakefield for this to get to the public domain. I  
23 was particularly concerned that despite this in the UK  
24 that Wakefield had been continuing to promulgate this  
25 idea that these children had this serious disease.

MACDONALD - DIRECT

1           Paula Domizio and I -- Paula, who was also  
2           involved in this because she supplied the samples for  
3           the original autistic enterocolitis study and actually  
4           felt rather bruised -- felt that the good thing for us  
5           to do was just to systematically analyze the papers,  
6           and I make no bones about it, this was lifted from my  
7           expert witness report, but I was possible to do it  
8           because these papers were in the public domain, so we  
9           felt that we had to reach out to a broad --

10           Q     You mean your expert witness report in the  
11           UK litigation?

12           A     That's right. That's right. We felt that  
13           we had to reach out to a broader audience and just  
14           point out to people the difficulties with this idea of  
15           so-called autistic enterocolitis, about whether this  
16           is a disease or not or whether in fact it was merely  
17           an invention for the UK litigation, and I think this  
18           is perfectly valid.

19                     Wakefield had a chance to answer. He made  
20           some comments in response to the article, and we have  
21           another letter coming out in our response to  
22           Wakefield's comments.

23           Q     Doctor, in your opinion, is there credible  
24           medical evidence showing that there exists a phenotype  
25           known as autistic enterocolitis?

## MACDONALD - DIRECT

1           A     No.

2           Q     Doctor, you've been privy to more  
3 information about these studies than what you wrote in  
4 your article and shared today in the courtroom. Is  
5 that correct?

6           A     Yes, very much so.

7           Q     And are you allowed to discuss the  
8 additional evidence that you've been privy to?

9           A     No.

10          Q     Why not?

11          A     Because it's still sub judice in the UK. If  
12 I wish to discuss it, I think we'd have to make an  
13 appeal in the same way as Professor Bustin was allowed  
14 to discuss his expert witness report. I'm not allowed  
15 to discuss it.

16          Q     Doctor, I'd like to bring this back to the  
17 facts of this case, and Dr. Wakefield claimed to have  
18 found an enterocolitis in a subset of autistic  
19 children, and he termed the phenotype autistic  
20 enterocolitis, and Dr. Corbier invokes this phenotype  
21 as a plausible biological mechanism for Yates' autism.  
22 What is enterocolitis?

23          A     Enterocolitis is inflammation of the small  
24 intestine and colon.

25          Q     So to have enterocolitis, one has to have

MACDONALD - DIRECT

1 inflammation of the ileum, small bowel and of the  
2 colon, correct?

3 A Yes, yes.

4 Q According to the medical records, did Yates  
5 have inflammation of the ileum?

6 A No.

7 Q In fact, did Dr. Buie find lymphoid nodular  
8 hyperplasia in the ileum?

9 A No.

10 Q So Dr. Corbier's claim that Yates has  
11 autistic enterocolitis, yet Yates' clinical profile  
12 doesn't even fit this made-up definition of autistic  
13 enterocolitis. Is that correct?

14 A Yes.

15 MS. RICCIARDELLA: I have no further  
16 questions.

17 THE WITNESS: Thank you.

18 THE COURT: Thank you. Mr. Webb?

19 MR. WEBB: I thought this would be a good  
20 time for lunch and give me an opportunity to prepare  
21 my cross-examination as well?

22 THE COURT: My thought would be that you not  
23 only prepare your cross, but you also give some  
24 thought to your closing we may do after your cross and  
25 some time for redirect, but I would anticipate we'd

MACDONALD - DIRECT

1 sort of roll right in to complete.

2 MR. WEBB: Absolutely.

3 THE COURT: I'm sorry?

4 MR. WEBB: I will also say that we will have  
5 a very brief rebuttal testimony from Mrs. Hazlehurst.

6 THE COURT: Okay. All right.

7 MR. WEBB: So I can understand schedule-  
8 wise, my cross-examination will not be lengthy.

9 THE COURT: We are in recess. Eat and  
10 prepare, think, and we'll return at 2:00 p.m. Thank  
11 you.

12 (Whereupon, at 1:00 p.m., the hearing in the  
13 above-entitled matter was recessed, to reconvene at  
14 2:00 p.m. this same day, Thursday, October 18, 2007.)

15 //

16 //

17 //

18 //

19 //

20 //

21 //

22 //

23 //

24 //

25 //



## MACDONALD - CROSS

1 years, inclusive.

2 Q Have you been an expert witness in any other  
3 vaccine injury litigation?

4 A No.

5 Q Did I understand your testimony that the  
6 article critiquing the various articles from Dr.  
7 Wakefield and his colleagues relied upon some of the  
8 work that you had done in the UK litigation?

9 A Yes.

10 Q Do you believe that lymphoid nodular  
11 hyperplasia is a normal finding?

12 A Yes.

13 Q If you might turn to its -- Attachment 19 in  
14 your report, the Kokkonen and Karttunen article?

15 A Can I just have a moment to dig this out?

16 Q Sure. If I can figure out, I'll tell you  
17 where it is in your report as well.

18 A Is it the Turunen and Karttunen article?

19 The Lymphoid Nodular Hyperplasia and Cow's Milk  
20 Hypersensitivity in Children with Chronic Constipation?

21 Q Yes. Lymphoid Nodular Hyperplasia of Mucosa  
22 of the Lower Gastrointestinal Tract in Children?

23 A No. I've got --

24 Q An Indication of Enhanced Immune Response.

25 A I don't have that one.

MACDONALD - CROSS

1 MS. RICCIARDELLA: We have a copy; we'll  
2 just give it to the witness.

3 THE WITNESS: Okay. Thank you. Yes.

4 BY MR. WEBB:

5 Q And do you have your report in front of you  
6 as well?

7 A Yes.

8 Q Did you cite the Kokkonen and Karttunen  
9 article that's Respondent's Exhibit A, Attachment 19  
10 for the proposition that lymphoid nodular hyperplasia  
11 is a normal finding?

12 A Yes.

13 Q Did the authors of the Kokkonen and  
14 Karttunen article believe that lymphoid nodular  
15 hyperplasia was a normal finding?

16 A No, I wouldn't say that. No. What they  
17 said was it was weakly associated with food allergy.  
18 They said, "In conclusion we find formal evidence that  
19 lymph node hyperplasia on the mucosa of the colon is  
20 not just an innocent bystander. If detected on the  
21 colon it seems more suggestive of gastrointestinal  
22 food allergy being diagnosed in the terminal ileum.  
23 It also may relate to a variety of immunological  
24 states. The analysis also presents options to  
25 pediatric endoscopists that the TI should always be reached."

MACDONALD - CROSS

1 Q Excuse me. Lots of us couldn't hear that.

2 A I was just summarizing what the conclusion  
3 was.

4 THE COURT: We'd like a page cite and the  
5 court reporter --

6 BY MR. WEBB:

7 Q Could you point to what part of the article  
8 you're reading?

9 A I was reading the conclusion at the end of  
10 the discussion.

11 Q And could you now read it a bit slower?

12 A I'm sorry. I'm Scottish, so I was speaking  
13 Scottish, not English.

14 THE COURT: And a page cite please?

15 THE WITNESS: It's on page 46, "In  
16 conclusion, we find formal evidence that lymph node  
17 hyperplasia on the mucosa of the colon or terminal  
18 ileum is not just an innocent bystander, et cetera, et  
19 cetera." I think in terms of where you're going, I  
20 think actually I would go back to the title of the  
21 paper which has a question mark.

22 BY MR. WEBB:

23 Q I was scrolling down when you stopped  
24 reading. That whole conclusion reads, and tell me if  
25 I'm incorrect, "In conclusion, we found formal

MACDONALD - CROSS

1 evidence that LNH on the mucosa of the colon or TI is  
2 not just an innocent bystander. If detected on the  
3 colon, it seems more suggestive of gastrointestinal  
4 FA. Being diagnosed on the TI, it may be related to a  
5 variety of immunological states. The analysis also  
6 presents options to pediatric endoscopists that TI  
7 should always be reached."

8 A Yes.

9 Q Do you believe that lymphoid nodular  
10 hyperplasia in autistic children is caused by  
11 constipation?

12 A I think if I was to weigh up the wealth of  
13 evidence of what the cause of it was, I would actually  
14 say that it's more likely that it's due to  
15 constipation and something of contents in the colon  
16 and the ileum because it fits the immunology better.  
17 It fits the variety of other related pieces of  
18 evidence together.

19 I think that when you're faced with the  
20 presence of lymphoid hyperplasia, and you look at what  
21 constipation, autism, measles virus and look at the  
22 relative evidence for these things, I believe the  
23 evidence is much, much stronger that it's related to  
24 constipation because there's a very good immunological  
25 reason for why that should be.

## MACDONALD - CROSS

1           Q     Now, in your report I'd like to turn now I  
2     think it's Article 26.  No it's not.  That's Thilman.  
3     The other one by the Finns is 25.

4           A     25.

5           Q     That is Respondent's Exhibit A at Tab 25,  
6     the authors are Turunen, Karttunen and Kokkoren?

7           A     Yes.

8           Q     What did you cite that article for?

9           A     I think this is a very interesting paper  
10    actually because I think the children presented with  
11    constipation -- so the children came to the hospital,  
12    and talked -- I know he examined them because of the  
13    constipation, and when he went into their bowel and  
14    their upper bowels, he found lymphoid hyperplasia --  
15    they then tried to find out what was causing the  
16    lymphoid hyperplasia.

17                   And they produced some evidence, but not  
18    fantastically good evidence that actually the  
19    constipation was associated with food allergy because  
20    when they put the children on a cow's milk-free diet,  
21    the constipation went away.

22           Q     So the article doesn't say that lymphoid  
23    nodular hyperplasia is a normal finding.  Does it?

24           A     I'd have to check.  I think that they don't  
25    say that, but I'd just have to check, but I'll take

MACDONALD - CROSS

1 your word for it,

MACDONALD - CROSS

1       yes.

2           Q       They were of the opinion that the lympho  
3       nodular hyperplasia was caused by milk allergies.  
4       Weren't they?

5           A       No, I don't think so. I don't think so. I  
6       don't think they can make that statement. The  
7       children were investigated not because of food allergy  
8       but because of chronic constipation. You've got to  
9       think about where they started and not where they  
10      ended.

11          Q       What do you think caused Yates Hazlehurst's  
12      lympho nodular hyperplasia?

13          A       Well, first of all it depends. He doesn't  
14      have ileum lympho nodular hyperplasia. He has some  
15      enlarged lymph nodes in his colon, and I think it  
16      looking at the records it appears as though he falls  
17      into that group of children actually who are probably  
18      constipated and have some overloading of the colon.  
19      The immune system of the gut is there to respond to  
20      the things that are present in the gut.

21                 If you have blockages where it's stopping up  
22      things, there's constant stimulation, and you see some  
23      lymph nodes getting a bit bigger.

24          Q       When you say -- let me just ask you if  
25      you're aware of any evidence in the record that Yates

MACDONALD - REDIRECT

1 Hazlehurst has

## MACDONALD - REDIRECT

1 problems with constipation?

2 A Well, I think he clearly had chronic  
3 diarrhea and bloating and a swollen abdomen, and I  
4 think that is the picture that was seen in many of the  
5 children, who went to the Royal Free, and the papers  
6 also in the Royal Free when they actually evaluated  
7 over 100 of these children who went to the Free,  
8 although many of the children didn't appear to be  
9 constipated, in fact were passing frequent watery  
10 stools probably because of overflow diarrhea and some  
11 of them were treated for constipation as well.

12 In fact, it turned out they were  
13 constipated, so I think the data from the Royal Free  
14 was fairly clear. In fact, they said many times that  
15 before they did a formal constipation study that all  
16 the children were severely constipated. Actually, I  
17 looked, and it doesn't actually say anywhere that  
18 Yates was chronically constipated. I agree with that.

19 MR. WEBB: That's all the questions I have.

20 MS. RICCIARDELLA: I have just a few  
21 followups.

22 REDIRECT EXAMINATION

23 BY MS. RICCIARDELLA:

24 Q Just following up on the last question that  
25 Petitioner's counsel asked you, what is overflow

## MACDONALD - REDIRECT

1 diarrhea?

2           A     Overflow diarrhea is when you get fecal  
3     impaction and the lumen of the gut, so as the water  
4     that comes down and the fluid that accumulates leaks  
5     around the bulk of the stool and sort of essentially  
6     leaks out through the anus, and you get this constant,  
7     and because it's watery, it appears to be the  
8     classical-type diarrhea, but it's a diarrhea that's  
9     associated with constipation rather than the diarrhea  
10    that you see in patients with inflammatory bowel  
11    disease.

12                    There is not an issue here about  
13    differentially diagnosing the diarrhea that's seen in  
14    patients with a real inflammatory bowel disease, and  
15    this diarrhea is seen in the so-called autistic  
16    enterocolitis. Autistic enterocolitis actually was  
17    renamed by Wakefield lymphocytic colitis to take into  
18    account the fact that they were claiming that there  
19    were more lymphocytes, more mononuclear cells,  
20    increased density of cells.

21                    Patients with lymphocytic colitis have  
22    profuse watery diarrhea, which is not -- and they also  
23    happen to be 60-year-women who usually gets the  
24    disease, so I think there's no problem in  
25    distinguishing these types of diarrhea.

MACDONALD - RE-CROSS

1 Q Petitioner's counsel also asked you about

## MACDONALD - RE-CROSS

1 the genesis of your 2007 article that you published  
2 with Paula Domizio?

3 A Yes.

4 Q And whether or not you relied upon evidence  
5 that was adduced during the UK litigation?

6 A I wasn't asked that. I was asked if that  
7 was part of my evidence, and as I think of it, the  
8 answer was yes. There was nothing contained in the  
9 article that wasn't in the public domain. I didn't  
10 rely on anything that was revealed to me in the courts  
11 for that article.

12 Q And what specifically were your duties as an  
13 expert in the UK litigation?

14 A My duties were to the Court and not to Merck  
15 or to Lovells. My duties were to look at the data  
16 independently. I'm a Presbyterian Scotsman. I have -  
17 - I show allegiance to no one. I looked at the data  
18 and came to an opinion on it and reported that to the  
19 Court.

20 MS. RICCIARDELLA: Thank you very much.

21 THE COURT: Mr. Webb?

22 RE-CROSS-EXAMINATION

23 BY MR. WEBB:

24 Q Who paid you the 50,000 pounds?

25 A Sorry, the 70,000 pounds?

## MACDONALD - RE-CROSS

1 Q I'm sorry?

2 A Sorry, it was 70,000 pounds.

3 Q I'm sorry. I heard you wrong. Who paid the  
4 money?

5 A Lovells paid it to me.

6 Q I'm sorry.

7 A Lovells, the law company.

8 Q Do you think that it was inappropriate --  
9 that any of Dr. Buie's care for Yates Hazlehurst was  
10 inappropriate?

11 A No. I think Dr. Buie is a very good doctor.  
12 I have a lot of faith in Dr. Buie. I know that unit  
13 very well. We send our trainees over to work with his  
14 boss, Professor Allen Walker, for many years, and I  
15 got a lot of time for Dr. Buie.

16 MR. WEBB: That's all the questions I have.

17 THE COURT: Respondent?

18 MS. RICCIARDELLA: No more.

19 THE COURT: Thank you very much. You're  
20 excused, Dr. MacDonald.

21 (Witness excused.)

22 THE COURT: Mr. Webb, did you care to  
23 call --

24 MR. WEBB: We'll call Mrs. Hazlehurst back  
25 to the stand.

MACDONALD - RE-CROSS

1

THE COURT: Mrs. Hazlehurst, first we're

## HAZLEHURST - DIRECT

1 going to open your oath back up to the stand.

2 Whereupon,

3 ANGELA HAZLEHURST

4 having been duly sworn, was called as a  
5 rebuttal witness and was examined and testified in  
6 rebuttal as follows:

7 DIRECT EXAMINATION

8 BY MR. WEBB:

9 Q Mrs. Hazlehurst, was Yates sick when you saw  
10 his pediatrician on February 8, 2001?

11 A Yes, Yates had been sick for approximately  
12 two weeks. He had fever and a purulent discharge.

13 Q Now, did he have a fever that day?

14 A I'm not aware if he had a fever the day of  
15 the visit. His temperature was not taken. However,  
16 previously throughout the two weeks, he did have  
17 fever.

18 Q Can you quantify how sick he was?

19 A I would say moderate.

20 Q And is that your judgment or the  
21 pediatrician's?

22 A That's a mother's judgment, and that  
23 judgment was based upon the amount of amoxicillin that  
24 was given to Yates I believe to be 400 milligrams by  
25 mouth BID twice a day for 10 days. At some point I

HAZLEHURST - DIRECT

1      took it upon myself -- I'm not a doctor,

## HAZLEHURST - DIRECT

1 obviously. However, I did take it upon myself to look  
2 in the Physician's Desk Reference, otherwise known as  
3 the PDR, and found that the weight of Yates, of 24  
4 pounds and the dosage given of amoxicillin was that of  
5 a moderate to severe illness.

6           Again, I'm not a doctor, however we have  
7 seen numerous physicians, and every physician that  
8 we've seen that we've shared Yates' medical records  
9 and pharmacy records who have expressed an opinion  
10 there's five to eight that I can name off the top of  
11 my head have all expressed that that was indeed a  
12 contraindication for vaccination on February 8, 2001.

13           Q     Was Yates' face expressive during his first  
14 year of life?

15           A     Yes, sir. His face was very expressive.  
16 Again, I go back to testimony of day one. We all  
17 thought Yates would light up a room. Photos taken of  
18 him at Christmas and Christening are unbelievable the  
19 smile. I only can compare -- I have a second child,  
20 and in comparison, Yates was probably more expressive  
21 than my second child.

22           Q     Your second child is, what's her name?

23           A     My second child is Sarah Alexander  
24 Hazlehurst.

25           Q     When was she born?

## HAZLEHURST - DIRECT

1           A     Sarah was born August 21, 2002. I was  
2           actually seven months pregnant with Sarah when Yates  
3           was diagnosed with autism.

4           Q     How was Sarah's development?

5           A     In comparison of Sarah's development to  
6           Yates in the first year, I would say they developed  
7           very similar except at the end of the year, it was  
8           obvious Yates had developed a little more advanced  
9           with imitation, pretend play, and his vocabulary was  
10          much larger than Sarah's at the end of her first year.  
11          Sarah Hazlehurst is now attending her first year of  
12          kindergarten and is doing quite exceptional in a  
13          typical classroom as a normal child.

14          Q     Did Yates' autism ever affect his gross  
15          motor skills?

16          A     As seen in many, many, many, many  
17          occupational and physical therapy reports, it is well  
18          documented, and we are very well aware that Yates'  
19          motor skills were affected by autism and continue to  
20          be affected by autism. One particular memory I would  
21          like to point out, I think it was on June 17, 2002,  
22          post-autism diagnosis, Tennessee Early Intervention as  
23          well as the Kiwanis Development Center came to our  
24          home.

25                    They came to evaluate Yates, and he had

HAZLEHURST - DIRECT

1 actually lost his ability to -- his balance was so off

## HAZLEHURST - DIRECT

1 he couldn't walk up and down stairs, and I noted on  
2 those reports their goal was to teach Yates to relearn  
3 how to walk up and down steps so that he could play in  
4 the back yard.

5 Q How are Yates' gross motor skills now?

6 A Yates' gross motor skills are still somewhat  
7 delayed.

8 Q In what way?

9 A He has an awkward gait. He would still not  
10 be able to function in sports. There's still -- our  
11 last visit to Dr. Corbier we were worried about Yates'  
12 motor skills because his balance was off.

13 MR. WEBB: Those are all the questions I  
14 have.

15 THE WITNESS: Special Master, I'm sorry. I  
16 did forget to mention something with the visit on  
17 February 8 with Yates being sick. I apologize. On  
18 that day that I mentioned that Yates had a moderate  
19 illness, that day, any previous day and any subsequent  
20 day we were never given a VIS form.

21 MS. RICCIARDELLA: I have no questions.

22 THE COURT: Thank you, Mrs. Hazlehurst.

23 THE WITNESS: Thank you, Special Master  
24 (Witness excused.)

25 THE COURT: Mr. Webb, are you prepared to

1 make your closing remarks?

2 MR. WEBB: Yes. I don't have a lot to say.

3 THE COURT: To proceed.

4 MR. WEBB: What we hoped to do this week is  
5 demonstrate the nature of Yates Hazlehurst's autism,  
6 and provide a profile of children in whom the onset of  
7 regressive autism after MMR vaccination suggests a  
8 causal relationship.

9 What we have demonstrated I hope, is that  
10 Yates Hazlehurst developed normally for the first year  
11 of his life, that he received an MMR vaccination and a  
12 few other vaccinations just before his first birthday,  
13 that he was sick at the time and that he remained sick  
14 for the next couple of weeks and about 10 days after,  
15 he had a rash. More importantly, in terms of critical  
16 factors he began regressing.

17 The first symptoms of his autism occurred in  
18 March with his wild behavior, his reduced interest in  
19 his mother, reduced interest in his cousins, reduced  
20 interest in his toys, and that regression -- he  
21 continued to regress during the spring, summer and  
22 fall. By June he was self-limiting his diet and we  
23 saw in the video tape in the very first of July some  
24 of the few symptoms -- the very end of June, first  
25 July some of the first symptoms of self-stimulatory

1 behaviors.

2 By the fall, he had a great deal -- many

3 self-stimulatory

1 behaviors. His speech declined beginning sometime  
2 maybe late spring early summer and that by fall his  
3 functional language had been replaced by what might be  
4 described almost as a savant level of interest in  
5 vocabulary and letters and numbers.

6 I think that what we have done -- also of  
7 importance in terms of the profile that we maintain  
8 suggests a causal relationship is that late June early  
9 July, the first time that it was noted was the trip to  
10 Norway, he developed serious, clinically significant  
11 gastrointestinal symptoms that were chronic for the  
12 next almost three years, and that is the combination  
13 that we think suggests the causal relationship between  
14 the MMR vaccination and the autism.

15 Normalcy before, onset within a few months  
16 after, and the presence of clinically significant  
17 gastrointestinal symptoms that are chronic. We also  
18 believe that Dr. Corbier said on the stand that the  
19 case for thimerosal contributing to Yates' illness is  
20 less powerful, but he testified that laboratory values  
21 in profuron (sic) and glutathione suggests that Yates  
22 had an excessive exposure to mercury, which could  
23 affect him both immunologically and neurologically.

24 I believe that the evidence on, if you will,  
25 what happened to Yates Hazlehurst is very clear. The

1 much harder question of course is whether in fact that  
2 profile does in fact suggest cause and effect. It's a  
3 highly controversial area. I believe that Dr. Corbier  
4 explained our position very well. I believe his  
5 demeanor and his understanding of the issues made his  
6 testimony highly reliably and highly credible.

7 I also believe, and though I don't claim to  
8 be objective, that we've heard testimony from the  
9 Respondent's experts which was reflected a willingness  
10 to overstate their case. Dr. Wakefield's work is  
11 controversial, and many doctors have rejected it. It  
12 has not been thoroughly discredited. There are works  
13 by independent laboratories and physicians, which  
14 collaborate parts of his work.

15 There are substantial numbers, though I  
16 suspect a minority of the medical community that  
17 considers it important and reliable work. Now, the  
18 point by point analysis of whether the theory is  
19 reliable certainly requires the kind of detail that we  
20 could provide in posthearing briefs, but the point I  
21 want to make is that, this is not just Andrew  
22 Wakefield's theory.

23 It is a profile that many other reliable,  
24 honest, hard-working doctors believe suggests a causal  
25 relationship between vaccination, specifically the MMR

1 vaccination and regressive autism, and we think when  
2 you have an opportunity to evaluate all of the  
3 evidence, including that from the Cedillo case and  
4 this case and presumably some additional evidence from  
5 the Snyder case, it will demonstrate the fact the MMR  
6 vaccine, in concert with thimerosal-containing  
7 vaccines in fact caused Yates' autism.

8 THE COURT: Thank you, Mr. Webb. From  
9 Respondents?

10 MR. MATANOSKI: Thank you. I'll be  
11 presenting the closing argument. May it please the  
12 Court, ma'am. During the lunch break, one of our  
13 paralegals here pointed out to me that the Boston  
14 Globe yesterday had an article in it. The article  
15 reviewed immunization rates I believe in Vermont. I  
16 haven't read the article.

17 The interesting thing to take away from that  
18 article was that immunization rates were going down,  
19 and the article talked about the 1998 Wakefield study  
20 as one of the reasons why those immunization rates  
21 were going down. Now, it isn't that physicians or  
22 physician organization like the American Academy of  
23 Pediatrics or health organizations such as National  
24 Institutes of Health or the World Health Organization  
25 believe that there's any link between MMR vaccine or

1 even thimerosal-containing vaccines in autism.

2 But there is a belief that's being  
3 perpetuated and particularly acutely in this country,  
4 so what we're dealing with in this case is vitally  
5 important just as it was in Cedillo. This is going  
6 beyond our usual cases that are specific a one-time  
7 condition that we're looking at, a one-time claim of  
8 an autoimmune disease or something of that nature.  
9 This is actually has extremely great relevance to  
10 what's going on in this country at least right now.

11 Petitioner's here set out to prove that MMR  
12 vaccine or thimerosal-containing vaccines or perhaps  
13 both caused Yates Hazlehurst autism. They failed.  
14 They failed on several counts. They failed both on  
15 the general causation, which of course you had before  
16 you with both the Cedillo information on that as well  
17 as the new information you've heard here from Dr.  
18 Corbier as well as Drs. McCusker, MacDonald and Rust.

19 They also failed on a factual basis, that is  
20 even accepting the premises that they laid before you,  
21 the profile if you will as Dr. Corbier called it. The  
22 facts don't fit that. With respect to thimerosal-  
23 containing vaccines. Whether there's a good  
24 scientific basis for believing that they can cause  
25 autism, where do we stand now after Cedillo having

1 heard from Dr.

1 Corbier. He didn't add anything new.

2           Instead, he looked at the same studies that  
3 had been looked at by the experts for the PSC in  
4 Cedillo, but we have heard a little bit different view  
5 now, another way of looking at that question from  
6 Respondent. Dr. Rust framed it analytically in an  
7 interesting fashion and used evidence to support that  
8 analytical framework. He said let's think about this  
9 from a neuropathological standpoint.

10           What does the neuropathology look like in  
11 these children who have autism, because there's been  
12 work done by Drs. Baumann and Kemper looking at  
13 patients who have autism. Now, these were older  
14 individuals to be certain, but as Dr. Rust explained,  
15 the architecture is in place, though observed at  
16 autopsy in these older patients, that brain  
17 architecture was in place at the age that we're  
18 considering right now, the age soon after birth up to  
19 one, two and three years.

20           So, it is relevant to look at that pathology  
21 and try to draw some conclusions if you will about  
22 what the brain looks like in an autistic individual.  
23 The structure as he explained is very different from  
24 the structure you see in a toxic insult case, so that  
25 doesn't really fit the hypothesis that's before you

1 that thimerosal-containing vaccines can cause autism.  
2 The pathology doesn't support it. He also said let's  
3 think about this from a clinical standpoint.

4 From a clinical standpoint, what happens if  
5 you are exposed to heavy metals and have brain damage?  
6 You have deficits to be sure, and sometimes those  
7 deficits can be in quite specific areas, and I know  
8 that we've had articles submitted in the Cedillo case,  
9 works by Drs. Magos and Clarkson that talk about  
10 mercury and what you can expect when there's a toxic  
11 insult to the brain from the mercury exposure. There  
12 are some specific neurologic symptoms that one could  
13 expect, a narrowing of the visual field, for example.

14 There are no savantisms. There are no  
15 paranormal behaviors, and that is one of the specific  
16 unusual features of autism that sometimes these  
17 individuals very frequently develop behaviors and  
18 abilities that are beyond our understanding because  
19 they seem to transcend some of the abilities or the  
20 functions that other individuals have that are not  
21 afflicted with that terrible condition.

22 We heard -- it's interesting that it came up  
23 in this case because we heard in this case about  
24 Yates' extraordinary abilities at a very young age in  
25 counting and identifying letters. I would also put in

1 that category some of the fascinations that autistic  
2 children and later individuals have to fascinations  
3 with spinning, turning wheels, tires. These are very  
4 specific behavioral aspects. You don't see them with  
5 an insult that's damaged an area of the brain and just  
6 taken out function.

7 Dr. Rust explained how this clinical picture  
8 is very specific to autism and very different from a  
9 clinical picture from a toxic insult. With respect to  
10 MMR alone, Dr. Corbier really didn't add anything  
11 there that you hadn't heard in Cedillo. It was the  
12 notion that measles virus persists and somehow it gets  
13 into the brain, affects the brain. Now,  
14 interestingly, that isn't actually Dr. Wakefield's  
15 theory of MMR causing autism, but it is the theory  
16 that you heard in Cedillo and here once again.

17 There's no support for that. There's no  
18 support for -- the model that Dr. Corbier went to is  
19 the same model that was used in Cedillo. It's SSPE,  
20 subsclerosing panencephalitis or measles inclusion  
21 body encephalitis. Yes those are models of persistent  
22 measles virus. Those models actually work against the  
23 claim that it can cause autism. First of all, SSPE  
24 and MIBE do not result -- I believe I was moving away  
25 from the microphone.

1

I just got a look from the court reporter.

1 They don't result in autism. If we saw that, then we  
2 ought to see autism after measles epidemics, right?  
3 We ought to see that when SSPE occurs. That isn't  
4 what happens. You heard quite clearly again from Dr.  
5 Rust that it coordinated with what you had heard  
6 already by preeminent virologists, Dr. Griffin and Dr.  
7 Ward.

8           The result in SSPE and MIBE is death.  
9 That's what happens to the individual who suffers  
10 that. The timeframe that Dr. Corbier used for SSPE  
11 showed that he does not have a very good understanding  
12 of the condition. He said he believes that it  
13 occurred at one to eight months afterwards, and that  
14 was one of the building blocks for his profile that he  
15 introduced in this case. It doesn't happen one to  
16 eight months after exposure to measles virus. It  
17 happens years later.

18           You also heard on this notion that the MMR  
19 can cause autism. You've heard now from Dr.  
20 MacDonald. Dr. MacDonald added to the evidence that  
21 you had heard previously in the Cedillo case. He has  
22 had firsthand review of the data, and unfortunately  
23 because only a limited amount of what he can say has  
24 been made available, he cannot share with the Court  
25 fully what he's been able to look at.

1                   But the words that he used, which echo, and  
2 he wasn't here to hear Dr. Rust, but they echoed the  
3 words that Dr. Rust used: Scientific fraud. Now, for  
4 two individuals, who are engaged in scientific  
5 research such as Dr. MacDonald and Dr. Rust to use  
6 those very strong terms, people who I think you can  
7 tell Dr. Rust and Dr. MacDonald are not given to  
8 overstatement. They actually have to feel very  
9 strongly about the evidence to use those terms.

10                   This isn't just an interpretation of data.  
11 I think one of the things you saw today is something  
12 that was similar to what happened when Dr. Bustin when  
13 through categorically the information. Dr. MacDonald  
14 pointed out to you that series of panels from one of  
15 the articles, A, B, C, D, and he in closely looking at  
16 them had seen that there are dates and times on those  
17 panels. Well, if you've gone through a colonoscopy,  
18 you know it's not a quick procedure. It is a time-  
19 consuming procedure.

20                   Panel A in that article was represented as a  
21 normal child. Panel D was represented as a child, who  
22 had significant involvement in his colon. They are the  
23 same individual. It was the same colonoscopy. It was  
24 merely the device being moved further along in the  
25 same individual. Now, how can it be both normal and

1 abnormal.

2           This is the kind of data that's out there if  
3 you look hard enough and examine what Dr. Wakefield  
4 has put out, at least in public what one can see. Dr.  
5 Wakefield received 50,000 pounds from a lawyer. He  
6 received most of his patients in that initial 1998  
7 study from that same lawyer. He then set about doing  
8 a study after receiving that money and publishing the  
9 results. Before he even published those results, he  
10 took out a patent for measles-only virus vaccine.

11           As I've said in the last case, he not only  
12 financially gained from his participation before he  
13 ever published a single word on measles virus causing  
14 autism, but he had his financial interest in  
15 implicating MMR vaccine and creating a market for a  
16 vaccine that he patented, a measles-only vaccine that  
17 he patented because his theory said that somehow the  
18 three working together cause autism, allows measles  
19 virus to replicate in the gut and then cause autism.

20           It has taken years to unravel that in  
21 England. The litigation fell apart, which  
22 unfortunately meant that much of the information that  
23 made the litigation fall apart never saw public light.  
24 They're still recovering from the public health scare  
25 that was created there. We are undergoing in our

1 country right now and maybe are yet to reap the  
2 whirlwind from that public health scare that he  
3 initiated there many years ago.

4           There's no disease of autistic  
5 enterocolitis. It's an illusion that was conjured up  
6 by Andrew Wakefield to try to somehow make nonspecific  
7 gut findings into a condition that he could call  
8 significant. It's not accepted by anyone of any  
9 repute in the gastroenterological field. You can't go  
10 to a DSM and get a classification and put a  
11 classification down that will say autistic  
12 enterocolitis.

13           We unfortunately have to continue to deal  
14 with this though until the scientific community has at  
15 least already to the extent they can put this to rest,  
16 and we're going to have to deal with it in this  
17 courtroom and eventually put it to rest. Now, Dr.  
18 Corbier really didn't give much of an explanation of  
19 how the two combined, MMR and thimerosal-containing  
20 vaccines, can cause autism. I think he used the word  
21 synergism. I don't really think that it was very well  
22 explained.

23           If you look back at Cedillo, the idea I  
24 believe was that thimerosal-containing vaccines made  
25 one more immunologically suppressed, and therefore

1       there was a greater chance of measles virus could grow  
2       in the gut and then somehow make its way to the brain.  
3       There's no support for that, but beyond that if you  
4       look at the facts of this case, there's certainly no  
5       support that the immune system here was compromised.  
6       We've heard some testimony that Yates had a certain  
7       number of infections.

8                 We've also heard from Dr. McCusker and Dr.  
9       Rust for that matter that those aren't beyond the  
10      expected norm, but beyond that, there was diagnostic  
11      testing done. Diagnostic testing that was normal, so  
12      there's absolutely no support that thimerosal-  
13      containing vaccines affected Yates' immune system in  
14      any way, if that happens to be the theory, though  
15      again was very poorly explained, at least in my view.

16                I think you have to at this point take a  
17      step back and lay the relative credentials of the  
18      experts that you just heard before you and go through  
19      who do you think represents the better take on  
20      reliable science here. Is it Dr. Corbier, or is it  
21      Drs. MacDonald, Rust and McCusker. I'll go through a  
22      little bit of Dr. McCusker. She represents, she a  
23      clinical -- she's a clinician in immunology, a  
24      pediatric immunologist.

25                She's a research director at her

1 institution. She sees hundred of kids each year for

1 immunological diseases. She's a teacher. She teaches  
2 medical students at McGill University. Dr. MacDonald,  
3 research director as well. As a matter of fact, he's  
4 Dean of Research, attended on thousands of  
5 colonoscopies. He's authored over 150 articles.

6 He had direct access to data relating to the  
7 reliability of the Wakefield studies and the  
8 Unigenetics lab, practicing for many years. Dean of  
9 Research; I just want to focus on that for a moment.  
10 Dean of Research means that he directs as he said the  
11 research direction of a major research institution  
12 with a \$75 or \$75 or \$78 million annual research  
13 budget.

14 It's important to think about in the terms  
15 of what I laid out in our opening statement about  
16 what's reliable science, where is reliable science in  
17 these issues. He needs to figure out where the money  
18 is spent. Where should we start doing research?  
19 Where are there good avenues to follow, so I think his  
20 views in that regard bear particular attention.

21 Dr. Rust, he's Child Neurology Society's Man  
22 of the Year. He's done years of clinical practice at  
23 a major university. He's published over 100 articles.  
24 He's really an esteemed educator of doctors. Now, you  
25 asked a question of him, Special Master, and one of

1 the beauties of this inquisitorial process is that  
2 there is direct involvement with the bench, and it was  
3 a question I wish we had thought of because the answer  
4 that you got was I thought very enlightening on the  
5 issue of reliability of the evidence.

6           You asked Dr. Rust what did you mean by  
7 conventional peer-reviewed literature. He told you  
8 what I mean is the literature that's sufficiently  
9 reliable if you will that we should pay attention to  
10 it, that our time is well-spent reading it, and our  
11 time and our research efforts are well spent following  
12 up on the types of things that are being reported in  
13 that literature.

14           His view was that the conventional peer-  
15 reviewed literature does not support any of these  
16 hypotheses. They're not worth following up on. In  
17 other words, they don't represent the view of  
18 reliable, reputable scientists. I'm almost done. I'd  
19 really appreciate your indulgence. I did want to  
20 mention one thing about an expert, who did not appear  
21 here, but his name has been mentioned several times,  
22 and that was Dr. Zimmerman.

23           Dr. Zimmerman actually has not appeared  
24 here, but he has given evidence on this issue, and it  
25 appeared in the Cedillo case. I just wanted to read

1 briefly because his name was mentioned several times  
2 by Petitioners in this matter. What his views were on  
3 these theories, and I'm going to quote from  
4 Respondent's Exhibit FF in the Cedillo case, which is  
5 part of the record in this case as I understand it.

6 "There is no scientific basis for a  
7 connection between measles, mumps and rubella MMR  
8 vaccine or mercury intoxication in autism despite  
9 well-intentioned and thoughtful hypotheses and  
10 widespread beliefs about apparent connection with  
11 autism and regression. There's no sound evidence to  
12 support a causative relationship with exposure to both  
13 or either MMR and/or mercury."

14 We know his views on this issue. Now,  
15 that's on one side. I understand, but we are  
16 observing that that side is where reliable science is  
17 on this issue. On the other side, and I don't want to  
18 sound like I'm going to run down an expert for the  
19 Petitioner, but unfortunately I think it will sound  
20 like that. On the other side, what you've had added  
21 to the evidence that you had in Cedillo is Dr.  
22 Corbier's testimony.

23 I said I don't want to sound like I'm  
24 running somebody down, but I believe this is vitally  
25 important to lay the credentials of the scientists

1      against one

1 another. Dr. Corbier has been a doctor all of seven  
2 years. He's affiliated with no university. He's  
3 never held an academic position. He's the author of  
4 zero papers on autism and peer-reviewed literature, of  
5 zero papers on immunology and peer-reviewed  
6 literature, of zero papers in gastroenterology and  
7 peer-reviewed literature, in fact in any literature.

8 He's gotten his name in print by virtue of  
9 paying for it. He's added nothing to the scientific  
10 community's understanding of autism, immunology or  
11 gastroenterology. If you have any question about  
12 where his views are, his book is actually a manifesto  
13 of beliefs. If you have any question about -- if you  
14 entertain an idea that his views represent the views  
15 of scientists, researchers and medical professionals,  
16 who follow scientific principals in doing their  
17 research, I commend his book to you for reading.

18 Where does reliable science stand? Does it  
19 stand with Dr. Corbier, or does it stand with Drs.  
20 Rust, McCusker and MacDonald? Thank you.

21 THE COURT: Thank you. This concludes this  
22 aspect of the proceedings in the Hazlehurst case. The  
23 parties will have an opportunity to submit posthearing  
24 briefing, and the schedule for such filings has  
25 already been addressed with the parties. A read-only

1 transcript of this proceeding and an audio version of  
2 this proceeding will be available on the autism  
3 portion of the website of the Court of Federal Claims  
4 after five working days.

5           Before we depart, I want to thank the  
6 Hazlehurst family for sharing during this proceeding  
7 your experience with Yates. I extend to you my  
8 sincerest sympathies for the challenges that you face  
9 with Yates. I also want to thank counsel and the  
10 experts who have testified on behalf of the parties  
11 for your careful preparation in connection with this  
12 hearing. Lastly, I wish you all a safe return to your  
13 points of origination. We are adjourned.

14           (Whereupon, at 2:54 p.m., the hearing in the  
15 above-entitled matter was concluded.)

16 //

17 //

18 //

19 //

20 //

21 //

22 //

23 //

24 //

25 //

## REPORTER'S CERTIFICATE

DOCKET NO.: 03-654V  
CASE TITLE: Hazlehurst v. HHS  
HEARING DATE: October 18, 2007  
LOCATION: Charlotte, North Carolina

I hereby certify that the proceedings and evidence are contained fully and accurately on the tapes and notes reported by me at the hearing in the above case before the United States Court of Federal Claims.

Date: October 18, 2007

Mona McClellan  
Official Reporter  
Heritage Reporting Corporation  
Suite 600  
1220 L Street, N.W.  
Washington, D.C. 20005-