

# UNITED STATES COURT OF FEDERAL CLAIMS

---

COLTEN SNYDER BY AND THROUGH )  
KATHERINE SNYDER AND JOSEPH )  
SNYDER, HIS NATURAL GUARDIANS )  
AND NEXT FRIENDS, )  
 )  
Petitioners, )  
 ) Docket No.: 01-162V  
v. )  
 )  
SECRETARY OF HEALTH AND )  
HUMAN SERVICES, )  
 )  
Respondent. )

REVISED AND CORRECTED COPY

Pages: 293 through 564

Place: Orlando, Florida

Date: November 6, 2007

---

HERITAGE REPORTING CORPORATION  
Official Reporters  
1220 L Street, N.W., Suite 600  
Washington, D.C. 20005-4018  
(202) 628-4888  
hrc@concentric.net

UNITED STATES COURT OF FEDERAL CLAIMS  
OFFICE OF SPECIAL MASTERS

COLTEN SNYDER BY AND THROUGH )  
 KATHERINE SNYDER AND JOSEPH )  
 SNYDER, HIS NATURAL GUARDIANS )  
 AND NEXT FRIENDS, )  
 )  
 ) Petitioners, )  
 ) Docket No.: 01-162V  
 v. )  
 )  
 ) SECRETARY OF HEALTH AND )  
 ) HUMAN SERVICES, )  
 )  
 ) Respondent. )

Courtroom 56  
U.S. District Court  
401 West Central Boulevard  
Orlando, Florida 32801

Tuesday,  
November 6, 2007

The parties met, pursuant to notice of the Court,  
at 9:10 a.m.

BEFORE: HONORABLE DENISE K. VOWELL  
Special Master

APPEARANCES:

On Behalf of the Petitioner:

CHRISTOPHER W. WICKERSHAM, SR., Esquire  
Wickersham & Bowers  
501 N. Grandview Avenue, Suite 115  
Daytona Beach, Florida 32115  
(386) 252-3000

APPEARANCES: (cont'd.)

On Behalf of the Petitioner:

THOMAS B. POWERS, Esquire  
Williams Love O'Leary & Powers, P.C.  
9755 SW Barnes Road, Suite #450  
Portland, Oregon 97225-6681  
(503) 295-2924

On Behalf of the Respondent:

ALEXIS B. BABCOCK, Esquire  
U.S. Department of Justice  
1425 New York Avenue, N.W.  
Washington, D.C. 20005  
(202) 616-7678

On Behalf of the Respondent:

VINCENT MATANOSKI, Esquire,  
VORIS E. "VO" JOHNSON, JR., Esquire  
KATHERINE C. ESPOSITO, Esquire  
U.S. Department of Justice  
Civil Division  
Ben Franklin Station, P.O. Box 146  
Washington, D.C. 20044  
(202) 616-4136

295

C O N T E N T S

WITNESSES:	DIRECT	CROSS	REDIRECT	RECROSS	VOIR DIRE
Dr. Ronald C. Kennedy	297	356	425	429	--
Marcel Kinsbourne	438	477	553	561	--

E X H I B I T S

PETITIONERS' EXHIBITS:	IDENTIFIED	RECEIVED	DESCRIPTION
4	301	--	PowerPoint presentation

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

P R O C E E D I N G S

( 9:10 a.m.)

THE COURT: We're back on the record in the case of Colten Snyder, 01-162. Mr. Powers, are you prepared to proceed?

MR. POWERS: Yes, I am, Special Master. Good morning. The Petitioners in this case are going to now call Dr. Ronald Kennedy to take the witness stand.

THE COURT: Dr. Kennedy, if you could come up.

Whereupon,

RONALD C. KENNEDY

having been duly sworn, was called as a witness and was examined and testified as follows:

DIRECT EXAMINATION

THE COURT: You may proceed, Mr. Powers.

MR. POWERS: Thanks, Special Master.

THE COURT: And I will add that we have marked as Petitioners' Trial Exhibit 4, a PowerPoint presentation from Dr. Kennedy that we're going to use in hardcopy at least until we spring his computer from the guards.

MR. POWERS: The computer quarantine.

BY MR. POWERS:

Heritage Reporting Corporation  
(202) 628-4888

DR. KENNEDY, PhD - DIRECT

1 Q Good morning, Dr. Kennedy, to make our  
2 record here, can you spell your name and give us your  
3 academic affiliation.

4 A Okay. It's Ronald (R-o-n-a-l-d) Curtis (C-  
5 u-r-t-i-s) Kennedy (K-e-n-n-e-d-y). I'm a Professor  
6 and Chair of the Department of Microbiology and  
7 Enterology at Texas Tech University, Health Sciences  
8 Center in Lubbock Texas.

9 Q Thank you, Dr. Kennedy. Now, before we get  
10 into the specifics of your testimony, I want to make  
11 it clear on the record your history in participating  
12 in the autism proceeding, the Omnibus Proceeding. So  
13 now, in the case captioned Cedillo v. Secretary of  
14 Health and Human Services, that was a case that was  
15 heard in Washington, D.C. in June of this year,  
16 correct?

17 A Correct.

18 Q And in that case you had filed an extra  
19 report?

20 A Correct.

21 Q You appeared and gave live testimony on  
22 Direct, you were cross-examined, and then I believe  
23 you also filed supplemental report in that matter, is  
24 that all correct?

25 A Correct.

299A

DR. KENNEDY, PhD - DIRECT

1 Q In the Cedillo case the issues that you were  
2 testifying about included issues of general causation  
3 that would be applicable to the Petitioners' theory of  
4 combined exposures to Thimerosal and MMR, leading to  
5 ASD symptoms, is that correct?

6 A Correct.

7 Q In the Cedillo case, in addition to the  
8 general causation testimony, you also offered  
9 testimony that would be used to resolve Michelle  
10 Cedillo's individual claim also, is that right?

11 A Correct.

12 Q In the proceeding today, is it your  
13 understanding and your belief that your testimony is  
14 being given for those same dual reasons of general  
15 causation and case specific causation for Colten  
16 Snyder?

17 A Correct.

18 Q Given the --

19 THE COURT: I'm sorry?

20 MR. MATANOSKI: Just for the record, you  
21 know that we've lodged an objection.

22 THE COURT: You've lodged an objection in  
23 the Cedillo case.

24 MR. MATANOSKI: That's correct, that's  
25 correct. I'm sorry.

300A

DR. KENNEDY, PhD - DIRECT

1 THE COURT: Okay. You don't object to my  
2 considering anything that I've heard so far in this  
3 case?

4 MR. MATANOSKI: That's correct, ma'am.

5 THE COURT: So I can consider the testimony  
6 in Cedillo as well as the testimony in Hazlehurst?

7 MR. MATANOSKI: Yes, ma'am.

8 THE COURT: Okay. Just making sure that we  
9 have the record clarified there.

10 MR. POWERS: Understood. So, Dr. Kennedy,  
11 what you might have just picked up on is that  
12 Respondent has an objection to relying in this case on  
13 testimony from another case. But your understanding  
14 of your appearance here is to rely in part on what you  
15 testified to in Cedillo, in addition to the expert  
16 report and the testimony you're giving today, is that  
17 correct?

18 A Correct.

19 Q Given the extensive testimony that you  
20 provided in the Cedillo case, and an expert report,  
21 and a supplemental report, my understanding is that  
22 what we're going to talk about today will leave out a  
23 bit of that in order to avoid redundancy, is that  
24 correct?

25 A In part, yes.

DR. KENNEDY, PhD - DIRECT

1

2

Q And in part, to avoid redundancy, by doing that you're not waiving, so to speak, or abandoning any of the points and positions that you took in the Cedillo matter, correct?

3

4

5

6

A Correct.

7

8

9

10

11

12

13

14

Q I just wanted to lay out those ground rules. And now let's move through some of the specifics of the testimony today. And in a perfect world you would have your computer and I would pull the cap off the projector and begin a PowerPoint slide presentation, because you have prepared a PowerPoint slide presentation for your testimony today, is that correct?

15

A I have.

16

17

Q And this is marked as Petitioners' Trial Exhibit No. 4.

18

19

20

(The document referred to was marked for identification as Petitioners' Exhibit No. 4.)

21

22

23

24

THE COURT: The pages, however, are not numbered. So I'm hand numbering them now, and I'm going to refer to the page numbers, and I'm including the title page as a page-numbered page.

25

THE WITNESS: Can I get a copy of the, I

Heritage Reporting Corporation  
(202) 628-4888

DR. KENNEDY, PhD - DIRECT

1 didn't memorize my PowerPoint presentation.

2 THE COURT: That's why one of those nine  
3 copies was for the witness, just in case.

4 MR. POWERS: Okay. So doctor, I'm going to  
5 take a two-minute pause, well, if you don't mind,  
6 Special Master, while I get my computer screen up  
7 here.

8 THE COURT: Not a problem.

9 MR. POWERS: We're getting word on the  
10 computer.

11 THE COURT: Okay.

12 MR. POWERS: Still no computer.

13 THE COURT: Okay.

14 BY MR. POWERS:

15 Q Okay, Professor Kennedy, if I could direct  
16 your attention to what's been marked as Petitioners'  
17 Trial Exhibit No. 4, if you take a look at that, what  
18 is that, what does that appear to be to you?

19 A My PowerPoint presentation.

20 THE COURT: Just for the update and for the  
21 record, the, our letter to the court did list Dr.  
22 Kennedy's computer, you all did everything right.  
23 Apparently, when the local clerk's office prepared the  
24 authorization, which has to be signed by a judge, in  
25 order to get equipment, a local judge, in order to get

303A

DR. KENNEDY, PhD - DIRECT

1 equipment into the courtroom, electronic equipment  
2 into the courthouse, Dr. Kennedy and Dr. Kinsbourne's  
3 computers were both left off.

4 So we'll, we're, that's being rectified by  
5 the clerk's office but they have to find a judge to  
6 sign it. So as soon as they find a, track down a  
7 judge who is not otherwise in trial we'll get them in.

8 THE WITNESS: And actually, I'm not that  
9 computer literate so I'm probably better off with a  
10 paper copy.

11 THE COURT: Fair enough.

12 MR. POWERS: And if it would be possible,  
13 Special Master, the copy that we handed to the court  
14 reporter, will I be able to use that up here? I had  
15 to give him my original to copy.

16 THE COURT: Certainly. And we'll just give  
17 it back to him. I want the court reporter to have it  
18 so he could use, use it for spelling purposes when he  
19 prepares the record. I'm court reporter friendly. So  
20 go ahead, Dr. Kennedy, you may proceed with your  
21 testimony.

22 THE WITNESS: I'll try not to use any  
23 complicated spellings and words.

24 BY MR. POWERS:

25 Q Okay. So, Dr. Kennedy, if you look at that

DR. KENNEDY, PhD - DIRECT

1 report that's Petitioners' Trial Exhibit No. 4, the  
2 first page obviously is the cover page. And did this  
3 describe the scope of your testimony today, if you  
4 could just tell us what the cover title is?

5 A Yes, it does. It talks about measles virus  
6 characteristics, replication, and detection.

7 Q If you turn to page 2 of Exhibit No. 4, can  
8 you describe to the Court, not just reading the slide,  
9 but mention what's on the slide and why it's  
10 significant to your testimony in the proof of this  
11 case.

12 A It's a cartoon of the measles virus, and  
13 shows the structural proteins that encompass the  
14 virus. And it also gives the definition of the genes  
15 and the gene products that produce the virus particle.  
16 So, specifically, we talk about something called the F  
17 gene and the H gene, and these encode respectively the  
18 fusion protein and hemagglutinin or the host cell  
19 attachment protein.

20 Q And that essentially summarizes the  
21 significance of Slide No. 2, is that correct?

22 A Correct.

23 Q Let's go on to page number 3 in Exhibit 4.  
24 The title of that page is replication. Can you  
25 explain to the Special Master the significance of this

305A

DR. KENNEDY, PhD - DIRECT

1 slide and what it means to the case?

2 A Actually, the Special Master has heard this  
3 before in great detail from both myself and Dr. Ward.  
4 And it is just reviewing, in my own mind, the process  
5 of how the virus infects a cell, and how it  
6 replicates, how the viral RNA becomes message, and  
7 then is turned into protein. And how that protein,  
8 then it's assembled into new virus particles. And it  
9 is essentially a step-by-step process of how the virus  
10 replicates.

11 And the first step is that the H-Protein,  
12 the hemagglutinin, attaches to the cellular receptor,  
13 and the primary cell to the receptor for measles virus  
14 is a molecule called CD46. And then it discusses how,  
15 after attachment, replication of the virus takes place  
16 in the cytoplasm of the cell that it infects.

17 THE COURT: We have the computer. Do you  
18 want to stop so you can --

19 MR. POWERS: I was, after all of this build  
20 up to it, I'm feeling this has got to be a really good  
21 slide show, so if we could --

22 THE COURT: Sure.

23 MR. POWERS: -- not to even go off the  
24 record.

25 THE COURT: Why don't we take a 10-minute

DR. KENNEDY, PhD - DIRECT

1 recess and let you get this set up.

2 MR. POWERS: I appreciate that, ma'am.

3 THE COURT: Court's in recess.

4 (Off the record.)

5 THE COURT: All right. We're back on the  
6 record in the Snyder Case, and Dr. Kennedy remains on  
7 the witness stand, and we have the computer program up  
8 and running.

9 BY MR. POWERS:

10 Q Okay. Dr. Kennedy, before we took that  
11 brief break to get the computer set up, we'd actually  
12 gone through slides 1, 2, and 3. And so in the  
13 interest moving things along, if you could go ahead  
14 and put Slide No. 4 up on the screen there. It's a  
15 slide that is the second slide in the series that you  
16 have entitled replication. And, go ahead, pick up  
17 your testimony and explain what's going on with this  
18 slide, please.

19 A Well, this slides talks specifically about  
20 the fact that this was a relatively unique group of  
21 viruses that contains it's own RNA transcriptase,  
22 which is used to generate the messenger RNA, which  
23 then becomes protein.

24 The RNA transcriptase is also packaged into  
25 the infectious virus particle at the end of the

306B

DR. KENNEDY, PhD - DIRECT

1 process when new virus progeny are formed. And it

307A

DR. KENNEDY, PhD - DIRECT

1 talks about how the positive strand of RNA serves as a  
2 template to generate more negative strand RNA to be  
3 packaged into the virus particles, that can then  
4 infect additional cells.

5 Q And what just flashed on the screen, so that  
6 we can have the court reporter keep up with it here,  
7 is page 5 of Exhibit No. 4.

8 A So this is page 5. And it talks again about  
9 replication as the process occurs, as protein is being  
10 made from the measles virus RNA. It talks about  
11 specifics, and the importance of the protein. So the  
12 M protein is necessary for assembly and for the virus  
13 to be released from the infectious cell.

14 The F protein, and we'll hear a lot about  
15 the  
16 F gene and F protein, is responsible for fusion of the  
17 virus envelope in the host cell membrane following  
18 attachment.

19 And the N protein is the nuclear protein, and it  
20 functions also in virus replication, and it's involved  
21 in, in encapsulating the genome RNA. So it kind of  
22 forms the first shell of the virus that protects the  
23 RNA.

24 Q And the fact that you have several slides up  
25 there describing replication, what's the significance

DR. KENNEDY, PhD - DIRECT

1 of replication, just very briefly at this point, in  
2 Colten Snyder's case.

3 A With the measles virus, replication occurs  
4 in a very orderly fashion from the left side of the  
5 genome to the right side of the genome. And we had  
6 talked about this before, that it's so orderly that  
7 proteins are produced in a specific order. And the F  
8 gene is one of the genes that's late in order. So if  
9 the F gene RNA is detected, the other RNA's have been  
10 produced, suggesting that the replication process is  
11 ongoing and that RNA is being made, and then protein  
12 can be made. So it's an orderly process.

13 Q Is this an orderly process that can be  
14 detected through laboratory testing?

15 A Yes, it can.

16 Q Okay. We'll talk about that in more detail  
17 as we go through your testimony, but I just wanted to  
18 make it clear to the Special Master why replication,  
19 at this point, is significant in the case.

20 So, let's go ahead and look at Slide No. 6  
21 to Exhibit 4. This is a slide that's called Types.  
22 And if you could describe what's going on with this?

23 A Well, the measles virus belongs to a group  
24 of viruses, which is called Morbilliviruses. And

25 //

309A

DR. KENNEDY, PhD - DIRECT

1 Morbilliviruses are very host-cell-specific and host-  
2 specific. So they infect only a certain host and not  
3 others. And the diseases they produce are somewhat  
4 similar, depending on the host that it infects.

5 So, for instance, in the Morbillivirus group  
6 is the canine distemper virus, it's a virus that  
7 infects dogs, foxes, snakes, wolves, and it's  
8 associated with a neurologic disorder. If we go down  
9 to the phocine and dolphin Morbillivirus, which infect  
10 seals, and dolphins, and porpoises, respectively, this  
11 is a, again, a virus that causes neurologic  
12 manifestations.

13 Rinderpest virus, on the other hand, which  
14 is also in the same group, which infects cattle,  
15 African buffalos, and yaks, and was a major problem in  
16 Africa, results in death as a result of infecting the  
17 gut and causing symptoms associated with the gut. It  
18 is not a neurotropic Morbillivirus, whereas measles  
19 virus, canine distemper, and the phocine and dolphin  
20 Morbilliviruses are.

21 Q And what is the significance of the  
22 neurological involvement of these types of viruses in  
23 the same family to this case?

24 A The fact that there are viruses, which are  
25 closely related but different in host specificity,

DR. KENNEDY, PhD - DIRECT

1 that can cause neurologic disorders, and can be  
2 isolated from the brains of infected animals.

3 Q Let's go ahead and move on to the next page,  
4 which would be page no. 7 to Exhibit No. 4.

5 A And simply, I'll talk a little bit about  
6 diagnosis, because diagnosing measles oftentimes does  
7 not require any laboratory or elaborate tests, it has  
8 very specific manifestations that are well known.

9 So oftentimes measles virus is easily  
10 diagnosed by certain clinical features such as fever,  
11 a particular type of rash, something called Koplik's  
12 spots, which occurs in the buccal mucosa. And there  
13 are other symptoms that are associated with measles  
14 virus that are very characteristic with the infection  
15 process.

16 Q And so then let's, if we continue on to page  
17 8, there's a visual discussion about diagnosis and  
18 presentation of measles, is that right?

19 A Yeah. So, this is essentially a child with  
20 Koplik's spots. And this slide was taken before HIPAA  
21 laws were in effect, so we don't have to blind his  
22 eyes. It appears about two days after the prodromal  
23 syndrome starts, and it's commonly associated with  
24 those white nodules you can see on the buccal mucosa  
25 underneath the tongue, and it lasts for one to two

DR. KENNEDY, PhD - DIRECT

1 days. This is very characteristic of measles and  
2 measles virus infection.

3 Q Let's go on to page 9 then, Dr. Kennedy. It  
4 looks as if we're moving away from clinical or  
5 symptomatic manifestations of measles virus into some  
6 laboratory issues, is that correct?

7 A Unless we want to look at the next slide  
8 which shows Koplik's spots with little, so --

9 THE COURT: We're still on slide 8, but  
10 you're looking at --

11 THE WITNESS: Slide 8, yes.

12 THE COURT: -- the bottom.

13 THE WITNESS: The bottom one, the bottom  
14 picture.

15 THE COURT: Okay. And that's the teeth and  
16 tongue?

17 THE WITNESS: The tongue with the white  
18 spots.

19 BY MR. POWERS:

20 Q And then, now we're on page 9, sorry about  
21 jumping the gun on the last slide there. But it does  
22 look, in this slide there's a moving from a discussion  
23 about clinical presentation to laboratory diagnostic  
24 work, again, the infections.

25 A And again, diagnosis of measles virus

DR. KENNEDY, PhD - DIRECT

1 infection can also occur in a laboratory. And there  
2 are two major methods that are used, serology and  
3 antibody detection. And those methods have been  
4 referred to as ELISA the inflammation, inhibition and  
5 the neutralization. And direct detection of the  
6 virus, even through cell culture and virus isolation  
7 and detection, and you'll hear about PCR, in this case  
8 for measles virus, reverse transcriptase, or RT-PCR.

9 Q Let's go ahead and move on to page 10 then.  
10 And what do see being described in, in slide 10 to  
11 Exhibit No. 4?

12 A Well, I think at issue here is the  
13 persistence of measles virus, and how does measles  
14 virus persist in a host. And there are a number of  
15 different viruses and virus groups that cause  
16 persistent infection. Some you're very familiar with,  
17 others not so familiar with. And my point here is  
18 that we really do not understand the mechanisms of  
19 viral persistence for a lot of the viruses.

20 For DNA viruses in the Morbillivirus group,  
21 an example is the human papilloma virus, which has  
22 been associated with cervical cancer. Probably one of  
23 the better studied viruses from a standpoint of  
24 persistence and how it maintains itself in a state  
25 that prevents it from being destroyed by the immune

DR. KENNEDY, PhD - DIRECT

1 system.

2 In some individuals that persistence will  
3 result in cervical carcinoma. In other individuals  
4 that are infected they will remain healthy and be  
5 quite fine for decades without any symptoms, any  
6 symptomology, any indication of infection other than  
7 detecting it by very sensitive techniques such as PCR.

8 And the same with the RNA viruses, so  
9 retroviruses include things like human  
10 immunodeficiency virus, and a neurotropic virus called  
11 HTLV-1, which has been associated with adult T-cell  
12 leukemia.

13 And in this situation these viruses can  
14 persist, a mechanism of persistence, is better known  
15 in a lot of viruses, because their replication cycle  
16 requires the stage where they integrate into the host  
17 genome. But, for instance, with HTLV-1, in a subset  
18 of individuals it will cause a neurologic disorder  
19 that's called TSP or tropical spastic paraparesis, and  
20 I'll give you the spelling for that.

21 So if you're latently infected with HTLV-1  
22 and it persists, in some individuals they can get TSP.  
23 In other individuals they'll get adult T-cell  
24 leukemia. In other individuals they'll be fine for 30  
25 to 40 years. So the mechanisms of persistence are not

DR. KENNEDY, PhD - DIRECT

1 well known.

2 Q And this issue of persistence and  
3 replication, that's significant in Colten Snyder's  
4 case because it's your testimony that the vaccine  
5 strain measles virus was replicating in his system and  
6 therefore persisting in his system long after the  
7 administration of the MMR vaccine, is that correct?

8 A That's correct.

9 Q And so if these, the context of persistence  
10 and replication are critical to understanding the  
11 presence of measles virus in Colten Snyder's body  
12 several years after his immunization, is that fair?

13 A Correct.

14 Q So let's go ahead and move on to the, to the  
15 next slide, which I believe is no. 11, Exhibit 4.

16 A Okay. And I've shown this slide during the  
17 Cedillo testimony, but I thought it was still relevant  
18 here in that how can a virus persist in a host when  
19 they have a functioning immune system. And the  
20 easiest way for viruses to persist is in an individual  
21 that's immunodeficient or dysfunctional.

22 And I give examples of immunodeficiency, or  
23 immunodysfunction in individuals that can either be  
24 caused by primary or inherited defects. And, for  
25 instance, one in 500 individuals in the United States

315A

DR. KENNEDY, PhD - DIRECT

1 have what's called a common variable immunodeficiency.  
2 And the most common variable immunodeficiency is the  
3 selective IgA deficiency.

4 There's also immunodeficiency, or  
5 immunodysfunction that can be acquired. Infection of  
6 the immune system is an example of acquired of  
7 immunodeficiency, HIV, human immunodeficiency virus,  
8 which causes AIDS, is an example. HTLV-1, which I  
9 just mentioned, causes to develop T-cell Leukemia and  
10 TSP. Measles virus, chronic malaria, are examples of  
11 immunodeficiency or immunodysfunction that is  
12 acquired.

13 Also things like heavy metal exposure,  
14 malnutrition, cancer, age. When we're young we don't  
15 have a competent immune system. And when we get old  
16 our immune system wanes. So it can result in a  
17 immunodeficiency or an immune dysregulation.

18 Q And if you recall from the presentation of  
19 the evidence in the Cedillo case, do you remember the  
20 testimony of Drs. Vasken Aposhian and Vera Byers?

21 A Yes.

22 Q And do you recall those two expert  
23 witnesses, both in their testimony and their report,  
24 describing in the Cedillo case the immunosuppressive  
25 //

316A

DR. KENNEDY, PhD - DIRECT

1 effect of mercury contained in pediatric vaccines. Do  
2 you remember that testimony?

3 A I remember reading and hearing about it,  
4 yes.

5 Q And so you're not here to offer testimony as  
6 a heavy metal toxicologist, but you would be relying  
7 on the testimony of those experts in Cedillo to  
8 identify opportunities for immune suppression in  
9 Colten Snyder, is that correct.?

10 A Yes, I only give testimony on heavy metal  
11 bands.

12 Q So aside from heavy metal bands, and relying  
13 on Dr. Aposhian and Dr. Byers, you also do talk about  
14 measles virus as a agent, so to speak, of immune  
15 suppression. I know we're going to get into the  
16 summary of your expert report in just a moment. But  
17 while we're on this slide, is it going to be your  
18 testimony that the measles virus immune suppressive  
19 effect was a factor in Colten Snyder's presentation  
20 here?

21 A I would certainly say yes, and more likely  
22 than not.

23 Q And when you say, more likely than not,  
24 that's to a reasonable degree of scientific  
25 probability?

DR. KENNEDY, PhD - DIRECT

1 A Correct.

2 Q Okay, let's move on then in to the summary  
3 of your expert report. And this is slide 12 to  
4 Petitioners' Exhibit No. 4. And I'll just ask you to,  
5 as we said early on, this was not to regurgitate the  
6 entire report, but distill it to bullet points, and  
7 I'm going to ask Dr. Kennedy to walk through some of  
8 these, and interrupt with some questions as we go so  
9 that we can move through this efficiently. So, Dr.  
10 Kennedy, if you could start off with a summary of the  
11 report you submitted in this case.

12 A Okay. So measles virus, and closer related  
13 viruses in the same subfamily and genus are  
14 neurotropic and can cause neurologic disorders and  
15 sequelae in humans and other species that they infect.

16 Q And I'm going to do my first interruption.  
17 What do you mean by neurotropic?

18 A That it infects cells from the central  
19 nervous system.

20 Q Go ahead.

21 A Measles virus and other Morbilliviruses can  
22 cause persistent infection and have been detected in  
23 cerebrospinal fluid, CSF, and the brain of individuals  
24 that exhibit neurologic disorders.

25 Q And when you say "persistence," that's the

DR. KENNEDY, PhD - DIRECT

1 process that you were referring to earlier in the  
2 slides, which is the continued presence of the virus  
3 in the body without destroying the host cells?

4 A Correct.

5 Q Okay. And then you have a final point on  
6 page 12.

7 A The measles virus infection and replication  
8 requires the presence of measles virus RNA.

9 Q And measles virus RNA is, again, what you  
10 described in the, it's a very distilled version of the  
11 formation and the replication of the measles virus in  
12 your slides, is that right?

13 A Correct.

14 Q So let's go ahead and keep moving through  
15 this summary. We're now at page 13 to Exhibit 4.  
16 Tell us a little bit about the immune dysfunction  
17 here.

18 A Immune dysfunction is a term that  
19 encompasses problems associated with a normal function  
20 of the immune response. Viruses in general do not  
21 persist in a host because the host has an ineffective  
22 immune response. Viruses in general persist in a host  
23 because of an ineffective immune response.

24 Q So in other words if a host has a fully  
25 functional and healthy immune system, the viruses that

DR. KENNEDY, PhD - DIRECT

1 are introduced would simply not persist, they would be  
2 eliminated from the body?

3 A In general.

4 Q And by not persisting, that means they  
5 would'nt be in the body replicating, correct?

6 A Correct.

7 Q So this really talks about there's no  
8 persistence and there's no replication in a body that  
9 has an effective immune response, is that right?

10 A For most viruses in general. There are  
11 examples where that's not the case.

12 Q Would it be your testimony that any of those  
13 examples are going to be relevant for the case here?

14 A No.

15 Q Okay. Well, we'll go on to slide 14 then to  
16 Exhibit 4.

17 A Okay. Again, there are a number of  
18 contraindications for administering the MMR vaccine,  
19 which are described in the Physician's Desk Reference.  
20 Adverse events involving the MMR vaccine have included  
21 neurologic disorders. Studies in immune dysfunctional  
22 children have shown measles virus replication based on  
23 a detection of measles virus RNA by PCR up to 60 days  
24 after clinical symptoms. Presence of measles virus  
25 RNA at multiple sites indicates an ineffective

DR. KENNEDY, PhD - DIRECT

1 clearance and a potential for persistence.

2 Q Now, in describing some of these studies and  
3 the adverse events, I know that in your expert reports  
4 in Cedillo and in this matter, you included  
5 bibliographies and citations, is that correct?

6 A Correct.

7 Q And your testimony in the summary is based  
8 on the citations and the references contained in the  
9 materials already on file in this matter, is that  
10 right?

11 A Correct.

12 Q So let's go ahead and move down to slide 15  
13 in Exhibit 4.

14 A The laboratory of Dr. John J. O'Leary, and  
15 his colleague, Dr. Orla Shiels, at Trinity College in  
16 Dublin, is highly competent and skilled at performing  
17 molecular-based techniques and molecular-based  
18 diagnoses.

19 Q And why is it significant in this case that  
20 Dr. O'Leary and his colleague are competent and  
21 skilled in doing the lab work we're going to talk  
22 about in detail?

23 A Because this is the group that diagnosed the  
24 measles virus RNA in Colten Snyder's CSF.

25 Q Continue.

321A

DR. KENNEDY, PhD - DIRECT

1           A     In particular, Dr. O'Leary continues to  
2     receive prestigious awards and honors for his work in  
3     Europe in molecular-based diagnosis, including the St.  
4     Luke's Medical Chair, which, St. Luke's Medal, which  
5     was given him to the Royal Academy of Medicine, and  
6     Endowed Chair in Pathology at Trinity College.

7           Q     And as far as you know, Dr. O'Leary  
8     continues to conduct PCR work, is that correct?

9           A     Correct.

10          Q     Continues to publish that work, is that  
11     correct?

12          A     That's correct.

13          Q     Work that he publishes is in peer-reviewed  
14     scientific journals, correct?

15          A     Yes, it is.

16          Q     Now, the last point of your slide describes  
17     PCR, if you could just tell us what you're talking  
18     about in this summary?

19          A     So PCR is a standard technique to  
20     demonstrate measles virus persistence. And it's an  
21     established technique used by a number of  
22     investigators in the field.

23          Q     And at this point would you like to explain  
24     to the Special Master a summary of what PCR involves?

25          A     Okay. So, in this case PCR, RT-PCR, reverse

DR. KENNEDY, PhD - DIRECT

1 transcriptase PCR, involves the detection of measles  
2 virus RNA in biological fluids. It's a standard  
3 practice. The differences that can occur are based on  
4 primer probe pairs.

5 We've heard in the past some concern about  
6 the techniques, contamination issues, primer design  
7 issues, probe design, false positive issues. And a  
8 number of other issues that are technical challenges  
9 to developing PCR methodology. And a competent  
10 laboratory can recognize these issues, and resolve  
11 these issues very rapidly.

12 Q We'll talk about that resolution of some of  
13 those issues in a moment. But let's then move on to  
14 slide 16.

15 A The detection of the measles virus RNA. The  
16 high levels of measles virus RNA detected in CSF  
17 samples of Colten Snyder at the time point when an  
18 effective MMR vaccine-induced immune response should  
19 have cleared the measles virus, indicates the measles  
20 virus RNA has amplified as a result of replication and  
21 persists in part of his body where it is not expected  
22 to be found.

23 Q And go ahead and continue. I'm going to  
24 have some questions getting back to the whole slide,  
25 but I want you to go through it first, please.

323A

DR. KENNEDY, PhD - DIRECT

1           A     Okay. The detection of measles virus RNA in  
2     the CSF supports evidence of viral persistence in the  
3     CNS and the brain. And then, similar to other  
4     neurotropic Morbilliviruses, the presence of viral  
5     persistence in the CNS and brain will result in  
6     neurologic disorders and manifestations.

7           Q     And the opinion that's summarized here on  
8     this slide, is this opinion, is this an opinion that  
9     you hold to a reasonable degree of scientific  
10    probability?

11          A     I do.

12          Q     I want to talk about high levels of measles  
13    virus RNA. What do you mean by, high levels. Is that  
14    equivalent to high count?

15          A     No, it's an inverse relationship.

16          Q     Okay. So explain.

17          A     So the high levels found in Colten Snyder  
18    were  $3.7 \times 10^4$  , copies per nanogram of RNA. And in  
19    studies that have been published using PCR, using  
20    real-time PCR, if you look at a lot of the figures in  
21    different papers where they start showing quantitation  
22    is 100 copies and above.

23                So 100 copies oftentimes is the base line  
24    used in figures of numerous papers. So this is well  
25    above that 100 count, and I would consider anything

DR. KENNEDY, PhD - DIRECT

1 above 1,000 relatively certain high positive.

2 Q And is it significant that the count you're  
3 looking at is in cerebral spinal fluid?

4 A Yes, I would not expect to find anything in  
5 cerebral spinal fluid.

6 Q And when you say you would not expect to  
7 find anything, that is you would not expect to find, a  
8 few years after immunization, you wouldn't expect to  
9 find any measles RNA in the cerebral spinal fluid, is  
10 that correct?

11 A No, I wouldn't.

12 Q And so now, we're not only seeing a little,  
13 or none, we're seeing a lot, is that correct?

14 A Correct.

15 Q What is the significance of that high level  
16 to you in forming your opinion in this case?

17 A I think that that high level is, gives me a  
18 high level of confidence that what they're detecting  
19 is measles virus that shouldn't be where it is.

20 Q And not just measles virus, but measles  
21 virus that would have come from the vaccine strain  
22 contained in the attenuated MMR immunization, is that  
23 right?

24 A Correct.

25 Q Now, evidence of replication and

325A

DR. KENNEDY, PhD - DIRECT

1 persistence, you've talked about the RNA and the PCR  
2 techniques that pick up the presence of RNA. That's  
3 been, in summary, what you've discussed as proof of  
4 the viral persistence, correct?

5 A Correct.

6 Q In addition to the presence of RNA as  
7 evidence of persistence and replication, what other  
8 evidence might you look for, and a laboratory look  
9 for, to determine whether a virus is persisting or  
10 replicating in a system?

11 A The presence of the virus at multiple sites.  
12 The presence of different genes from the virus,  
13 different gene products. The presence of protein from  
14 a virus. All these, to me, would be indication that  
15 replication had occurred.

16 Q And when you say the presence of proteins,  
17 are you familiar with literature that describes the  
18 presence of proteins as part of proof of replication  
19 of a virus?

20 A Yes, there's numerous publications in the  
21 literature. For RNA viruses an example would be  
22 Sindbis virus.

23 Q I'm sorry, Sindbis?

24 A Sindbis, S-I-N-D-B-I-S, Sindbis virus.

25 Q Okay.

DR. KENNEDY, PhD - DIRECT

1           A     That clearly shows the detection of protein  
2           and the presence of RT-PCR positive gene products in  
3           infected cells.

4           Q     So it's not just the RNA, because that,  
5           while it sounds like strong evidence, you now have  
6           combined the, the production of proteins?

7           A     Right.

8           Q     And these are proteins that can be  
9           identified in the case of measles virus in that  
10          orderly strain, is that correct?

11          A     Correct.

12          Q     So at some point, if you detect not just the  
13          RNA but actual protein products, at a certain point in  
14          the chain where those products are produced, you know  
15          that all the preceding products were being produced  
16          also, correct?

17          A     Correct. And if I could show my first slide  
18          in my appendix, it's a slide that you've seen before,  
19          but it gives an example of the orderly replication  
20          that I was talking about.

21          Q     And this would actually be slide 18, slide  
22          17 is the cover sheet for the appendix, so we're at  
23          page 18 of Exhibit 4. So Dr. Kennedy, go ahead and  
24          tell us what's going on in this --

25          A     And actually the Special Masters have seen

327A

DR. KENNEDY, PhD - DIRECT

1 this slide before. And it just shows the order of the  
2 measles virus genes as they're involved in  
3 replication, the production of more messenger RNA, and  
4 the production of protein. And the genes of interest  
5 are the F, the H, and the N. So the N is the first  
6 one to produce more N genome product should be, I'm  
7 sorry, and more. And more, can you hear me? Is this  
8 good?

9 THE COURT: Move it back just a little bit.

10 MR. POWERS: Just a little bit.

11 THE WITNESS: Okay. And the N should be the  
12 most abundant gene product, and also should be the  
13 most protein that one can detect in an infected cell  
14 where replication has occurred. So if you detect the  
15 F gene, the N gene, the P gene, and the M gene should  
16 also have been produced. And if you detect the H,  
17 then an F, M, P, and N should also be produced.

18 Q And it sounds, from your earlier testimony,  
19 not only should they be produced, but given the  
20 orderly replication process that goes on with measles  
21 virus as a matter of necessity, they would have been  
22 produced, is that correct?

23 A Yes.

24 Q Okay. And the idea that every preceding

25 //

DR. KENNEDY, PhD - DIRECT

1 gene in this sequence is a necessary precursor to the  
2 final one. That's well established in your field of  
3 expertise, isn't it?

4 A Correct.

5 Q So any testing then that would identify an F  
6 gene, for example, in a tissue sample would  
7 necessarily mean that N, P, and M had been produced  
8 sequentially before the F, correct?

9 A Correct.

10 Q Why is that significant in Colten Snyder's  
11 case?

12 A Because the F gene was detected.

13 Q And who detected the F gene?

14 A It was Unigenetics, the laboratory of Dr.  
15 O'Leary.

16 Q And this is using the reverse transcription  
17 PCR technology that you talked about?

18 A Correct.

19 Q And in my layperson's understanding, there  
20 are three processes that go on in PCR involving  
21 solution, Taqman and in-cell, is that correct?

22 A Correct, in situ.

23 Q In situ, thank you. I'd never take a chance  
24 at Latin without knowing how to say it. So those  
25 three are all designed to identify the RNA, is that

DR. KENNEDY, PhD - DIRECT

1 correct?

2 A Correct.

3 Q Now, you also mentioned the persistence and  
4 the presence of proteins. What is the process by  
5 which the proteins are identified?

6 A A classic mechanism is immunohistochemistry.

7 Q And when you say, classic mechanism  
8 immunohistochemistry is something that is fairly  
9 standard procedure in labs that use PCR to identify  
10 proteins, is that correct?

11 A Correct.

12 Q And in fact, that was the procedure that the  
13 O'Leary lab used, is that correct?

14 A Correct.

15 Q It's a also a procedure that the authors of  
16 the Uhlmann paper, that has been discussed at length  
17 in the Cedillo matter, that immunohistochemistry was  
18 used in the results that generated the Uhlmann paper,  
19 correct?

20 A Correct.

21 Q So, in the Uhlmann Paper, and in Colten  
22 Snyder's case, is it fair to say that there is  
23 evidence through PCR and immunohistochemistry, both of  
24 measles virus RNA and measles virus proteins?

25 A As I testified in the Cedillo case, that the

330A

DR. KENNEDY, PhD - DIRECT

1 detection of the N protein, which is described in the  
2 discussion of the Uhlmann paper, clearly indicates  
3 that replication had occurred and the infected cells,  
4 or infected samples being examined, were well on the  
5 way of producing additional virus particles.

6 Q And then the immunohistochemistry that's  
7 cited in the Uhlmann paper essentially confirms the  
8 findings of the three RNA methods, is that right?

9 A Correct.

10 Q I just want to make sure that's a fair way  
11 to describe the analytical approach to the laboratory  
12 results.

13 Now you were here yesterday, it seems like longer ago,  
14 but you were here yesterday I believe, and have seen  
15 in any case what's been labeled as Respondent's  
16 Exhibit AA, that was a letter from Dr. Oldstone to Dr.  
17 Ward that was filed in this case. Are you familiar  
18 with that?

19 A Yes, I am.

20 Q Okay. That letter describes some dispute  
21 between, apparently Dr. O'Leary and Dr. Oldstone about  
22 samples that Dr. Oldstone sent to Dr. O'Leary's lab  
23 for testing, is that correct?

24 A That's correct.

25 Q Are you familiar, through your personal

DR. KENNEDY, PhD - DIRECT

1 knowledge, outside of what you saw in that letter, are  
2 you familiar with the facts surrounding that dispute  
3 between the Oldstone and O'Leary laboratories about  
4 sampling?

5 A Yes, it was a very major part of the  
6 discussion that we had during our, during the visit to  
7 the U.K. with Orla Shiels.

8 Q And let's put a little bit of context on it.  
9 You said, visit to the U.K. with Orla Shiels. I know  
10 we talked about this in the Cedillo matter, but as  
11 briefly as you can, can you just give us some setting  
12 or, sorry, excuse me, some context to describe the  
13 setting where this meeting with Dr. Orla Shiels came  
14 up. In fact, Orla Shiels is a colleague of Dr.  
15 O'Leary's.

16 A Correct.

17 Q And Orla Shiels worked with Dr. O'Leary in  
18 his Unigenetics lab doing the PCR work.

19 A Correct.

20 Q And she is one of the co-authors on the  
21 publications that the O'Leary labs were, ultimately  
22 was used in.

23 A Correct.

24 Q So we're talking about Drs. O'Leary and Dr.  
25 Shiels, these are two folks working together in the

332A

DR. KENNEDY, PhD - DIRECT

1 same place on many of the same projects, correct?

2 A Yes.

3 Q Using the same technology?

4 A Yes.

5 Q Using the same facilities?

6 A Yes.

7 Q And using the same facilities that produce  
8 the data for the Uhlmann paper, as well as the lab  
9 results in Colten Snyder's case?

10 A Yes.

11 Q Okay. Just so folks have a clear idea as to  
12 who the players are here. So go ahead, and with that  
13 given, and describe, to the extent that you can, the  
14 interaction between these two labs that are at issue  
15 in the letter which we'll see in file.

16 A I was asked by a former colleague, John  
17 Marchalonis if I'd be interested in attending a meeting  
18 that dealt with measles virus adverse events, and the  
19 immunology and virology associated with it. And it  
20 was going to be held in the United Kingdom, and I  
21 said, sure.

22 The meeting turned out to be a meeting of  
23 the expert witnesses at the time that were being put  
24 together by Alexander Harris, based on some litigation  
25 that was ongoing in the U.K. And I went over and

333A

DR. KENNEDY, PhD - DIRECT

1 attended the meeting, had a good amount of time with  
2 Dr. Shields, and a number of other respected  
3 virologists, individuals that I didn't know  
4 personally, but knew by reputation through  
5 publication, et cetera.

6 And Dr. Shields presented the data that was  
7 being generated by the O'Leary lab. And it was a lot  
8 of discussion, and a lot of grilling, criticism,  
9 clarification, convincing, and discussion of how the  
10 O'Leary lab was doing their measles virus RT-PCR.

11 Q And in the course of those discussions, did  
12 this issue that Dr. Oldstone's and Dr. O'Leary's lab  
13 have disagreements about some of the findings on  
14 samples from Dr. Oldstone's lab, did that come up?

15 A Yes. At the time, Dr. Oldstone was  
16 developing a transgenic mouse model that contained the  
17 CD46 human host cell receptor for measles virus, and  
18 was looking to develop, develop a better mousetrap,  
19 per se, to examine aspects related to measles virus  
20 replication more mimicking the human situation.

21 Q And so, doctor, excuse me, not Dr. O'Leary,  
22 but Dr. Oldstone's lab then was handling a significant  
23 amount of measles virus material at that time, is that  
24 correct?

25 A Correct.

334A

DR. KENNEDY, PhD - DIRECT

1 Q And as part of the work that Dr. Oldstone  
2 was engaged in, apparently from the letter that we see  
3 in file, he sent samples that he believed to be  
4 negative to Dr. O'Leary's lab, correct?

5 A Correct.

6 Q Are you familiar with that?

7 A Yes.

8 Q Tell us what you know about, about that,  
9 please.

10 A Well, there was discussion on the inability  
11 to replicate certain measles virus detection in  
12 tissues from these transgenic animals that had been  
13 inoculated by various means with measles virus, either  
14 orally or intracardiac, or intraperineal.

15 And the argument led a number of us to say  
16 that, you know, maybe this is issues related to low  
17 detection, that you've got such low copy numbers you  
18 can't detect it, or a situation where something's  
19 coming up positive that should be negative. Is there  
20 contamination? Tell us about the contamination issue.

21 And we discussed in detail the possibility  
22 of contamination the way the O'Leary lab and  
23 Unigenetics handled the material gave, at least myself  
24 and a few others at the meeting, confidence that the  
25 contamination source was not the O'Leary lab, because

335A

DR. KENNEDY, PhD - DIRECT

1 they were not dealing with actively replicating  
2 measles virus. So they had either samples from SSPE  
3 individuals or they were using plasmids as controls  
4 that they had, which just contained partial sequences  
5 of measles virus.

6 Q So it sounds like the dispute here is that  
7 material from Dr. Oldstone's lab that he presumed to  
8 be negative for measles virus, some portion of those  
9 samples when sent to Dr. O'Leary's lab and were tested  
10 actually tested positive. Is that --

11 A Yeah, that's --

12 Q -- the dispute?

13 A Yeah.

14 Q And what you're saying is that you have a  
15 high degree of confidence that any potential  
16 contamination that might have generated a false, or  
17 not false positive, a contamination that might have  
18 occurred, you're confident it was not at Dr. O'Leary's  
19 lab, correct?

20 A I believe they actually resolved that,  
21 because Dr. Oldstone sent additional samples, and that  
22 those samples, when sent again, turned out to be  
23 negative. So there was some discordance between  
24 samples being sent, what was positive. It was clear  
25 that contamination had occurred.

DR. KENNEDY, PhD - DIRECT

1 And, you know, with contamination it's who  
2 contaminated it. You know, did it come from the  
3 source, or did the source who was doing the assay  
4 contaminate it.

5 I think the point that we were looking at  
6 was, if it got contaminated how could the O'Leary lab  
7 have contaminated it. And the other thing is that we  
8 felt it really wasn't a big issue because it was  
9 identified as contamination, and it was easily fixed  
10 and rectified.

11 Q And fixed and rectified at the O'Leary lab  
12 end of things, correct?

13 A Correct.

14 Q So it's entirely possible then that the  
15 reason the O'Leary was detected, was getting some  
16 positive low count results on samples from Dr.  
17 Oldstone's lab, is that those samples from Dr.  
18 Oldstone's lab in fact were not negative. Is that a  
19 possibility?

20 A That's a possibility.

21 Q And if they were not negative, that would be  
22 a result of contamination in Dr. Oldstone's lab,  
23 correct?

24 A Correct.

25 Q And it's entirely possible based on what you

337A

DR. KENNEDY, PhD - DIRECT

1 saw, and what you had experience with that that  
2 possible contamination of Dr. Oldstone's lab actually  
3 could be the reason that the O'Leary folks were  
4 finding positives in purportedly negative material?

5 A Correct. But it was also my understanding  
6 that they were working through the issues, and that  
7 indeed they had figured out what the issue was. It  
8 was contamination, one wasn't blaming the other, and  
9 that it had been resolved.

10 Q And you also mentioned that the, this, the  
11 issue of contamination seemed to come up based on copy  
12 numbers, or count.

13 A I think the issue was that no one had any  
14 problem with high copy numbers. So if the sample had  
15 high copy numbers there was not an issue. It was this  
16 low copy number window of, you know, is it positive,  
17 is it negative, that was really part of the issue and  
18 level of discussion.

19 Q And when you say there wasn't an issue  
20 involving high copy numbers, is it accurate to say  
21 that what, what you mean by that is that the  
22 Unigenetics Lab and the Oldstone Lab, analytically  
23 agreed on positives and, excuse me, on positives when  
24 they were high copies?

25 A I, at the time I was not sure that the

338A

DR. KENNEDY, PhD - DIRECT

1 Oldstone Lab was doing RT-PCR, they were doing more  
2 classical viral isolation techniques. And they wanted  
3 to look at very sensitive techniques to see if they  
4 could find measles virus replication in tissues where  
5 you wouldn't anticipate it would be in a mouse that  
6 was transgenic for the measles virus cell receptor.

7 So that's why the collaboration, my  
8 understanding is, between Oldstone and O'Leary  
9 occurred initially. But the issue had always been low  
10 copy numbers.

11 Q And your impression, again, is that when it  
12 was high copy numbers there was really no disagreement  
13 between the two laboratories, and the results were  
14 equivalent at the high copy numbers.

15 A No, and they had some, some of the tissues  
16 from the Oldstone transgenic mice had smoking numbers  
17 of, of high copies in the types of organisms they were  
18 examining.

19 Q And the results on those type of tissue  
20 samples would have been equivalent between the two  
21 laboratories when they did their testing?

22 A I'm not sure, again, if Oldstone was doing  
23 RT-PCR at the time for direct comparison. But  
24 certainly, the isolated virus through tissue  
25 culturings, you would anticipate that there's a, a

DR. KENNEDY, PhD - DIRECT

1 good amount of virus there.

2 Q And high copy numbers, you talked about for  
3 a few minutes, why do you think that the high copy  
4 number issue, that is these two laboratories that seem  
5 to be in conflict based on this, but it sounds like  
6 the two laboratories were actually in agreement on  
7 high copy samples. Why is that significant in Colten  
8 Snyder's case, the case that we're here about?

9 A Because Colten Snyder has high copy numbers  
10 of measles virus RNA.

11 Q I want to get off of that topic for just a  
12 moment and get you back into the presentation here and  
13 ask if there's anything else that you feel you need to  
14 talk about on page 18 that describes replication of  
15 measles virus?

16 A No, I think that the Special Masters have  
17 seen that slide, and probably have it better memorized  
18 than I do.

19 Q Okay. Well, let's go ahead and move on to  
20 the next page, which would be --

21 A And actually, those were just examples if,  
22 if the Respondent had questions from the standpoint  
23 of, I was anticipating some questions that might occur  
24 from the standpoint of PCR contamination, how you  
25 might rectify it. And the other one was just the

340A

DR. KENNEDY, PhD - DIRECT

1 course of how measles virus infects various human  
2 tissues.

3 Q And that will be slide 19. Please, let's  
4 just take a quick look at slide 19.

5 A Okay.

6 Q This is the one that's called measles virus  
7 pathogenesis. And I understand you have it prepared  
8 primarily to help guide folks through any issues on  
9 cross. Let's go ahead and just quickly summarize what  
10 you're showing on this, on this page.

11 A It's from a standpoint of infection, the  
12 infection with measles virus normally occurs through  
13 the respiratory tract. Then it goes to the lymph  
14 nodes, gets into the blood, and causes viremia, which  
15 is the presence of measles virus in the blood. To the  
16 reticula endothelial system, then it causes a second  
17 viremia, and this is also associated with the clinical  
18 symptoms, the fever, the rashes.

19 And then from the second viremia it can go  
20 to various places, like the central nervous system,  
21 the GI tract, blood vessels, urinary tract,  
22 respiratory tract, conjunctiva of the eyes. And these  
23 are all associated with a lot of the clinical symptoms  
24 that one can see during measles virus infection.  
25 Particularly when it's not controlled well.

DR. KENNEDY, PhD - DIRECT

1           Q     And understanding that you're not a  
2           clinician and a neurologist, there will be other  
3           discussions from other folks about what happens in  
4           this pathogenesis when the central nervous system and  
5           the GI tract are involved. So I think we'll just  
6           leave it at that and move on to the final slide  
7           because this talks about PCR contamination.

8                     And I'd like you to address the  
9           contamination issue a little bit, because a moment ago  
10          you described in your work over in the various  
11          meetings, and the conversations you were privy to in  
12          the U.K., issues about resolved, testing for resolving  
13          contamination issues, were they could either be  
14          anticipated or actually happened?

15          A     Contamination issues with PCR happen all the  
16          time in all the laboratories. And the point is to  
17          recognize contamination or actively, and fix it  
18          rapidly. And how do you recognize contamination? You  
19          recognize contamination by running the appropriate  
20          controls.

21                    And what I show here is an example of a  
22          contamination that happened in my laboratory. It's  
23          not with measles virus, it's with simian virus 40, a  
24          large tumor antigen, which is an issue that we're  
25          dealing with, finding it in places where it shouldn't

342A

DR. KENNEDY, PhD - DIRECT

1 be and issues of persistence where it shouldn't  
2 persist.

3 And in this instance we were looking at  
4 mesothelioma, biopsies from individuals that had  
5 mesothelioma. And there's a hypotheses that along  
6 with asbestos, SV40 may play a role. So if you take a  
7 look at panel A, this is an issue where we have  
8 obvious contamination.

9 What do I mean by obvious contamination? If  
10 you look at lane two, and you look at lane 10, lane 10  
11 is our positive control. You see that very bright  
12 band on lane 10? Lane two is our negative control,  
13 it's one of the negative controls that contains no  
14 template. So with no template no in there, there  
15 should be no amplification by the primers, and you  
16 shouldn't see a band. We see a band.

17 Now you look at lane three, another control,  
18 that's just a water. So we don't see anything there.  
19 But what we do see is if you look in lane seven, and  
20 you look in lane nine. And lane nine is baboon kidney  
21 cells, and baboons are notorious for being, harboring  
22 simian viruses.

23 You can see a positive for nine, and you can  
24 see a weak positive for seven, the mesothelioma  
25 samples. So that data would tell us that, yes, SV40

343A

DR. KENNEDY, PhD - DIRECT

1 plays a role in some, can be detected in some  
2 mesothelioma samples.

3 And that would be fine except our negative  
4 control is positive. So what did we do? Well, we  
5 have a normal process on how we fix this. And the  
6 first process is to change the water, blame it on the  
7 water.

8 And if you take a look at the panel B, and  
9 these are just agarose gels, the lane designations are  
10 the same, but you see in panel 10 the positive control  
11 is positive. Two, three, and four, lanes, which are  
12 our negative controls are all negative, you don't see  
13 any band. And the baboon kidney cells, and the three  
14 mesothelioma, four mesothelioma samples, five, six,  
15 seven, and eight, are all negative.

16 And it took us about eight hours to rectify  
17 that particular contamination. We've had others where  
18 the primers have been contaminated, or we got  
19 contaminated primers from other individuals, or we've  
20 had laboratory contamination of cells.

21 It is something that you have to be very  
22 diligent about. And anybody who's competent at doing  
23 this type of molecular diagnosis has the appropriate  
24 controls to know when contamination occurs, and  
25 contamination does occur.

Heritage Reporting Corporation  
(202) 628-4888

344A

DR. KENNEDY, PhD - DIRECT

1 Q And so it's not, some contamination does  
2 occur and is actually expected, is that correct?

3 A Yes.

4 Q And so the presence of contamination in and  
5 of itself doesn't meant that there's necessarily a  
6 problem with the lab, right?

7 A No.

8 Q What it means, and what you really have to  
9 look for then is whether the laboratory has  
10 procedures, protocols, and responses in place to deal  
11 with the anticipated contamination, is that right?

12 A Correct.

13 Q Based on your knowledge and your experience  
14 with the Unigenetics lab, can you describe for the  
15 Special Master your opinion on whether they met the  
16 proper standards for recognizing and dealing with  
17 contamination?

18 A They were as competent as any laboratory I'd  
19 ever seen, and probably more competent because of the  
20 issues that they were dealing with.

21 So they took special conditions, had special  
22 laboratory, special hoods, special processes, special  
23 procedures, to prevent issues related to  
24 contamination. And in, at this time it was  
25 specifically for measles virus. These days it's more

DR. KENNEDY, PhD - DIRECT

1 for diagnosis of various types of human cancers.

2 Q And it's also, it should also be clear that  
3 at the time that the O'Leary lab was doing this work  
4 not only were they observing the protocols that you  
5 just described, they were under scrutiny, and had  
6 people flying in from all over the world, including  
7 yourself, to specifically address these issues of  
8 contamination and how to deal with contamination,  
9 correct?

10 A I wasn't there so much to deal with the  
11 contamination as I was to evaluate the immune response  
12 and look at other aspects. It was just that I had  
13 some background in molecular biology and some of the  
14 technologies that I was asked to see in one of the  
15 presentation by Dr. Shiels.

16 Q So you were present and that created a  
17 confidence on what you heard that in fact they were,  
18 they were doing that, and doing it under fairly  
19 intense scrutiny, correct?

20 A And I watched all the other individuals who  
21 were in the room pretty much feel the same way.  
22 Although we did leave still arguing about low copy  
23 numbers.

24 Q But you left with no argument about high  
25 copy numbers?

DR. KENNEDY, PhD - DIRECT

1 A None whatsoever.

2 Q And high copy numbers are the issue in this  
3 case with Colten Snyder's CSF findings. Right?

4 A Correct.

5 Q Now, I believe there's been some, both  
6 testimony about, even argument from the Respondent's  
7 side that the O'Leary lab's work has, has never been  
8 replicated. Are you familiar with some of those  
9 arguments?

10 A Yes.

11 Q Do you have an opinion as to whether those  
12 arguments are correct as a matter of fact?

13 A There has been some replication of the data.  
14 At the meeting I was at I specifically knew of  
15 replication of data relative to high copy number  
16 positive in CSF from various individuals in the U.K.

17 Q And do you recall who any of those U.K.  
18 individuals were?

19 A As far as the, the specific patient or --

20 Q Or the folks that were doing the work.

21 A Oh, who the laboratory, you mean. So the,  
22 there was a large discussion about how are you doing  
23 the confirmation, you know, how sensitive, you're not  
24 participating with the large cohort that's, you know,  
25 doing the direct comparison. How are you confirming

DR. KENNEDY, PhD - DIRECT

1 this?

2 And Dr. Shields discussed a collaboration  
3 with a doctor who, Cotter (C-o-t-t-e-r). And I  
4 believe Dr. Cotter, at that time, was at the Well  
5 Hospital London, which is associated with the  
6 university, University College in London. Dr. Cotter  
7 was also a molecular biologist, a PCR expert.

8 And Dr. Cotter initially had some problems  
9 in replicating the work of O'Leary because of the  
10 number issues related to primers, primer design,  
11 sensitivity, et cetera. And what happened was that  
12 they went through the process of getting things to  
13 work again providing, synthesizing new primers,  
14 providing the primers electrolyzed, so he rehydrated  
15 them in his laboratory, and that he was able to  
16 reproduce the high copy number.

17 And I believe the reason that the O'Leary  
18 laboratory selected Dr. Cotter was that he was also  
19 someone who was interested in pushing the window on  
20 detecting low copy numbers.

21 Q And so in the, the work that Professor or  
22 Dr. Cotter was doing, he was using the same protocols,  
23 the same PCR protocols as the Unigenetics Lab?

24 A Yes, the Unigenetics Lab provided the  
25 primers, they provided the probes, they provided the

348A

DR. KENNEDY, PhD - DIRECT

1 samples. The only thing that they didn't provide was  
2 the isolation kits, and didn't provide the water. And  
3 water is important with this technology.

4 They sent samples from tissues of the  
5 transgenic mice infected with measles virus, among  
6 other things. And that's how the laboratories got up  
7 and in accordance. But it took a lot of effort.

8 Q And as they progressed through doing the  
9 work, and when I'm talking about they is Dr. Cotter's  
10 laboratory. As they progressed did ultimately, did  
11 they produce results that they could then compare to  
12 the O'Leary lab results?

13 A I believe so. During our conversations we  
14 did not see specifically Dr. Cotter's PCR methodology,  
15 we, there was discussion of it. There was discussion  
16 of the problems they had, there was discussion how  
17 they rectified it, and there were problems with how  
18 they were detecting, and how it was comparable. But  
19 we did not see the specific hard data.

20 Q You didn't see the data? And just to then  
21 make it clear, when you talk about the problems they  
22 had you're referring to the difficulties they had in  
23 getting the primers that would be efficient enough --

24 A Right.

25 Q -- and specific enough to do the PCR testing

DR. KENNEDY, PhD - DIRECT

1 on the samples?

2 A Correct. So they had to resynthesize the  
3 primers and probes.

4 Q And the probes?

5 A Yep.

6 Q And the probes were the ones that would have  
7 been used in the Taqman --

8 A Correct.

9 Q -- procedure. Okay. But aside from having  
10 to redo their primers and the probes, there weren't  
11 any other problems that you're aware of that either of  
12 these labs had in doing their, for layperson's terms,  
13 a validation study?

14 A Right. And essentially, I do remember them  
15 validating high copy numbers in CSF.

16 Q And is it your understanding that as copy  
17 number went up and got higher and higher, the results  
18 from the two labs actually converged and became more  
19 and more equivalent, is that fair?

20 A Correct.

21 Q Now, you're talking about things based on  
22 your knowledge. As far as you know, has any of what  
23 you've just described been reduced to writing  
24 anywhere?

25 A Yes. It's in the U.K. litigation files.

350A

DR. KENNEDY, PhD - DIRECT

1 Q And is there any reason that you're not able  
2 to show up here and present those writings to the  
3 Special Master in court?

4 A Yes, because it's my understanding the U.K.  
5 litigation, which is over, is sealed by a court order.  
6 So I am not free to produce any of those documents or  
7 documentation I may have.

8 Q And you're simply limited to testifying  
9 based on your personal knowledge, and the facts that  
10 became aware to you in the process of working in the  
11 U.K. litigation?

12 A Correct. And sometimes it's hard with all  
13 that knowledge not to, to put your own personal  
14 opinion in. But I'll try not to do that --

15 Q And avoiding personal opinion, again, to an  
16 expert opinion. And so based on everything that you  
17 said here today, would it be your opinion, to a  
18 reasonable degree of scientific probability, that the  
19 results in Colten Snyder's case generated by the  
20 O'Leary lab are scientifically credible?

21 A For the cerebral spinal fluid, yes.

22 Q And would you say for the cerebral spinal  
23 fluid, the cerebral spinal fluid presence of measles  
24 virus --

25 A Correct.

351A

DR. KENNEDY, PhD - DIRECT

1 Q And is it your opinion, to a reasonable  
2 degree of scientific probability, that vaccine strain  
3 measles virus persisted and replication in Colten  
4 Snyder's body from the time he got his MMR up through  
5 the time he had his lumbar puncture taken?

6 A Yes.

7 Q And is it your opinion, to a reasonable  
8 degree of scientific certainty, that the laboratory  
9 work that generated the results that you're basing  
10 that conclusion on are trustworthy and reliable?

11 A Yeah, you usually give awards and honors to  
12 individuals who are less than diligent, is a good  
13 term.

14 Q There has been at least in one of the  
15 Respondent's expert reports that referred to Dr. Ward,  
16 and there's a statement that contamination at the  
17 O'Leary lab was more plausible than the, it was a more  
18 plausible explanation for finding of measles virus in  
19 Colten's CSF than the actual presence of measles  
20 virus. What's your reaction to that?

21 A I would say then we should have seen measles  
22 virus in the blood sample.

23 Q But again --

24 A Didn't.

25 Q It's also been said in that report and in

DR. KENNEDY, PhD - DIRECT

1           Other places that the O'Leary labs have, that the  
2           fact that there might have been contamination of the  
3           O'Leary lab at some point invalidates the results and  
4           makes the prior results of any of the work the  
5           laboratory has done unreliable. What's your take on  
6           that issue?

7           A       Absolutely not. Contamination issues, and  
8           the other issues relative to PCR's specificity and  
9           sensitivity are dealt with daily. And a laboratory  
10          that's competent recognizes it rapidly and can fix it  
11          rapidly.

12          Q       And that gets back to your earlier point,  
13          and this is to a reasonable degree of scientific  
14          probability, that the O'Leary lab in fact can deal  
15          with contaminations in a scientifically appropriate  
16          way?

17          A       Exactly.

18          Q       And they could do it in a way that would  
19          make their ultimate results reliable because they  
20          wouldn't be relying on results where they hadn't  
21          applied the contamination protocol, correct?

22          A       Correct.

23          Q       And that's based on, again, your personal  
24          knowledge working with those folks and consulting in  
25          the U.K.?

DR. KENNEDY, PhD - DIRECT

1 A Correct.

2 Q There's also been testimony from the other  
3 side, in Cedillo and in reports in this case, that  
4 there's little evidence that vaccine strain measles  
5 virus is neurovirulent, what's your opinion on that  
6 statement from the Respondent's case?

7 A I guess I would argue that the MMR vaccine  
8 contains a attenuated version of the measles virus.  
9 But it's still capable of replicating, and it's still  
10 capable of doing the same sorts of things that the  
11 measles virus itself can do, albeit at potentially a  
12 lower level.

13 And there was a publication by Weibel in  
14 1998 in Pediatrics that discussed the adverse events  
15 reported for the MMR vaccine in, for the last, oh,  
16 from I believe '71, I probably have my dates wrong,  
17 for a 20-year period. And I believe there were 48  
18 cases of individuals that had adverse events, and  
19 those adverse events were associated with a neurologic  
20 sequelae.

21 Q Now we talk about neurologic sequelae in  
22 another point that's been raised by the Respondent's  
23 side and the folks they're relying on, is that the  
24 disease model of viral persistence, which doesn't fit  
25 your extrapolating from canine distemper and things

354A

DR. KENNEDY, PhD - DIRECT

1       like that just isn't applicable.  
2       Can you describe for the Special Master your response  
3       to that would be, but basically the disease model that  
4       you're describing, and that Dr. Kinsbourne has  
5       described, just doesn't fit here with the symptoms of  
6       this virus?

7             A       I would just say that animal models are an  
8       important component of any sort of research  
9       investigation into pathogenesis into developing new  
10      methodologies for detection, into looking into any  
11      aspect, be it vaccine efficacy, vaccine safety, new  
12      treatments, et cetera. And how close the model mimics  
13      the human situation is very important. One thing nice  
14      about the animal models is that you can do a brain  
15      biopsy on a canine distemper infected dog, and  
16      actually isolate canine distemper virus from the brain  
17      biopsy, which is something that's very difficult to do  
18      in humans.

19             And I think the important aspect is if you  
20      look at the situation with canine distemper, look at  
21      other situations that have been described in the  
22      literature, that when you've done brain biopsies, not  
23      everybody who had measles virus isolated, not every  
24      dog, was dead. So they were harboring the virus, it  
25      was causing some issues, but those issues were not

355A

DR. KENNEDY, PhD - DIRECT

1 fatal at the time.

2 So in 10 years, or a dog's life, you know,  
3 10 years in a dog's life, would that become fatal? It  
4 very well could, but we know so little about  
5 persistence, and we do know that if you can have  
6 persistence and have mild symptomologies, such as  
7 human papilloma virus and things like dysplasia, or  
8 you can have very major situations like cervical  
9 carcinoma that metastasizes and, you know, results in  
10 death.

11 So there are different degrees relative to  
12 what persistence can cause. And I think that the  
13 animal models suggest that MMR can be neurotropic and  
14 can do the types of things that we're seeing in Colten  
15 Snyder. It doesn't necessarily mean it does it in all  
16 individuals, but in certain situations I think that,  
17 that it's comparable.

18 And there are studies with, in nonhuman primate models,  
19 using MMR and using measles virus, and that very  
20 closely mimics the human situation.

21 Q So I, it sounds like from what you just said  
22 you would disagree with one of the statements and one  
23 of the expert reports that for the Morbilliviruses  
24 that appear in animals the appearance of symptoms that  
25 is virtually inevitable harbinger of death. You would

DR. KENNEDY, PhD - CROSS

1 not agree that that's necessarily the case in these  
2 animals or in conditions of all MMRs in humans?

3 A I would not agree with that statement,  
4 correct.

5 Q Well, Dr. Kennedy, we've covered a lot of  
6 ground today, it covers a lot of ground from Cedillo.

7 MR. POWERS: I'm going to go ahead and be  
8 done with my questions now, Special Master. I  
9 anticipate Respondent's going to cross and obviously,  
10 would have an opportunity to redirect if needed.

11 THE COURT: Thank you, Mr. Powers.  
12 Government?

13 MR. MATANOSKI: If you wouldn't mind, ma'am,  
14 if we could take our morning break now?

15 THE COURT: Sounds fine to me. How about we  
16 reconvene at 11:00.

17 MR. MATANOSKI: Thank you, ma'am.

18 THE COURT: Okay.

19 (Off the record.)

20 THE COURT: Okay, we're back on the record  
21 then in the Snyder case. Dr. Kennedy is on witness  
22 stand, and Ms. Babcock, you may begin cross-examining.

23 CROSS-EXAMINATION

24 BY MS. BABCOCK:

25 (Away from microphone.)

DR. KENNEDY, PhD - CROSS

1 Q Good morning, Dr. Kennedy.

2 A Good morning.

3 Q As Mr. Powers stated on your direct  
4 examination we obviously understand that you have been  
5 here before in this proceeding to testify. And so I  
6 will certainly attempt to not ask the numerous  
7 questions that have already been asked in Cedillo.  
8 Bear with me, because there's certain things that I do  
9 want to highlight just as we go along. Now measles  
10 virus has been the focus of your scientific research,  
11 correct?

12 A Correct.

13 Q And reading from your Texas Tech biography,  
14 your research focuses on AIDS, chronic hepatitis, and  
15 cancer.

16 A And non-human primate models.

17 Q Okay.

18 A Developing the immune system. That seems,  
19 in general.

20 Q And that was going to be -- while you have  
21 worked in the development of -- vaccinations, none of  
22 your work has involved the MMR vaccine, has it?

23 A No, it hasn't.

24 Q Most of your work is done on primates, as  
25 you just stated?

357B

DR. KENNEDY, PhD - CROSS

1 A Correct.

2 Q And in all of your publications there is one

DR. KENNEDY, PhD - CROSS

1 that deals with the MMR vaccine and the measles virus?

2 A That's correct.

3 Q And that's the 2004 review with Dr. Byers  
4 and Dr. Marchalonis?

5 A Correct.

6 Q We'll talk about that a little bit more  
7 later of course. Now you're not a medical doctor?

8 A I am not.

9 Q And you're not involved in the diagnosis or  
10 treatment of patients with measles virus?

11 A No, I'm not.

12 Q Okay. Now you talked about the  
13 Morbillivirus family on your direct, and do you agree,  
14 in general, there is some contention as to how often  
15 it's fatal? And generally once they've reached the  
16 brains of their natural host animal it usually results  
17 in death?

18 A I would say, in general, correct.

19 Q Okay.

20 A But not always.

21 Q Fair enough. Now you discuss canine  
22 distemper in your report. Measles virus is closest,  
23 most closely related to rinderpest, correct?

24 A Rinderpest, yes.

25 Q Rinderpest, okay, forgive my pronunciation.

358B

DR. KENNEDY, PhD - CROSS

1 And I believe you stated this in your 2004 paper  
2 rinderpest

DR. KENNEDY, PhD - CROSS

1 viruses have never been found in the CNS of a natural  
2 host.

3 A Correct.

4 Q And nor does it cause neurologic disorders  
5 -- or related sequelae?

6 A It's all, the pathogenesis is all gut-  
7 related.

8 Q Now we, you also talked a little more  
9 especially at the end, about the canine distemper  
10 virus. Would you agree that canine distemper is fatal  
11 in the majority of the time, and, especially highly  
12 fatal in puppies?

13 A Yes, although, in older dogs it's fatal, but  
14 it takes a long length of time.

15 Q But it is eventually fatal?

16 A Eventually fatal.

17 Q It's a much shorter period of time with  
18 puppies?

19 A Correct.

20 Q Okay. Now I want to talk a bit about  
21 measles virus replication, which was obviously in your  
22 slide presentation. In your report, on page four, you  
23 describe the different proteins involved including  
24 PCMV proteins?

25 A I can go to that.

359B

DR. KENNEDY, PhD - CROSS

1 Q It's actually just a general question --

2 A Oh, okay.

360A

DR. KENNEDY, PhD - CROSS

1 Q My notes have what page everything is on.

2 A Okay.

3 Q Is it still your opinion that the R protein  
4 is a truncated form of the P protein produced by  
5 ribosomal shifting?

6 A Let me see.

7 THE COURT: About five lines from the bottom  
8 of page 4 of your report, Dr. Kennedy.

9 THE WITNESS: The proteins, replication,  
10 there's possibility -- yeah the R protein is produced  
11 by ribosomal shifting.

12 BY MS. BABCOCK:

13 Q Okay, and is it a truncated form of the P  
14 protein?

15 A It's actually in a different bleeding frame  
16 so it's, that's, that's a nebulous term. I would say  
17 it's not truly a truncated form as you would, for  
18 instance, with the hepatitis viruses.

19 Q Okay.

20 A But it's, it's another protein that comes  
21 off that gene with a different mechanism, ribosomal  
22 shifting instead of coming off a different initiation  
23 of.

24 Q Okay. And it's your testimony today that  
25 that is involved in measles virus replication?

DR. KENNEDY, PhD - CROSS

1           A     That the R protein is involved in measles  
2     virus replication, no, the L is the catalytic site. I  
3     think my statement said the R protein, which is a  
4     truncated form of the P protein, is produced by  
5     ribosome frame shifting, and that the L protein that  
6     is the catalytic component of polymerase and is  
7     involved in RNA transcription and replication.

8           Q     Okay.

9           A     It's an accessory protein, Your Honor.

10          Q     Let me rephrase that. Which is your  
11     testimony that the R protein is, exists in the measles  
12     virus?

13          A     As far as its being a structural protein,  
14     you can find the RNA. Can you find the protein often?  
15     On occasion, it has been reported, but you have to use  
16     very sensitive techniques, which require radioactivity  
17     and radio labeling.

18          Q     And these are techniques that you don't use  
19     though, because measles virus isn't a primary focus of  
20     your --

21          A     I have used with other systems, like HIV.

22          Q     But not with measles?

23          A     Not with measles virus, no.

24          Q     Okay. Now on page 6 of your report, you  
25     stated that the inactivated form of measles virus has

DR. KENNEDY, PhD - CROSS

1       been reported to result in atypical form of measles  
2       that can occur two to 13 years after the  
3       administration of the inactivated vaccines. Page 6,  
4       middle of the paragraph, under the big one. Middle of  
5       the top paragraph.

6             A     Middle of the top paragraph, okay.

7             Q     Should follow along.

8             A     Okay. So, events, yeah, got it.

9             Q     Isn't this only the case with a person who's  
10       had an incidence of a wild type measles infection  
11       earlier in life?

12            A     Correct.

13            Q     So there isn't any evidence that Colten  
14       Snyder had a wild-type measles virus infection before  
15       the MMR vaccine, is there?

16            A     Not to my knowledge.

17            Q     Now you also mention the effects of a high  
18       dose live measles vaccine and mortality in girls, I  
19       believe this is the, perhaps the next line down  
20       actually. And hypothesize that the mechanisms could  
21       reflect an altered immune response or immune  
22       suppression. You don't have any actual data to  
23       support this, correct?

24            A     Actually, the recent data is so jumbled from  
25       the stand point of whether the high titer measles

362B

DR. KENNEDY, PhD - CROSS

1 virus component in

DR. KENNEDY, PhD - CROSS

1 MMR, in association with DTaP in association with  
2 the inactive polio virus, you know, is it a  
3 combination of that, is it DTaP, is it measles, is it  
4 kids in Kinshasa, Zaire, versus kids in Sudan.

5 So I think the recent publications that have  
6 come out in 2006, 2007, the real role of the high  
7 titer measles virus, does it set the situation up,  
8 does it require a combined event with another  
9 vaccination, is it a site specific event, it is very  
10 unclear relative to how that occurs.

11 Q So just to sort of rephrase, and tell me if  
12 I'm wrong, we don't know how if at all, measles is  
13 involved, or what immune mechanisms -- might be  
14 involved, if there are any at all?

15 A We don't know.

16 Q Okay. And of course this is not the vaccine  
17 that Colten Snyder received?

18 A It is not.

19 Q And furthermore, this is not a vaccine that  
20 has ever been administered in the United States?

21 A It has not.

22 Q Now wild measles, wild measles infection  
23 doesn't cause autism or ASD, or isn't known to cause  
24 autism or ASD, is it?

25 A So wild measles is not known to cause

DR. KENNEDY, PhD - CROSS

1 autism, I'm sure I can find in the literature where it  
2 says that it is hypothesized. But in my opinion, no,  
3 it does not.

4 Q Fair enough. Now, you talked a bit about  
5 HIV, both in your report and on your direct, is it  
6 fair to say that individuals with HIV are  
7 immunosuppressed?

8 A After they're infected, yes. And I would  
9 have to change that because the virus has been  
10 changing over time, probably becoming less pathogenic,  
11 at least in the United States cohorts. And I would  
12 say that the virus is evolving to the host.

13 Q You'd agree that MMR is routinely  
14 administered to children who are HIV positive?

15 A There is evidence that, let me answer your  
16 question first. Yes, it's administered --

17 Q Okay.

18 A -- to children that are HIV positive in the  
19 United States.

20 Q And in some other countries as well?

21 A Yeah, in some of those other countries  
22 there's now issues relative to that.

23 Q But in those situations, those children do  
24 clear the measles virus?

25 A They're delayed in clearance.

365A

DR. KENNEDY, PhD - CROSS

1 Q But they do clear the measles virus  
2 eventually?

3 A Actually, the last study I saw, which is an  
4 e-publication 2007 out of Griffin Lab, moved the  
5 clearance from the publication by Lamar in 2001 from  
6 60 days now to 90 days and greater. So I'm not sure  
7 if they got the final, is everything cleared by 90  
8 days, because it's still out there.

9 Q But don't you think if there was some  
10 indication that it couldn't be cleared that paper  
11 would have reflected that they just moved the time out  
12 a bit further?

13 A I think it's an ongoing study, that's, that  
14 would be my assessment. Because it, the conclusion is  
15 similar, but just the time period's longer.

16 Q Okay. And to turn that around, there's been  
17 no, there's no evidence that it doesn't clear?

18 A There is no evidence that it doesn't clear.

19 Q Now, you testified earlier that an  
20 individual with a properly functioning immune system  
21 should have no problem clearing the attenuated measles  
22 virus, correct?

23 A Correct.

24 Q In order to offer an opinion that MMR  
25 persisted in a child, do you need evidence if there

DR. KENNEDY, PhD - CROSS

1 was preexisting immune dysfunction?

2 A Not necessarily.

3 Q Have you reviewed the medical records for  
4 Colten Snyder?

5 A I reviewed Dr. Bradstreet's initial, what  
6 would I call it, his initial group of papers.

7 Q His reports or his medical treatment record?

8 A His filing system, how's that?

9 Q Okay.

10 A It was much nicer when the nurse went over  
11 it.

12 Q So is it fair to say that you are at least  
13 somewhat familiar with Colten Snyder's medical course,  
14 obviously, you were here yesterday --

15 A Yes, yes.

16 Q -- in the courtroom and listened to them say  
17 all the things. Realizing that you're not a clinical  
18 immunologist, taking into account the materials in  
19 this case, do you believe that Colten Snyder had any  
20 evidence for immune dysfunction and immune suppression  
21 prior to receiving his MMR vaccination?

22 A I would say that there was some indication  
23 that it might have been possible.

24 Q And what specifically are you relying on  
25 when you say that?

367A

DR. KENNEDY, PhD - CROSS

1           A     Some reoccurring infections that appeared to  
2     occur, and some of the, the selective IgA that was  
3     just one point, but it's, it's not hard evidence but  
4     it's suggestive.

5           Q     Okay. So then it's your opinion that Colten  
6     Snyder did have evidence of, or could have had  
7     evidence of a preexisting immune -- dysfunction?

8           A     Could have, there is some suggestions.  
9     Although Dr. Bradstreet was clear to say he didn't.  
10    So I would have to go back to what Dr. Bradstreet's  
11    opinion was, because I didn't get a chance to, there  
12    were things that were missing that would have  
13    strengthened any suggestion I might have that weren't  
14    available in the reports.

15          Q     Okay. So you would defer to Dr.  
16    Bradstreet's opinion on -- that issue?

17          A     Absolutely.

18          Q     And so, the fact that when Dr. Bradstreet  
19    reclarified that on cross-examination in his 2004  
20    paper, that Colten Snyder was child-free, or he  
21    clearly stated that he did not think, he thought this  
22    was a new onset of the immune dysfunction, so you  
23    defer to him --

24          A     I, yeah.

25          Q     That there was new onset?

DR. KENNEDY, PhD - CROSS

1 A Yes.

2 Q And on page -- just to, okay, I'm going to  
3 ask you now, I won't ask that question now, never  
4 mind. Do you believe that Colten suffered from  
5 clinically relevant immune suppression after his MMR  
6 vaccine?

7 A I'm going to defer to Dr. Bradstreet on  
8 that. Again, as I say, the records that I had to look  
9 at were not in very good order. So it was kind of  
10 difficult for me to put a timeline together.

11 Q Okay.

12 A But his testimony yesterday, you know,  
13 clearly, it was his opinion that Colten was indeed  
14 immunosuppressed after the MMR administration.

15 Q Okay. So in general then case-specific --  
16 conclusions -- taking Unigenetics out of it,  
17 obviously, but in terms of Colten, what might have  
18 happened before or after you're going to defer to Dr.  
19 Bradstreet, you're not offering an opinion today --

20 A No, no.

21 Q -- about that?

22 A No. My opinion was just meant in general  
23 terms on how viruses can persist.

24 Q Okay. Now I noticed that you added a new  
25 section to your paper, in comparing it to the last one

DR. KENNEDY, PhD - CROSS

1 in Cedillo. It's the second full paragraph on page 6.

2 A Okay.

3 Q Now twice in that paragraph you cite to  
4 Diane Griffin's chapter in Field Virology, correct?

5 A Yes, 2001.

6 Q Okay. And you were here during this,  
7 actually, you know what, let me back up. In general,  
8 do you think that MMR can cause clinically relevant  
9 immune suppression following vaccination?

10 A That was an excellent discussion that  
11 occurred with Dr. Griffin at the Cedillo, and I do.

12 Q You do?

13 A Yeah.

14 Q Okay. And --

15 A I think the fact that clearly there is a  
16 loss of DTH activity, delayed-type hypersensitivity,  
17 specifically for skin testing, that in me, in my mind,  
18 that suggests that there is a pretty good form of  
19 immune suppression.

20 Q Now let me be clear, by asking about  
21 clinically relevant I'm not referring to transient  
22 changes that then go away, is that still, is your  
23 answer still yes --

24 A Okay. From a, so you're talking, you're  
25 talking whole body --

DR. KENNEDY, PhD - CROSS

1 Q Yes.

2 A -- clinically relevant, not --

3 Q That were --

4 A I would say a combination of everything  
5 going on could have a high potential to cause  
6 clinically relevant. The individual would be more  
7 susceptible to other events.

8 Q Which is essentially what you said in your  
9 new paragraph. Now you, as you just said, you were  
10 here during Diane Griffin's testimony in Cedillo?

11 A Yes.

12 Q And I believe you acknowledged during  
13 Cedillo you certainly wouldn't question her knowledge  
14 of the measles virus --

15 A Absolutely not.

16 Q Or the -- the MMR vaccine?

17 A Absolutely not.

18 Q So does it surprise you then that she very  
19 clearly stated during her testimony that she did not  
20 believe MMR, attenuated measles virus, causes any  
21 clinically relevant immune suppression or -- following  
22 vaccination.

23 A In light of her 2001 chapter, a little bit.

24 Q Now of course she gave her testimony in June  
25 of this year --

370B

DR. KENNEDY, PhD - CROSS

1           A    Correct.

371A

DR. KENNEDY, PhD - CROSS

1 Q -- so that of course reflected her opinion  
2 as of June 2007?

3 A Correct.

4 Q And you have no reason to disagree.

5 A I would say that, you know, she's the  
6 expert. I'm certainly going to defer to her on those  
7 situations, but I have a minor disagreement with that,  
8 yeah.

9 Q Now, is it also true the Colten Snyder had  
10 measles virus antibodies in his blood, correct?

11 A I was not able to find that aspect.

12 Q Okay. Is Petitioners' Exhibit 207 --

13 A Okay.

14 Q -- page 1 is Dr. Singh's first testing. Do  
15 we have a copy --

16 A Can I get a copy of that? Maybe I don't  
17 need it. Let's go with the question.

18 Q It was just my question that he did have  
19 evidence of an immune response, he had an immune  
20 response -- following the MMR?

21 A Okay, if he had a, yeah, okay.

22 Q That was the extent of it. You'll accept  
23 that it exists in medical records Dr. Singh shows an  
24 IgG --

25 (Multiple voices.)

371B

DR. KENNEDY, PhD - CROSS

1           A    If you guys tell me --

372A

DR. KENNEDY, PhD - CROSS

1 Q Was seropositive for IgG

2 A -- it's in there, that's fine, I'm good.

3 Q Okay.

4 MR. POWERS: Excuse me, which exhibit was  
5 it, again?

6 MS. BABCOCK: Exhibit 207, page 1. The  
7 measles virus was not --

8 THE COURT: Provide it to, just go ahead and  
9 provide it to them, let him take a look at it.

10 THE WITNESS: Yes, according to Dr. Singh,  
11 has IgG measles virus antibody from his reference  
12 laboratory, okay.

13 THE COURT: And that's the specimen dated  
14 3/8/00.

15 BY MS. BABCOCK:

16 Q So, again, you would agree that there was an  
17 immune response, Colten Snyder had an immune response  
18 following his MMR vaccine?

19 A Yes.

20 Q To the extent that he had measles antibodies  
21 in his IgG?

22 A Correct.

23 Q And do you know of any published literature  
24 to support your theory that MMR vaccine causes immune  
25 suppression that allows the measles virus to persist?

DR. KENNEDY, PhD - CROSS

1           A     I'm sorry, ask that question again? I  
2     thought you asked something different so I was on a  
3     different, different focus.

4           Q     I changed directions on you --

5           A     Okay, yeah.

6           Q     Do you know of any published literature to  
7     support your theory that the MMR vaccination causes  
8     immune suppression which allows the measles virus to  
9     persist?

10          A     I would say that with certain conditions,  
11     like MIBE, is that what you're asking or --

12          Q     No, I'm actually asking the postulated  
13     theory here, you know, that allows the measles virus  
14     to persist and result in ASD?

15          A     So I guess what I'm confused at, is there  
16     are instances where measles virus, you get a response,  
17     an antibody response, it's not controlled, and then it  
18     causes neurologic issues.

19          Q     And those two issues would be SSPE and MIBE?

20          A     MIBE is one, and SSPE is a little too long  
21     for me, I like shorter stuff.

22          Q     Okay.

23          A     There's issues of a ataxia being caused, of  
24     other issues. But again that's not, that's in the  
25     presence of immune response. Well, let me let you ask

DR. KENNEDY, PhD - CROSS

1 your question. I'm trying to --

2 Q I'll ask it again.

3 A Okay.

4 Q Any published literature to support the  
5 theory that measles virus, attenuated measles virus  
6 from MMR vaccine causes immune suppression which then  
7 allows the measles virus to persist, with the  
8 exception of SSPE and MIBE?

9 A Then I would defer to the, the Pediatrics  
10 1998, Weibel, where there were a number of  
11 indications, I believe 48 children that had issues  
12 that were in some sort of neurologic issue of ataxia,  
13 things like that. So that, it isn't specifically a  
14 report that those kids were immunosuppressed, but they  
15 didn't handle the virus and the virus caused  
16 neurologic issues.

17 Q So you're relying on Dr. Weibel's paper for  
18 that?

19 A Weibel is an example, there's probably some  
20 others. ter Meulen -- if I can bring in an animal,  
21 animal models --

22 Q I'd rather that you used humans or --

23 A Okay, sorry. That's an example of one that  
24 I'm --

25 Q Okay, that's the one that you can think of?

375A

DR. KENNEDY, PhD - CROSS

1           A     Right.  And again, I would say MMR can cause  
2     immunosuppression, MMR can cause neurologic events.  
3     Therefore, immunosuppression could play a role in  
4     those events using a, A is B, to B is C so  $A + B = C$ .

5           Q     You know that math scares lawyers.  Now go  
6     back now to the MMR can cause immune suppression, I  
7     don't mean to beat a dead horse with this, but you  
8     said that Diane Griffin -- you might have a small  
9     disagreement with her, but generally you deferred to  
10    her as to her --

11          A     Right.

12          Q     -- opinion on that issue?  Okay.  Now do you  
13    think in order, in order for you to think more likely  
14    than not that the measles virus has persisted, do you  
15    need a finding, and caused ASD, do you need a finding  
16    of measles virus RNA?

17          A     Yes.

18          Q     Now, you've talked, in your report and,  
19    well, at some length today about Unigenetics.  
20    Obviously, it's clear that you use PCR technology.  
21    Would you agree that PCR is highly sensitive?

22          A     Very.

23          Q     And if the operator is less than diligent  
24    you can invalidate results?

25          A     Absolutely.

DR. KENNEDY, PhD - CROSS

1 Q And would you also agree that the only  
2 laboratory evidence here of the measles virus  
3 persistence is the testing done out of Unigenetics?

4 A The one that was presented for this case is  
5 Unigenetics, yes.

6 Q And Dr. Singh's lab was unable to find the  
7 measles virus in Colten Snyder's CSF. Were you aware  
8 of that?

9 A No, I'm not aware of that.

10 Q Do you want me to bring that, I think that's  
11 Exhibit 207, page, do we have evidence 207, page 2  
12 here, that one?

13 A And Dr. Singh's the individual from Utah  
14 State?

15 Q Yes, the one who tested, also tested for the  
16 IgG.

17 A Okay. I'm not aware that Dr. Singh's  
18 laboratory does molecular-based diagnosis, I believe  
19 he's all serology. He's the one that did the anti-  
20 myelin basic protein?

21 Q yes.

22 A I'm not familiar that his laboratory does  
23 anything like that.

24 Q He tested the CSF, if you want me to show  
25 you.

DR. KENNEDY, PhD - CROSS

1 A Well, it, you can --

2 THE COURT: It was, yes, Ms. Babcock --

3 MS. BABCOCK: I apologize.

4 THE COURT: -- you're looking for two  
5 different, we're looking for apples and oranges here

6 that, both are fruits but --

7 MS. BABCOCK: But in the fruits they are  
8 so --

9 THE COURT: They're both in the fruit  
10 family, yes.

11 THE WITNESS: I can't --

12 (Multiple voices.)

13 BY MS. BABCOCK:

14 Q Would you --

15 A Yes, I think --

16 Q -- and --

17 A -- he found it in --

18 Q -- and tested for antibodies in CSF, he did  
19 not find any.

20 A Yes.

21 Q Now there were no, there is no discussion of  
22 immunohistochemistry in Colten Snyder's laboratory  
23 results, was there?

24 A Not to my knowledge. But there was general  
25 discussion of laboratory observations on CSF from

DR. KENNEDY, PhD - CROSS

1 "unknown individuals" in that U.K. discussion.

2 Q But not for Colten Snyder's results?

3 A I'm not, I wasn't aware of the names. I  
4 would assume, you know, they talked in numbers. You  
5 know, 48 positive CSF, you know. We looked at, I  
6 think, eight U.K. kids. So, and not all of them were  
7 CSF positive.

8 Q Now, there is no indication that Colten  
9 Snyder's test results were vaccine strain measles  
10 virus, is there?

11 A I believe they did a discrimination, but I'm  
12 not 100 percent sure on Colten Snyder. Again, that  
13 was in that -- it's unfortunate that my, to answer  
14 your question I'm, anyway, to my knowledge, with what  
15 I'm allowed to talk about, is that there was allelic  
16 discrimination on CSF from multiple individuals. I'm  
17 not sure if Colten Snyder was one of those  
18 individuals.

19 Q Well, what I'm talking about right now is  
20 for the purposes of material that were filed with the  
21 Court, the Unigenetics lab results. Those weren't --

22 A There is no indication that there was a AD  
23 discrimination, I mean, allelic discrimination.

24 Q Okay. Now again, is it an important  
25 principle in PCR to demonstrate repeatability and

378B

DR. KENNEDY, PhD - CROSS

1 concordance when analyzing

DR. KENNEDY, PhD - CROSS

1 samples?

2 A Absolutely.

3 Q And this especially true if you have a low  
4 copy number?

5 A Absolutely.

6 Q And this is because a low copy number often  
7 results, hovers around detection limits.

8 A Yes.

9 Q Is there any evidence, based on what's been  
10 filed in the Court here today, that Unigenetics  
11 attempted to do this with respect to Colten Snyder's  
12 samples, to repeat the testing to demonstrate  
13 repeatability -- and concordance.

14 A No, but based on my knowledge, there's  
15 nothing in the written documents that you have. But  
16 based on my knowledge of the laboratory, with the gut  
17 issue being 7, I would suspect that that was repeated  
18 at least a second time.

19 Q And actually moving on to that there was a  
20 gut issue, you just walked right into my next  
21 question. You agree that Unigenetics has not  
22 convincingly demonstrated that there was measles virus  
23 vaccine in Colten Snyder's gut, correct?

24 A I am, that is one that is in the, a low  
25 range for me. And the only reason I say I believe it

DR. KENNEDY, PhD - CROSS

1           might be a low positive is because the blood was  
2           negative. So if it's contamination, it should have  
3           been in the blood also. And they run the samples at  
4           the same time, concurrently. So I would say that I'm  
5           more positive about that 7 copy number if I had a 7  
6           copy number in blood. Does that make sense?

7           Q       Yes, although, I mean, you could also have  
8           measles virus in blood if it's, the theory is that  
9           measles is going from the gut to the brain.

10          A       Yeah.

11          Q       Correct?

12          A       Yeah.

13          Q       So it kind of cuts both ways.

14          A       Yeah, but I would argue that that is a low  
15          copy number, and I would rather err on the  
16          conservative side, so I would say that is a low  
17          positive or a indeterminate.

18          Q       Now, let me just quote from your report,  
19          there were very low copy, I'm sorry, I want to see,  
20          page 9. I believe your line was that, it had very low  
21          copy numbers and was considered indeterminate for the  
22          presence of measles virus at this site.

23          A       That's my opinion.

24          Q       That's your opinion. It's indeterminate,  
25          and you can't say whether there was measles virus --

381A

DR. KENNEDY, PhD - CROSS

1           A     I am uncomfortable in saying that it's  
2           absolutely positive, yes. With my scientific 99.99  
3           percent certainty, I would have a hard time saying  
4           that that is anything but indeterminate.

5           Q     Well, you obviously know our, our standard  
6           here was more likely than not. So I presume you wrote  
7           that with that in mind?

8           A     No. I wrote it in my scientific mind,  
9           sorry.

10          Q     So a very low copy number that hovers around  
11          the limits of a PCR level of detectability, are you  
12          saying that it's more likely than not that measles  
13          virus was present in Colten Snyder's gut?

14          A     And that's 50 percent or 51 percent?

15          Q     50.1 --

16          A     50.1, okay.

17                THE COURT: 50 percent and a feather, Dr.  
18          Kennedy.

19                THE WITNESS: Yeah, 50 percent and a  
20          feather. You know, that's, I don't like going that  
21          low. So I would just rather leave this indeterminate.  
22          And I would refer back to Dr. Bradstreet who had the  
23          clinical stuff. I could give you reasons why it was  
24          that low, could be that low if I wanted to tell you it  
25          was positive, but we don't need to go there.

DR. KENNEDY, PhD - CROSS

1 Let's just say it's indeterminate.

2 BY MS. BABCOCK:

3 Q And I just want to turn to your slide  
4 presentation slide 14. There's a point here where you  
5 say, point 4, copies of measles virus RNA at multiple  
6 sites indicates an ineffective clearance, and a  
7 potential for persistence. Is there, at least with  
8 respect to Colten Snyder, you are comfortable saying  
9 today, you do not have presence of measles virus at  
10 multiple sites?

11 A As Colten? I'm concerned just about the CSF  
12 of Colten.

13 Q Okay. So here, with Colten Snyder, we do  
14 not have presence at multiple sites?

15 A I would say it's indeterminate in the other  
16 site, yes.

17 Q So we can't apply this fourth point to  
18 Colten Snyder?

19 A That fourth point, actually, I would not use  
20 it for Colten Snyder. I only use it for transgenic  
21 mice, and I will use it for other situations, but not  
22 for Colten Snyder.

23 Q Okay. Now I want to go back to PCR, if you  
24 were to run the test that Unigenetics did on Colten  
25 Snyder's gut, blood, and CSF in your own lab, about

382B

DR. KENNEDY, PhD - CROSS

1           how

DR. KENNEDY, PhD - CROSS

1 much would the materials cost you? Assuming, I'm not  
2 including lab equipment, just the materials to run the  
3 test?

4 A Probably, from the single test, or are you,  
5 usually buy in bulk, so you want me to kind of --

6 Q Yes, I don't want that, just --

7 A 50 bucks.

8 Q 50 bucks --

9 A It'd be 50 U.S. dollars, if you automated  
10 and, but you're not talking about the cost of  
11 equipment or any amortization or anything then, all  
12 the accountants will have to do to come up with a  
13 justified price?

14 Q I don't know.

15 A Just supplies and --

16 Q Just your supplies.

17 A Yeah.

18 Q About \$50?

19 A And that's not technical time, that's not  
20 development time, that's just, yes.

21 Q You mentioned during your testimony in June  
22 that you understood Unigenetics to be a for-profit  
23 laboratory created by Professor O'Leary?

24 A That was my understanding.

25 Q Is it, would it surprise you then to hear

384A

DR. KENNEDY, PhD - CROSS

1 that the Irish companies registration office listed it  
2 as a private company limited by shares?

3 A No, that wouldn't surprise me.

4 Q And Dr. Bradstreet discussed it briefly  
5 yesterday, and it's also in the medical records, but  
6 are you aware that Unigenetics was charging a thousand  
7 Irish pounds to run the test? Petitioners' Exhibit 12  
8 at 426

9 A For a specialized test that doesn't surprise  
10 me?

11 Q A thousand dollars or a thousand pounds?  
12 I'm not going to try and do that math conversion  
13 because that --

14 A You know, people do different tests, I mean,  
15 individuals have sold a, a milligram of a monoclonal  
16 antibody that cost them, you know, \$60 to produce for  
17 \$10,000. So there are worse mark-ups than that.

18 Q But fair to say they were making a  
19 substantial profit, Unigenetics?

20 A Oh, yeah.

21 Q And do you have any idea of how many samples  
22 Dr. O'Leary tested while Unigenetics existed?

23 A I would say quite a few. So, at that price  
24 it's probably a pretty good, pretty good profit.

25 Q Now you said here today and in Cedillo that

384B

DR. KENNEDY, PhD - CROSS

1 part

DR. KENNEDY, PhD - CROSS

1 of the reason you're confident in the test results is  
2 due to the extremely high copy numbers?

3 A Yes.

4 Q Fair to say you have confidence for the same  
5 reason in Colten Snyder's case with the CSF at least?

6 A Yes.

7 Q In determining the copy numbers of measles  
8 virus present in each sample, it's a calculation  
9 involving the virus and the housekeeping gene,  
10 correct?

11 A Correct.

12 Q Which was GAPDH?

13 A Correct.

14 Q The Unigenetics test? And if there were  
15 errors in the way the GAPDH was calculated you would  
16 also have errors in copy numbers, correct?

17 A Correct, but it was not, also my  
18 understanding, and I will come back to this, that they  
19 ran a standard using their different positive controls  
20 to determine standards. The GAPDH was more for the  
21 integrity of the, the RNA, and to normalize --

22 Q Okay.

23 A -- the runs.

24 Q So if your test found that they weren't  
25 doing a calculation every time, is that what I'm

DR. KENNEDY, PhD - CROSS

1 understanding --

2 A No. So, in other words, my understanding is  
3 the GAPDH was used as a standard housekeeping gene for  
4 -- RNA and for essentially normalizing each run, that  
5 knowing that you're loading a similar amount of that.  
6 So the calculation does involve the amount of GAPDH  
7 that's picked up, but it also involves a standard  
8 curve that's run on the specific gene product that  
9 you're looking at, which is usually the, a clone, a  
10 PCR clone product into a plasmid.

11 Q Okay. And we're kind of saying the same  
12 thing you're just a little more -- now earlier today  
13 you discussed that you're, you've just been in a  
14 meeting, you were a consultant during the U.K. MMR  
15 litigation?

16 A Yes.

17 Q Who was at that meeting?

18 A Richard Tedder, Steve, geez, I just saw him  
19 about three weeks ago, he's in the National Institutes  
20 of Neurologic Science, works on HTLV-1. I can picture  
21 him, I can't remember him. Steve, there were six or  
22 seven of us. The names Marchalonas, Dr. Marchalonas  
23 was there, there were, there was a pediatrician from  
24 the University of Washington, Washington University.  
25 I believe I may have mentioned Steve's last name in

DR. KENNEDY, PhD - CROSS

1 the Cedillo trial.

2 Q Do you know what their backgrounds in  
3 measles virus was, I'm talking about the whole  
4 collective group?

5 A No, they were just general virology types  
6 that were familiar with PCR technology.

7 Q So was there anyone there who added a  
8 particular expertise on measles virus?

9 A To my knowledge, I am not sure of Dr.  
10 Tedder's publication record, but certainly he ran a  
11 clinical laboratory that probably did measles virus  
12 isolation, and was, he has a clinical laboratory  
13 familiar with protocols and procedures. I can't state  
14 that he's published on the measles virus.

15 Q So to your knowledge there is, probably most  
16 of them just have expertise in PCR, not with the  
17 measles virus and probably --

18 A Right. Virology, molecular diagnosis, PCR,  
19 persistence. So kind of the general, general GAPDH,  
20 if you'll have it.

21 Q How long was the meeting?

22 A So the meeting was over a three- to four-day  
23 period, though a specific moment will last about six  
24 hours.

25 Q Was Dr. O'Leary at that meeting?

388A

DR. KENNEDY, PhD - CROSS

1 A No, he wasn't.

2 Q Was he at any other subsequent meetings?

3 A No. Dr. O'Leary, at that time, did not fly.

4 Q So where was your meeting at?

5 A In the U.K.

6 Q In London?

7 A Yes, London.

8 Q And he didn't want to fly from Dublin to --

9 A No. Have you seen a picture of Dr. O'Leary?

10 Q I haven't had the pleasure.

11 A US Air plus Dr. O'Leary is not a workable  
12 combination.

13 (Laughter.)

14 Q Very well. During that meeting, or at any  
15 subsequent time, were you able to physically visit the  
16 Unigenetics lab?

17 A No, I never have.

18 Q So you didn't inspect the equipment?

19 A Did not. Have a list of the equipment that  
20 was available and kind of the general layout of the  
21 floor plan of the facility, but did not have, did not  
22 visit onsite.

23 Q And was Dr. Cotter present at any of these  
24 meetings?

25 A Dr. Cotter was present at a couple of the

DR. KENNEDY, PhD - CROSS

1 meetings. He was, I believe, in a transition in  
2 laboratories. I believe he's, his first name's what,  
3 Finnegan, Finnegan Cotter?

4 Q I just know him as Professor Cotter.

5 A Oh. Finbaugh Cotter. Unusual name. He was  
6 in a transition, I think, to go to, from the  
7 University of, College London, to Bart's and London  
8 School of Medicine. So there were individuals from  
9 his group that, I believe, attended all the meetings.  
10 But physically, he was not at the one with the, with  
11 Orla Shiels and Tedder, and those individuals.

12 Q So to be clear, Professor Cotter was  
13 perhaps, that was hired by the -- claimants in the MMR

14 A I don't have a report produced by Professor  
15 Cotter, so I don't have access to what was produced at  
16 the U.K. But I know that Professor Cotter was an  
17 expert in molecular diagnosis, PCR-type technology.  
18 Looking at things were on low levels, like cancer-  
19 associated-type situations. That was one of the  
20 reasons that the O'Leary group had selected Cotter.

21 Q Okay. And --

22 A And my understanding was, I'm sorry, was  
23 kind of a collaboration. I wasn't aware that it was a  
24 fee for service, but I could be wrong.

25 Q Okay. But as you said on your direct today,

DR. KENNEDY, PhD - CROSS

1           there came a time when Professor Cotter was working  
2           with Unigenetics to try and replicate some of the  
3           results?

4           A     Yes, yes.

5           Q     And I believe you alluded to this, but it is  
6           true that Professor Cotter did have difficulties  
7           replicating some of the results.

8           A     Initially, absolutely.

9           Q     Now, when you were talking about subsequent  
10          efforts this morning, that eventually the labs, I  
11          think you said, came into line with the high copy  
12          numbers?

13          A     Yeah. And actually, they got pretty, my  
14          recollection is they got pretty close on the low copy  
15          numbers, and the low copy numbers were predominantly  
16          found in the tissues of the transgenic mice with the  
17          CD46 cell receptor, and tissues that had very low copy  
18          numbers. So that was the low copy numbers that they  
19          were coming in line with. High copy numbers was never  
20          an issue.

21          Q     But just to clear, there were problems.

22          A     Oh, yes, absolutely.

23          Q     Okay.

24          A     In fact, the problems were the primers. So  
25          Unigenetics sent primers over, and the primers were

DR. KENNEDY, PhD - CROSS

1 not as sufficient as they should have been, and they  
2 rectified it by resynthesizing the -- primers and not  
3 hydrating them, sending them over. And then, in the  
4 laboratory they rehydrated them in their own water,  
5 and they seemed to do, do fine.

6 Q Now when you were talking about the  
7 subsequent work that Professor Cotter did, is that not  
8 then his, from his expert report in U.K. litigation?

9 A I assume it is. I --

10 Q Okay. So this is, what you were talking  
11 about is from his expert report?

12 A That's my understanding.

13 Q Okay. Now you also talked about --

14 A But I can't talk about his expert report.

15 Q I understand, more than you know. Now you  
16 talked about Dr. Oldstone a bit on direct. You're  
17 suggesting that there might have been contamination in  
18 Dr. Oldstone's lab?

19 A Yeah, it happens. I mean, it was, you know,  
20 a heavy discussion on, you know, who contaminated, who  
21 didn't contaminate. And it's, it's easy. But my  
22 understanding was it was rectified because they sent a  
23 new sample of uninfected vero cells that then turned  
24 up negative like it should.

25 So I had thought everything was rectified.

DR. KENNEDY, PhD - CROSS

1 That the positive and negative was rectified, okay.  
2 That they initially called something positive which  
3 should have been negative, and that that was rectified  
4 because the new sample was sent over and prepped. And  
5 that high copy number was never an issue, the issue  
6 was a low copy number in some of the tissue from these  
7 mice.

8 Q Okay. So you're talking about the first  
9 time there were problems, they sent it over, the  
10 second time, the second time they were fixed.

11 A That was my understanding, yes.

12 Q Okay. But when reading Dr. Oldstone's  
13 letter it sounds, from Dr. Oldstone has said, at least  
14 related to Dr. Ward, that there were problems the  
15 second time as well.

16 A Yeah, it does appear to be that. But as I  
17 read that, it was, the, in my recollection I thought,  
18 well, he's talking about the low copy number.

19 Q Okay.

20 A And not, you know, not necessarily the  
21 positive were negative in the negative control.

22 Q But you don't know that for sure?

23 A No. I know it, but I, again, have  
24 difficulty talking about it.

25 Q Now to the extent that it is your suggestion

DR. KENNEDY, PhD - CROSS

1 that the contamination came from Dr. Oldstone's lab.

2 Do you agree that Dr. Oldstone is a very eminent

3 virologist?

4 A Absolutely.

5 Q Suspect he's probably received some awards?

6 A Absolutely.

7 Q Published very frequently in the field?

8 A Absolutely.

9 Q And yet his lab, according to you, may have  
10 made errors and had contamination problems?

11 A It's common in a lot of laboratories where  
12 we've got technical staff, I mean, the classic  
13 contamination is the, the AIDS virus. -- contaminated  
14 the initial sample, sent it to Gallo and broke the  
15 contaminant. I mean, that's, you know, and they're  
16 fairly well-known in the, the medical field. So  
17 contamination happens. Except, my understanding is  
18 O'Leary never published on the contamination. You got  
19 situations where -- may have published on his virus,  
20 and Gallo published on his.

21 Q And generally, I didn't mean to cut you  
22 off --

23 A No, sorry.

24 Q But generally when, you know, labs encounter  
25 contamination, as long as they're diligent about it

DR. KENNEDY, PhD - CROSS

1           they can fix it?

2           A     Absolutely.

3           Q     And that requires them to be diligent about  
4           it and to --

5           A     Right.

6           Q     -- fixing the problem.

7           A     You know, and occasionally, it's just as  
8           simple as when you've got multiple samples of labeling  
9           stuff wrong, it not even have been contamination, it  
10          could have been a labeling error. I mean, there's all  
11          sorts of things, a pipetting error, I, that's not  
12          necessarily contamination. And it is, it's routine,  
13          especially large laboratories where you've got a huge  
14          chain of command.

15          Q     Now in your recently filed rebuttal, which  
16          Mr. Powers referenced at the beginning dealing with  
17          Unigenetics. You, there's some more discussion of  
18          Uhlmann, which I know was talked about a lot, but  
19          we're going to talk about it a little bit more. Just  
20          for the record, here is Petitioners' Exhibit 42. The  
21          first issue, I believe, is the F gene sequence Gen  
22          bank U08146, which I think we all agree now is a plant  
23          sequence.

24          A     Plant sequence, yes.

25          Q     And I am correct in paraphrasing your

394B

DR. KENNEDY, PhD - CROSS

1 recently filed

DR. KENNEDY, PhD - CROSS

1 response that O'Leary and Uhlmann used this sequence  
2 deliberately as an irrelevant negative control?

3 A I did not discuss that with them  
4 specifically, but in my scientific assessment, why  
5 would you use something so diverse that you report the  
6 gene bank access, accession number, and then you do  
7 the blast sequences on, that there is absolutely no  
8 relationship. And why would you have so many measles  
9 virus sequences included and have this one plant  
10 outlier?

11 In my assessment, that, I wouldn't have  
12 thought that, we think of it now, but in prior design,  
13 previous, you know, I wouldn't have thought of it. In  
14 fact, I wasn't made aware of it until I read Dr.  
15 Bustin's expert testimony.

16 Q Okay.

17 A And when he pointed it out, that it was a  
18 mistake. That, you know, it's not measles virus, then  
19 when we looked at it and said, well, plant, what's he  
20 doing with a plant. And then went through the  
21 reverse, took the plant sequence and blasted it  
22 against everything that we could find, and saw it come  
23 up with only plant sequences and nothing with measles  
24 virus, I thought, you know, hey, these guys are  
25 pretty, are really sharp.

396A

DR. KENNEDY, PhD - CROSS

1 Q So your suggestion isn't based on an actual  
2 conversation with the paper authors, correct?

3 A No.

4 Q Your --

5 A My expert, my scientific opinion.

6 Q Okay.

7 A May not even be expert.

8 Q And then, with all due respect, may not be  
9 correct?

10 A Could be.

11 Q Okay.

12 A Could be.

13 Q Now, they didn't even identify it as a  
14 negative control in table one of their paper, did  
15 they?

16 A No, no.

17 Q And they didn't list it, in terms of their  
18 list of the F gene primers and probes, it was buried  
19 right in the middle of of all of them.

20 A Right.

21 Q It wasn't listed at the beginning or --

22 A Right.

23 Q -- at the end, to sort of differentiate it.

24 A Right.

25 Q And do you know if they identified it as an

DR. KENNEDY, PhD - CROSS

1 irrelevant control elsewhere on the paper?

2 A To my knowledge, I've scanned it pretty  
3 good. Didn't see it anywhere else. In fact, they  
4 didn't even identify it as a plant sequence. The only  
5 kicker was when you looked it didn't have accession  
6 numbers that were measles sequencelike.

7 Q Sure. Which is, actually a bit curious,  
8 because if you look on page 86, they do identify the  
9 other irrelevant controls that they used. Did you  
10 read it, maybe you need to see it?

11 A Yeah.

12 Q Okay.

13 MS. BABCOCK: May I approach?

14 THE COURT: Certainly. And you are  
15 approaching with what has been identified, for the  
16 record again.

17 THE WITNESS: This is the Uhlmann  
18 manuscript?

19 BY MS. BABCOCK:

20 Q Yes.

21 A Yep, but those are viral-specific. So  
22 that's human herpes virus 6 and human papilloma virus.

23 Q But it is elsewhere in the paper where they  
24 identified irrelevant controls, they did specifically  
25 set out what they used.

DR. KENNEDY, PhD - CROSS

1           A     Well, exactly.  But I think that the issue  
2           here was they were using this for a primer probe  
3           design, not to run in this specific assay, but in the  
4           design of primers and probes, it would be very  
5           discriminatory.  And that statement on the negative  
6           control, on what's using specific primers designed for  
7           other viruses, to be actually used in the assay.  So  
8           that's the difference.

9           Q     So they all are saying that's the virus for  
10          all the controls they use.

11          A     Right.

12          Q     They say nothing about.

13          A     Right, they say nothing about.

14          Q     Now, that same Uhlmann paper doesn't mention  
15          allelic discrimination, does it?

16          A     No, it doesn't.

17          Q     It has no explanation in that paper then for  
18          the C to T substitution and the consensus sequence, is  
19          there?

20          A     No, there's not.

21          Q     And don't allelic discrimination assays  
22          employ two probes to allow accurate designation of  
23          allele A and allele B?

24          A     Yes, they do.

25          Q     Now you also commented on the laboratory in

DR. KENNEDY, PhD - CROSS

1 notebooks with respect to Professor Bustin's  
2 testimony, you stated, it's unknown whether they fixed  
3 the problem later.

4 A Right.

5 Q Now, wasn't one of the problems Dr. Bustin  
6 identified actually a change in the lab notebook which  
7 exists, which occurred between the time it was first  
8 disclosed by the claimants and when it was second,  
9 disclosed later again?

10 A If I'm not mistaken, the notated, there was  
11 a notation made and dated. So --

12 Q And subsequently changed?

13 A And subsequently changed.

14 Q That's a bit curious, don't you think,  
15 considering --

16 A I would have to see the order of how it  
17 happened and the individual involved. I would assume  
18 it would be Kara, oh, I can't remember her last name,  
19 who was a student in training who's now a doctor in  
20 the laboratory, Kara Wilson, Kara Rodgers? Anyway,  
21 she was someone who ran a lot of the testing as the  
22 result of her --

23 Q Certainly, but the alteration of the  
24 laboratory notebook as a, just a general concept, can  
25 be cause for concern?

400A

DR. KENNEDY, PhD - CROSS

1           A     Yeah, I would have to see it, I would have  
2     to see it in the context. I mean, for instance, I had  
3     a great idea, and I just got done working out, and I  
4     was sweating all over the place, all I could find was  
5     paper towels. I wrote on a paper towel, and then had  
6     someone tape it into the notebook and said, you, this  
7     is the date of conception on your notebook, on a paper  
8     towel taped to the notebook.

9           Q     But you would admit that that's perhaps  
10    unusual, that was not a normal course of conduct?

11          A     No, no.

12          Q     Okay.

13          A     If that's a repetitive practice then, then I  
14    would have an issue with that.

15          Q     We might worry about your ability to  
16    competently run PCR.

17          A     Not mine, theirs.

18          Q     Now, to your knowledge, does Unigenetics  
19    exist anymore?

20          A     To my knowledge, no, it doesn't.

21          Q     And if I told you it was dissolved in April  
22    11, 2005, that sound accurate?

23          A     Yeah, I would, defer to your --

24          Q     I can show you the papers.

25          A     That's okay, I trust you.

400B

DR. KENNEDY, PhD - CROSS

1 Q Now you were asked a number of questions

DR. KENNEDY, PhD - CROSS

1 during earlier testimony in Cedillo, and again, I'm  
2 not looking to repeat everything, but I just have a  
3 few very brief points.

4 Specifically about your 2004 MMR review paper --

5 A Let's go.

6 Q Since your testimony in June, is it, did  
7 your opinion change that there are large gaps in our  
8 understanding with respect to etiologic mechanisms in  
9 ASD?

10 A Yes. Oh, has my opinion changed?

11 Q Has your opinion changed?

12 A Oh, no, it hasn't.

13 Q Okay, good, you scared me. Or the  
14 calculation-based studies have been unable to detect a  
15 link between MMR vaccine and ASD.

16 A Has not, has not changed at all.

17 Q Or that conflicting data exists regarding  
18 the Wakefield studies and their reports of finding  
19 persistent measles virus?

20 A Those statements still hold.

21 MS. BABCOCK: I have no further questions.

22 THE COURT: Thank you. Dr. Kennedy, I have  
23 a few questions for you.

24 THE WITNESS: Yes.

25 THE COURT: You mentioned something about

401B

DR. KENNEDY, PhD - CROSS

1 running gut, blood and CSF samples together.

DR. KENNEDY, PhD - CROSS

1 THE WITNESS: Right.

2 THE COURT: Make sure I understand what  
3 you're saying. Are you saying that if you have  
4 samples from one individual, let's say Colten Snyder,  
5 and you have blood, gut, and CSF all arriving at your  
6 lab at the same time, that your understanding of the  
7 way the Unigenetics lab would put them in the same  
8 run?

9 THE WITNESS: Yes.

10 THE COURT: So row, or column, whatever you  
11 call them, would, 3 might be the gut sample, 4 might  
12 be the blood sample, and 5 might be the CSF?

13 THE WITNESS: My understanding of how it  
14 would, how it worked was that they would receive the  
15 specimens, they came in at the same time, they knew  
16 things were coming in, they would hold and then run  
17 everything at the same time.

18 THE COURT: For the same individual?

19 THE WITNESS: For the same individual. And  
20 they would do their first set of experiments, their  
21 first runs, on a 1 to 10 dilution.

22 THE COURT: Okay.

23 THE WITNESS: Because the samples were  
24 somewhat limited.

25 THE COURT: All right.

403A

DR. KENNEDY, PhD - CROSS

1 THE WITNESS: And then if they didn't see  
2 anything at a 1 to 10, then they would run it neat.

3 THE COURT: Okay. And so if there were  
4 contamination of the, you indicated that because there  
5 was no negative finding in the blood you would suspect  
6 that there was no contamination?

7 THE WITNESS: Correct.

8 THE COURT: And that would presume that all  
9 the samples were stored in the same place?

10 THE WITNESS: Not necessarily stored, but  
11 run at the same time. I'm sorry, I'm missing your  
12 question --

13 THE COURT: Okay.

14 THE WITNESS: So storage --

15 THE COURT: What I'm trying to get to is, is  
16 you're saying there would no opportunity for  
17 contamination to occur.

18 THE WITNESS: Right. And if it occurred it  
19 would occur on all the samples. So it would be, you  
20 would have contaminated the water that you dilute  
21 with, you would have contaminated your primers that  
22 you add. So it would be a technician error. And the  
23 contamination would be found in the negative controls,  
24 which would tell you there was a problem. And if  
25 there was mass contamination it would be found

403B

DR. KENNEDY, PhD - CROSS

1 throughout the whole run.

DR. KENNEDY, PhD - CROSS

1 THE COURT: All right. When you are  
2 preparing the dilutions, would you prepare the  
3 dilutions at the same place, the same, relatively the  
4 same time?

5 THE WITNESS: Yeah, in a different  
6 laboratory from where you did the extraction.

7 THE COURT: Okay. So you would extract it  
8 from the sample, you would then dilute the sample, put  
9 the sample in the, the tray that you are running. And  
10 then you would --

11 THE WITNESS: Correct.

12 THE COURT: -- run them all at the same  
13 time?

14 THE WITNESS: And then keep, keep the neat  
15 sample back for testing later.

16 THE COURT: Okay. And would there be any,  
17 and it would be not uncommon then to have the rows for  
18 an individual sample adjacent to one another without  
19 anything in between them?

20 THE WITNESS: There would be, yeah. So, in  
21 other words, it would, lane one would be your, yeah,  
22 your lab --

23 THE COURT: Yes.

24 THE WITNESS: Lane 2 would be control, lane  
25 3 would probably be a control, 4 four would be a

405A

DR. KENNEDY, PhD - CROSS

1 control. Then you'd have --

2 THE COURT: Oh, 5, 6, and 7.

3 THE WITNESS: Lanes five and six would be  
4 blood, seven and eight would be --

5 THE COURT: Okay.

6 THE WITNESS: And if you saw a problem, then  
7 you would probably do alternating. But usually only  
8 when you see a problem.

9 THE COURT: And by "see a problem," what do  
10 you mean?

11 THE WITNESS: So, in other words, if  
12 everything came up contaminated you'd want to find the  
13 contamination.

14 THE COURT: What other contamination?

15 THE WITNESS: Where the contamination  
16 occurred.

17 THE COURT: So you would put a negative or  
18 --

19 THE WITNESS: Right. In the control, yeah.

20 THE COURT: -- a water control or something  
21 in between.

22 THE WITNESS: Right.

23 THE COURT: Okay. And I realize we're  
24 plowing old ground from Cedillo, but just to make sure  
25 //

DR. KENNEDY, PhD - CROSS

1 that I understand the testimony today, PCR detects the  
2 actual virus versus the protein it is -- coded for.

3 THE WITNESS: It detects the RNA of the  
4 virus --

5 THE COURT: The RNA of the virus --

6 THE WITNESS: -- versus the protein, yes.

7 THE COURT: -- versus the protein. And when  
8 you are referring to copy numbers, how do copy numbers  
9 compare with the term viral load?

10 THE WITNESS: Copy numbers are often used  
11 and equated to viral load. So for HIV, when they talk  
12 about viral load, there's two ways they express it.  
13 One is with a commercial assay that is a mean, you  
14 know, nanogram of RNA, so a specific amount. The  
15 other way is as how it's done here.

16 THE COURT: Okay.

17 THE WITNESS: Copy per nanogram of RNA.

18 THE COURT: Okay. And you've referred to  
19 the sample from Colten's cerebral spinal fluid as  
20 having a very high copy number.

21 THE WITNESS: Yes.

22 THE COURT: And by very high, I guess I'm  
23 trying to get how you --

24 THE WITNESS: I consider 1,000 high.

25 THE COURT: Okay. And 1,000 high under what

407A

DR. KENNEDY, PhD - CROSS

1 circumstances? That is, 1,000 high in cerebral spinal  
2 fluid?

3 THE WITNESS: I would, 1,000 in anything I  
4 would consider high, and very high above that --.

5 THE COURT: Okay. Let's say I have an  
6 individual with SSPE and we take a cerebral spinal  
7 fluid sample, and we run PCR on it. Would you expect  
8 that sample to be as high as Colten's?

9 THE WITNESS: It could be, and it depends on  
10 how it was prepped and where they took the site. Let  
11 me say what I would consider high. If you took the  
12 virus and grew it in tissue culture at a, an  
13 exponential phase --

14 THE COURT: Okay.

15 THE WITNESS: -- and you pull that.

16 THE COURT: Right.

17 THE WITNESS: And you analyze that knowing  
18 that it's very concentrated. That is what I would  
19 consider high.

20 THE COURT: Okay. That's what I was getting  
21 at, what are we measuring high against? I mean, I can  
22 say I can, I can run an eight-minute mile, but if you  
23 don't know that other people run, you know, sub-four-  
24 minute miles I'm not very fast.

25 THE WITNESS: Right.

408A

DR. KENNEDY, PhD - CROSS

1 THE COURT: So you're looking at growing a  
2 concentrated virus in some sort of tissue culture?

3 THE WITNESS: Correct. Or an infection in a  
4 small model that occurred where the virus is causing  
5 major pathology.

6 THE COURT: Okay.

7 THE WITNESS: So, like the transgenic mouse  
8 model.

9 THE COURT: All right. So let's say we have  
10 a kid in active wild-virus measles infection, would  
11 you expect a copy number to be high in that case?

12 THE WITNESS: I would expect him to, you  
13 know, probably 1,000 going upwards, depending on the  
14 individual, where the specimen comes from, what you  
15 get. I would say the higher would come from isolating  
16 the virus.

17 THE COURT: Okay.

18 THE WITNESS: That would give you the  
19 highest.

20 THE COURT: So compared to what you would  
21 say the highest would be, how does Colten's sample  
22 compare?

23 THE WITNESS: I would say a, a high high, an  
24 extremely high high would be in the 10 to 60 million  
25 range.

409A

DR. KENNEDY, PhD - CROSS

1 THE COURT: Okay.

2 THE WITNESS: I would say very high would be  
3 in the 10,000 to 100,000 range.

4 THE COURT: And that's where Colten's sample  
5 --

6 THE WITNESS: Correct.

7 THE COURT: So it would not be the  
8 equivalent of a concentrated sample that you grew  
9 deliberately to be concentrated?

10 THE WITNESS: Correct.

11 THE COURT: How would it compare to a sample  
12 in someone in acute viremia, let say?

13 THE WITNESS: Higher.

14 THE COURT: Colten's is higher than someone  
15 with an acute viremia?

16 THE WITNESS: Let me say this, in acute  
17 viremia, there's a different, different issue there,  
18 because you're looking at, it's a, it's comparing  
19 apples to oranges, I guess that's what I'm saying.

20 THE COURT: Okay, well.

21 THE WITNESS: So you're looking at viremia.  
22 If you're looking inside cells, or if you're looking  
23 at plasma viremia. So if you just look at free,  
24 there's going to be two different things. So Colten  
25 would be comparable to, in some instances, lower than

DR. KENNEDY, PhD - CROSS

1 some instances, and higher in some instances. Does  
2 that make sense?

3 THE COURT: It makes sense in terms of where  
4 you are looking. That is, am I looking in serum  
5 cells, am I looking in --

6 THE WITNESS: How's this --

7 THE COURT: -- lymph nodes.

8 THE WITNESS: -- if you give 500 copies per  
9 nanogram of RNA of infectious virus you'd give a  
10 monkey measles, a monkey of 60 pounds.

11 THE COURT: Okay.

12 THE WITNESS: You'd give them active  
13 measles.

14 THE COURT: So this is enough to cause  
15 active measles?

16 THE WITNESS: This is enough to, depending  
17 on what's available, it could have symptoms involved  
18 with measles, yes.

19 THE COURT: Okay, all right. Now let me, do  
20 you have your slides up there still?

21 THE WITNESS: I can put them up.

22 THE COURT: Well, you don't need to put them  
23 up.

24 THE WITNESS: Oh, I've got my --

25 THE COURT: You've got your written copy --

411A

DR. KENNEDY, PhD - CROSS

1 THE WITNESS: Yeah, I've got my --

2 THE COURT: -- we can talk about that, I  
3 think it'll be -- look at slide 13 for me. All right,  
4 your bottom point, viruses in general persisting  
5 because of an ineffective immune response.

6 THE WITNESS: Okay, yeah.

7 THE COURT: I want to go back and talk a  
8 little bit about some of the examples of persistent  
9 virus.

10 THE WITNESS: Okay.

11 THE COURT: Ineffective immune response can  
12 say something about an individual --

13 THE WITNESS: Right.

14 THE COURT: -- or something about a virus,  
15 correct?

16 THE WITNESS: Both.

17 THE COURT: That is, most people who are  
18 infected with HIV mount an ineffective immune  
19 response. There may be some isolated cases of people  
20 who appear to not have a problem.

21 THE WITNESS: Right, correct.

22 THE COURT: So that says something about the  
23 type of virus.

24 THE WITNESS: Right. So they produce IgG to  
25 HIV, but they still get --

412A

DR. KENNEDY, PhD - CROSS

1 THE COURT: The IgG is unable to clear the  
2 virus from their system?

3 THE WITNESS: Yes.

4 THE COURT: And so, when you are talking  
5 about the measles virus in Colten you're saying that  
6 there's something about him that was unable?

7 THE WITNESS: Correct.

8 THE COURT: And in measles virus in general,  
9 it, most people are able to clear it. So it's not  
10 something about the virus per se, it's something about  
11 the individual?

12 THE WITNESS: Correct.

13 THE COURT: And then if you'd look at slide  
14 15, you talked about Dr. O'Leary and his colleague,  
15 Dr. Shiels.

16 THE WITNESS: Yes.

17 THE COURT: And you talked about a  
18 laboratory. You are not referring there to the  
19 Unigenetics laboratory?

20 THE WITNESS: At this point I was referring  
21 to the laboratory at Trinity College relative to  
22 citations in 2006, 2007.

23 THE COURT: So this is an academic, not-for-  
24 profit lab that is looking at things.

25 THE WITNESS: Yes, correct.

413A

DR. KENNEDY, PhD - CROSS

1 THE COURT: So when you talk about that  
2 laboratory, you are not talking about --

3 THE WITNESS: Unigenetics, no.

4 THE COURT: Okay, two different labs we're  
5 talking about.

6 THE WITNESS: Two different labs.

7 THE COURT: Okay.

8 THE WITNESS: Individuals overlap with those  
9 labs, but two different labs.

10 THE COURT: But we don't know if they  
11 brought their full staff from Unigenetics over with  
12 them to  
13 Trinity College, for example.

14 THE WITNESS: Based on what I've been able  
15 to tell relative to certain things, quite a few have  
16 gone over to, as I said, the person I couldn't  
17 remember her last name is now a doctor, so she  
18 received her --

19 THE COURT: Okay.

20 THE WITNESS: -- her doctorate during the  
21 process of, of training at the Unigenetics.

22 THE COURT: Okay. As I understood your  
23 slide 18, and as you were explaining, in fact your  
24 slide, well, let's deal with slide 18. What you are  
25 saying in terms of the sequence in which the proteins

DR. KENNEDY, PhD - CROSS

1 are produced also has to do with the proportions of  
2 proteins --

3 THE WITNESS: Right.

4 THE COURT: -- that are produced. That is,  
5 you have to have a lot more N in order to get one F?

6 THE WITNESS: Correct.

7 THE COURT: Okay. And that would hold true  
8 for, would the proportions go down as you, as you move  
9 out on the virus?

10 THE WITNESS: Correct.

11 THE COURT: Okay. And moving to slide 19.  
12 As I understood this slide, and what your testimony,  
13 there are two periods of viremia in a human measles  
14 virus infection?

15 THE WITNESS: Yes.

16 THE COURT: The first is, is the virus is  
17 reproducing in the lymph nodes?

18 THE WITNESS: Correct.

19 THE COURT: And then second, it reproduces  
20 in what you call the -- endothelial cells?

21 THE WITNESS: Right.

22 THE COURT: And so there are two different,  
23 and how do those --

24 THE WITNESS: Two different stages, seven to  
25 days apart.

415A

DR. KENNEDY, PhD - CROSS

1 THE COURT: Okay. That's my next question,  
2 thank you, you anticipated that. So the initial  
3 infection comes into the respiratory tract, the virus  
4 travels to the lymph nodes, reproduces in the lymph  
5 nodes.

6 THE WITNESS: And that's the initial  
7 symptoms, and secondary symptoms with the rash  
8 occurring on the second set, and then it can fan out  
9 from there.

10 THE COURT: Okay.

11 THE WITNESS: And then this, this is more a  
12 general slide that I show medical students.

13 THE COURT: Okay. And with regard to what  
14 you've talked about, this visit to England you made in  
15 the course of British litigation, and please, I'm not  
16 asking you to answer anything that is covered by the  
17 British protective order. You did not visit the lab  
18 itself?

19 THE WITNESS: I did not.

20 THE COURT: So you don't know how they did  
21 it, except as was reported to you?

22 THE WITNESS: Correct.

23 THE COURT: So it's possible for people to  
24 know what to do and not to do it correctly.

25 THE WITNESS: It's possible that people,

415B

DR. KENNEDY, PhD - CROSS

1       yes.

416A

DR. KENNEDY, PhD - CROSS

1 THE COURT: Or to have the people who worked  
2 for them not do it correctly?

3 THE WITNESS: Correct, that, that is  
4 possible. Although, with this situation, it's pretty  
5 easy to tell if they're not doing it correctly.

6 THE COURT: Would this situation, meaning --

7 THE WITNESS: From a standpoint of a PCR. I  
8 mean, that's one of the easiest things to see, when  
9 it's not done correctly. If you inoculate, let's say  
10 a monkey, incorrectly, instead of giving him, you  
11 know, 500 copies, you know, you accidently give him  
12 five and he doesn't get sick. Well, that's hard to  
13 tell, you have to wait until he gets sick and then you  
14 don't know what happened. Here --

15 THE COURT: You should be able to see.

16 THE WITNESS: Absolutely.

17 THE COURT: Okay. So as I take it from your  
18 testimony today, you're saying that it should be easy  
19 if a lab has a contamination problem to see that they  
20 have a contamination problem?

21 THE WITNESS: Absolutely.

22 THE COURT: All right. Now, I want to move  
23 to Dr. Oldstone's letter now.

24 THE WITNESS: Okay.

25 THE COURT: Because it seems to me that

417A

DR. KENNEDY, PhD - CROSS

1 basically what Dr. Oldstone is saying in the last part  
2 of his letter is that, for example, if I take a  
3 sample, and I take a clean pipette, and I take some of  
4 the sample from my water bottle, and I label it sample  
5 A. And then I take a clean pipette and take another  
6 sample from my water bottle, and I label it sample B.  
7 If the same lab, using the same primers, using the  
8 same probes, runs that, runs sample A and sample B,  
9 that both should either test positive or negative  
10 depending on what's in my bottle.

11 THE WITNESS: Correct. But here's what  
12 happens. So --

13 THE COURT: Well, let me finish my question  
14 and --

15 THE WITNESS: Oh, I'm sorry.

16 THE COURT: -- then I'll let you answer.

17 THE WITNESS: Okay.

18 THE COURT: Okay. I'm just making sure that  
19 we're on the same sheet of music.

20 THE WITNESS: Okay.

21 THE COURT: What Dr. Oldstone appears to be  
22 saying to me is that sample A tested positive in some  
23 cases, and sample B tested negative in some cases.  
24 And that when you switched them you would not  
25 necessarily get the same result. In other words, if

DR. KENNEDY, PhD - CROSS

1 I, if they labeled some, some samples when tested  
2 twice under different code numbers switched from  
3 positive to negative. So this is my, my basic sample,  
4 and I take two aliquots from it.

5 THE WITNESS: Right.

6 THE COURT: One is labeled A, and one is  
7 labeled B. How would sample A test positive and  
8 sample B would test negative?

9 THE WITNESS: Low copy number. You're at  
10 the very extreme limit of what you can detect.

11 THE COURT: And so you're at that, that  
12 level where one more cycle would have pushed it over  
13 into positive, but I'm not going to cycle it again  
14 because I'm stopping here?

15 THE WITNESS: That's one way.

16 THE COURT: Okay. How else?

17 THE WITNESS: It could also happen from a  
18 standpoint of the technical person having two bottles  
19 of water, and being too busy, and pulling one and  
20 labeling it A, and instead of being a replicate or a  
21 duplicate, labeling it B. So, in other words, taking  
22 two different samples. So when you label it to ship  
23 it out, so it could be a technical error at that  
24 point.

25 It could also be a situation where when

DR. KENNEDY, PhD - CROSS

1 you've got, so the process has evolved. When you've  
2 got the thing you want to detect, so I'm sure you've  
3 heard this that, you know, having measles virus in the  
4 lab is bad for a laboratory who does measles virus  
5 PCR, because it's very easy to contaminate things.  
6 You need to separate the two, they have to be in  
7 different labs or --

8 THE COURT: You have to have very specific  
9 procedures to avoid contamination.

10 THE WITNESS: -- very specific procedures  
11 to, to have that happen. If the person who takes swab  
12 A takes the new swab and dips it in, that swab may not  
13 produce the same amount.

14 THE COURT: Now let's say I take an aliquot  
15 from my water bottle, and I take just one aliquot.  
16 And I put that aliquot in test tube A and test tube B,  
17 clean test tubes. Is there any way one would test  
18 positive and one would test negative?

19 THE WITNESS: That's very low level we're  
20 talking about, it's right at the verge of, you know,  
21 what one calls positive or there's on that calls  
22 negative. So they have a very low copy number would  
23 be the most likely answer.

24 THE COURT: Okay. You've referred to the  
25 article by Weibel or Weibel.

DR. KENNEDY, PhD - CROSS

1 THE WITNESS: Weibel.

2 THE COURT: And this is the one that is,  
3 that Dr. Ward referenced in his --

4 THE WITNESS: Yes. Pediatrics 1998.

5 THE COURT: -- 1998 article. And it seems  
6 to me that what you were saying was that ataxia, for  
7 example, which is one of the neurological symptoms  
8 that was associated with vaccination, at least in this  
9 article, was evidence of immune suppression?

10 THE WITNESS: No, I was saying that, that it  
11 was evident, well, indirectly, that --

12 THE COURT: And that was --

13 THE WITNESS: -- the person, the person  
14 didn't handle it, so therefore it caused issues  
15 related to secondary conditions.

16 THE COURT: Well, didn't handling it is  
17 indicative of immunosuppression or immune --

18 THE WITNESS: An ineffective immune  
19 response.

20 THE COURT: Okay. An ineffective immune  
21 response. And you used an example, and I'm not sure I  
22 caught what examples you were using as you were  
23 gesturing, basically giving a logic if A then B then  
24 C.

25 THE WITNESS: Okay.

421A

DR. KENNEDY, PhD - CROSS

1 THE COURT: Can you go over that one with me  
2 again?

3 THE WITNESS: Yeah. So if, if we read that  
4 MMR causes immunosuppression, and if we agree that MMR  
5 can cause neurologic issues --

6 THE COURT: Ataxia.

7 THE WITNESS: Ataxia. Then by virtue of  
8 getting MMR first, and ataxia later, that MMR can  
9 cause the immunosuppression resulting in an  
10 ineffective immune response prevents control that then  
11 results in ataxia. Does that make sense?

12 THE COURT: Okay. Not sure that it makes  
13 sense logically to me, Dr. Kennedy. And what I'm  
14 getting at is --

15 THE WITNESS: If we go back to --

16 THE COURT: -- just go back to slide 8 then.

17 THE WITNESS: So let's for the purpose call  
18 this, call, the MMR vaccine, the respiratory. We'll  
19 start right there.

20 THE COURT: Okay.

21 THE WITNESS: And it goes to the lymph node,  
22 it causes viremia. That viremia then results in an  
23 immune response, goes to the artery system. And then  
24 second viremia is modified or prevented, because you  
25 have vaccine induced effective immune response.

422A

DR. KENNEDY, PhD - CROSS

1 THE COURT: Okay.

2 THE WITNESS: So you don't get that second.

3 THE COURT: So you don't get the subsequent  
4 symptoms.

5 THE WITNESS: And it might even block the  
6 first.

7 THE COURT: Okay.

8 THE WITNESS: Okay. So that never occurs.  
9 Then if that never occurs, then you can't get down to  
10 CNS.

11 THE COURT: Okay.

12 THE WITNESS: Does that make sense?

13 THE COURT: It makes sense, but I'm not sure  
14 I followed your logic that immunosuppression has to be  
15 the reason someone gets ataxia.

16 THE WITNESS: Oh, I, it's a possibility.  
17 It's not the total reason. So the, my reason is it's  
18 the virus that is resulting in the ataxia. Does that  
19 make sense? But the virus gets hold because it's  
20 immunosuppressant and doesn't allow --

21 THE COURT: Okay.

22 THE WITNESS: -- to block those stages.  
23 Does that make more sense?

24 THE COURT: Because, you're saying because  
25 the body doesn't mount an effective immune response

DR. KENNEDY, PhD - CROSS

1 you get ataxia development?

2 THE WITNESS: It can. But remember this,  
3 this Weibel paper. I mean, they looked at, you know,  
4 tens of thousands. This was, you know, MMR vaccines,  
5 and I believe they only reported 48 adverse events.  
6 So it was rare in this group. So it's, it's almost  
7 like an individual situation. So it's not the normal  
8 situation.

9 THE COURT: Okay, we'll just have to  
10 disagree. I don't follow your logic in terms of, I  
11 follow your logic to the extent that there is, because  
12 the body fails to mount, to clear the virus.

13 THE WITNESS: And I'm --

14 THE COURT: If someone gets sick with  
15 measles and therefore develops the respiratory tract  
16 symptoms, the GI tract symptoms --

17 THE WITNESS: So my point is that in some  
18 individuals that immunosuppression is not clinically  
19 relevant.

20 THE COURT: Okay.

21 THE WITNESS: In the majority of the  
22 individuals it's not clinically relevant. In some  
23 individuals the clinical relevance is that inability  
24 to, to mount that effective thing that blocks those  
25 processes. You want the vaccine to block those

DR. KENNEDY, PhD - CROSS

1 processes. And the vaccine is highly effective at  
2 doing that.

3 But in certain situations, certain  
4 individuals, because I forget, forget the numbers, but  
5 that, the Weibel paper is, you know, they looked at  
6 huge numbers and could only find a relatively limited  
7 number that showed those neurologic manifestations.  
8 So it was essentially a survey of the, the adverse  
9 reporting systems.

10 THE COURT: Okay, all right. You've made  
11 several comments about the inability to talk about  
12 what happened in the British litigation. Have you  
13 been asked to support release of your U.K. report?

14 THE WITNESS: No.

15 THE COURT: Thank you. Questions from  
16 either side based on mine?

17 THE WITNESS: Although a signed, no.

18 THE COURT: Okay.

19 THE WITNESS: But --

20 THE COURT: Orally?

21 THE WITNESS: I was orally told that there  
22 might be issues if I discussed it. And I like London,  
23 so I didn't want to be arrested when I arrived --

24 THE COURT: Okay, no. I meant have you  
25 been, let me rephrase that. Have you been asked to

425A

DR. KENNEDY, PhD - REDIRECT

1 support the release, that is, to get --

2 THE WITNESS: No, no.

3 THE COURT: -- access to the --

4 THE WITNESS: No, I have not.

5 THE COURT: Okay. Do you have any

6 objections to the release? Okay, thank you.

7 THE WITNESS: Absolutely not.

8 THE COURT: Okay. Go ahead. Questions

9 based on mine?

10 MR. POWERS: Questions based on your and

11 also opportunity for redirect based on cross that

12 you --

13 REDIRECT EXAMINATION

14 BY MR. POWERS:

15 Q So Dr. Kennedy, I want to address a couple  
16 of issues that were raised on Respondent's cross-  
17 examination. Draw your attention back to a discussion  
18 about whether immunohistochemistry was performed on  
19 Colten Snyder's sample. You were asked whether there  
20 was anything in the record in his case to indicate  
21 that that had been done by O'Leary lab. Do you recall  
22 that question?

23 A Yes, I do.

24 Q And do you recall your answer being that you  
25 could not tell from the record in Colten Snyder's case

DR. KENNEDY, PhD - REDIRECT

1           whether that had been performed?

2           A     Correct.

3           Q     Now it's true that in the Uhlmann paper, the  
4           same laboratory actually did do immunohistochemistry  
5           on all the samples that were reported in that paper,  
6           correct?

7           A     Correct.

8           Q     And there's no reason for you to believe  
9           that they would have done the procedure any  
10          differently in Colten Snyder's case than they did in  
11          the samples that were in the Uhlmann paper, is that  
12          right?

13          A     None whatsoever.

14          Q     And to find out absolutely positive whether  
15          there's documentation of that, you would need access  
16          to documents that were generated in the U.K.  
17          litigation that, as the Special Master just discussed,  
18          are not available to us right now?

19          A     That's correct.

20          Q     And that's that same information, excuse me,  
21          those same documents presumably would provide  
22          information about allelic discrimination, whether that  
23          testing had been done on Colten Snyder's sample,  
24          correct?

25          A     Correct.  Although my, my understanding of

427A

DR. KENNEDY, PhD - REDIRECT

1 the U.K. documentation was their eight or nine lead  
2 cases. And it was restricted to individuals in the  
3 U.K. So it was not related to U.S. individuals. So  
4 I'm not, yeah, that makes sense.

5 Q So there's a obvious wealth of information  
6 that would be contained in this U.K. reports that  
7 would be informative both to your opinions on general  
8 causation and to specific issues to in Colten Snyder's  
9 case?

10 A Yes.

11 Q As a scientist, and then let's say, not as a  
12 testifying expert in this case, but as a disinterested  
13 scientist looking to resolve some of the debate  
14 between the various labs involved here and the results  
15 overall of O'Leary lab, one would find it necessary to  
16 have that information, is that fair to say?

17 A I think that would be very fair.

18 Q And not just necessary, but really it'd be  
19 essential to the, one could look at data sheets,  
20 protocols, how many cycles were run, so that we are,  
21 at every level, comparing apples to apples and oranges  
22 to oranges in terms of samples and methodology,  
23 correct?

24 A I would agree that would be extremely  
25 important and of, to all parties involved.

DR. KENNEDY, PhD - REDIRECT

1 Q And not just to the parties, but presumably  
2 to the folks who are going to be making the decisions  
3 in these cases?

4 A Correct. I didn't mean to exclude you,  
5 Special Master.

6 (Laughter.)

7 Q Now you described, and were asked questions  
8 about the mechanism of, of viral persistence. And if  
9 I recall the answer, the series of answers boiled down  
10 to that, the actual mechanism of persistence of  
11 viruses in general, and measles virus in particular,  
12 it's not something that's clear at this point, is that  
13 a fair statement?

14 A It is better known for some viruses. It's  
15 not well-known for a measles virus. And I think, Dr.  
16 Griffin, in her testimony talked about that we just  
17 don't know, with these new sensitive techniques, what  
18 is actually going on from a standpoint of persistence,  
19 and how, and in what form does it persist.

20 Q So regardless of whether we can describe a  
21 mechanism of persistence, does that change your  
22 opinion at all that in fact, again, regardless of the  
23 mechanism, that in fact we have a persistent measles  
24 virus in Colten Snyder's body?

25 A No, it doesn't change.

DR. KENNEDY, PhD - RECROSS

1 Q Does it change your opinion at all, you  
2 know, the inability to describe in detail the  
3 mechanism, does it change your opinion that the  
4 persistent virus was replicating in Colten Snyder's  
5 body?

6 A At that level, no.

7 Q And is it fair to say that the mechanism of  
8 persistence, whatever it is, it's not the mechanism of  
9 injury in this case? That is, the mechanism of injury  
10 is the endpoint of persistence, which is the virus?

11 A The virus is the injuring, it's the virus.

12 MR. POWERS: No further questions.

13 THE COURT: Ms. Babcock?

14 MS. BABCOCK: Yes, just briefly.

15 THE WITNESS: That's okay, you can't hear my  
16 stomach growl.

17 (Laughter.)

18 THE COURT: We'll just --

19 RECROSS-EXAMINATION

20 BY MS. BABCOCK:

21 Q Now Dr. Oldstone wasn't involved in the U.K.  
22 litigation, was he?

23 A No, he was not.

24 Q And this whole thing with Oldstone, just to  
25 be clear, occurred entirely outside of that. So

429B

DR. KENNEDY, PhD - RE CROSS

1       you're not limited in your ability to

DR. KENNEDY, PhD - RE CROSS

1 talk about that by the U.K. litigation?

2 A No, but I don't know all the details. I  
3 would assume that there is a chain of, you know,  
4 communications that I'm not, haven't been privileged  
5 to that probably occurred.

6 I mean, if this is going on, and I'm  
7 thinking from a scientific standpoint, if I want the  
8 answers, and I already know them, and I'm sending them  
9 over, and they're not coming back the way I want them.  
10 Then you're going to kind of communicate to try to  
11 work that out. I mean, that's how the normal  
12 scientific collaboration process goes.

13 Q This whole thing with Dr. Oldstone has  
14 actually come up in an incredibly public forum  
15 meeting, specifically a congressional hearing,  
16 correct?

17 A Yeah, yeah.

18 Q So certainly there's public knowledge of  
19 this, this circumstance and what have you.

20 A The details from that I wasn't really sure  
21 of how things transpired.

22 Q Mostly because Dr. Oldstone has not elected,  
23 until this point, to talk about it?

24 A Right.

25 Q Now, just a followup question on

DR. KENNEDY, PhD - RE CROSS

1 Unigenetics, you don't know where the plasmid room was  
2 in relation to where the PCR was done, do you?

3 A I have no idea.

4 Q And, I'm sorry, maybe that was --

5 A No, let me --

6 Q One more question.

7 A Okay, let's do Oldstone then, ask me that  
8 one again.

9 Q Okay, sure.

10 A Because I do have some idea, but it was  
11 only, I didn't see the operation, but I saw the layout  
12 of how they had things set --

13 Q On a piece of paper?

14 A -- on a piece of paper.

15 Q Okay. So you didn't physically inspect --

16 A I didn't physically inspect, the boundaries  
17 that were there were based on physical, piece of  
18 paper, I didn't see them. The hoods that were there  
19 were on a piece of paper, but I didn't see the hoods.  
20 I know where things were in relation to a supposed  
21 loading dock, but I didn't see the loading dock.

22 Q And its your understanding, again, getting  
23 back to Dr. Oldstone, you know, he knew that the  
24 reason he was sending the samples to Unigenetics was -  
25 because they were attempting to replicate what he was

DR. KENNEDY, PhD - RE CROSS

1 coding as being positive and negative?

2 A Yes.

3 Q So presumably he would have taken some care  
4 to ensure that what he was coding as positive, was  
5 positive?

6 A Yeah.

7 Q -- and what he was coding as negative was  
8 negative, and identifiably so by Unigenetics, because  
9 that was the purpose of?

10 A (Non verbal response.)

11 Q Now Mr. Powers just asked you about  
12 mechanisms, and we don't understand necessarily the  
13 mechanisms. How is it that the measles virus causes  
14 autism?

15 A I'm going to have to defer to Dr. Kinsbourne  
16 or, you know, someone that understands the processes.  
17 I, my knowledge of autism is very limited, and, you  
18 know, I'm lucky to remember what is a DSM-IV from a  
19 standpoint of the textbook and the psych patients. So  
20 I'm going to have to defer to Dr. Kinsbourne, or  
21 someone who is more in tune with the aspects related  
22 to autism and CNS issues.

23 Q Okay. So you don't know?

24 A No, I don't know. I can postulate highly,  
25 if you'd prefer, but I don't want to waste anybody's

432B

DR. KENNEDY, PhD - RE CROSS

1 time.

2 MS. BABCOCK: Nothing further.

3 THE COURT: Okay. Do we excuse Dr. Kennedy,

433A

DR. KENNEDY, PhD - RE CROSS

1 or are you going to keep him handy for rebuttal?

2 MR. POWERS: I'm sorry, well, rebuttal on  
3 Friday if need be, Special Master.

4 THE COURT: Okay.

5 MR. POWERS: But for direct and cross today  
6 he's excused.

7 THE COURT: Okay. Then it would appear to  
8 be an appropriate time to take our lunch recess. By  
9 my watch it's 12:25, let's be back here at 1:30.

10 (Off the record.)

11 THE COURT: All right, let's go back on the  
12 record in the Snyder case. Before we have you call  
13 your next witness for the Petitioners, Dr. Kinsbourne,  
14 there's an issue I'd like to address.

15 In your opening remarks, Mr. Powers, you  
16 raised anew the issue of the U.K. litigation. Then of  
17 course that came up in the course of Dr. Kennedy's  
18 testimony. Based on my recollection some five months  
19 ago, we invited Petitioners to make application to the  
20 U.K. as their law apparently permits private parties  
21 to do, to seek release of whatever data it was that  
22 you thought you needed in this case. Have you done so  
23 or do you contemplate doing so?

24 MR. POWERS: We made inquiries to legal  
25 counsel, and legal counsel informed us that at that

DR. KENNEDY, PhD - RE CROSS

1 point we could not get release of those documents.  
2 It's not a confidence that's held by the party that  
3 submitted the information.

4 THE COURT: Right.

5 MR. POWERS: But we are actively  
6 investigating or working up what we need to do in  
7 order to make it, and I don't know --

8 THE COURT: Yes.

9 MR. POWERS: I just talked to the people  
10 that know that and I'm told that we're doing what we  
11 can to pursue or gain the release of those documents.  
12 But it's not something where an individual person can  
13 say I waive any confidentiality or I waive the  
14 applicability of an order as to my materials.

15 THE COURT: Well, at least in the terms of  
16 what the government told us in the course of the  
17 leadup to the Cedillo hearings, it did not take them  
18 five months to do so, number one. And number two, it  
19 apparently, securing the permission of the individuals  
20 whose data or whose reports were being released was a  
21 factor that persuaded or helped to persuade the U.K.  
22 Judge in that matter.

23 I'm concerned that we're now five months  
24 down the road. We're finishing the last of the first  
25 theory cases. Even with the posttrial briefing

435A

DR. KENNEDY, PhD - RECROSS

1 schedule, if you're going to submit more evidence that  
2 may necessitate some additional evidence by the  
3 government, that we are running out of time.

4 MR. POWERS: Understood, Special Master --

5 THE COURT: So on my behalf, if not that of  
6 my colleagues, I urge you to do so with speed and  
7 diligence.

8 MR. POWERS: Understood.

9 THE COURT: Okay.

10 MR. MATANOSKI: Special Master, on that last  
11 point?

12 THE COURT: Yes.

13 MR. MATANOSKI: Just so that it's clear, we  
14 did want, the government was trying to get --

15 THE COURT: I understood you were trying to  
16 get the whole thing.

17 MR. MATANOSKI: Yes, and so we would be  
18 supportive of efforts to try to secure that  
19 information.

20 THE COURT: I just felt that it was  
21 necessary to make those issues clear on this record  
22 given the posture of this litigation.

23 MR. POWERS: I completely understand both  
24 the rationale for putting it on the record and more  
25 importantly, I think, the substance of your remarks.

DR. KENNEDY, PhD - RE CROSS

1 And I will take Mr. Matanoski's comments to heart too,  
2 because if we can more actively pursue this, that  
3 would, I think, be very fruitful.

4 THE COURT: No, again --

5 MR. POWERS: Again, to the parties, really,  
6 it's about getting information to you -- to make the  
7 best decision you can on the evidence tha's out there.

8 THE COURT: I know that on behalf of my  
9 colleagues and myself, that we would like to have the  
10 most complete record possible. We are cognizant that  
11 we not only deciding one case, but that we are  
12 developing a record that will help us decide 5,000  
13 other cases and we would like to make not just the  
14 correct decision on the record before us, but the  
15 correct decision.

16 MR. POWERS: Absolutely, and I'm happy to  
17 work with Respondent's counsel to see if we might even  
18 be able to pursue that together in some way.

19 MR. MATANOSKI: And just in case it's not  
20 clear, there was some discussion with Dr. Kennedy  
21 about Dr. Oldstone. Dr. Oldstone, any information  
22 about Dr. Oldstone that he was exchanging with Dr.  
23 O'Leary was not part of the litigation. Those efforts  
24 were not, so that's not privileged in any way.

25 THE COURT: Okay.

436B

DR. KENNEDY, PhD - RE CROSS

1

MR. POWERS: Yeah, we understand that.

437A

DR. KENNEDY, PhD - RE CROSS

1 That's just a practical matter of that letter coming  
2 in when it did that, injecting a specific issue around  
3 a specific person with specific statements and an  
4 interchange. That means we need to go out and develop  
5 the evidence we can, which we will do very quickly  
6 because, I entirely agree. That's not privileged.  
7 It's not confidential. It's not in the U.K. I have  
8 already developed a pretty good idea of where it is,  
9 what it looks like and who has it and will develop  
10 that as quickly as we can.

11 THE COURT: Great, wonderful. All right.  
12 With that, are you prepared to call your next witness?

13 MR. POWERS: We certainly are Special  
14 Master. The Petitioners in this case would like to  
15 call Dr. Marcel Kinsbourne.

16 THE COURT: Who has come prepared with his  
17 water bottle.

18 (Laughter.)

19 DR. KINSBOURNE: It's a long haul.

20 THE COURT: It is.

21 MR. POWERS: And also Dr. Kinsbourne, it's  
22 much easier to get into the building with a bottle of  
23 water than with a computer, so we will not be using  
24 computer displays here today --

25 THE COURT: Okay. Would you raise your

KINSBOURNE - DIRECT

1 right hand, Dr. Kinsbourne?

2 Whereupon,

3 MARCEL KINSBOURNE

4 having been duly sworn, was called as a  
5 witness and was examined and testified as follows:

6 DIRECT EXAMINATION

7 BY MR. POWERS:

8 Q Good afternoon, Dr. Kinsbourne.

9 A Hello.

10 Q So that we have a good record here with the  
11 court reporter, if you could go ahead and state and  
12 spell your name and give us your academic or  
13 professional affiliation if you would.

14 A Yes. Marcel, M-a-r-c-e-l, Kinsbourne, K-i-  
15 n-s-b-o-u-r-n-e. Off the record, am I echoing too  
16 much? Okay. My address is 158 Cambridge Street,  
17 Winchester, Massachusetts. And I'm, I'm a professor  
18 at The New School in New York.

19 Q Now Dr. Kinsbourne, I know that you were  
20 here earlier today when Dr. Ron Kennedy testified.  
21 And as a preliminary matter, I want to cover some of  
22 the same issues with you that I did with Dr. Kennedy  
23 so that we make a clear record for the proceedings in  
24 this case.

25 In the Cedillo matter, which was the first

Heritage Reporting Corporation  
(202) 628-4888

KINSBOURNE - DIRECT

1 of three designated test cases brought on behalf of  
2 the Petitioners' steering committee, you testified in  
3 the Cedillo matter back in June of 2007, is that  
4 correct?

5 A That is correct.

6 Q And your testimony as I understand it  
7 included the submission of an expert report, the  
8 presentation of direct, oral testimony, cross-  
9 examination, is that right?

10 A That's correct.

11 Q Now my understanding is that there is no  
12 supplemental report or rebuttal report that you've  
13 prepared or have in the works anticipating to file of  
14 the Cedillo matter, is that right?

15 A That's also true, yes.

16 Q In your appearance here today, as was the  
17 case in the Cedillo matter, my understanding, and I  
18 want to make sure that it's your understanding also,  
19 is that you're here in a sense wearing two hats. The  
20 first hat is that you're offering testimony on issues  
21 of general causation that might apply to other cases  
22 in the omnibus proceeding, is that a correct  
23 understanding?

24 A Yes, sir.

25 Q And then secondarily, I shouldn't say

KINSBOURNE - DIRECT

1           secondarily, but just the other hat because they're  
2           both equally important, the other hat is that you're  
3           offering expert testimony to be used in the resolution  
4           of the individual claim here, Colten Snyder's claim  
5           for compensation.

6           A     Yes.

7           Q     And that was the case in the Cedillo matter.  
8           You were offering individual case testimony in that  
9           matter.

10          A     Correct.

11          Q     You're appearing today and offering a report  
12          and your testimony. The idea is that everything in  
13          the Cedillo matter, from the Petitioners' side, we are  
14          treating that as on file and available to the parties  
15          and the Special Masters in this proceeding so that we  
16          don't have to repeat all the evidence and all the  
17          testimony, is that your understanding?

18          A     Yes.

19          Q     So in your report today and your testimony  
20          today, I am assuming that there are moments or points  
21          where you will not go into detail that has already  
22          been covered in Cedillo in order to avoid redundancy,  
23          right?

24          A     Yes, indeed.

25          Q     But by avoiding redundancy, it's not to be

KINSBOURNE - DIRECT

1 construed as somehow waiving an argument or unmaking a  
2 point that you made before, correct?

3 A Absolutely.

4 Q Okay. I just wanted to establish that for  
5 the record and I'll pause now because I anticipate the  
6 Respondent may have a, if there's an objection to make  
7 to doing that as he did earlier.

8 MR. MATANOSKI: No, ma'am.

9 THE COURT: Okay.

10 MR. POWERS: Okay.

11 BY MR. POWERS:

12 Q Now Dr. Kinsbourne, we already discussed  
13 that you were here earlier today and heard Dr.  
14 Kennedy, but you were here yesterday morning when the  
15 parties presented opening statements, is that right?

16 A Yes, I was.

17 Q And you recall in Mr. Johnson's opening  
18 statement, a mention of Andy Wakefield's work. Do you  
19 recall that reference?

20 A I do.

21 Q And do you recall a reference to 10 years of  
22 time since Dr. Wakefield proposed a hypothesis and  
23 began the area of inquiry, but in the intervening 10  
24 years that theory has been, I think the word was  
25 "debunked."

KINSBOURNE - DIRECT

1           A     I remember that.

2           Q     My understanding is that you have some  
3           comments to make about the idea that Dr. Wakefield's  
4           theory has been debunked and that those comments would  
5           be relevant to proving the case here.  If you could go  
6           ahead and share with the Special Master your thoughts  
7           on that issue.

8                     (Away from microphone.)

9           A     Thank you.  Apart from the fact that that  
10          rhetoric of "debunked" has no place in discussions  
11          with medical science, putting that aside, I'd like to  
12          make a distinction between Dr. Wakefield's specific  
13          theory of causation as offered at the time, that time  
14          being around the end of 1998 or so, and the way that  
15          the science of autism has moved in the intervening  
16          period, the fact being that the science of autism has,  
17          to coin a phrase, "surged tremendously" since about  
18          that time.  How much it has to do with Dr. Wakefield's  
19          comment, I'm not sure about, but I bet somewhat has to  
20          do with it.

21                    At any rate, there are a number of areas in  
22          which the perspective of medical scientists working in  
23          autism have been transforming.  The first and most  
24          sweeping one is a change from considering autism as a  
25          static deficit -- to considering it as

KINSBOURNE - DIRECT

1 an ongoing disease happening every moment of the  
2 individual's life with autism.

3 Now the background of autism theories is of  
4 course that initially the approach was psychodynamic.  
5 Next the approach became cognitive, that it was a  
6 language disorder that was thought for quite a while  
7 and that the rest of the symptom was a spinoff from  
8 the language difficulties. That was abandoned and a  
9 strong feeling emerged that it was a genetic disorder  
10 of its own kind. And when I say "it," I'm referring  
11 to that large, say 80 to 90 percent of autistic  
12 children who don't have syndromic autism, which is  
13 autism in association with other deficits due to  
14 identified genetic abnormalities or toxic  
15 abnormalities.

16 Now the idea that the, that autism is an  
17 ongoing disease is a really important one. It  
18 suggests different causations and it suggests quite a  
19 different approach to management. And both these  
20 options have been taken up by the science that ensued.  
21 In a way, the roadblock to taking a very active  
22 therapeutic approach to autism has been this genetic  
23 idea with genetics having a sort of predestination,  
24 the child's genes aren't right, that's why he or she  
25 is the way that they are. And until recently, no one

443B

KINSBOURNE - DIRECT

1 knew to alter

KINSBOURNE - DIRECT

1 to anything at that level of analysis.

2           However, it's now increasingly realized that  
3 genetic does not exclude environmental, but rather  
4 that many genes predispose the individual to react in  
5 different anomalous or abnormal ways to particular  
6 environmental exposures, meaning that having a genetic  
7 predisposition is necessary but not sufficient for the  
8 development of autism in that particular case. But  
9 when the exposure occurs which might be an exposure  
10 that for almost anybody else is innocuous would be  
11 harmful to the child who has that genetic  
12 predisposition.

13           Q     And Dr. Kinsbourne, if I can interrupt for a  
14 second, you just mentioned that there's increasing  
15 scientific attention being paid to this issue of  
16 environmental and gene interaction. You're aware, I  
17 assume, that the Institutes of Medicine just this past  
18 year held a two day meeting and they discussed a wide  
19 variety of ways in which the genes and the environment  
20 can interact to produce autistic symptoms in children.  
21 Are you familiar with that?

22           A     I am indeed, and other manifestations, one  
23 is in the person of sir Michael Rutter, who is  
24 longstanding -- belongs -- authority on autism who --  
25 previously took the language point of view -- and now

444B

KINSBOURNE - DIRECT

1 has written excellent articles on the importance of  
2 gene environmental action in psychopathology. And  
3 there are other reputable scientists I

KINSBOURNE - DIRECT

1 submitted to the Court in the Cedillo matter, articles  
2 by Dr. Martha Herbert at Harvard University  
3 representing the point of view the Court is  
4 unintelligible -- I'm sure, with it.

5 Q And also even some of the federal agencies  
6 that are involved in a sense, the client agencies here  
7 of the Respondent, various bodies within the CDC, the  
8 NIEHS, a lot of those entities are involved and either  
9 participating directly or funding research into  
10 possible environmental contributing factors to autism,  
11 isn't that correct?

12 A That is true. It's also true that the  
13 M.I.N.D. Institute at the University of California at  
14 Davis has an active program in, in these matters. The  
15 third point that where there's been I think a,  
16 considerable change is that until recently it was  
17 assumed that autism is a brain disease. It is  
18 becoming more recognized that it is a disease which  
19 affects the brain, among other organs. The other  
20 organs of note of course being gastrointestinal system  
21 and the immune system.

22 Now, the effect of these changes is to shift  
23 another assumption and that is the assumption which  
24 was tacitly -- present for a long time that those  
25 children with non-syndromic autism, namely autism, 80

445B

KINSBOURNE - DIRECT

1 or 90 percent of them, basically your typical autistic  
2 child, all suffer from a

KINSBOURNE - DIRECT

1 condition called autism. And now most everybody  
2 concedes there are clearly multiple causes of autism  
3 and that's just taken for granted now. It's not even  
4 controversial.

5 The one important effect of that to cases  
6 like Colten Snyder's is the view that's taken of those  
7 autistic children who regressed into autism after an  
8 initial period of normal, or near normal development.  
9 Why do you think, if you think that there is a  
10 condition called autism, would you find that a certain  
11 percentage, say 30 percent of children regress? Well,  
12 that's one of the ways that autism presents and you  
13 don't necessarily ask further questions.

14 And in fact, regression into autism has been  
15 known for numerous decades and curious enough to my  
16 knowledge, has never been separately, specifically  
17 studied as opposed to being included in larger studies  
18 of autism, of which of course there have been very  
19 many. Once one considers that actually autism has  
20 multiple causes and presumably multiple pathogeneses  
21 then one can look at regression in a different light,  
22 namely as a, a progressive encephalopathy of unknown  
23 cause. The fact that the outcome is autism doesn't  
24 give you the cause and it doesn't persuasively tell  
25 you that its cause is the same as the cause of autism

446B

KINSBOURNE - DIRECT

1 in

KINSBOURNE - DIRECT

1 these other children. Maybe, maybe not.

2 So I take the view and people increasingly  
3 take the view that a regressive autism should be  
4 studied in its own right. And on the face of it,  
5 something is happening to the child's brain at the  
6 time that the child is regressing and it is not  
7 sufficient to say in a post hoc -- fashion, oh well,  
8 since autism is genetic, there must be something to do  
9 with the timing of the gene effects. Now that is a  
10 speculation. Unlike most everything we're discussing  
11 in this case which is not a speculation, this is, no  
12 evidence whatever for it.

13 So a pediatric neurologist particularly,  
14 takes most seriously a state of affairs when a child  
15 loses intellectual capabilities. That's one of the  
16 most alarming situations and in any other such case  
17 other than in regressive autism, these children are  
18 intensely investigated and get great attention, not  
19 always with much therapeutic effect, unfortunately.

20 Q And Dr. Kinsbourne, again to focus on Colten  
21 Snyder's case, is it your opinion that what Colten  
22 Snyder experienced was in fact a regression into  
23 autism -- at a certain point

24 A It clearly was, yes.

25 Q And I know you say it in your report, but I

KINSBOURNE - DIRECT

1 just want to make clear, that's based on your review  
2 of Dr. Bradstreet's records and all of his medical  
3 records, correct?

4 A Yes.

5 Q And that's based on your sitting through and  
6 hearing the testimony of family members and  
7 caregivers, is that correct?

8 A Right. I don't see it as controversial.

9 Q Right. And so all of that goes into form  
10 your opinion that it's more likely than not to a  
11 reasonable degree of medical probability that Colten  
12 was -- neurotypical and then regressed into autism at  
13 some point.

14 A I believe that's so.

15 Q Okay.

16 A So as a consequence of that particular  
17 perspective of regressive autism, it would be my  
18 opinion that if we were to study it in any way, it  
19 should be studied in its own right and that would  
20 include epidemiology. And I don't find epidemiology  
21 about autism, in general, informative about the issues  
22 with regressive autism. And ultimately in terms of  
23 the epidemiological approach to causation with respect  
24 to MMR, I would have thought an obvious study to do  
25 would be a case control study in which one compares

448B

KINSBOURNE - DIRECT

1 autistic children who have received

KINSBOURNE - DIRECT

1 MMR to autistic children who have not received MMR.  
2 And for now that data is available but certainly  
3 hasn't been published.

4 Q And then even within that sort of a study,  
5 it sounds like it would be important to make  
6 distinctions in the outcomes at least between a  
7 broadly defined autism diagnosis and a regressive  
8 autism diagnosis, is that fair to say?

9 A I'm talking specifically of regressive. I'm  
10 not even considering the other kind. That's still a  
11 lot of children and as the Court knows, the incidence  
12 of autism has enormously increased over the last 10,  
13 15 years and that includes incidence of regressive  
14 autism. And as a comment on that, it has been pointed  
15 out quite cogently that you can't necessarily assume  
16 that that increase or incidence is 1 to 1 in relation  
17 to the increase in the actual prevalence of the  
18 disorder, because there may be changes in  
19 classification, changes in ascertainment, -- more or  
20 less vigilance and knowledge about the condition.

21 But I have to say that whereas it is clearly  
22 easy to be uncertain what's going on with a child with  
23 classical autism who slowly begins to develop in a not  
24 very typical trajectory and wonders is it if have we  
25 as -- have parents done the wrong thing and so on.

449B

KINSBOURNE - DIRECT

1           When a child loses skills that he or

KINSBOURNE - DIRECT

1 she had, I don't quite see how it could be overlooked  
2 -- so I do think it very likely that he, the real  
3 incidence of regressive autism has been increasing.  
4 Obviously, I don't know why. I've already given the  
5 opinion there are multiple causes, so any, or any  
6 combination of those multiple causes might be  
7 responsible and just only cause attention to this  
8 particular point of view.

9 Q And another thing, Dr. Kinsbourne, when you  
10 talk about multiple causes, I assume you're talking  
11 about multiple causes within a population, but also  
12 multiple causes in an individual. So when you say  
13 multiple causes, are you talking about in an  
14 individual you may have genetic predispositions and  
15 environmental exposures, so you have multiple causes  
16 for one person as well as across a population?

17 A Yes. I think that in, a proportion of  
18 children with autism there was in fact an interaction  
19 between a susceptibility and a triggering event what  
20 some people would call a double hit -- I have no  
21 position as to how many such children there are within  
22 the population. I just don't know, it's a large  
23 number or a small number -or a medium number.

24 One more point of changing science because  
25 these are enormous changes to my mind from how we

450B

KINSBOURNE - DIRECT

1 looked at things only ten years ago is that we were  
2 looking for

## KINSBOURNE - DIRECT

1 a rather classical neuropsychological type of database  
2 through asking which areas have to be malfunctioning  
3 to generate those symptoms and those, and those  
4 impairments. So one looks at, one has -- looked at  
5 the hippocampus the amygdala and the cerebellum, these  
6 were the earliest areas incriminated -- and there,  
7 there's nothing wrong with that. But there is now an  
8 increasing tendency to think not so much of static  
9 deficits or failures to develop in those particular  
10 areas as changes in the way the network is  
11 functioning, broad changes of network interaction and  
12 there are a number of articles recently published, the  
13 important ones giving evidence for that to be the  
14 appropriate approach and making suggestions as to what  
15 these changes are.

16 It so happens that one of, I think one of  
17 the most important approaches is the one that I cited  
18 by submitting the article by Rubenstein and  
19 Merzenich -- who talk about a change in the excitation  
20 inhibition ratio as accounting for autistic behaviors  
21 in at least some of these children, which is  
22 personally gratifying to me because I had argued  
23 decades ago that overactivation explained autistic  
24 symptomology and have submitted to the Court two of my  
25 articles to that effect.

451B

KINSBOURNE - DIRECT

1                   So in all, there has been an enormous  
2           increase in interest in autism research and to come

KINSBOURNE - DIRECT

1 back to my initial point, whereas Dr. Wakefield's  
2 specific proposition has not been firmly validated,  
3 the approach that he took is very much in tune with  
4 the way the science has been going since he first  
5 presented his ideas. It so happens that the specific  
6 mechanism of causation, which Dr. Wakefield supported,  
7 namely a gut - brain interaction with an opioid  
8 overflow and opioid damage -- damage to the brain has,  
9 has neither been proven or disproven.

10 It's still a possibility, but it, our  
11 knowledge has not in that respect much advanced. The  
12 theory that I'm proposing is a different theory from  
13 Dr. Wakefield's theory.

14 Q And we'll talk in some detail about the  
15 theory of what happens with a persistent measles virus  
16 when it gets into the brain. We'll get to that point  
17 eventually. But I want to fill in a few of the steps  
18 that get us from here to there and particularly in the  
19 case of Colten Snyder.

20 Now you would agree, and again, this is just  
21 to avoid completely regurgitating the expert report  
22 that you filed and the testimony in Cedillo, but your  
23 conclusions here ultimately are based on the presence  
24 of a persistent replicating measles virus in Colten  
25 Snyder that got into his brain, is that correct?

452B

KINSBOURNE - DIRECT

1           A     That is correct.

KINSBOURNE - DIRECT

1 Q And the evidence that you have that that has  
2 occurred is the presence of the measles virus, RNA and  
3 protein in the cerebral spinal fluid indicating that  
4 it's in the brain, is that correct?

5 A Yes, sir.

6 Q In reaching the conclusion that the measles  
7 virus actually exists in the brain as you describe in  
8 your report and your testimony, you're relying on the  
9 testimony in part of Dr. Kennedy who we heard before  
10 and all of the lab results and the academic work that  
11 supports his conclusion.

12 A I am.

13 Q So really if there is measles virus in the  
14 brain as Dr. Kennedy has explained and if the evidence  
15 is reliable as he has explained, that gives you the  
16 basis for your opinion that the measles virus in the  
17 brain can then initiate a process that causes --

18 A That is completely correct.

19 Q Now one of the issues that's come up, and  
20 we've heard it in testimony and reports in Cedillo, we  
21 haven't heard testimony from the Respondent yet, but  
22 on cross-examination and in their reports, there is  
23 issue made of, in general, the presentation of  
24 symptoms we see in autism are not symptoms that are  
25 typically seen in other measles infectious cases. I'd

454A

KINSBOURNE - DIRECT

1 like you to address to the extent that you can that  
2 argument and perhaps explain why you are able to make  
3 the leap between measles virus exposure and  
4 neurological injuries as you're describing in here.

5 A Right. As was pointed out and as is  
6 obvious, we do know at a scientific level of certainty  
7 of two disorders of the brain caused by the measles  
8 virus, and they are SSPE and MIBE. Now one point of  
9 interest in both of these disorders is that they  
10 demonstrate that the measles virus and in most cases  
11 the wild measles virus, can indeed persist in the  
12 body. In the case of, of SSPE, the interval of time  
13 between the initial measles infection and the first  
14 presentation of this deadly brain disease ranges  
15 between eight and 30 years and it is considered that  
16 the measles virus has been lurking in neurons all this  
17 time.

18 In the case of MIBE the period of time is  
19 more in terms of month than of years, but there has  
20 been a case of what, which I submitted in Cedillo --  
21 Bitnun in which it was verified in one such case that  
22 the virus that had caused the, the condition and the,  
23 and death was actually  
24 vaccine-type.

25 //

KINSBOURNE - DIRECT

1                   Now having delineated these two known ways  
2                   for the measles virus to damage the brain, I cannot  
3                   agree that we should close the book and argue that as  
4                   it were, that's all the measles virus is, is allowed  
5                   to do with regard to the brain that we got exhausted  
6                   the neurotropic and neuropathic potential of the  
7                   measles virus. I mean, that's not true in any aspect  
8                   of medical science.

9                   Actually, Dr. Kennedy happened to give a  
10                  dramatic example this morning of how the, the same  
11                  virus can cause either a spasticity in the nervous  
12                  system or leukemia. There is no reason to foreclose  
13                  the possibility and sometimes the probability that the  
14                  measles virus can in fact, manifest in, neurologically  
15                  in a third way, or for all I know in a fourth way.

16                 I might mention, although, I mentioned an  
17                 article by Dr. Paul Dykken which I have not filed, but  
18                 now find maybe it would be helpful to the Court, you  
19                 know, if I were to file it -- subsequently, Paul  
20                 Dykken is a known expert on SSPE and he is in charge  
21                 of the world registry of SSPE. And he has written,  
22                 and he was one of the colleagues who visited the  
23                 British case and I met him there. And he wrote an  
24                 article, in which he said there is SSPE and then  
25                 there's this condition which we're discussing here

455B

KINSBOURNE - DIRECT

1           which he has his own name for, which is an atypical  
2           response of the brain to the measles vaccine virus.

KINSBOURNE - DIRECT

1 He finds it not at all difficult to think of  
2 neurological disorder caused by the measles virus  
3 which is neither MIBE nor SSPE. So I don't see the  
4 strength of the argument for closing other  
5 possibilities.

6 Q And can you give examples that you're aware  
7 of, of measles virus causing neurological injuries?

8 A Okay.

9 Q And in particular, vaccine strain measles  
10 virus that causes injury.

11 Q Oh, yes. The, the more usual way for the  
12 measles vaccine virus to cause neurological injury is  
13 to do so with a week or so rather than in a delayed  
14 fashion, but when I say usual that of course isn't  
15 really a good word to use because we have to remember  
16 these are rare events and they're all rare events and  
17 that, that makes a difference in terms of how we judge  
18 the plausibility of them occurring relative to surveys  
19 of common events, what happens commonly.

20 But in fact Dr. Kennedy mentioned the  
21 article about Weibel et al., which, this was a group  
22 of investigators from the CDC who analyzed submissions  
23 to the VAERS program over a number of years, set up  
24 their criteria for validating the causation and then  
25 described the pattern of pathology and the time it was

456B

KINSBOURNE - DIRECT

1           that they found, they listed a number of neurological

KINSBOURNE - DIRECT

1           manifestations in various combinations of  
2           encephalopathy, convulsions and ataxia and  
3           occasionally death. And the timeframe which was  
4           typically within the second week after onset.

5                        So as far as I can tell, this is well  
6           accepted. I haven't heard much argument about it,  
7           yes, the measles vaccine virus on rare occasions can  
8           damage the brain.

9                        Q       Now, so you've talked about instances where  
10          the measles vaccine virus can damage the brain and  
11          you've just discussed how the measles virus, although  
12          it can cause known diseases, that doesn't rule out the  
13          possibility of it causing other diseases. I want to  
14          move on and talk a little bit about viral persistence.

15                       Now again, you were here earlier and you  
16          heard Dr. Kennedy testify about the persistence of  
17          measles virus, is that correct?

18                       A       Yes.

19                       Q       During his testimony, he described not being  
20          able to provide a mechanism by which the measles virus  
21          persisted in the body. Do you recall that testimony?

22                       A       I do.

23                       Q       Would you agree with Dr. Kennedy? Do you  
24          have a model or a mechanism of measles persistence  
25          that would either be different from or more expansive

KINSBOURNE - DIRECT

1 than his?

2 A No. As a neurologist, I wouldn't be in the  
3 position to go into cellular level of it. No doubt  
4 it -- persistent cells and I know that it could  
5 persist in lymphoid tissue and could persist in other  
6 areas. But I can't be specific about it. All I can  
7 say in that connection is that I have read that  
8 passing which is the known measles virus can persist  
9 substantially. As long as the virus is there, it can  
10 persist for decades, actually. And although obviously  
11 the question of whether the measles vaccine virus  
12 persists like that is a controversial issue or we  
13 wouldn't be here -- it's not at all unreasonable to  
14 suppose that it can.

15 Q And at some point the presence of the  
16 measles virus in the cerebral spinal fluid and in a  
17 child like Colten Snyder, talking about the proof  
18 being in the pudding, and that's the proof that you  
19 would need even absent a model, a mechanistic model of  
20 how the persistence occurs, is that correct?

21 A Yes, indeed. To me this is a dramatic and  
22 key finding and I've said that before and it has, you  
23 know, the most important implications because as Dr.  
24 Kennedy pointed out and others have, if a virus  
25 materially is in the cerebro spinal fluid, it's in the

458B

KINSBOURNE - DIRECT

1 brain because they're in direct connection. So the  
2 finding of the virus material in the CSF opens a  
3 pathway to considering

KINSBOURNE - DIRECT

1 possible mechanisms of injury in terms of the presence  
2 of some amount, some level of the virus material in  
3 the brain.

4 Q Let's talk about immune suppression also.  
5 It's mentioned in your report. It was an issue that  
6 you addressed at least in Cedillo. I want to talk  
7 about it here. The argument is that Colten Snyder's  
8 immune system was likely suppressed at the time his  
9 MMR was given and that created an inability of his  
10 body to clear the virus and that was in a sense part  
11 of the chain of events that lead to persistence. Is  
12 that your understanding of the theory of this case?

13 A Well, right after Dr. Kennedy explained that  
14 it seems, it seems totally reasonable prima facie  
15 given the burst of infections that he had after  
16 receiving a vaccine that's known to be immune  
17 suppressive, that would be a reasonable thing to  
18 suppose.

19 Q And when you describe the possibility of  
20 immune suppression after vaccination, what evidence  
21 are you referring to? Is this the medical record of  
22 his course of illness?

23 A Well, in Colten's case the medical record --  
24 infections. Again, like any other child, he had  
25 infections before and they came and they went. But

459B

KINSBOURNE - DIRECT

1           somehow for some reason they just kept on happening

KINSBOURNE - DIRECT

1 after the vaccination. It's not proof positive, but  
2 it's a reasonable probability -- I might add that for  
3 me to make that, that suggestion doesn't mean that I,  
4 I'm of the opinion that clinical infection actually  
5 increases after giving the measles virus as a matter  
6 of course and on the millions that receive it. I  
7 don't think the vaccine would be used if we found that  
8 children got more and more infections after it.

9 So I think that, that cannot be the case in  
10 practice, but biology being what it is, it could  
11 surely be the case on rare occasions in certain  
12 children.

13 Q And would it be fair to say that the cluster  
14 of infections and the recurring infections that Colten  
15 Snyder experienced after he got the MMR is at the very  
16 least consistent with an immune suppressed system?

17 A I think "consistent" is a correct word.

18 Q And you're also familiar with the testimony  
19 in the Cedillo matter of Dr. Byers and Dr. Aposhian,  
20 is that correct?

21 A Yes.

22 Q And in that testimony in particular they  
23 described the immune suppressive effect of Thimerosal  
24 or excuse me, of mercury, as contained in the  
25 Thimerosal do you recall the testimony in those

KINSBOURNE - DIRECT

1 reports? Now you're not a toxicologist, correct?

2 A I'm not.

3 Q And you're not an immunologist.

4 A I'm not any of those people.

5 Q And those are the people that testified in  
6 Cedillo. So to the extent that you would identify or  
7 rely in your ultimate opinion on the notion that his  
8 immune system might have been suppressed when he got  
9 the shot, you would be relying on the evidence  
10 developed in Cedillo around Dr. Byers and Dr.  
11 Aposhian's testimony, is that fair?

12 A To, if I can rephrase that, I would say, I  
13 would say that relying on Dr. Byers and Dr. Aposhian  
14 it would seem reasonable to think that maybe the  
15 child's immune system has been sensitized or in some  
16 way made vulnerable to the further effects of the  
17 immune suppressive effect of the MMR. But as I say,  
18 this is an opinion relying on other experts.

19 Q Exactly. And so it's not an opinion that  
20 you would be stating to any degree of medical  
21 certainty and you would be relying on those other  
22 folks.

23 A That is correct.

24 Q Okay. So we talked in general terms, again  
25 not hitting everything in your report, about the

KINSBOURNE - DIRECT

1 measles virus getting into the system, persisting in  
2 the system, the types of symptoms that are known to be  
3 caused by measles infections. We talked about the  
4 measles virus then getting into the brain with the  
5 evidence from the CSF.

6 I would like you to go ahead and talk a bit  
7 and in some level of detail about what you believe in  
8 your expert opinion happens when the measles virus  
9 gets into the brain and how it might be related to  
10 autism symptoms.

11 A All right. One takes note in developing such  
12 a, a notion of what is known of the neuropathology in  
13 autistic individuals who've come to autopsy, and what  
14 is known is that there are abnormalities of the  
15 organization of the neurons in various parts, but  
16 rather limited evidence of actual loss of neurons.  
17 Certainly what has not been reported is necrosis which  
18 means ongoing dying neurons. What has been reported  
19 is a shortage of pyramidal cells in the cerebellum and  
20 --

21 THE COURT: I'm sorry. What was that word?  
22 The type of cells?

23 THE WITNESS: Pyramidal.

24 THE COURT: Pyramidal, yes, okay.

25 THE WITNESS: I may not have the

KINSBOURNE - DIRECT

1 pronunciation.

2 THE COURT: No, you're pronouncing it  
3 properly, but I'm trying to make sure I understood the  
4 word.

5 THE WITNESS: Correct.

6 THE COURT: So the pyramid of cells in the  
7 brain.

8 THE WITNESS: Let me explain that properly.

9 THE COURT: Please.

10 A There are several types of neurons in the  
11 brain. It's actually suprising that there are only a  
12 few different types. One of them is a large cell  
13 which has a pyramidal shape, like a pyramid.

14 THE COURT: Okay, so it's three sided,  
15 triangular.

16 THE WITNESS: Let me describe what it looks  
17 like under a microscope.

18 THE COURT: Okay.

19 A And these are large cells which have axons  
20 that often go long distances and there's a notable  
21 layer, a pyramidal layer in the cortex of the  
22 cerebellum. And looking there, one found those cells  
23 missing as reported. But it's not really an, in fact  
24 an option to say that autism is caused by losing lots  
25 of neurons. That's not the case as it may be in some

KINSBOURNE - DIRECT

1 other disorders.

2 Rather it would seem, it seems more  
3 attractive to develop a hypothesis which appeals to a  
4 combination of inflammation and the effects of  
5 inflammation on neurotransmitter function. This is  
6 essentially the model that I describe in my, my  
7 article in my report. And I hasten to say there and  
8 I'll say it again, I'm not arguing that. I know that  
9 this is the case. And this is not an argument to a  
10 scientific level, I present it here as a reasonable  
11 approach to suggesting such a mechanism.

12 Now the basis for making it attractive to, I  
13 assume that there is inflammation occurring in the  
14 brain, is the well-known work by Vargas and colleagues  
15 from Hopkins, the group led by Dr. Pardo and in which  
16 includes Dr. Zimmerman, who contributed apparently to  
17 it, who have in fact found inflammation in the brain.  
18 And they found inflammation in two ways. They found  
19 it in autopsy specimens of people who unfortunately  
20 died while autistic -- for other reasons and they  
21 found neuroinflammation markers in the cerebral spinal  
22 fluid of other children, obviously living autistic  
23 children

24 And finding those inflammatory markers was  
25 interesting was because what they found in the autopsy

464B

KINSBOURNE - DIRECT

1 specimen in terms of inflammatory substances  
2 corresponding to what they found in living children in  
3 the cerebral spinal

KINSBOURNE - DIRECT

1 fluid. And also corresponded to what Dr. Jyonouchi  
2 found in the blood of autistic children of a  
3 regressive type in her investigation.

4 So there's, a database has been building up  
5 of evidence of inflammation in autistic children and  
6 evidence that that inflammation involves the brain,  
7 can also obviously involve other parts such as the  
8 intestinal lining, but that's not my topic at this  
9 time. So using as a working model the, the idea that  
10 there is indeed neuroinflammation in Colten Snyder's  
11 brain as there was in quite a few of the children that  
12 were autopsied, not just one or two, by the Vargas  
13 group - one, then notes the fact that this  
14 inflammation indicated the activity of what's called  
15 the innate immune system, the innate immune system  
16 being a generalized response to foreign bodies,  
17 invaders, as opposed to the adaptive immune system  
18 which hones in on specific targets.

19 The innate immune system is represented in  
20 the brain by glial cells, g-l-i-a-l cells, which are  
21 called microglia and they are the counterpart of  
22 macrophages in the blood and in the general system of  
23 the body. And activation of the microglia causes the  
24 production of what are called proinflammatory  
25 cytokines. These are substances that cause

465B

KINSBOURNE - DIRECT

1 inflammation which was observed by the Vargas group.

2 THE COURT: Dr. Kinsbourne, I just want to

3 interrupt for a minute.

KINSBOURNE - DIRECT

1 THE WITNESS: Yes.

2 THE COURT: When you say microglia, you're  
3 referring to the word m-i-c-r-o-g --

4 THE WITNESS: M-i-c-r-o.

5 THE COURT: So, okay, we might pronounce it  
6 micro, but --

7 THE WITNESS: Yes, yes.

8 THE COURT: I'm thinking of the court  
9 reporter. I am not quarreling with your accent.

10 THE WITNESS: That's all right, Special  
11 Master.

12 THE COURT: Okay.

13 THE WITNESS: I sometimes lapse into the  
14 English way of saying things.

15 THE COURT: Okay.

16 A While I am on this topic I should make the  
17 following distinction. Glial cells are the cells  
18 which are not the neurons. They've got multiple other  
19 functions. They are supportive to the neurons in many  
20 ways, and the, the, there are three main categories.  
21 There are the astrocytes star shaped there are the  
22 microglia and those are the oligodendrocytes, which  
23 are not relevant to my discussion. So coming back  
24 then to how those are involved, the microglia then  
25 launch an attack on the apparent invader, obviously,

466B

KINSBOURNE - DIRECT

1           if they were to destroy the invader through the  
2           chemicals they release, they would be no further --  
3           disease. That's not the case here.  
4                       Sometimes they are able, actually, to

467A

KINSBOURNE - DIRECT

1 destroy the new, the cells within which the invader is  
2 hiding. It's called etolysis, the cell breaks up, we  
3 don't have much evidence of that, in what we know  
4 about the autistic brain. However, it also happens  
5 that the invader harbors safely within cells while the  
6 innate immune system keeps battering at it, keeps  
7 throwing out what one may call its "firendly fire,"  
8 which then instead of eliminating the invader, it  
9 damages the cells that were lying in the vicinity,  
10 such as, for example, the astrocytes and there was  
11 also evidence of astrocytic activation and some  
12 evidence of the destruction of astrocytes based on the  
13 report of admittedly small amounts of what's called  
14 gliosis. Gliosis means scarring of -- caused by the  
15 deaths of glial cells.

16 BY MR. POWERS:

17 Q And excuse me, Dr. Kinsbourne, what evidence  
18 would there be of astrocytes having died? Would that  
19 be the same evidence you'd see through the death of  
20 glial cells?

21 A Well, it was reported by the Vargas groups,  
22 that it was microglia and astrocytic activation. So  
23 I'm relying on that report.

24 THE COURT: By activation, you're not saying  
25 dying or are you?

467B

KINSBOURNE - DIRECT

1                   THE WITNESS: No. Activation, well, they  
2                   begin to do what they are equipped to do but aren't  
3                   necessarily doing. What happens in practice is that

KINSBOURNE - DIRECT

1 they produce chemicals which they then release. As I  
2 already mentioned that the microglia release  
3 proinflammatory cytokines, the astrocytes can actually  
4 release glutamate and that's part of the picture that  
5 I'm presenting to the Court.

6 THE COURT: Okay. So these are astrocytes  
7 and the astrocytes release the glutamate because of  
8 the proinflammatory cytokines.

9 THE WITNESS: Yes.

10 THE COURT: -- action against them.

11 THE WITNESS: Exactly.

12 THE COURT: So they're attacked in friendly  
13 fire.

14 THE WITNESS: Exactly.

15 THE COURT: To use military terminology, and  
16 then they react by releasing glutamate.

17 THE WITNESS: They do.

18 THE COURT: Okay.

19 A And then at times they actually die. Now I  
20 need to explain how the astrocytes relate to the  
21 glutamate neurotransmission and glutamate synapses.  
22 I'm prefacing that by stating as I did in my report  
23 that glutamate is the predominant excitatory  
24 neurotransmitter in the cerebrum and brain in general.

25 Now glutamate is well known for being a

468B

KINSBOURNE - DIRECT

1 substance that the brain needs to keep under tight

## KINSBOURNE - DIRECT

1 control. So on one end it's very prevalent, and yet  
2 it must not exceed in its amount certain limits  
3 because it is going to be excitotoxic. In other  
4 words, it causes activation to a degree that the  
5 activated neuron can no longer sustain metabolically  
6 and that neuron dies. This is being investigated in  
7 many other contexts in the context of stroke or  
8 neonatal brain damage and so on. Now the, there are  
9 several ways in which the amount of neurotransmitter  
10 released at the synapses is controlled. And one of  
11 these ways is that there are enzymes to break it down.

12 Another way, an important one is that some  
13 of it gets reabsorbed back into the neuron. That's  
14 done by what is called a transporter. So at glutamate  
15 synapses, you have them on other things, glutamate  
16 transporters that mop up. The glutamate, which  
17 doesn't go straight to the target, so it shouldn't  
18 spread outside the synapse and, as it were, it bathes  
19 the network -- it turns out that the astrocytes, also  
20 have glutamate transporters, they pick up glutamate  
21 and then they recycle it back to the neuron that  
22 secreted it in the first place.

23 Now the upshot of the pathology is that if  
24 the astrocytes are wrapped around the synapse to do  
25 this job, if they die or malfunction or cease to do

469B

KINSBOURNE - DIRECT

1       their transporting, then the amount of glutamate is  
2       out of control and the levels rise and that's the  
3       bottom line of what happens when this mechanism goes  
4       awry. So assuming that is the case,

KINSBOURNE - DIRECT

1 you now have a brain with more glutamate than is  
2 healthy for it and then it requires to keep it in an  
3 appropriate activation-inhibition balance.

4 So now I need to talk about this balance  
5 because the balance that is in the brain, it cannot  
6 have absolute -- levels of things, you constantly have  
7 opponent processes, you have influences going in  
8 opposite ways. They can stabilize each other or  
9 change in a graded way. The excitation of glutamate  
10 in the brain is counteracted by the inhibition of a  
11 neurotransmitter called GABA,  
12 G-a -- capitalize -- G-a-b-a. So the glutamate, GABA  
13 ratio is the main determinant of the level of  
14 excitation or activation in the brain. I mean, it, it  
15 varies within certain permissible parameters in normal  
16 brain function.

17 If the glutamate levels rise out of control,  
18 then obviously the ratio is changed in the direction  
19 of excess activation and, and a number of consequences  
20 occur. The first and, and most obvious consequence is  
21 that there is over excitation which leads to a  
22 tendency to have seizures. And if not actual  
23 seizures, at least to abnormal EEG's and it is  
24 interesting and notable that sooner or later 30  
25 percent of people with autism in fact have some --

470B

KINSBOURNE - DIRECT

1 seizures and that around 70 percent have abnormal  
2 EEG's short of having seizures.

3 So the increase in the activation level of  
4 -- due to, excess glutamate is consistent at least  
5 with that

KINSBOURNE - DIRECT

1 fact. Obviously, it isn't the only possible  
2 explanation the -- but it is consistent -- now the,  
3 the second point that I can bring up now in terms of  
4 relating overactivation presenting it as a feasible  
5 model of autistic behavior, is to say that a lot of  
6 autistic behavior can be described as stimulation  
7 avoiding. The people, they like not to be with  
8 crowds. They go out of control at birthday parties.  
9 You can't take a kid with autism to a birthday party.  
10 They will turn away. They will avoid eye contact.  
11 The human face is very much of course a source of  
12 stimulation.

13 And unpredictability, they shun anything  
14 that's unpredictable. They don't like things to be  
15 changed and they behave as if they were trying to hold  
16 things constant under control. They do not need  
17 stimulation. They react excessively to certain sounds  
18 and other, other stimuli. A lot of the behavior seems  
19 like a, an attempt to keep stimulation no higher than  
20 it already is, given that it's, it's already too high.

21 And furthermore, that over stimulation is  
22 not just a neurological fact. It's also a subjective  
23 fact. It's what we experience objectively when we are  
24 anxious and particularly when we are in a state of  
25 panic, that is what it's like for the brain to be

471A

KINSBOURNE - DIRECT

1           overstimulated. And I suggest that children with  
2           autism very much pull their attention inward. They'll

## KINSBOURNE - DIRECT

1 remarkably ignore most of what's happening outside,  
2 except when they absolutely have to pay attention to,  
3 because of what's going on inside them subjectively.

4 And there is a, a phenomenon that has been  
5 known in, in cognitive psychology since 1959,  
6 actually, when it was first described which is to do  
7 with the effect of increasing activation level on the  
8 focus of attention. And it was shown then and it's  
9 been much confirmed that as a person becomes more  
10 overactivated, overaroused and anxious, their focus of  
11 attention becomes narrower and narrower and narrower  
12 until finally they're just focusing on one thing.

13 And an extreme example of all this, an  
14 example was called weapon focus when the person under  
15 such terror not even noticing that he's holding a gun.  
16 And it is classical and otherwise perplexing why  
17 autistic children will notice not only just one  
18 object, but one kind of an object or one little  
19 component. They have this, this has been documented  
20 for many years, have this tremendously focused on  
21 attention and that is consistent with an overactive  
22 system.

23 One more major aspect of autistic behavior,  
24 actually there are so many more, but let me make one  
25 more point in principle. In the criteria for being

472B

KINSBOURNE - DIRECT

1 autistic, we have the forming of language, forming of

KINSBOURNE - DIRECT

1 social perception and behavior and we have still the  
2 abnormal movements, the stereotypic mannerisms -- now  
3 I have proposed when I first wrote about this in an  
4 article in 1980 which was submitted in Cedillo, that  
5 the reason that the children go into their stereotypic  
6 -- routines is to decrease their arousal level. And I  
7 cited quite a bit of animal evidence that analogous  
8 behaviors in animals are induced when animals are put  
9 into situations of conflict or thwarting, and they  
10 seem to be using this, not deliberately, but by some  
11 mechanism to lower their arousal levels.

12 And it is quite consistent with a notion of  
13 overactivation in the autistic brain that from time to  
14 time they would go into routines that are otherwise  
15 inexplicable, but for some reason they do and I  
16 believe it's in a sense, to put it simply, to calm  
17 themselves down.

18 BY MR. POWERS:

19 Q And Dr. Kinsbourne, what you're describing  
20 here in general terms, I'm assuming, are things that  
21 you would see in the presentation of Colten Snyder's  
22 symptoms, is that correct?

23 A You would, and he is recorded, although he  
24 is not an extreme case of this, he is recorded doing  
25 what people in the field call it "stimming," which

KINSBOURNE - DIRECT

1 it's a repetitive behavior of his.

2 Q And repetitive play behavior, for example --

3 A Yes.

4 Q -- did you hear the testimony about  
5 repetitive play behavior with toys?

6 A Yes, which was so vividly described. I see  
7 as using predictability to calm yourself down and I  
8 could give you more examples, but yes, that's correct.

9 Q And did you also find it significant in  
10 reaching the conclusions that you've reached in this  
11 case that from the testimony of his family and his  
12 caregivers that his play behavior changed from before  
13 and after the MMR? Does that affect your opinion in  
14 this?

15 A Oh, that was dramatic. That indeed is part  
16 of his becoming autistic. Yes, why would autistic  
17 children line up things all the time or to say that's  
18 a feature of autism is not going to explain anything  
19 and I'm attempting to, to produce an explanation which  
20 fits in with some information we know about the brain  
21 of autistic people.

22 Q And then so to pull some of these ideas  
23 together then, it would be your expert opinion to a  
24 reasonable degree of scientific probability that the  
25 measles virus that we've already described existing in

KINSBOURNE - DIRECT

1 Colten Snyder's brain, it would be your expert opinion  
2 that that persisting virus is the invader that you  
3 were describing that triggered the cascade of  
4 neurological processes?

5 A Yes, sir.

6 Q And the persistent presence of the measles  
7 virus in the brain meant that those neurological  
8 processes would also be ongoing.

9 A Yes.

10 Q And that the inflammation process and the  
11 disequilibrium between excitation and the inhibitory  
12 process, that is ongoing because of the persistence of  
13 the virus.

14 A Yes.

15 Q And you see all of this as having not  
16 existed before he received his MMR and only existing  
17 after he received his MMR.

18 A I saw nothing in the medical records or in  
19 testimony to suggest it existed before.

20 Q And then these opinions are also supported  
21 by your expert opinion to a reasonable degree of  
22 scientific probability, that the measles virus is both  
23 neurotropic and neurovirulent, correct?

24 A Correct.

25 Q And that because of those two

KINSBOURNE - DIRECT

1 characteristics, when in the brain they would be  
2 treated as a foreign body and would trigger the  
3 release of proinflammatory cytokines and the sequelae  
4 neurologically.

5 A Correct.

6 Q And all of that you believe to a reasonable  
7 degree of scientific probability.

8 A Yes.

9 Q So in this case, given the testimony that  
10 you've heard and given in the Cedillo matter and in  
11 this matter, and the review of the medical literature  
12 and in your experience and in your own research, could  
13 you say to a reasonable degree of scientific  
14 probability that a persistent measles virus via the  
15 MMR was a significant contributing cause of Colten  
16 Snyder's autistic symptoms?

17 A Yes, I can and I do.

18 MR. POWERS: I believe that's all I have for  
19 direct.

20 THE COURT: I'm assuming you want to recess  
21 before we do cross-examination?

22 MR. MATANOSKI: Yes, ma'am.

23 THE COURT: All right. How about we  
24 reconvene at 3:00.

25 MR. MATANOSKI: Thank you, ma'am.

KINSBOURNE - CROSS

1 (Off the record.)

2 THE COURT: All right, we're back on the  
3 record in the Snyder case. Dr. Kinsbourne is still on  
4 the stand, and Mr. Matanoski, feel free to cross-  
5 examine.

6 MR. MATANOSKI: Thank you, ma'am.

7 CROSS-EXAMINATION

8 BY MR. MATANOSKI:

9 Q Good afternoon, Dr. Kinsbourne.

10 A Mr. Matanoski.

11 Q Are there any changes to an infant's brain  
12 following birth?

13 A Did I hear correctly? Are there changes to  
14 an infant's brain following birth?

15 Q Yes.

16 A Do you mean immediately following or for the  
17 rest of its life?

18 Q Actually, for the rest of its life.

19 A Well, a well-known, major aspect of  
20 maturation, there are lots of changes. Perhaps you  
21 would --

22 Q What kind of changes are there?

23 A Well, let me begin by saying what doesn't  
24 change as a basis. A number of neurons don't change  
25 or hardly, hardly do. The changes in principle are

## KINSBOURNE - CROSS

1 the connections between the neurons which become  
2 mature and more distant. At the beginning, the  
3 connections between the neurons are very local. And  
4 the other change of major importance and well known is  
5 myelination, is that the long processes or the axons  
6 that transmit information from cell to cell become  
7 myelinated and therefore transmit much more quickly.

8 Q Why does the brain change?

9 A There are, it depends on what one means what  
10 I believe you're asking is what, what influences the  
11 brain to change. There are several factors known only  
12 in a very general sense. First of all, there's  
13 genetic programming. Secondly, there are epigenetic  
14 factors and just to explain that for a moment.

15 When genes program say neurons to line up in  
16 a certain place, the gene is really like a commanding  
17 officer who says to the regiment, go there -- he  
18 doesn't tell an individual neuron or individual  
19 soldier to go there. The group then makes its way, in  
20 a general sense, to where the gene, down some gradient  
21 -- the gene establishes in a chemical fashion and the  
22 neurons will on the whole arrive at the appropriate  
23 station and there may be [unintelligible] in it, some  
24 may get held up on the way, there may be factors in  
25 the substrate that they cross which pushes some out of

478B

KINSBOURNE - CROSS

- 1 the way and glial cells give them pathways along which
- 2 to go and that may or may not succeed.

KINSBOURNE - CROSS

1                   So what I'm saying is - and this may or may  
2                   not be what is of interest to you - is that the genes  
3                   give general instructions and the extent to which they  
4                   are carried out specifically is subject to individual  
5                   variation.

6                   Q     So the brain is not, it's not static from  
7                   birth. It's changing.

8                   A     Oh, it's utterly dynamic, yes.

9                   Q     And that's a normal process.

10                  A     Correct.

11                  Q     As a matter of fact, if it didn't change,  
12                  then we'd be in trouble, right?

13                  A     Well, we wouldn't really be able to take  
14                  care of ourselves at all.

15                  Q     Now turning to your report on page 10, you  
16                  were talking about regressive autism and in that you  
17                  said, regressive autism, and I'm going to just  
18                  paraphrase, is presumably from a triggering event, I  
19                  guess because the person was normal beforehand. Is  
20                  that why you're saying it's from a triggering event?

21                  A     Yes, but we have to explain why development  
22                  was normal or near normal and why it took a sudden  
23                  downward trajectory, which is very, very abnormal. So  
24                  I think it would be reasonable if not obvious that  
25                  something must have most likely have happened to

479B

KINSBOURNE - CROSS

1 change the trajectory of development in such a radical

KINSBOURNE - CROSS

1 way.

2 Q You also mentioned that since regression  
3 doesn't always follow after an infection or  
4 vaccination, it has to have other causes.

5 A Oh, yes --

6 Q What are those causes?

7 A Oh, you see, it's not only not known, it's  
8 hardly been investigated as I presented to the Court  
9 in direct examination. The people haven't focused on  
10 what causes regression. They have focused a lot on  
11 what causes autism. But if, if you take my point of  
12 view as I represented, it isn't just my point of view,  
13 that regression may have its own separate set of  
14 causations, I still am persuaded there must be more  
15 than one but there really is no database to answer  
16 your question as to what the nature of possible causes  
17 is.

18 Q So you'd have no database on which to  
19 identify whether they're present in Colten Snyder's  
20 case or not, correct?

21 A Oh, that's, that is naturally the case, but  
22 I just want, want to be quite clear on that. You say,  
23 I'm not saying that every case of regression is caused  
24 by the measles virus -- that would be absurd.

25 Q And if you could and I'm sure that it's hard

480B

KINSBOURNE - CROSS

1 to generalize, but could you tell me what you'd

## KINSBOURNE - CROSS

1 normally expect in a case of regressive autism? What  
2 would the child's course be after the regression first  
3 manifested itself? Could you take us out for a couple  
4 of years after that to start with.

5 A Okay, with the proviso that obviously  
6 autistic children, vary even more than children vary  
7 and also second proviso -- that -- I intend to react  
8 to what people notice. Some, for example, changed  
9 behavior they would be more likely to assume it was  
10 their fault as parents, did something wrong, whereas  
11 stopping to speak suddenly would be really very  
12 alarming I would have thought, at any rate -- the  
13 change, the changes are often of the following nature,  
14 the child either speaks very little or falls silent or  
15 only uses his or her repertoire of words very rarely  
16 so whereas communicating in a normal fairly continuous  
17 rate with other people the words may just appear  
18 sporadically and not even when you would expect it.

19 Q And that's when it first manifests itself?

20 A Well, that, that would be one change which  
21 is a dropoff in speech use is what I'm, what I'm  
22 saying and I'm trying to describe the way it might  
23 appear, but ultimately it might happen as Mr. Snyder  
24 said, the child falls silent and sometimes there's two  
25 words left, sometimes they fall silent completely.

481B

KINSBOURNE - CROSS

1           And that actually is what draws the most attention is  
2           stopping speaking.

KINSBOURNE - CROSS

1 Another thing that happens is that they seem  
2 to stop understanding. They, they don't seem to  
3 understand what's said to them or at least understand  
4 it less and sometimes it's hard to know whether it's  
5 because they couldn't or because their attention is  
6 elsewhere. The fact is they're not, they're, you  
7 know, they're not responding. Is that --

8 Q Yes, absolutely. About what time course are  
9 we talking for these to appear?

10 A Well, my impression is and again we don't  
11 really have decent data on it, my impression is that  
12 it's probably several months. And something like that  
13 could probably come to some kind of plateau.

14 Q And then they plateau?

15 A Yes.

16 Q And --

17 A -- totally flat. I mean they, they'll  
18 fracture it, but the decline seems to not, not go  
19 beyond a certain point.

20 Q Do they stay at that plateau or do they  
21 regain any of the function?

22 A Any? I've seen all three of these outcomes.  
23 In other words, I've seen it, cases where at least for  
24 a number of years a child then is oscillating around a  
25 certain level. And I'm going to qualify this in a

482B

KINSBOURNE - CROSS

1 moment. I've seen children

## KINSBOURNE - CROSS

1 plateau and then get worse again, often with the  
2 epilepsy beginning and I've seen some cases that get  
3 better and maybe even be getting well. The question  
4 of recovery from autism is now very important topic  
5 and one that I'm working on with a colleague. The  
6 question is have they really recovered to normality or  
7 have they learned how to be normal.

8 Q I do understand what you're saying. So if  
9 we're to say what usually happens, is it usually a  
10 downward trend, is it usually staying the same or is  
11 it usually improvement?

12 A It usually, in the majority of cases, they  
13 will remain autistic at a certain level.

14 Q So it's plateaued?

15 A Yes.

16 Q They don't get, they don't recover or they  
17 don't develop language at all?

18 A Oh, no, no I'll insert another proviso -- in  
19 developmental disorder, being on a plateau. doesn't  
20 mean having the same level of skills, because suppose  
21 one was at a plateau at a one-year-old level -- well  
22 that's pretty bad if you're two years old, but it's  
23 real bad if you're 10 years old, you know. In other  
24 words, what happens is in developmental disorders that  
25 you have your own trajectory of growth but it's

483B

KINSBOURNE - CROSS

1 parallel to or lower than then the normal trajectory.

2 So a child with any kind of autism, whether

KINSBOURNE - CROSS

1 it's regressive or not, unless it's of utmost  
2 severity, like profoundly retarded it's going to make  
3 some, some progress. But it will be, it won't  
4 approximate anywhere closer to the norm.

5 Q If you wouldn't mind, would you put up the  
6 chart that I had mentioned. It's a little crooked,  
7 Doctor. I'll try to help with that. I'm going to  
8 show you a diagram that was prepared by Dr. Bradstreet  
9 and I thought this might be the easiest way to figure  
10 out where you stand in your opinion versus what he  
11 presented yesterday. And the reason why I'm doing  
12 that, Doctor, is he was presented as a treating  
13 doctor, not as the expert in this case. You're the  
14 expert --

15 A I understand.

16 Q -- presented. And I wanted to ask you a  
17 series of question about it. Thank you. This was a  
18 diagram that Dr. Bradstreet prepared in offering his  
19 clinical --

20 THE COURT: Can you identify this, Mr.  
21 Matanoski?

22 MR. MATANOSKI: I'm sorry. I think that was  
23 their Trial --

24 THE COURT: It's Trial Exhibit 2, but what  
25 page are we on? Do we have any idea?

484B

KINSBOURNE - CROSS

1

MR. MATANOSKI: 23.

KINSBOURNE - CROSS

1 THE COURT: Okay, Trial Exhibit 2, 23.

2 Thank you.

3 MR. MATANOSKI: Thank you.

4 BY MR. MATANOSKI:

5 Q Doctor, could you take a look at that and  
6 tell me which part of this you're accepting as part of  
7 your hypothesis and which part you don't necessarily  
8 accept? And I understand that it's not your chart --

9 A Well, let me start at the top. The measles  
10 vaccine I accept, because that's what we're talking  
11 about. And then I think can lead to immune  
12 dysregulation, we have discussed already and I  
13 explained the symptoms which I used that concept. Now  
14 for the role of mercury, as Mr. Powers pointed out,  
15 I'm relying on another expert's independent opinion on  
16 that.

17 Q I understand that. Let me stop you for a  
18 second, sir. You would take the, the part where it  
19 says measles vaccine down to immune dysregulation.  
20 You would accept that measles vaccine causes immune  
21 dysregulation?

22 A For, temporarily. I don't, I don't know  
23 that it's a cause of permanent immune dysregulation,  
24 but it's well known that for six or eight weeks or  
25 some such time if the case and it may be longer in

485B

KINSBOURNE - CROSS

1 individuals. At this point it's immunology which I  
2 don't have, you know, total concept of.

KINSBOURNE - CROSS

1 Q And independently, or this notion that  
2 mercury causes, it looks like it bypasses at one point  
3 immune dysregulation and it goes right down and causes  
4 oxidative stress and glutathione depletion. Is that  
5 any part of your process here?

6 A That's the second group of three cases in  
7 which I'm not involved.

8 Q Okay, you mean the second theory, in other  
9 words.

10 A Yes, that's the one, the theory of mercury  
11 only, it's brain damage is one that I've considered  
12 and I have not yet come to a level of adequate  
13 conclusion to adopt that theory.

14 Q Thank you. So then the other part where  
15 mercury seems to be playing a role in Dr. Bradstreet's  
16 concept, down to immune dysregulation, are you relying  
17 on that in this case that mercury is the cause of  
18 immune dysregulation?

19 A I am not only because it's not necessary  
20 for, for me to rely on it. Basically my point of  
21 departure is that the child is autistic and the  
22 measles virus genomic material is found there. Now  
23 why is it there and normally it isn't, I think the  
24 idea that the immune system wasn't capable in this  
25 particular case, getting rid of it is of course very

486B

KINSBOURNE - CROSS

1 attractive, and then one can ask why wasn't it capable  
2 and mercury is one of the possibilities. But

KINSBOURNE - CROSS

1 from my point of view, that's not something by which  
2 my opinion stands or falls.

3 Q Okay. And from immune dysregulation down to  
4 oxidative stress, glutathione depletion, does that  
5 play any role in your thinking?

6 A No. The immune dysregulation as such, you  
7 see, I go the other route, as you know. The way  
8 they're joined up is, I have to reshuffle them a  
9 little bit, you know. Once the measles, once simply a  
10 measles vaccine --

11 THE COURT: Dr. Kinsbourne, could you bring  
12 the mike over closer to you, just --

13 THE WITNESS: Yes, I'm sorry.

14 THE COURT: I understand you need to turn to  
15 face the slide.

16 A Yeah, for my purposes the, a window of time  
17 during which there was immune dysregulation,  
18 handicapping the immune system from disposing of the  
19 virus would be consistent with my views. But the fact  
20 the virus is there and even if there is a  
21 [unintelligible] makes no difference -- is there.

22 BY MR. MATANOSKI:

23 Q All right.

24 A So --

25 Q I'm sorry.

487B

KINSBOURNE - CROSS

1           A     I'm sorry.

KINSBOURNE - CROSS

1           Q     I just, so I understand that, so immune  
2           dysregulation is not in your, from our understanding  
3           here, is your, your hypothesis doesn't have immune  
4           dysregulation directly causing oxidative stress or  
5           glutathione depletion.

6           A     Well, it does once you get the measles virus  
7           into the brain, which is --

8           Q     Well, yes, I understand that, sir. It  
9           allows the measles virus to go into the brain and  
10          persist.

11          A     Correct.

12          Q     In your view, and the measles virus itself,  
13          being there is stimulating the immune system.

14          A     Correct.

15          Q     And then causing these other problems that  
16          you, these glutamate problems you were telling us  
17          about.

18          A     Yes, sir. That's right. So --

19          Q     It's an indirect, indirectly by allowing  
20          them, in your hypothesis, indirectly by allowing the  
21          measles virus to persist, it plays a role ultimately.

22          A     That is exactly the case.

23          Q     The brain you've got inflammation. I didn't  
24          hear you mention that.

25          A     Well, I already talked about brain

488B

KINSBOURNE - CROSS

1 inflammation, which could, which ought to, for me to

KINSBOURNE - CROSS

1 be after MV persistence there's where the arrow should  
2 be like this: measles vaccine, immune dysregulation,  
3 MV persistence, brain inflammation. That's the  
4 sequence that I was talking about.

5 Q Okay. That make it, so in other words, we  
6 could just go measles vaccine, immune dysregulation,  
7 MV persistence and then glutathione, well actually,  
8 not glutathione.

9 A Brain inflammation and --

10 Q Brain inflammation.

11 A I really have no opinion on the dysbiosis.  
12 It's outside my field. And oxidative stress certainly  
13 is one of the effects of the activation I was  
14 discussing during direct, but that's as far as that  
15 goes. And glutathione, I don't, I don't know enough  
16 about that aspect to have an opinion.

17 Q So yours is a lot simpler than that  
18 schematic.

19 A It is, yes.

20 Q And you wouldn't adopt those other parts of  
21 that schematic for your hypothesis.

22 A Well, for purposes of my opinion, I, I don't  
23 need to, well, I'm not arguing, it's moot as far as  
24 I'm concerned.

25 Q I understand. So just to be clear though,

KINSBOURNE - CROSS

1 because this is general causation as well, would you  
2 adopt any of those others?

3 A Well, I, I did say I adopted the mercury  
4 effect on the immune system by adopting the testimony  
5 of Dr. Byers and Dr. Aposhian in the Cedillo case.

6 Q And that's not based on any, you're just  
7 relying on them.

8 A I have no --

9 Q That's not your independent opinion.

10 A -- adopt is exactly the right point. I am  
11 relying upon them.

12 Q And not independently come to that  
13 conclusion.

14 A Correct. As for glutathione and bacteria, I  
15 haven't been in a position where I've been asked about  
16 whether I would or I wouldn't, you know. There may be  
17 another case in which I would seriously consider it.  
18 I don't want to foreclose anything, but right now this  
19 would not be part of the sequence of cause and effect  
20 that I'm discussing.

21 Q Well, would you foreclose it if we didn't  
22 have a positive, what is purported to be a positive  
23 CSF finding with measles genomic material?

24 A If I didn't, oh, alright let me be very  
25 precise about that.

490B

KINSBOURNE - CROSS

1 Q No.

KINSBOURNE - CROSS

1           A     If, if there were, if it were in fact the  
2           case that the measles vaccine virus material had never  
3           been found as respondent is arguing, that these  
4           findings are spurious from the start, then I would not  
5           be able to give an opinion in this case.  However,  
6           that doesn't mean that I couldn't see cases in whom  
7           personally the material wasn't found, maybe they  
8           didn't have a spinal tap and I still refer to the, the  
9           science on general causation and then apply that to  
10          the individual case.  Have I explained that or should  
11          I do it again?

12          Q     Well, I'm wondering what the science on  
13          general causation would be without that finding.  If  
14          that finding were spurious, what would the science and  
15          general causation --

16          A     Oh, no, if the finding is spurious, you see  
17          if the finding can be spurious in one of two ways.  It  
18          can be spurious in Colten Snyder.

19          Q     Right, right.

20          A     Do you see?

21          Q     What if it were spurious overall?

22          A     Then I wouldn't find causation

23          Q     In any case?

24          A     Well, I could be thinking it, think

25          //

KINSBOURNE - CROSS

1 of another theory, but what I'm, what I presented you,  
2 I would not present to you today if I didn't believe  
3 as I do that in fact this is genuine.

4 Q That measles virus is persisting in some  
5 individuals.

6 A Correct.

7 Q In their brain.

8 A Yes, sir.

9 Q And causing autism.

10 A Right.

11 Q Okay. And then if we take that away and  
12 we're saying there's no evidence that it's persisting,  
13 a -- spurious finding not just in this case, but  
14 overall.

15 A Correct.

16 Q And just to make sure I understand you, your  
17 postulate of measles vaccine, immune dysregulation,  
18 brain inflammation, I'm sorry, measles virus  
19 persistence, brain inflammation, would you still hold  
20 that as being a likely hypothesis if no one had ever  
21 reliably recovered measles virus in the brain of  
22 autistic individuals?

23 A What I was responding to was a proposition  
24 that, here's what I was responding to, but you will  
25 correct me if I sound different, remember last time we

492B

KINSBOURNE - CROSS

1 met we almost had a Perry Como moment -- if Dr.

2 O'Leary

## KINSBOURNE - CROSS

1 were to burst into this courtroom and say, I confess,  
2 I made it all up, I never found any of it, okay, then  
3 I would abandon this kind of, this direct attack  
4 theory, as you might call it.

5 Q Okay.

6 A However, if he didn't burst into this room  
7 in this manner, but as critical work in the case, one  
8 had only, one had found and confirmed the material in  
9 the gut and the blood, but, for example, not have  
10 access to the CSF, I might still have found causation,  
11 although I'm going to say --

12 Q I understand you, Doctor. In other words,  
13 but it's still key to you that they find measles virus  
14 persisting in these individuals and afterwards. So  
15 even if, not necessarily the CSF, but if they found it  
16 in the gut, in the blood, somewhere they're finding  
17 it, but if that were, we were to say, you know, we  
18 can't trust those findings at all, then it's a problem  
19 for you.

20 A Very much.

21 Q Thanks. I want to make sure I'm clear on  
22 when you think the immune dysregulation began. I just  
23 want to make sure I'm clear because I read your report  
24 and I seem to take from it that you think it might  
25 have begun before he got his MMR.

493B

KINSBOURNE - CROSS

1                   A     Well, I could not myself see in the evidence

2           or

KINSBOURNE - CROSS

1 the testimony of others evidence for immune  
2 dysregulation before the MMR. The most I can say is  
3 that the immune system might be made vulnerable by  
4 the, by, say, mercury or some other reason but that,  
5 if so, that probability only expresses itself  
6 subclinically as it happened after the MMR  
7 vaccination.

8 Q And as far as that may be made vulnerable,  
9 that's, again that's just based on Dr. Aposhian and  
10 Byers.

11 A Oh, yes.

12 THE COURT: Let me ask that question  
13 differently. Was there clinical evidence of  
14 vulnerability or dysregulation before the MMR?

15 THE WITNESS: Not that I could see.

16 THE COURT: Okay.

17 BY MR. MATANOSKI:

18 Q And how does the MMR cause immune  
19 dysregulation?

20 A Well, it depresses the various immune  
21 responses and that is well known and, and not  
22 controversial. I obviously rely on Dr. Kennedy for  
23 that expertise but you'll find it in the writings of  
24 Dr. Griffin and many other people. That is known and  
25 not debatable -- what is debated is to what extent and

494B

KINSBOURNE - CROSS

1 in which formulation of, of the virus. But the  
2 principle that this happens does seem to be quite  
3 established.

KINSBOURNE - CROSS

1 Q Are you aware of any writings where immune  
2 dysregulation, to use the term that's, we've been  
3 using so far, caused by MMR resulted in infection?

4 A I haven't seen studies like that, but then I  
5 must say I haven't imposed on myself the task of  
6 looking for that treatment. I didn't see it as my  
7 domain.

8 Q Okay. So you're not aware of any, it's  
9 essentially up to others to figure out whether that's  
10 the case.

11 A That's correct.

12 Q How does the lack of measles virus in the  
13 blood in Colten Snyder and the lack of measles in the  
14 blood support your contention that there's immune  
15 dysregulation? And I'm going through your report  
16 because you had both of those in your report.

17 A There are, I understand that there was a  
18 measles antibody response to the MMR.

19 Q Yes, there was. In your report you said  
20 there wasn't.

21 A In the blood?

22 Q Yes.

23 A Then I was wrong

24 Q Okay.

25 A I should correct that.

495B

KINSBOURNE - CROSS

1 Q Does that change your opinion?

2 A No.

KINSBOURNE - CROSS

1 Q Okay. So you had an antibody response, this  
2 is Colten Snyder.

3 A Correct.

4 Q And it was evidenced by the antibody in the  
5 blood.

6 A That's what the test showed.

7 Q But that doesn't affect your --

8 A No. I'm sorry, no, it doesn't.

9 Q This is all, I think this is all on page 8  
10 of your report. Measles virus has a transient  
11 susceptibility to infection. You mentioned that  
12 there's a transient susceptibility. Do you recall  
13 whether that was wild or vaccine virus in your article  
14 when you mentioned in your report that there was  
15 transient susceptibility, do you recall whether that  
16 was wild or vaccine virus in that article that you  
17 referenced?

18 A I forgot the article, I bet it was wild,  
19 because as we had just discussed, I don't think that  
20 the epidemiological studies that show group data of  
21 greater susceptibility to infection. I don't believe  
22 that there are such studies.

23 Q You also mentioned that you thought that the  
24 immune suppression was particularly profound because  
25 the infection that he had at the time got worse and

496B

KINSBOURNE - CROSS

1           there were recurrent fevers. Do you recall making  
2           that comment?

KINSBOURNE - CROSS

1 A Yes, indeed and --

2 Q So you --

3 A Yeah, okay, the answer is yes.

4 Q Okay. And I don't mean to cut you off.

5 It's just so that you understand where my question is

6 going.

7 A Okay.

8 Q The recurrent fevers then, in your view,

9 were related to the pharyngitis or the infection that

10 he had and it got worse, the nonmeasles virus if you

11 will.

12 A Right. I don't know exactly and it was

13 totally clear to me, but he seemed like that he was,

14 he was having pharyngitis and as opposed to it coming

15 and going a few days, it stayed and then it got worse

16 and he had to actually go to the hospital, there was,

17 there was an abrupt change in his condition with

18 respect to infection. I am not saying that this was

19 this was measles infection.

20 Q Right. I think, yes I understood it from

21 your report. I'm just trying to make sure that I

22 understand it. I understand from your report is

23 there's another infection involved.

24 A That I thought he had some infection before

25 the --

KINSBOURNE - CROSS

1 Q Right, right.

2 A -- vaccination.

3 Q And then he got the measles virus --

4 A And then he got the measles MMR.

5 Q Right.

6 A And then he got more infections and he was,  
7 he just got to a higher level having infection after  
8 infection.

9 Q Do you think those recurrent fevers that he  
10 had were from the measles virus or do you think they  
11 were from other infectious agents?

12 A Given that he was in the midst of having  
13 infections, I think it would be a more likely  
14 statement to say that due to ongoing infections; of  
15 course, the measles vaccine, vaccines is well known to  
16 cause fever particularly in the second week after it's  
17 given, but here I saw more than that. I think the  
18 infections were lasting for a month or more. I don't  
19 think that was all measles virus.

20 Q All right. This is some other, some other  
21 agent involved for the fevers in your view.

22 A Sure.

23 Q One other thing. I know you were trying to  
24 rely on Dr. Byers on the immune suppression, but my

25 //

KINSBOURNE - CROSS

1 impression of her testimony in Cedillo is that she was  
2 making it very specific to the facts of that case and  
3 was unwilling to go beyond that in terms of her  
4 opinion --

5 A I don't, I don't remember to that level of  
6 detail, but if you could refresh my memory-

7 Q Okay. If you don't remember, that's, I was  
8 just thinking that in fact it would be difficult to  
9 import her general causation if she were just saying,  
10 I'm just saying it's immune depression in this case.

11 A Okay.

12 Q And obviously, you don't have an opinion, an  
13 independent opinion about immune suppression in this  
14 case.

15 A Yeah --

16 Q That's what you're importing from Dr.  
17 Byers --

18 A I mean, I, I read some of this myself, but  
19 the fact is that I defer to her, and also Dr. Kennedy,  
20 in this respect.

21 Q End of last time, you talked a lot about  
22 where the virus went in different parts of the body,  
23 and I'm not going to go through that again because we  
24 are, as you know, we've taken that testimony into this  
25 case. I would like to talk a little bit, though,

499B

KINSBOURNE - CROSS

1           about when the virus gets into the brain.

2                   A     Yes.

500A

KINSBOURNE - CROSS

1 Q And I hope not to have you repeat your  
2 testimony and I apologize if you do a little bit. I  
3 was listening very closely so I'll try not to do that.  
4 I just want to make sure that I understand your  
5 opinion. Is it your opinion when the virus, it's  
6 getting across the blood brain barrier in some way,  
7 this is the vaccine measles virus and how is it  
8 getting across the blood brain barrier? Because it's  
9 normally not going to end up in the brain, correct?

10 A Right, and macrophages are known to be able  
11 to carry virus particles across the blood brain  
12 barrier. Honestly, up in there it isn't all that  
13 complete in, in certain places, but I can't be more  
14 specific than that.

15 Q What could we look for in an individual to  
16 figure out whether they're more likely to have the  
17 measles virus cross the blood brain barrier?

18 A Well, I can only answer it in a more general  
19 statement that people who have meningitis or  
20 infections, infections and inflammation of blood  
21 vessels, have more permeable blood brain barriers such  
22 as larger particles can cross through the interstices  
23 virus particles as opposed to only electrolytes so  
24 that would be one circumstance because they might have  
25 an illness which facilitated that but I haven't

500B

KINSBOURNE - CROSS

1 systematically listed in my mind what those conditions  
2 would be.

KINSBOURNE - CROSS

1 Q In this instance, do you think it's more  
2 likely the macrophages?

3 A I suppose. I mean, one can ask the same  
4 question, in SSPE. I don't really know,

5 Q So you don't know for sure how it's coming  
6 across?

7 A No.

8 Q Do you know to a 50-percent level that  
9 you're testifying to?

10 A I know that, well, first of all, I know  
11 viruses do get into the brain. I mean there's virus  
12 encephalitis, so-called aseptic encephalitis, and  
13 meningitis, so it's not as if it's at issue one would  
14 challenge. And quite how they do it, I haven't deeply  
15 considered the macrophage mechanism is one I happen to  
16 be aware of; there may be others.

17 Q I ask that because, obviously, a lot of  
18 these cases we're not going to have any kind of  
19 evidence coming from Unigenetics about whether the  
20 measles virus is in the brain or not.

21 A It will be in a lot of other cases.

22 Q So any kind of factors we can look at to  
23 understand some general causations, what we should be  
24 looking for, from a petitioner's standpoint.

25 A Well --

501B

KINSBOURNE - CROSS

1 Q -- from a --

2 A I find it hard enough to deal with this

KINSBOURNE - CROSS

1 case, actually, at the moment. I don't really, you  
2 know, I would, I would, you know, I would be happy to  
3 be consulted at some point, but right now I couldn't  
4 even say.

5 Q Now when the virus goes into the brain, the  
6 measles virus, does it effect some parts of the brain  
7 more than others?

8 A Well, there's some thought, I mean, I've  
9 read in readings I've come across that, that there are  
10 more, it frequently settles in the medial temporal  
11 area -- the limbic system -- cerebellum and other  
12 parts, but I don't know what the basis is for that.  
13 Certainly in --

14 Q You've been reading about this?

15 A Well, I read about everything, yeah.

16 Q Is this from Dr. Griffin or who are you  
17 reading?

18 A No, I, actually I read that recently and I  
19 don't remember which article it was. But I did want  
20 to add that SSPE is very pervasive in the cortex, and  
21 especially the cerebral cortex. So it isn't that it's  
22 one particular area that is pinpointed.

23 Now if I may add something it is not an  
24 unreasonable question, because the herpes virus which  
25 is different, of course but not totally, is known to

502B

KINSBOURNE - CROSS

1           affect the medial temporal area with predilection. So  
2           some viruses do do that. But I

KINSBOURNE - CROSS

1 don't know of comparable information in terms of  
2 localization of the measles virus.

3 Q In your talk about SSPE, when that gets in  
4 the brain, you said it's more widespread.

5 A Well, when it gets into the brain, it  
6 remarkably, it sits in neurons for years and then it  
7 spreads contiguously from neuron to neuron basically  
8 infesting in the whole network, the whole cerebral  
9 network.

10 Q And then the immune system does what in  
11 SSPE?

12 A The, the immune system reacts against it,  
13 but it can't do anything.

14 Q It's not effective in clearing it?

15 A No.

16 Q -- like what's postulated here?

17 A Well, I, I believe that the, the measles  
18 virus is sheltering inside the cells, the neurons, is  
19 able to keep the immune attack off it. To some  
20 extent, I know an analogy being to HIV, it can also do  
21 that. It can in a sense disable the attack on the  
22 cell on, within which it harbors, it is harbored.

23 Q Okay. So in SSPE, it harbors in the  
24 neurons.

25 A Right.

503B

KINSBOURNE - CROSS

1 Q And then we're getting a different result

KINSBOURNE - CROSS

1 from what you're postulating here in terms of the  
2 microglia?

3 A Oh, it's a different matter it's a  
4 horrifyingly different matter, this is an inexorably  
5 deadly spreading disease. It's not really what I'm  
6 talking about here obviously.

7 Q Okay. So the virus acts differently in  
8 SSPE.

9 A Oh, yes.

10 Q And it actually does something different  
11 from what you've hypothesized.

12 A My understanding, -- and again, I just got  
13 this from reading, for example Dr. Paul Dykken has  
14 written about it, he's very expert-

15 Q I'm sorry?

16 A Dr. Paula Dykken, D-Y-K-K-E-N. It was his  
17 opinion that you get SSPE when the measles virus,  
18 which is usually wild measles virus, has undergone  
19 certain mutations. So it simply has some properties  
20 which the measles virus normally does not have. So  
21 the analogy sort of stops short at that point.

22 Q Okay. So in this instance, in SSPE, the  
23 virus is now going into the neurons, but you say the  
24 virus may look differently than it --

25 A Well, it's not that it looks differently,

504B

KINSBOURNE - CROSS

1       it, first of all, the virus coexists with the neurons,  
2       while apparently they continue functioning, for, for  
3       years. And I've seen a report that after 30 years I  
4       mean, it's really remarkable, and then for reasons  
5       unknown, it breaks out "with authority" and then it  
6       attacks indiscriminately all

505A

KINSBOURNE - CROSS

1 the cells, the neurons and the glial cells. But its  
2 spread is said to be in a neuron to neuron manner, not  
3 going outside to be, to mess with the immune system.

4 Q I understand. So --

5 A It remains in the cell.

6 Q So it's operating in SSPE different than how  
7 you've hypothesized it operates in autism cases.

8 A Very differently.

9 Q And in SSPE, we know that, how it operates  
10 because we have some data on that.

11 A Well, the people die so you have all sorts  
12 of --

13 Q Right.

14 A -- on autopsy information.

15 Q And in this case, your data is based on, for  
16 your hypothesis, is based on Vargas and the autopsies  
17 there.

18 A On Vargas --

19 Q And --

20 A Well, yes, -- it's based on a number of  
21 articles, of which Vargas is an important one. What  
22 Vargas reports are changes not in the least comparable  
23 to SSPE changes. I mean, they're, they are just,  
24 they're microscopic and as far as I can tell in the  
25 Vargas cases, the, the people didn't show signs of

KINSBOURNE - CROSS

1           encephalitis while they were alive, you know, and they  
2           were autistic but they didn't show -- I don't know if  
3           there were inflammatory markers, or if they were  
4           tested for them. But they didn't show gross signs --  
5           of brain inflammation. Now in SSPE, you're getting a  
6           person who's in a wretched state of bizarre seizures  
7           and nausea and loss of consciousness and all sorts of  
8           neurological signs.

9           Q     Right.

10          A     It's a different thing.

11          Q     How about a MIBE, Measles Inclusion by  
12          Encephalitis?

13          A     I don't know as much, much about that.

14          Q     So you don't know how the virus operates --  
15          in that instance?

16          A     Well, I mean, there are inclusion bodies, so  
17          the virus is, is in the cells. I mean, it's named as  
18          an inclusion body encephalitis. But I don't know  
19          about its spread.

20                         (Electronic interference.)

21          Q     So in MIBE what we're seeing when the virus  
22          gets into the brain, it creates giant cells, right?

23          A     Yeah. It --

24          Q     We see giant cells.

25          A     You, you clearly have aggregations of the

506B

KINSBOURNE - CROSS

1 virus enough for there to be inclusion bodies which  
2 you can see under the microscope.

3 Q So there is an instance of wild measles  
4 virus and SSPE is wild measles virus.

KINSBOURNE - CROSS

1 A If --

2 Q You thought there was a case where there  
3 was, an MIBE case --

4 A Well, I did submit this article by Bitnun.  
5 I haven't read it for a while, but as I recall it did  
6 present a verified case of the vaccine virus.

7 Q Okay. And in each of those instances the  
8 virus persisted, entered the brain, persisted and  
9 acted differently than the way you postulate the  
10 vaccine, the virus operates -- here.

11 A Correct.

12 Q And your evidence that you're relying on for  
13 your postulate is Vargas and anything else beyond  
14 Vargas?

15 A Well --

16 Q Just to make it clear, from the cellular  
17 level, of what's going on?

18 A Well, I mentioned Jyonouchi -- see there are  
19 numerous articles and literature, you're probably  
20 aware of them -- that find various inflammatory  
21 markers in autistic children as opposed to controls  
22 and most of this work is blood work for obvious  
23 reasons. And a picture built up of, of inflammation  
24 because finding inflammatory markers in the blood  
25 doesn't tell you where the inflammation is and might

507B

KINSBOURNE - CROSS

1 indeed have to do with gut inflammation as has been  
2 discussed. Of

## KINSBOURNE - CROSS

1 course, in little children we have inflammatory  
2 diseases associated with the inflammation in the  
3 brain.

4 Now the importance of the Vargas findings,  
5 where they found it in the CSF because they had access  
6 to that which the other people didn't, and what they  
7 found in CSF, It was chemically similar to what they  
8 found in the brain of the people at autopsy. So the  
9 connection there seemed very tight.

10 In fact, I think I mentioned this in my  
11 report, the Vargas -- the, the book, the Hopkins group  
12 got NIH funding to try the efficacy of an anti  
13 inflammatory drug. In other words, the NIH panel  
14 which is pretty stringent in its review, thought it  
15 was a good enough investment of public funds to permit  
16 this group to test the view that antiinflammatories  
17 would relieve autistic symptoms, which is indeed  
18 something in my view, which in part relies on Vargas,  
19 would also predict.

20 Q So in the instance of SSPE, the virus acts  
21 in the neurons a different way than what you have  
22 postulate. And in the instance of MIBE, the virus  
23 persists and acts in a different way than you have --  
24 and we have evidence that that's how the virus acts.

25 A Right, -- they're different conditions.

508B

KINSBOURNE - CROSS

1           Q     And in your hypothesis, you're relying on  
2           evidence of inflammation in autistic individuals to

KINSBOURNE - CROSS

1 work back to a hypothesis that the virus must act this  
2 way. It acts differently than in any other case of  
3 SSP or MIBE.

4 A Well, the sequence, the logical sequence  
5 that I use goes at it the other direction. Although  
6 it's not different ultimately, I note that there's  
7 evidence of measles vaccine virus in the CSF and in  
8 the brain. Now once I take the view that there's  
9 measles vaccine virus in the brain, I note  
10 inflammation has been reported in the brains of  
11 autistic individuals. And clearly, the measles virus  
12 could indeed provoke and would if present provoke  
13 inflammation as a response of the immune system  
14 against it.

15 So I'm not for a moment saying that the only  
16 possible cause of inflammation is the measles virus.  
17 It's just that in Colten Snyder that's what we, what  
18 we found in his CSF but for all I know, I'm not saying  
19 that every case that Vargas found and autopsied  
20 inflammation, had it because of the measles virus. I  
21 wouldn't know that. Nor am I even saying that the  
22 only way that the inflammation could have arisen is  
23 through a virus. Okay, but I don't have evidence of  
24 the other possible factors involved in Colten Snyder  
25 and I do have evidence of the measles vaccine virus.

509B

KINSBOURNE - CROSS

1 Q Well, you have evidence of measles genomic

KINSBOURNE - CROSS

1 material, correct?

2 A Correct, and again --

3 Q And that's in dispute

4 A Relying on Dr. Kennedy, that's tantamount to  
5 replicating virus and I relied on that testimony.

6 Q Now, again if we were to discard that  
7 evidence then, there's no reason to even look at these  
8 other, if you're going to work from the presence of  
9 the virus to theory, then obviously if the virus is  
10 not present then you have no theory, correct?

11 A I've agreed with you on that point already.  
12 Now that doesn't mean that I would lose interest in  
13 the Vargas finding of inflammation. Then we wonder  
14 about all sorts of things, but I wouldn't have this  
15 theory which I present to the Court today.

16 Q Then the Vargas findings could indicate  
17 that, if they're accurate, that inflammation occurs in  
18 the absence of measles virus, correct?

19 A Yes.

20 Q Now in Vargas did they find any measles  
21 virus?

22 A They didn't look for it. You see, they  
23 didn't look for viruses nor did they look incidentally  
24 for heavy metals which could also do this. And, you  
25 know, people can't do everything at once. The fact is

510B

KINSBOURNE - CROSS

1 that they

KINSBOURNE - CROSS

1 look for cytokines and -they reported what they found.

2 Q And let's see, they had six CSF samples

3 they were going off of --

4 A They had about 15 autopsies --

5 Q 15 autopsies?

6 A Yeah, yeah.

7 Q Now you've postulated there's a regressive

8 subtype that we're dealing with here.

9 A I'm sorry, what --

10 Q With this notion that MMR is causing autism,

11 you've been very careful to say it's a regressive

12 subtype of autism, correct?

13 A That's, well, that's my opinion, yes. But

14 as I say, I'm not, I don't know which of the Vargas

15 cases were regressive, they don't report that.

16 Q They do.

17 A Do they really? Then I've forgotten.

18 Q Yes. The CSF was from regressive cases.

19 A Oh, okay.

20 Q That's all they had. They didn't compare it

21 with nonregressive cases.

22 A I'd forgotten that point.

23 Q And in autopsy cases, there were 15. Three

24 were regressive. Several were unidentified and the

25 rest were nonregressive and they had the same findings

511B

KINSBOURNE - CROSS

1 across them, Doctor.

KINSBOURNE - CROSS

1           A     Okay.

2           Q     Whether regressive or not. That kind of  
3           indicates that inflammation, if Vargas' findings were  
4           accurate, particularly the ones on -- autopsy occurs  
5           in the absence of regression.

6           A     As I said, I, I'm not arguing that all  
7           inflammation in the brain or regression is caused by  
8           the measles virus.

9           Q     And we have to assume that the ones that did  
10          not have regressive cases, we don't even know whether  
11          they ever got vaccinations, but certainly that's not  
12          the clinical picture that you're talking about here.

13          A     I'm very open to that assumption. As I say,  
14          the, I doubt that there's a single cause of  
15          inflammation and I'm pretty much persuaded there's not  
16          a single cause of regression.

17          Q     So these findings from Vargas are going to  
18          be, if we accept these inflammation findings, they  
19          occur whether or not there's a finding of regressive  
20          autism, whether or not there's -- they didn't find any  
21          measles --

22          A     I sort of lost track --

23          Q     That's fine. When this virus, it gets to  
24          the brain in your hypothesis and it's acting  
25          differently than we see in SSP and MIBE, what we would

512B

KINSBOURNE - CROSS

1 clinically see once it enters the brain? What should

KINSBOURNE - CROSS

1 we expect to see?

2 (Electronic interference.)

3 A I'm, I'm tuning out, I believe. Could,  
4 could you repeat this?

5 Q Sure. When the virus enters the brain and  
6 as I understand it, it attacks the microglia

7 A Yes.

8 Q Or actually, I'm sorry. The microglia --  
9 get activated.

10 A Attack it, attack it.

11 Q -- attack it.

12 A Yeah.

13 Q What should we expect to see clinically?  
14 Fever, lethargy?

15 A Well, these act, what, what is happening is  
16 happening in the brain. I don't necessarily expect  
17 any systemic changes at all, and it's a matter of the  
18 scale of inflammation. It wasn't presented, you see  
19 it's not like a brain abscess you know, it was  
20 presented by the Vargas group as being like a prairie  
21 fire it now, it was local, it was inflammation which  
22 seemed like it could be smoldering on for a long, long  
23 time. But I wouldn't expect constitutional symptoms.  
24 I would expect the symptoms to be neurological.

25 Q If we were to do an MRI, what would we see?

KINSBOURNE - CROSS

1           A     I think if you were to do a structured MRI,  
2           I suppose, considered it. You wouldn't see anything.  
3           If you did a spect scan, you know, functional  
4           testing -- there are some sophisticated methods for  
5           looking at energy metabolism, that you might find  
6           something. But --

7           THE COURT: Did you say spect scan, Doctor?

8           THE WITNESS: A spect --

9           THE COURT: S-p-e-c-t?

10          THE WITNESS: Correct, yes.

11          THE COURT: Okay.

12          A     You might find changes, metabolic changes --  
13          there might be some excessive use of energy because of  
14          the inflammation, which might affect itself. But I'm  
15          not an expert in imaging to tell you exactly.

16          BY MR. MATANOSKI:

17          Q     When we have inflammation in the brain, and  
18          obviously that -- we have other examples than the one  
19          you're discussing, MRIs are, they don't show anything  
20          up?

21          A     Yes, but there are other examples.  
22          Parkinson's, Parkinsonians have inflammation in their  
23          brain and then there are, there's this remarkable  
24          symptom after streptococcal infection, talking about  
25          behavioral disorders of the brain. Some, some

514B

KINSBOURNE - CROSS

1 children have strep throat, it seems like any other

KINSBOURNE - CROSS

1           strep throat perhaps. And then they develop abnormal  
2           movements, chorea, and interestingly enough, they,  
3           they, they show signs of obsessive compulsive  
4           disorder.

5                         So there are, there's brain involvement and  
6           I know there's some evidence, I won't go into it  
7           deeply, of brain inflammation occurring in these  
8           cases. This is, this is, I'm not saying that  
9           streptococcus is sitting there -- I mean, there might,  
10          there may be some reaction to that and it's not  
11          elucidated in Parkinson's, and possibly in Alzheimer's  
12          disease, the inflammation is thought to be a reaction  
13          to another agent which killed neurons releasing  
14          materials from inside the cell to which the innate  
15          immune system reacts with inflammation. And this is a  
16          current area of interest in the study of Parkinson's  
17          disease particularly.

18                        Q       And does the MRI show anything?

19                        A       I don't know, but I certainly don't know  
20          that it does. I doubt it, but I don't know for sure.

21                        Q       And let's say in the case of multiple  
22          sclerosis, do you see something on an MRI?

23                        A       Well, in multiple sclerosis, you, you  
24          certainly -- it's famous how much you see in these  
25          white, these patches corresponding to plaques in

515B

KINSBOURNE - CROSS

1 multiple sclerosis. And in fact it is thought that  
2 where multiple sclerosis begins, it

## KINSBOURNE - CROSS

1 actually begins with inflammation and plaques only  
2 form later as destruction.

3 And after, to add something of possible  
4 interest to the Court, it's not certain, one of the  
5 findings in autism recently uncovered by several  
6 groups, including Dr. Merbert (phonetic) at Mass.  
7 General, is a thickening of the white matter in the  
8 cortex, particularly subcortically, just below where  
9 the gray matter meets the white matter. And it's been  
10 of great interest to what is that thickened white  
11 matter. Is it the myelinated fibers, is there more  
12 myelin, and it looks, though I'm not, I don't believe  
13 it's been conclusively shown, that what you have there  
14 is more water giving the appearance, it's like  
15 hydrated, which is consistent with but does not prove  
16 inflammation.

17 So I am just trying to think of the kinds of  
18 markers one might be looking for, although what I say  
19 goes beyond, I'm not saying that you could go and do  
20 that right now, but that's an interesting direction.

21 Q And do they show up on an MRI?

22 A Yes.

23 (Away from microphone.)

24 Q So it's an instance where the inflammation  
25 has had an effect that's shown up on MRI.

516B

KINSBOURNE - CROSS

1           A     Well, there is something shows up on an MRI.

KINSBOURNE - CROSS

1 My interpretation of it is that it's caused by  
2 inflammation.

3 Q If we took a CSF sample at the time that the  
4 inflammation is occurring, in your postulate, what  
5 should we see? What kind of value should we get out  
6 of that?

7 A Well, you should be able to find the virus  
8 material.

9 Q What else do you normally look for in a CSF  
10 sample if you think there's an ongoing infectious  
11 process?

12 A Well, you would, with any infectious  
13 process, you would also look for, for the infectious  
14 agent, which could be bacteria or virus, I mean,  
15 that's standard. And beyond that, what we might find,  
16 the inflammatory markers, cytokines, and you might  
17 find breakdown of neurons. I think neopterin is one  
18 of the agents that one would look for, but --

19 Q Would you look for neutrophils, monocytes,  
20 lymphocytes -- isn't that one of the standards --  
21 practices?

22 A You would, in the, in the, if you're talking  
23 about looking for the CSF in brain infection, of  
24 course you would look, you would find either  
25 leuhocytes if it's -- or lymphocytes if it's viral, to

517B

KINSBOURNE - CROSS

1           simplify somewhat.

2           Q     And if we would looking, in your postulate

3           -- we were looking at the CSF, what should we expect

4           to see apart

KINSBOURNE - CROSS

1 from, I understand you say we should expect to see the  
2 virus itself.

3 A Right. I usually expect to see cytokines,  
4 proinflammatory cytokines -- I don't know whether you  
5 would see cells at all. In fact, it's tantalizing  
6 that with all the instances autistic regression --  
7 Colten Snyder gives another example. Nobody has  
8 gotten around to do a systematic investigation while  
9 the regression is going on. That's, that's a --

10 Q Right, during the acute process --

11 A Right.

12 Q How about --

13 A What ought to be most informative.

14 Q Right. But I understand your theory to be  
15 that it's a continuing process.

16 A Yes, but I believe it continues at a  
17 smoldering, it clearly continues at a low-grade level,  
18 because as has been pointed out, you don't have  
19 relentless decline to death (phonetic), you have some  
20 kind of plateau, but the inflammation goes on  
21 apparently for many years.

22 Q Well, under your postulate, though, why  
23 would there be a relentless decline to death, because  
24 you were saying the neurons, for some reason, are not,  
25 they're not attacked. They're not casualties.

518B

KINSBOURNE - CROSS

1       They're not subject to the friendly fire. They're  
2       spared.

KINSBOURNE - CROSS

1           A     Quite so.  What I was saying is that the  
2           inflammation doesn't seem to get worse and worse and  
3           worse, because obviously if it got worse and worse and  
4           worse, it would be destroying cells.  And if the  
5           glutamate levels got higher and higher and higher  
6           there would be excitotoxic destruction of neurons, a  
7           whole different picture than what we get.

8                     So my conclusion would be that it's a  
9           smoldering, ongoing subacute process, which apparently  
10          can go on for many years particularly given, you know,  
11          what the, some of the Vargas people were -- I forget  
12          how old they were, but they were not even children.

13          Q     So in this instance, and obviously the folks  
14          in the Vargas they're not talking about measles virus.

15          A     Not talking about?

16          Q     They're not talking about measles virus in  
17          the --

18          A     No, they're not talking about, they're  
19          really not talking about any causative agent in --

20          Q     That's right.  They're just talking about an  
21          observation and --

22          A     Correct.

23          Q     So in your postulate, the measles virus is  
24          persisting.  It's not causing cell, it's not causing  
25          neuronal destruction, staying the same, low level,

KINSBOURNE - CROSS

1 continues on through life, with low-level  
2 inflammation.

3 A You make it sound very peaceful. It isn't  
4 really.

5 Q I'm just trying to get it clear.

6 A Oh, yes --

7 Q Without neuronal destruction and if we were  
8 to test, if we were to look at an MRI, there would be  
9 no, nothing we'd see. And if we were to examine the  
10 CSF, or are there any markers there that we could look  
11 for?

12 A I'd think you would look for the same  
13 markers, perhaps, that Vargas found.

14 Q And not cells or anything else, even though  
15 there's a virus now present.

16 A Well, you might find a few cells, but it's  
17 not like an acute infection with a virus.

18 Q On pathology, what should we see in your  
19 postulate? What should the brain look like?

20 A I don't, -- again, going to the Vargas  
21 article. If we looked at the brain in the standard  
22 fashion we don't really find anything, after all,  
23 brains of autistic people have been looked at before.  
24 There are, the kind of findings you do get with the  
25 brains of autistic people, which are the neurons,

520B

KINSBOURNE - CROSS

1 network is not working quite right, connected up in  
2 the right way in, loss of pyramidal cells -- there is  
3 some loss of synapses and dendrites.

## KINSBOURNE - CROSS

1 -- in the hippocampus. There are these findings which  
2 have been confirmed on autopsy. And the Vargas people  
3 didn't really claim that the brain they looked at were  
4 any different at that level of microscopy -- they  
5 were, what they found, they found because they looked  
6 at what hadn't been looked at before.

7 Q If we were to look at, under your postulate,  
8 if we were to look at it acute, the brain acutely,  
9 what would you expect to see on pathology in the acute  
10 process?

11 A I don't know.

12 Q And chronically do you have any idea what we  
13 should expect to see?

14 A I would imagine that, well, all we know  
15 about is chronic because --

16 Q From the Vargas paper

17 A Right.

18 Q And that's not measles virus, per se. We  
19 don't know there. It's just an observational study.

20 A It could be or -- it could be in some cases,  
21 and in others; it could be another virus. I don't  
22 know. And I, I really would be guessing to the point  
23 that I'm slightly embarrassed to do it in, in this  
24 setting. I, I imagine one would see something  
25 qualitatively -- like -- what Vargas saw. Whether

521B

KINSBOURNE - CROSS

1           qualitatively, I don't know.

2           Q     So you don't know what to expect?

3           A     -- I can't, can't add to that.

4           Q     Well, when you said that the astrocytes --

5           are the

KINSBOURNE - CROSS

1 casualties here.

2 A Yes.

3 Q What should we see if there's chronic  
4 destruction of astrocytes on pathology?

5 A Well, the, again Vargas they didn't find a  
6 lot of dead astrocytes they found some. They found  
7 activated astrocytes --

8 Q I'm sorry, they found?

9 A Activated astrocytes, astrocytes producing  
10 chemicals and perhaps, perhaps doing harm. They,  
11 this, this wasn't really a structural type of  
12 presentation by Vargas, except in minor respects -- it  
13 was really ongoing, abnormal chemistry so in terms of  
14 seeing, I'm not sure how to answer that.

15 Q So they didn't see evidence of chronic  
16 destruction of astrocytes.

17 A I cited, I forget whether they saw little or  
18 none. It's not a, it wasn't a major finding, an  
19 article that barely mentioned gliosis -- I can't say  
20 whether it was extensive or just a little bit. It's  
21 about as much as, as I know, and maybe as we know.

22 Q Just a final point on, I hope that it's a  
23 final point on Vargas, you rule out on page 8 or so of  
24 your report, all the epidemiology because you said  
25 they didn't, it's not differentiated between

522B

KINSBOURNE - CROSS

1           regressive, they didn't differentiate regressive from  
2           all other types of autism, is that right?

KINSBOURNE - CROSS

1 A Well, I --

2 Q That's your criticism of the epidemiological  
3 evidence that's out there.

4 A -- I would say what I, what I found I was  
5 unable to rely upon and the problem with the  
6 epidemiology was in terms of relying on it -- for this  
7 purpose is that most of it wasn't really designed for  
8 this purpose, but you know, people looked at  
9 retrospective data collected by agencies and tried to  
10 mine it for relevant material, which is a good start  
11 but one needs to do a specific study addressing this  
12 problem. And I, maybe it's being done now -- and I  
13 suggested that the case control would be a more direct  
14 way of attacking it.

15 Even so, as you know, epidemiology  
16 doesn't -- it does not tell you causation -- if you  
17 find a positive epidemiology, that, that could support  
18 causation, you couldn't conclude it from just that.  
19 If you find, if you don't find it, you don't quite  
20 know why you didn't find it. One reason is because it  
21 wasn't there. Another reason is because the study  
22 lacks statistical power. It's very hard to draw firm  
23 conclusions from a negative but the fact is, I didn't  
24 find a study that I could really benefit from in this  
25 way.

523B

KINSBOURNE - CROSS

1           Q     And is your criticism of that, though, was  
2           primarily, as you said because they're  
3           undifferentiated -- they don't differentiate between  
4           regressive and other types of autism.

## KINSBOURNE - CROSS

1           A     Yeah, that's right. Even regressive cohort  
2     might have more than one etiology and to then have a  
3     majority of unrelated cases from that point of view  
4     fails as a null hypothesis, it's not a powerful way of  
5     asking the question.

6           Q     On your neuroinflammation part of your  
7     hypothesis, we talked a little bit about Vargas and I  
8     gave you the numbers of cases that were regressive  
9     versus nonregressive that they were looking at, the  
10    raw numbers and the proportion, if you will. Do you  
11    know any other, the other materials that you've been  
12    relying on for really any part of your hypothesis, how  
13    many of those cases did talk about are regressive  
14    autism cases and how many are not?

15          A     You mean regressive in -- I'm not totally  
16    clear of what you're asking.

17          Q     You made the point that we need to  
18    differentiate between regressive and nonregressive.  
19    In your report, you mention a broad selection of  
20    literature. Do you know what amongst the literature  
21    that you've cited to, where it's specifically  
22    differentiating between regressive and nonregressive?

23          A     There have been very few of the studies that  
24    have even mentioned that distinction. I think there  
25    was one recently and I forget the name of the author,

524B

KINSBOURNE - CROSS

1 where they did look separately at regressive and

KINSBOURNE - CROSS

1 nonregressive, but not in, I couldn't draw conclusions  
2 from that study. But I would have to remind myself to  
3 tell you more about it, but there was one study  
4 recently that came out and all I remember is the first  
5 author is female -- which is a bit pathetic, but where  
6 at least regressives were given the courtesy of some  
7 separate treatment. But I, I'm waiting for a proper  
8 study with a proper control design and the problem is  
9 worth it.

10 Q So the evidence that you rely on doesn't  
11 necessarily differentiate between autistic and  
12 regressive, nonregressive and regressive autism.

13 A Right. You're talking about Jyonouchi for  
14 example with the cytokines in the blood, she studied  
15 regressive -- it's stated -- you've told me about the  
16 situation in the Vargas case. You're certainly right  
17 that in most of the studies, for example, in many of  
18 the studies that showed immune dysfunctions of various  
19 kinds -- in autistic children, most of them do not  
20 make that distinction at all.

21 So for instance, I couldn't, I don't know  
22 whether on one hand whatever immune problem was  
23 described was averaged out of the whole population or  
24 maybe even possibly there was a subset that  
25 contributed that mean figure over the population, it's

525B

KINSBOURNE - CROSS

1 simply not clear.

2 Q In your postulate, what relation does the

KINSBOURNE - CROSS

1 number, copy number of RNA that was recovered play?

2 What relationship does that have to play with the

3 symptoms that you see?

4 A You mean to the severity of the autism?

5 Q Yes.

6 A Oh, I'm, I have no, I have no basis to  
7 answer that at all, there's enough bloodshed about the  
8 copy numbers in the first place. I mean, it's an  
9 interesting question and I certainly agree as well as  
10 rely on Dr. Kennedy in terms of emphasizing large copy  
11 numbers as being the reliable indicators. But whether  
12 large copy numbers sampled at one point in the child's  
13 life are valid index of the severity of the symptoms,  
14 I don't know that.

15 Q Would the inflammation in your postulate be  
16 tied in anyway to the amount of the measles virus in  
17 the brain?

18 A You would suppose so, but neurology is too  
19 tricky to really make those assumptions. I mean, this  
20 is the kind of thing that you might be able to study  
21 if you had a sample size of 50.

22 Q So you don't know --

23 A It would be statistical. It seems  
24 reasonable to suppose that, but I don't know it.

25 Q So more virus, more inflammation is a

526B

KINSBOURNE - CROSS

1 reasonable --

## KINSBOURNE - CROSS

1           A     Well, I mean, in the limit. Enough virus,  
2     you're dead, you know, I mean, this is not rocket  
3     science in that sense, but whether copy numbers in the  
4     -- in the PCR -- you know going through the cycles and  
5     amplifications, how they map on the severity of the  
6     disease, I think, is way beyond obviously what I know  
7     about, but even beyond what anybody who specializes in  
8     this knows about.

9           Q     So, just so I understand, if we, in your  
10    postulate, if we saw less severe symptoms, would you  
11    think that there was less virus?

12          A     I hadn't thought about it really. All I can  
13    say is that that sounds reasonable, but I don't know  
14    it.

15          Q     And in your postulate, if the, I guess the  
16    converse, if there is more then they'd be worse,  
17    right?

18          A     Yeah, but I, I think this is really pushing  
19    at least my knowledge and opinion too far. I, I would  
20    just say I do not know the relationship between --  
21    copy number --

22          Q     I'm just talking, I'm sorry. I understand  
23    copy number, but just in terms of virus itself, if  
24    we're postulating the virus is of varying levels,  
25    would that affect, in your view, the amount of

527B

KINSBOURNE - CROSS

1 inflammation and therefore, the amount, or the quality  
2 of the symptoms you would see?

3 A It would seem a logical conclusion, but I

KINSBOURNE - CROSS

1 have to caution there may be other factors at work as  
2 well. I doubt it's a one to one relationship -- the  
3 reaction, the inflammation is, is not what the virus  
4 does, it's what the brain does in reaction to the  
5 virus. And different brains may react differently to  
6 the same amount of virus to different degrees. So I  
7 can't push it too far.

8 Q So you don't know what to expect.

9 A Probably true.

10 Q Can you tell me when Colten's first symptom  
11 of autism occurred?

12 A In Colten's case?

13 Q Yes.

14 A Well, it's actually hard to say. There was  
15 lethargy, was actually the first description. There  
16 was the description of the child's not being the usual  
17 Colten.

18 Q And when did that occur?

19 A Oh, it was a few weeks, I can't remember  
20 exactly, a few weeks after the vaccination.

21 Q So that was the first sign?

22 A Huh?

23 Q That was the first sign?

24 A First?

25 Q Sign of autism.

## KINSBOURNE - CROSS

1           A     Well, you see, I don't, the problem was --  
2           as you well know, it was, it was confirmed that, the  
3           fact the kid was sick. So your question is did his  
4           attitude change, did his mentality change, did his  
5           level of consciousness change in relation to an  
6           incipient decline, regression. Did he become passive  
7           and unreactive to the outside world, which is one way  
8           of interpreting the lethargy in this case, or was it  
9           because he was feeling ill -- now there was a  
10          statement that even when the kid had a high fever, he  
11          was active and alert and playful, which is such an  
12          intrigue.

13                 And so one might argue that perhaps that  
14          what was seen as lethargy was a turning away from the  
15          world into himself, which would be a way for autism to  
16          begin to reflect itself in a child's behavior. But  
17          it's putting a lot on just one or two observations.

18           Q     So you're not sure when it began?

19           A     No, I am not sure -- with regression it's  
20          hard to be sure because it's so, so gradual -- when he  
21          was, he was playing in a deviant fashion and so on  
22          when it was very obvious, but that was a month or two  
23          later, I think.

24           Q     So when do you think it began then; the best  
25          that you can do here?

529B

KINSBOURNE - CROSS

1                   A     I would say between six and eight weeks  
2           after

KINSBOURNE - CROSS

1 the -- MMR.

2 Q And the symptoms were?

3 A Not speaking or two words -- a turning away  
4 from, from other people, particularly parents, a  
5 different play interest, different play styles. I  
6 think this is the way that regressions usually do come  
7 upon -- they don't, they're not totally abrupt; they  
8 creep -- on the child but end up quite severe. And  
9 the flagrant behaviors like echolalic and spinnings  
10 and all that, tend to come a bit later anyway. In  
11 typical, typical autistic children -- as well as  
12 regressives.

13 Q And in that six to eight weeks, what was the  
14 measles virus doing?

15 A -- in my postulate, it was in the brain.  
16 It was, it's not doing anything because viruses don't  
17 do things, but the brain was reacting to it -- in some  
18 cumulative way impairing function, perhaps in the way  
19 I described.

20 Q -- Perhaps in the way you described over a  
21 course of six to eight weeks, it manifested itself.

22 A Since I'm accounting for in my particular  
23 model for autistic systems by overactivation of  
24 glutamate, then it's logical to suppose that as the  
25 symptoms appear I'm assuming an underlying excitation-

530B

KINSBOURNE - CROSS

1 inhibition balance change.

2 Q How long does that process take? Should it

KINSBOURNE - CROSS

1 take six to eight weeks?

2 A There's no way of knowing. We don't know  
3 enough about this to be able to make that kind of time  
4 prediction.

5 Q Is it because no one has studied this?

6 A No, no, no one has studied it to my  
7 knowledge.

8 Q So in Michelle Cedillo's case it was what,  
9 three days?

10 A In her case she had these fevers. She had a  
11 different onset within a week and that was dramatic.  
12 Most of the children I'm aware of take longer than  
13 that some -- a good bit longer.

14 Q So for the autism to show up after MMR?

15 A I'm sorry.

16 Q For the autism to show up after MMR?

17 A Yes.

18 Q Do you have an outer limit?

19 A I don't have a limit. I'm just aware of a  
20 number of such children and, and you typically find it  
21 presenting after two or three months. You see, it's  
22 also a function of when people find it and when it's  
23 taken seriously because you're aware of how hard it is  
24 at the time for people to figure out what's going on,  
25 but my impression is that it would typically be about

531B

KINSBOURNE - CROSS

1 two to three months.

2 Q And this typically, why do you say that?

KINSBOURNE - CROSS

1 What's it based on?

2 A The basis is, it's not based on, the basis  
3 is -- cases of which I've been aware.

4 Q Okay. So it's based on cases that you've  
5 seen that you've developed an idea of what you would  
6 expect.

7 A Right.

8 Q It's not based on your postulate itself?

9 A No. My postulate isn't sufficiently  
10 detailed and documented to be able to give that kind  
11 of timeline.

12 Q Should, under your postulate, the symptoms  
13 first appear as soon as the virus is in the brain?

14 A I couldn't say that. I don't know -- that.  
15 We don't know enough about that.

16 Q Because it's a postulate.

17 A See, it's even, between the MMR and the  
18 first symptom, what's the virus doing? Either it's,  
19 it may not even be in the brain maybe harbored  
20 elsewhere, and it reaches the brain at that point, or  
21 it may be in the way and sit there quietly as happens  
22 antecedent to MIBE and SSPE. And elicit a reaction.  
23 These are variables beyond certainly my understanding  
24 and maybe beyond other people's, too, at this time.

25 Q So your expectation is not based on biology,

532B

KINSBOURNE - CROSS

1           it's not based on neurology, it's based on seeing  
2           these cases that you've reviewed, your expectation of

KINSBOURNE - CROSS

1 the timeline?

2 A Yes. My expectation of when autistic  
3 regression occurs relative to vaccination is based on  
4 clinical experience -- absolutely.

5 Q The clinical experience and we discussed  
6 this last time, that was your review of the cases for  
7 litigation.

8 A My review of cases, in the British case, a  
9 lot of cases, and seeing some of them and being in  
10 charge of others. And actually, I'm trying to  
11 remember a very senior epidemiologist who is a member  
12 of the group put together, an article on the onset,  
13 on, on these timelines. And I'm sure I'm influenced  
14 what I'm telling you by what he found.

15 Q An article?

16 A Yeah.

17 Q You don't mean something that's published?

18 A Well, I don't know, I think it may have been  
19 published. And I'm about to say his name began with  
20 "W" and he's died since then. He was Canadian. I  
21 feel foolish, but I could make a search of it for  
22 this, for you if the Court would like me to.

23 Q And his epidemiology would have been based  
24 on the cases that you were reviewing for litigation.

25 A I'm not, yeah, I think he was basing himself

533B

KINSBOURNE - CROSS

1 on -- over 1,000 cases in British litigation -- and he  
2 may have put it together from that or from other  
3 sources as well -- it's been years since I've looked  
4 at it but I may have it in my files, and I will be  
5 glad to look for it.

6 Q But from a biological, microbiological or

KINSBOURNE - CROSS

1 neurological standpoint, you don't have any  
2 expectation? You can't, you can't --

3 A I don't have any a priori expectation based  
4 on, based on my ideas of pathogenesis.

5 Q Can you tell me all the factors that lead to  
6 your opinion here that, all the factors in this case  
7 that led to your opinion that MMR causes autism?

8 A Okay. We have the child who was healthy and  
9 developing normally -- normally until the MMR was  
10 given, who began to show signs of, of regression into  
11 autism within what I take to be the approximate  
12 interval we just discussed.

13 Q The six to eight weeks.

14 A No -- two to three months.

15 Q Okay two to three months. Okay, so you're  
16 expanding it beyond this case. It would be two to  
17 three months.

18 A Right. And who had at the same time or  
19 close to then, also gastrointestinal disturbances he  
20 didn't have; he had diarrhea, which was described as  
21 quite striking -- before -- he had, what was taken as  
22 evidence of, clinical evidence of inflammation of the  
23 gut by a gastroenterologist and a biopsy which it was  
24 consistent with but ultimately inconclusive with  
25 respect to the presence of vaccine virus material we

534B

KINSBOURNE - CROSS

1 had the finding of the genomic material in the  
2 cerebral spinal fluid. And I think there was some  
3 inflammatory markers too, but I think, basically, I  
4 told you the, the main,

KINSBOURNE - CROSS

1 the main supports for, for my opinion.

2 Q And you already discussed the genomic  
3 material and the CSF and what would happen if we  
4 removed that.

5 A If we removed what?

6 Q If we removed the genomic material in the  
7 CSF, you'd have a problem?

8 A Oh, if you removed it, then I would not, I  
9 would like to at least know that was this gene  
10 material in the gut, or the blood, or, ideally in the  
11 CSF. It doesn't have to be in the CSF. In fact, in  
12 Cedillo she didn't have a spinal tap so we don't know  
13 if was in CSF, but I would need this neurovirulent  
14 virus to be present in a child who manifested a  
15 condition which is it can't be explained, unexplained  
16 encephalopathy potentially caused by a virus and guess  
17 what, the virus is present in the body.

18 Now if there's no finding of any virus, then  
19 the only way I could arrive, because that's it, there  
20 are two ways of not finding virus. One is it's not  
21 there and one is you didn't test for it. And so, if  
22 it wasn't found at all, that would weaken my opinion  
23 certainly. If it wasn't tested for, then I would look  
24 at other cases which were more comprehensively  
25 investigated and determine whether the case I was

KINSBOURNE - CROSS

1 reviewing was sufficiently like them on other grounds  
2 for me to arrive at the same opinion without that  
3 evidence. But that's hypothetical at this time  
4 because I, that's not the exercise I've attempted at  
5 this point.

6 Q I'm sorry, I'm going to need to get a drink  
7 of water and I'd suggest you get the same. We've been  
8 talking for a while.

9 A Yes, yes. Sorry, I'm tempted to say cheers,  
10 but it may be out of place. Yes, sir.

11 (Laughter.)

12 Q I hope you're not going to be going too much  
13 longer, but I know that I was losing my voice and I'm  
14 sure that you're having the same problem. If the  
15 person wasn't normal, you said normally developing  
16 beforehand, if there was evidence that they were not  
17 normally developing beforehand, would that change your  
18 opinion?

19 A All right, let me be very clear about that.  
20 There's two ways of not normally developing. One way  
21 is showing suspicious signs of an incipient ASD, and  
22 another one is having trouble with milestones. These  
23 are the two and they're different.

24 If the child were showing evidence of an  
25 emerging autistic disorder beforehand, then I would

536B

KINSBOURNE - CROSS

1 not attribute the causation to a vaccination. If a

## KINSBOURNE - CROSS

1 child happened to be slow at sitting up or crawling or  
2 turning over or something, that would influence me  
3 less if at all because children who develop slowly  
4 are, I'm presuming, not immune to having the same kind  
5 of catastrophe.

6 In the case of Colten, his milestones at age  
7 one year were normal, therefore, he's always been  
8 normal up to that point. In other words, you can't be  
9 abnormal at four months and normal at six months and  
10 abnormal at eight and normal at a year. If you got  
11 there at, you know, if you got there at a year, you  
12 got there.

13 Q No, I understand. What you're saying is if  
14 there's evidence that looked like the development of  
15 an ASD prior, then --

16 A That would give me pause, absolutely.

17 Q You mentioned gastrointestinal inflammation.

18 A Yes.

19 Q In this case it was possible ileitis, ILNM.  
20 Would you want to see that?

21 A This, this was, you know, discussed in  
22 detail with Dr. Bradstreet. I am not actually basing  
23 myself, my opinion on that. I mentioned it to answer  
24 your questions, but that's not a --

25 Q So -- whether or not that was there, that's

537B

KINSBOURNE - CROSS

1 not --

2 A I think if it, it if was truly

KINSBOURNE - CROSS

1 self- consistent, but you know, it's not particularly  
2 -- in other words, I don't believe that every case of,  
3 of autism where the measles vaccine virus is a  
4 substantial factor necessarily shows a clear  
5 enterocolitis.

6 Q If we were to have a case where you have a  
7 normal development beforehand, regression within two  
8 to three months of the MMR, gastrointestinal symptoms,  
9 but no genomic material recovered out of the  
10 gastrointestinal, I should say to be clear of  
11 gastrointestinal inflammation, but no recovery of  
12 genomic material from the CSF, or, from the gut --

13 A So from the CSF or the gut.

14 Q Yes, no genomic material.

15 A From the gut.

16 Q Or the CSF.

17 A Oh, or.

18 Q Would your opinion change? Would you in  
19 that case and that sort of case would you offer  
20 opinion --

21 A In, in that case, at this stage I would not  
22 offer an opinion. Now I'm offering an opinion in a  
23 proceedings at a certain stage which I think has  
24 something to do with setting up some parameters or I  
25 don't want to preclude later on offering such an

538B

KINSBOURNE - CROSS

1 opinion given on intervening events.

KINSBOURNE - CROSS

1 Q Right, in case something else develops in  
2 the science or something like that.

3 A Correct, correct.

4 Q Okay, I understand. But at this point --

5 A I would normally --

6 Q -- normally developing regression within two  
7 or three months, these are some of the key factors for  
8 you normally, but we don't have genomic material from  
9 the gut or the CSF, you're not concluding that in that  
10 instance the MMR caused --

11 A I personally would not, evidence of that  
12 kind would not have risen to any level that I require,  
13 which is different from there being no evidence. I  
14 regard that as evidence, but not up to the criteria  
15 that I'm, I am set by the Court.

16 Q I really am almost done, Doctor. You had  
17 mentioned that you serve on editorial boards. Which  
18 editorial boards do you currently serve on? Which  
19 publications?

20 A I think the ones, do you have my list with  
21 you?

22 Q No. Is it in your CV which ones you're  
23 currently on?

24 A Yes. In my CV I distinguish between current  
25 boards and previous ones.

539B

KINSBOURNE - CROSS

1 Q Thank you. I'm sorry. I didn't have it in

KINSBOURNE - CROSS

1 front of me. Have you ever served on an IRB before?

2 A On an IRB?

3 Q Yes.

4 A Before what?

5 Q Well, at any point.

6 A I am the chair of our University IRB.

7 Q Okay.

8 A Yeah. The answer is yes, before and now --

9 Q What would you require before you would  
10 approve a study that called for a spinal tap in an  
11 infant.

12 A I'm thinking. I understand the question.  
13 There are several components to that. The first  
14 component would be that it asks a scientifically  
15 legitimate question. And the second component would  
16 have a, a lot of attention goes to informed consent.  
17 I would regard it as coming under the category that's  
18 defined by the, by the law as a minimal, minimal risk.  
19 We tend to not to like to say that something is no  
20 risk because life isn't like that. But there is a  
21 minimal risk category and spinal tap generally, unless  
22 there's special circumstances, comes under that, under  
23 that heading.

24 Unless there were contraindications, for  
25 example, that the child was uncontrollable, would have

540B

KINSBOURNE - CROSS

1 to have, you know deep anesthetic there are  
2 circumstances under

KINSBOURNE - CROSS

1 which one would question safety, but by and large I  
2 regard spinal tap as a, as an innocuous procedure  
3 which residents and medical students do and it's done  
4 all the time.

5 So I would find a legitimate scientific  
6 purpose plus appropriate arrangements for  
7 confidentiality and informed consent to be sufficient.  
8 And I expect that the Vargas group got their IRB  
9 approval on, on such grounds.

10 Q I'm just wondering in general. I wasn't  
11 even thinking of the Vargas article on this. I'm  
12 wondering in general, to perform this procedure on a  
13 child for a study purpose, you would approve it even  
14 if it wasn't medically indicated for some other  
15 reason, just for the study purpose?

16 A Well, I thought that there is a medical  
17 reason for doing, for doing a spinal tap. You're  
18 talking about in this kind of case, I would assume?

19 Q Actually, I --

20 A Maybe I misunderstood you. Do you mean  
21 spinal taps on normal children?

22 Q Yeah, well --

23 A I wouldn't, no, I, sorry. I wasn't in favor  
24 of spinal taps on normal children. I think obviously  
25 one needs to get controls for studies like, or the

541B

KINSBOURNE - CROSS

1 kind that interests us. And what one would do there  
2 would be to solicit a commission from the doctors

KINSBOURNE - CROSS

1 clinics in some hospitals doing, routine spinal taps  
2 for unrelated conditions, noninfectious like headache,  
3 for example. And ask whether one could collect those  
4 fluids and, and test them.

5 Q You have an affiliation with The New School  
6 in New York.

7 A I'm sorry?

8 Q You have, I'm sorry, you have an affiliation  
9 with The New School in New York?

10 A I am a professor there, yes.

11 Q Since we talked in June, how many lectures  
12 have you given there?

13 A How many lectures do I give there?

14 Q How many have you given since we talked in  
15 June?

16 A Did you say lectures?

17 Q Yes.

18 A Oh, the semester began right after Labor  
19 Day. I teach two classes a week, so I give a minimum  
20 of two lectures a week and I guess, how many weeks has  
21 it been? Eight, I don't know -- so I guess about 16.

22 Q What were those lectures on?

23 A They're the course I'm teaching currently is  
24 Introduction to Neuroscience, so I'm lecturing on, I  
25 begin with the neuron, the synapse --

KINSBOURNE - CROSS

1 Q It's a basic course? Introduction to  
2 Neuroscience?

3 A Right, right. I've gotten up to motor  
4 control and learning --

5 Q Okay. Have you given any talks since we  
6 spoke in June on autism?

7 A Any thoughts to?

8 Q Any talks, any lectures.

9 A Oh, you mean public, like --

10 Q Yes, presentations to professional meetings.  
11 Have you attended any professional meetings on autism?

12 A No, actually.

13 Q I'm sorry?

14 A I haven't, no.

15 Q Have you ever given a lecture on measles  
16 virus that that would be the topic of lecture?

17 A No, actually, no.

18 Q You mentioned at a meeting that was held in  
19 Washington right about the time we had the, or earlier  
20 when you talking with Mr. Powers, he asked you a  
21 question about a meeting that was --

22 A A meeting in Washington?

23 Q Yeah. A meeting that was held, I think he  
24 said in Washington, it may not have been in

25 //

KINSBOURNE - CROSS

1 Washington. But a meeting that was held to discuss  
2 the environmental factors and autism.

3 A Oh, I wasn't at that.

4 Q Okay.

5 A I was away at the time, and in fact I had a  
6 colleague who went but I didn't go to it.

7 Q Were you invited?

8 A No.

9 Q Remember Dr. Fombonne testifying?

10 A I remember meeting him when he was --

11 Q I think he had to leave at some point to  
12 attend that meeting.

13 A I didn't -- well, I said hello to him, shook  
14 hands before then.

15 Q And you say that currently about 20 percent  
16 of those who are diagnosed with autism are regressive  
17 cases.

18 A The figure that's given is 20 to 30 percent,  
19 that's the general figure given.

20 Q What was the figure say, ten years ago?

21 A I think much the same.

22 MR. MATANOSKI: I have nothing further at  
23 this time.

24 THE COURT: Dr. Kinsbourne, I have just a  
25 few questions for you.

545A

KINSBOURNE - CROSS

1 THE WITNESS: Yes, ma'am.

2 THE COURT: First off, I'd like to start  
3 with this article you mentioned by Paul Dykken --

4 THE WITNESS: Yes.

5 THE COURT: Can you describe what type of  
6 article this is? Is this an investigatory study, a  
7 review, an editorial?

8 THE WITNESS: I'm embarrassed to say, I have  
9 it with me, but I failed to find it. But I can  
10 certainly hand it over to the Court in whatever  
11 appropriate fashion. But yes, I began to tell you.  
12 It's a review. Here are the specifics. Paul Dykken,  
13 as I mentioned is an authority on SSPE, he at some  
14 point, he came to join the group in England and had  
15 the opportunity to examine a number of the children in  
16 the cohort and he drew his own conclusions,  
17 independently of --

18 THE COURT: The litigation?

19 THE WITNESS: The litigation, about what he  
20 was seeing and came to the conclusion that what he was  
21 seeing was a previously undescribed neurological  
22 disorder due to a measles vaccine. And in this short  
23 article, he contrasts SSPE which is his topic with  
24 this, as he thinks, new and different manifestation of  
25 measles infection of the brain.

545B

KINSBOURNE - CROSS

1

THE COURT: Is he associated with an SSPE

546A

KINSBOURNE - CROSS

1 registry of some sort?

2 THE WITNESS: He is the, in charge of it.  
3 He's the chief of it, he's very well known in the  
4 field.

5 THE COURT: Is this a governmental registry  
6 or a private registry?

7 THE WITNESS: Oh, gosh, I, I don't know any  
8 more than that about it.

9 THE COURT: Okay.

10 THE WITNESS: He's a senior reputable  
11 individual.

12 THE COURT: All right. You described in  
13 your theory of how the measles virus interacts with  
14 the brain and you talked about some of the findings in  
15 the Vargas autopsy and CSF studies. There are three,  
16 at least three other anatomic issues that I've seen in  
17 the literature identified as associated, brain anatomy  
18 associated with autism. And the Purkinje's cell  
19 loss --

20 THE WITNESS: Yes.

21 THE COURT: -- a problem with minicolumnar  
22 development and limbic system. Do you agree that  
23 those three are all associated with autism in autopsy?

24 THE WITNESS: Yes. I actually mentioned the  
25 first, I mentioned the Purkinje's cell loss and the

546B

KINSBOURNE - CROSS

1 limbic system. The minicolumnar abnormality is

547A

KINSBOURNE - CROSS

1 described, I think Buxtehude (phonetic) is the name of  
2 the investigator (phonetic), it's as you probably  
3 know, the gray matter lines the outside of the cortex,  
4 but the cells are actually much less distributed  
5 (phonetic) in their columnar arrangement and you have  
6 a nested situation where minicolumns make up  
7 macrocolumns and so on. It's just how the brain is  
8 organized and this particular set of investigators  
9 pointed out that the minicolumns were anomalous in the  
10 organization.

11 THE COURT: Okay.

12 THE WITNESS: I don't, I didn't draw further  
13 conclusions. I actually seem to remember, I'm not  
14 sure of this, that they are, did discuss some possible  
15 functional implications of that and it may well, it  
16 may have been that they did think that a certain  
17 amount of disinhibition or overactivation was part of  
18 it, but I'd have to go back and read the article  
19 again.

20 THE COURT: You indicated that  
21 you'd mentioned the Purkinje's cell loss. Can you  
22 tell me how that fit into your theory again because I  
23 apparently missed it?

24 THE WITNESS: The excitotoxic potential of  
25 the glutamate was a topic --

KINSBOURNE - CROSS

1 THE COURT: Okay.

2 THE WITNESS: -- and I was pointing out that  
3 whereas in more severe acute conditions excitotoxicity  
4 would destroy neurons and -- but here the only  
5 evidence really consistent with excitotoxicity was the  
6 lack of Purkinje's cells. And we do know that when  
7 glutamate is excitotoxic, the Purkinje's cells are the  
8 most vulnerable to that. So that's sort of fits  
9 without being conclusive.

10 THE COURT: So it fits in that they're  
11 missing and apparently something destroyed them.

12 THE WITNESS: That is my interpretation,  
13 yes.

14 THE COURT: Or they never existed.

15 THE WITNESS: Well, that's another  
16 interpretation. You see, you can't really tell.

17 THE COURT: Okay. Do we see any evidence of  
18 the death of Purkinje cells in autopsy or do we see  
19 that they're just simply not there or they're in  
20 reduced numbers?

21 THE WITNESS: As far as I recall -- I hope  
22 I'm giving the correct answer, that the counts are  
23 simply sparse but whether so many years later you can  
24 conclude anything more -- I don't know.

25 THE COURT: Now the information about the

548B

KINSBOURNE - CROSS

- 1 limbic system changes, aren't those identified as
- 2 probably occurring during gestation?

KINSBOURNE - CROSS

1 THE WITNESS: Well, that was the, that was  
2 the original idea when Bauman and Kemper were the  
3 first people to do autopsies and really examined them  
4 well, meaning let's spend six months on the brain --

5 THE COURT: Okay.

6 THE WITNESS: -- at that point. And they  
7 felt that the organization, that the, some of the  
8 things they saw suggested a disturbance in gestation.  
9 And I think that may have been in case. However, I  
10 also gave a reference, Ciaranello, in my Cedillo  
11 article which said that those very appearances are  
12 ones that you get postnatally -- now I'm not an expert  
13 in pathology or histology so I can't really say, but  
14 it does strike me that there are a number of  
15 conditions where autism clearly is not congenital.  
16 Landau-Kleffner -- they have to be more than three  
17 years old and become autistic. Certain encephalitic  
18 cases have been presented. So it may well be more  
19 likely that many of these cases arise during  
20 pregnancy, but I don't believe they all do.

21 THE COURT: And I'm not challenging that --

22 THE WITNESS: No.

23 THE COURT: -- that statement. I'm just  
24 asking how the limbic system findings fit in with your  
25 theory.

549B

KINSBOURNE - CROSS

1 THE WITNESS: Right.

2 THE COURT: Those described by Bauman and

KINSBOURNE - CROSS

1 Kemper and others.

2 THE WITNESS: Just to, perhaps to supplement  
3 slightly, the limbic system includes the hippocampus  
4 and amygdala. Rubestein and Merzenich, in talking  
5 about excessive glutamate, as I recall, actually refer  
6 to a sparcity of synapses and dendrites in the  
7 hippocampus and related to their, to this excitation  
8 imbalance so that could, if that's the case then it  
9 happened when the imbalance occurred.

10 THE COURT: Now the Rubenstein article I  
11 have is not a study of its own, it's a review and  
12 postulating hypotheses.

13 THE WITNESS: Correct.

14 THE COURT: So it's looking at the evidence  
15 that's out there and saying maybe this, maybe that.

16 THE WITNESS: Correct.

17 THE COURT: So we are talking about the same  
18 article.

19 THE WITNESS: Absolutely.

20 THE COURT: Okay. And the final question I  
21 have has to do with a followup on something Mr.  
22 Matanoski asked you. And he talked, asked you  
23 initially about brain changes and how the brain  
24 changes from birth through infancy and on into  
25 adulthood. And basically you agreed with him that the

550B

KINSBOURNE - CROSS

1 brain does change in many ways and if it didn't we'd

KINSBOURNE - CROSS

1 be in serious trouble.

2 THE WITNESS: Enormously, yes, yes.

3 THE COURT: Okay. We heard some testimony  
4 in the Hazlehurst case from a neurologist named Dr.  
5 Rust who testified that at certain points in  
6 development of a child or an infant, that a part of  
7 the brain that has been controlling behavior, motor  
8 skills, something, shifts control to another part of  
9 the brain. Do you agree with that?

10 THE WITNESS: Yes, it's called  
11 encephalization, if that's what he was talking about.  
12 And the particular example that's quoted, which was  
13 presented by a famous researcher, Patricia Gorman  
14 (phonetic), was that in the very young child doesn't  
15 really have a functional the cerebral cortex yet. It  
16 isn't myelinated. So typically, that really it's the  
17 basal ganglia which are the highest levels of the  
18 motor system and control behavior, which is after all,  
19 motor activity. And then there comes a time when the,  
20 the frontal cortex which is connected to the basal  
21 ganglia becomes functioning. And then, and by  
22 encephalization the frontal cortex takes over from the  
23 basal ganglia and assumes control. That's a construct  
24 that's been quite a while in neuroscience.

25 THE COURT: And it's generally accepted.

551B

KINSBOURNE - CROSS

1 THE WITNESS: It's, yeah, it's legitimate.

KINSBOURNE - CROSS

1 THE COURT: All right. And my final  
2 question has to do with Colten's case in particular.

3 THE WITNESS: Yeah.

4 THE COURT: And that is if we remove, again  
5 taking the hypothesis that there is no measles virus  
6 in the cerebral spinal fluid, what signs or markers of  
7 brain inflammation exist in Colten's case?

8 THE WITNESS: Only the few that Dr.  
9 Bradstreet brought to the Court's attention.

10 THE COURT: And that would be the myelin  
11 basic protein.

12 THE WITNESS: Protein, and again neopterin  
13 perhaps.

14 THE COURT: Okay.

15 THE WITNESS: I don't have strong feelings  
16 about that, but in answer to your question, that's all  
17 really that I can perceive.

18 THE COURT: And so the MBP was very high at  
19 the time it was taken, but we have no idea of knowing  
20 what it was earlier.

21 THE WITNESS: Right, so it is consistent but  
22 not diagnostic of that.

23 THE COURT: Okay. And then the neopterin --  
24 levels were not taken until much later --

25 THE WITNESS: Right.

552B

KINSBOURNE - CROSS

1

THE COURT: At a time when Colten was

KINSBOURNE - REDIRECT

1 improving, in fact .

2 THE WITNESS: Yeah, yeah --

3 THE COURT: Intellectually functioning well,  
4 although there may have been some behavior  
5 difficulties.

6 THE WITNESS: Correct.

7 THE COURT: But no other signs or symptoms  
8 of inflammation that you can think of in his record?

9 THE WITNESS: The inflammation I was talking  
10 about --

11 THE COURT: Yes.

12 THE WITNESS: -- I don't, it doesn't come to  
13 mind.

14 THE COURT: Okay. Go ahead, Mr. Powers.

15 REDIRECT EXAMINATION

16 BY MR. POWERS:

17 Q And on the one hand I'd like to say I have  
18 just a couple of questions, but it's more than that.  
19 I hope it's not more than a few minutes because I  
20 understand we are right up at 5:00.

21 Dr. Kinsbourne, I want to cover several  
22 different areas with you here. One is talking  
23 specifically about Colten Snyder's medical condition  
24 after he got his MMR. If you recall, Mr. Matanoski  
25 had a lot of questions about the onset of symptoms.

KINSBOURNE - REDIRECT

1 You recall in preparing your report and preparing to  
2 testify, reviewing Colten Snyder's medical records.

3 A Yes.

4 Q And you saw Dr. Bradstreet's testimony where  
5 those medical records were reviewed and even some were  
6 put up on the screen.

7 A Yes.

8 Q And understanding that when you were being  
9 asked questions by Mr. Matanoski, the records were  
10 neither in front of you nor on the screen, I wanted to  
11 just ask you a couple of questions about the record to  
12 see if these were consistent with what you did see in  
13 preparing to  
14 testify.

15 A Yes, sir.

16 Q Okay. Is it consistent with your memory of  
17 the records in this case, that within 13 days of  
18 receiving the MMR vaccination Colten presented at the  
19 hospital with a report from his mother that he was  
20 fussy, crying, screaming, screaming at night and not  
21 sleeping through the night 13 days out?

22 A Yes.

23 Q Is that consistent?

24 A That is, yes.

25 Q Okay. And that within 31 days he presented

KINSBOURNE - REDIRECT

1 again at the hospital and was admitted to the  
2 hospital. Do you recall the discussion about the  
3 admission?

4 A Right.

5 Q Do you also recall the note that the doctor  
6 made on his hospital admission, not on his chart note,  
7 but on the hospital admission 31 days post MMR, that  
8 by that point Colten had undergone a mental status  
9 change? Do you recall that note?

10 A Yes.

11 Q And then right around that time, the  
12 Memorial Day weekend, you recall the testimony of  
13 family members and caregivers that he was lethargic,  
14 had stopped making eye contact, had stopped  
15 interacting, and all of that testimony. Do you recall  
16 hearing all of that?

17 A Right. I mentioned the lethargy but you  
18 filled in the other details which you reminded me of.

19 Q So all of that happened within 31 days. And  
20 the presentation of those symptoms within 31 days of  
21 the MMR, I'm assuming, is completely consistent with  
22 your theory of the excitatory inhibitory process  
23 that's triggered by the measles vaccine in the brain.

24 A Oh, it certainly is.

25 //

KINSBOURNE - REDIRECT

1 Q Great.

2 A Yeah.

3 Q Moving on to a couple of other questions,  
4 I'm actually going to get more specific to this before  
5 I talk about some of the general ones. Early in the  
6 cross-examination by Mr. Matanoski, a fair amount of  
7 time was spent on a slide that Dr. Bradstreet had  
8 presented. You recall that --

9 A Yes, sir --

10 Q Now that's a slide that as far as you know  
11 was prepared by Dr. Bradstreet to describe the  
12 clinical course of care that he provided to Colten  
13 Snyder.

14 A Well, he, he specifically used it to explain  
15 why he did what he did as a treating physician, yeah.

16 Q And at no point were you ever relying on the  
17 material that was presented in that slide to reach  
18 your opinion on causation, either generally or  
19 specifically --

20 A Oh, indeed not.

21 Q In fact , you couldn't have because that  
22 slide was just presented to everybody as part of a  
23 PowerPoint presentation a couple of days ago.

24 A Correct.

25 Q So all of your work on developing both the

KINSBOURNE - REDIRECT

1 general causation theory in these cases and the  
2 specific case, were independently of whatever Dr.  
3 Bradstreet might have been thinking of, either in his  
4 course of care or to the extent that he was developing  
5 his own nonexpert, nontestimonial opinions on  
6 causation, your opinion is developed completely  
7 independent of that.

8 A That is the case.

9 Q And doesn't rely on that at all.

10 A At all.

11 Q You recall a line of questioning that, later  
12 in the cross-examination, about whether various  
13 levels, what started off as questions about copy  
14 numbers, high copy numbers being equated with more  
15 severe symptoms and then was refocused to, as I  
16 understood the questions, to be saying if he had more  
17 virus in the CSF or virus in the brain, would you  
18 expect the symptoms to be more severe. Do you  
19 remember that line of questioning?

20 A I do.

21 Q Okay. Now it's fair to say that in any case  
22 of autism spectrum disorder there are possibly a  
23 number of factors involved, is that right?

24 A Of course.

25 Q And across the range of presentations,

KINSBOURNE - REDIRECT

1 within the population, people with autism have a very  
2 wide range of symptoms, correct?

3 A Yes.

4 Q A great diversity of the severity of those  
5 symptoms, correct?

6 A Yes.

7 Q And in the mix of the symptoms and in the  
8 onset of the symptoms, correct?

9 A All those things.

10 Q So given that presentation of diversity  
11 within the autistic population in terms of symptoms,  
12 would it be reasonable for you to conclude that even  
13 given the exact same viral load across a population of  
14 autistic children, you would see a variety of  
15 symptoms?

16 A Well, yes and I did conclude as such in, in  
17 our discussion.

18 Q And I just wanted to make that clear,  
19 because you would also see a diversity even in the  
20 onset of the symptoms, correct?

21 A Right.

22 Q And you would expect to see given the  
23 diverse nature of autism, you would expect to see  
24 diversity of symptoms even given a group of children  
25 with the same, call it viral load or copy number,

KINSBOURNE - REDIRECT

1 correct?

2 A One would expect that.

3 Q It's entirely consistent with the model of  
4 autism that we know outside of a viral postulated  
5 model. There was also a line of questioning about  
6 what your opinion, the various permutations that your  
7 opinion might go through based on the appearance or  
8 nonappearance of measles virus in a variety of  
9 samples. I just want to make sure I get to the heart  
10 of what you were saying and apply it to this case.

11 The absence of measles virus whether it's in  
12 the gut -- whatever evidence there is, just assume  
13 there's no measles virus at all. The absence of  
14 measles virus doesn't preclude the existence of a  
15 neuroinflammatory model that creates autistic  
16 symptoms, does it?

17 A Oh, no. I never suggested that. It, it's  
18 relevant to determining what the, what the cause of  
19 the neuroinflammation is. Also, I might say absence  
20 of something on a test doesn't mean to say it's not  
21 there. And I, it's not that, this is not engineering,  
22 you know. It could be there another time, and so it's  
23 probabilistic, it's a matter of degree.

24 Q Yes, and I just wanted to make sure that the  
25 mechanism you're describing --

KINSBOURNE - REDIRECT

1 A Yeah.

2 Q -- neuroinflammation and the dysregulation  
3 of the excitatory and inhibitory process, that  
4 mechanism can be present absent the measles virus.

5 A Absolutely. I was describing the mechanism  
6 separately and I pointed out that there's more than  
7 one cause of neuroinflammation. There could be other  
8 causes for autism by this very same mechanism.

9 Q And as time passes by, even if there is  
10 measles virus, it may not be able to be detected  
11 directly through spinal fluid, through blood, through  
12 gut, anything. There may over time be the development  
13 of methodologies and technologies that allow surrogate  
14 markers to allow somebody to reliably conclude that  
15 there is in fact persisting measles virus. Is that --

16 A Right.

17 Q -- something that's fair to expect?

18 A One would certainly hope so, other than to  
19 spinal tap everybody

20 Q And certainly your opinion on causation  
21 where there's a proposition that the measles vaccine,  
22 or any vaccine for that matter, that results in  
23 persistent infection, you're open to a theory that  
24 would include other ways of detecting the virus rather  
25 than through tissue samples, spinal taps and that sort

KINSBOURNE - RECROSS

1 of thing.

2 A Yes, I agree with that.

3 MR. POWERS: Okay. That's everything that I  
4 had on redirect, Special Master.

5 THE COURT: Thank you. Mr. Matanoski?

6 MR. MATANOSKI: Thank you, ma'am.

7 RECROSS-EXAMINATION

8 BY MR. MATANOSKI:

9 Q One last point, Doctor. Do you know of any  
10 surrogate tests that are in the process to be  
11 developed to identify measles virus?

12 A No.

13 Q Paul Dykken, is that a letter that he wrote  
14 because we saw something in Cedillo.

15 A Did I submit it --

16 Q No. It came up at the very end of the  
17 trial, as I recall. I was just wondering if it's the  
18 same -- some sort of letter.

19 A It was an editorial in a journal. Actually,  
20 it was an editorial discussion. It wasn't a letter,  
21 no. It was, it was an article --

22 Q Was it speculating about what might be  
23 happening based on --

24 A I don't think he felt he was speculating. I  
25 think he thought he was describing a rather important

KINSBOURNE - RECROSS

1 development in neurology.

2 Q Based on what was happening in the U.K.  
3 litigation, Dr. Wakefield --

4 A This has nothing to do with Dr. Wakefield.

5 Q I thought he mentioned it in his --

6 A Oh, no. Let me be clear about it. If Dr.  
7 Wakefield had never existed, I would never have met  
8 Dr. Dykken in England. However, he saw children under  
9 the umbrella of the, of the British case. He  
10 ultimately was not one of the people who gave an  
11 opinion. He didn't play an active role. It was  
12 helpful to have him there.

13 But he made his own observations on these  
14 children, which he didn't necessarily discuss with Dr.  
15 Wakefield or anybody and he wrote this article. And  
16 I, as I said to the Court, I do have it and --

17 Q All right. I'm just wondering if it's the  
18 same one because that was an editorial that came out  
19 before the expert reports, I believe, before the  
20 expert reports were filed in the U.K. litigation and  
21 certainly before that litigation was underway.

22 A I may not have seen it then, though.

23 Q And since that time, there's nothing more  
24 from Dr. Dykken, is there?

25 A Not that I've heard. I haven't talked

KINSBOURNE - RECROSS

1 to him since. That's all I'm aware of.

2 Q Nothing about the hypothesis that he had in  
3 that editorial. I think he called it MINE, he said  
4 it's something --

5 A Yes, he --

6 Q -- I've just come up with, -- I'm going to  
7 call it MINE.

8 A That's the acronym that he used. I don't  
9 know whether he's done a followup investigation or  
10 published it since then. I haven't come across it.

11 MR. MATANOSKI: Thank you.

12 THE COURT: All right. Is there anything  
13 else we need to take up on the record today?

14 MR. POWERS: No, Special Master. For  
15 purposes of our case in chief, we would excuse Dr.  
16 Kinsbourne and as with all of our witnesses reserved  
17 him for rebuttal if needed.

18 THE COURT: Certainly. All right. Thank  
19 you very much, Dr. Kinsbourne. We'll reconvene then  
20 at 9:00 a.m. tomorrow morning.

21 (Whereupon, at 5:12 p.m., the hearing in the  
22 above-entitled matter was adjourned until November 7,  
23 2007, at 9:00 a.m.)

24 //

25 //

REPORTER'S CERTIFICATE

DOCKET NO.: 01-162V

CASE TITLE: Colten Snyder by and through Katherine Snyder  
and Joseph Snyder, his natural guardians vs.  
Secretary of Health and Human Services

HEARING DATE: November 6, 2007

LOCATION: Orlando, Florida

I hereby certify that the proceedings and evidence are contained fully and accurately on the tapes and notes reported by me at the hearing in the above case before the Department of Health and Human Services.

Date: November 6, 2007

Ron LeGrand, Sr.

Official Reporter

Heritage Reporting Corporation

Suite 600

1220 L Street, N. W.

Washington, D. C. 20005-4018

Heritage Reporting Corporation  
(202) 628-4888