

UNITED STATES COURT OF FEDERAL CLAIMS

COLTEN SNYDER BY AND THROUGH)	
KATHERINE SNYDER AND JOSEPH)	
SNYDER, HIS NATURAL GUARDIANS)	
AND NEXT FRIENDS,)	
)	
Petitioners,)	
)	Docket No.: 01-162V
v.)	
)	
SECRETARY OF HEALTH AND)	
HUMAN SERVICES,)	
)	
Respondent.)	

REVISED AND CORRECTED COPY

Pages: 565 through 819
Place: Orlando, Florida
Date: November 7, 2007

HERITAGE REPORTING CORPORATION
Official Reporters
1220 L Street, N.W., Suite 600
Washington, D.C. 20005-4018
(202) 628-4888
contracts@hrccourtreporters.com

UNITED STATES COURT OF FEDERAL CLAIMS
OFFICE OF SPECIAL MASTERS

COLTEN SNYDER BY AND THROUGH)
 KATHERINE SNYDER AND JOSEPH)
 SNYDER, HIS NATURAL GUARDIANS)
 AND NEXT FRIENDS,)
)
) Petitioners,)
) Docket No.: 01-162V
 v.)
)
) SECRETARY OF HEALTH AND)
) HUMAN SERVICES,)
)
) Respondent.)

Courtroom 56
401 W. Central Boulevard
Orlando, Florida

Wednesday,
November 7, 2007

The parties met, pursuant to notice of the
Court, at 9:00 a.m.

BEFORE: HONORABLE DENISE K. VOWELL
Special Master

APPEARANCES:

For the Petitioners:

CHRISTOPHER W. WICKERSHAM, SR., Esquire
LLOYD BOWERS, Esquire
Wickersham & Bowers
501 N. Grandview Avenue, Suite 115
Daytona Beach, Florida 32115
(386) 252-3000

APPEARANCES: (Cont'd)

For the Petitioners' Steering Committee:

THOMAS B. POWERS, Esquire
Williams Love O'Leary & Powers, P.C.
9755 S.W. Barnes Road, Suite 450
Portland, Oregon 97225-6681
503) 295-2924

For the Respondent:

VORIS E. JOHNSON, JR., Esquire
KATHERINE C. ESPOSITO, Esquire
VINCENT MATANOSKI, Esquire
U.S. Department of Justice
Civil Division
Torts Branch
Post Office Box 146
Ben Franklin Station
Washington, D.C. 20044-0146
(202) 616-4136

Also for the Respondent:

ALEXIS B. BABCOCK, Esquire
U.S. Department of Justice
1425 New York Avenue, N.W.
Washington, D.C. 20005
(202) 616-7678

567

C O N T E N T S

WITNESSES:	DIRECT	CROSS	REDIRECT	RECROSS	VOIR DIRE
For the Respondent:					
Burton Zweiman	569	594	621	625	--
Max Wiznitzer	629	644	733	--	--
Michael J. McCabe	734	782	816	817	--

E X H I B I T S

RESPONDENT'S
EXHIBITS: IDENTIFIED RECEIVED DESCRIPTION

Trial Exhibits:

2	572	--	Slides
3	804	--	Slides

1 PROCEEDINGS

2 (9:00 a.m.)

3 THE COURT: We are back on the record in the
4 case of Colten Snyder, Case No. 01162.

5 Mr. Johnson, it looks like you're leading
6 off this morning.

7 MR. JOHNSON: Yes, ma'am, and the Respondent
8 would like to call Dr. Zweiman.

9 THE COURT: Dr. Zweiman, would you raise
10 your right hand?

11 Whereupon,

12 BURTON ZWEIMAN

13 having been duly sworn, was called as a
14 witness and was examined and testified as follows:

15 THE COURT: You may be seated.

16 THE WITNESS: Thank you.

17 DIRECT EXAMINATION

18 BY MR. JOHNSON:

19 Q Good morning, Dr. Zweiman.

20 Would you please state and spell your name
21 for the record?

22 A My name is Burton Zweiman, B-U-R-T-O-N, last
23 name is Z-W-E-I-M-A-N.

24 Q And Dr. Zweiman, you are an immunologist, is
25 that correct?

ZWEIMAN - DIRECT

1 A Correct.

2 Q Can you describe just briefly your
3 educational background?

4 A I received my undergraduate medical degrees
5 from the University of Pennsylvania. After taking a
6 medical residency, I took a fellowship in allergy and
7 clinical immunology. Since 1963, I've been on the
8 faculty of the University of Pennsylvania, School of
9 Medicine, where I'm currently an emeritus professor of
10 medicine and neurology. For 24 years, I was chief of
11 the Division of Allergy and Clinical Immunology at
12 that institution.

13 I also founded and helped supervise for many
14 years the laboratory that performs autoantibody
15 determinations in our medical center, and have done
16 research related to that as well as neuroimmunology.

17 Q Are you board certified?

18 A Yes, in internal medicine and subspecialty
19 of allergy and immunology as well.

20 Q And do you treat patients?

21 A I did until very recently when I'm emeritus
22 status, but I still consult with my colleagues about
23 patients in which the diagnosis is under
24 consideration.

25 Q And are you a member of any professional

ZWEIMAN - DIRECT

1 organizations?

2 A Yes, a number of them. I'll mention just a
3 few. The American Association of Immunologists, the
4 American Federation of Clinical Research, the American
5 Academy of Allergy, Asthma and Immunology of which I
6 was president, and a number of other immunologically-
7 related organizations.

8 Q And have you received any honors or awards?

9 A Yes, a number of special awards from the
10 American Academy of Allergy, Asthma and Immunology,
11 distinguished service awards, similar to that, as well
12 as teaching awards from my university.

13 MR. JOHNSON: Special Master, at this time
14 we would offer Dr. Zweiman as an expert in the area of
15 immunology.

16 THE COURT: Any objections?

17 MR. POWERS: No, Your Honor.

18 THE COURT: The Court will so accept him.

19 MR. JOHNSON: Thank you.

20 THE COURT: While we're discussing things, I
21 noticed you have a slide up on the board. Are you
22 going to use copies of those slides as well?

23 MR. JOHNSON: Yes, I'll distribute those
24 now.

25 THE COURT: Okay, and these are going to be

571B

ZWEIMAN - DIRECT

1 Respondent's Trial Exhibit 2.

ZWEIMAN - DIRECT

1 (The document referred to was
2 marked for identification as
3 Respondent's Trial Exhibit
4 No. 2.)

5 BY MR. JOHNSON:

6 Q Dr. Zweiman, there has been some testimony
7 in this case so far regarding autoantibodies to myelin
8 basic protein or anti-MBP. Can you first explain to
9 the Court what myelin is?

10 A Yes. As you can see in the first slide,
11 myelin is a fatty material which coats processes that
12 extend from neurons. These processes are called
13 axons. The myelin coating around the axon protects
14 it, insulates it, allows a faster induction of the
15 electric current, if you will, that goes down, a
16 process from one neuron to another. It also prevents
17 the electrical charge from leaking off the process
18 into the surrounding tissue.

19 Q And what is myelin basic protein?

20 A Well, I pushed the up button, but it
21 didn't -- try pushing another one.

22 If one sees a myelinated fiber --

23 Q And we're now looking at slide 2 of
24 Petitioners' Trial Exhibit 2.

25 A Which is shown in the upper portion of this

ZWEIMAN - DIRECT

1 slide. This is the myelin sheath around an axon drawn
2 figuratively, and the electrical current going from
3 neuron down the axon. This myelin can be damaged in a
4 number of ways, both on an immune basis, on an
5 nonimmune basis, and when that happens components of
6 the myelin, including myelin basic protein, can leak
7 out from this area.

8 Myelinated fibers can sometimes be
9 remyelinated and they are done so by a cell, a glial
10 cell, you've heard about glial cells discussed
11 yesterday. This particular glial cell is called the
12 oligodendrocyte shown over here which sends out from
13 its membrane material that becomes part of the myelin
14 sheath on surrounding axons.

15 Q And we're now looking at slide 3, and you
16 were pointing to the circle found in the middle of the
17 slide and then the --

18 A That's the oligodendrocyte, and the membrane
19 is from that becoming part of the myelin sheath that
20 surrounds the axons.

21 If one looks, as you can see in the lower
22 left-hand portion of this slide, this is a cross-
23 section, this is the axon in the middle and then
24 wrapped around it in concentric circles is the myelin.
25 It's important to emphasize that myelin basic protein,

ZWEIMAN - DIRECT

1 as I'll mention in a minute, is one of the major
2 proteins present in myelin, is on the internal aspect
3 of these layers is not so easily displayed to the
4 outside as some other proteins that I will mention as
5 well. It's on the internal aspect of these layers of
6 myelin.

7 Q So given that background, describe what and
8 more specifically what myelin basic protein is?

9 A Myelin basic protein is one of the most
10 abundant proteins within myelin, thought to be about
11 30 percent of the total protein in the myelin
12 membrane. It's thought to play a role in the
13 formation and the maintenance of the myelin sheath.
14 It has a very strong positive charge. The reason it's
15 called "basic" is not because it's so simple, but
16 because it's very alkaline. It has a very high what
17 we call PK value.

18 The reason why this is important to mention
19 is that because it is so highly charged when one tries
20 to measure antibodies against it one has to be very
21 careful because there is a lot of nonimmunologic
22 findings of certain proteins to this very highly
23 charged molecule called myelin basic protein, and one
24 has to take care in running immuno assays that one is
25 not just measuring an electrical attraction rather

ZWEIMAN - DIRECT

1 than immunologic binding.

2 As I mentioned earlier, it's on the inside
3 of the membrane, less accessible to immune attack than
4 is myelin oligodendrocytes glyco protein, or it's
5 abbreviated called MOG as compared to MBP which is an
6 abbreviation for myelin basic protein.

7 It is one of the proteins that can leak out
8 in the cerebral spinal fluid or occasionally gets into
9 the blood when there is extensive myelin damage.

10 Q And Dr. Zweiman, you just mentioned
11 antibodies and I was wondering if you could explain
12 just generally how antibodies are formed?

13 A I think this --

14 Q Looking at slide -- we're skipping to slide
15 9.

16 A So most adaptive immune responses resulting
17 in the formation of an antibody the steps look
18 something like this. An antigen presenting cell here,
19 abbreviated as APC, and a good example of that is a
20 macrophage or dendritic cells will ingest the antigen,
21 most of which are protein. They digest the antigen,
22 break it up into what they call peptides, and present
23 that peptide fragment of the antigen on the cell
24 surface along with a major histocompatibility complex,
25 which is necessary for presentation, effective

ZWEIMAN - DIRECT

1 presentation of the antigen.

2 A helper T-cell recognizes this particular
3 antigen by a cognate receptor which is specific for
4 that antigen. If it stops right there, one does not
5 get a very good immune response. Indeed, sometimes
6 one may actually induce tolerance, but a second signal
7 that is required in most situations is what we call
8 costimulation, that leads to activation of the T-cell.
9 It elaborates the cytokines which then act on another
10 population of lymphocytes called B-lymphocytes, which
11 are then converted into antibody-producing cells.

12 Q And this process that you've just described,
13 is this process what happens when antibodies to myelin
14 basic protein are formed?

15 A I believe so, yes. There is good evidence
16 that T-cells play a very important role in the
17 production of antibodies against myelin basic protein.

18 Q And, Dr. Zweiman, what is happening in the
19 brain when there are elevated levels of anti-MBP or
20 antibodies to myelin basic protein?

21 A Will this take me back? Let's see. I am
22 going back --

23 Q Go back to slide 4.

24 A That I'm trying to do but I think I'm going
25 to need some assistance.

ZWEIMAN - DIRECT

1 Q Someone will assist you.

2 (Pause.)

3 A Yes.

4 Q There we go, it's slide 5. I apologize.

5 A This is a picture taken from a side view of
6 an MRI study of a normal individual, and one can see
7 the various different components of the brain, and the
8 important thing to remember is that everything looks
9 pretty much gray and blackened here. There are no
10 high intensity signals.

11 When one has myelin destruction as shown in
12 this side view of the brain of a patient with multiple
13 sclerosis --

14 Q We're now looking at slide 6.

15 A One sees these high intensity, very bright
16 white areas. These are so-called plaque areas. In
17 multiple sclerosis from which this study was done,
18 they tend to be concentrated particularly around
19 spaces within the brain called the ventricle,
20 periventricular areas right over here. This indicates
21 areas of myelin damage, the so-called white matter of
22 the brain is damaged. These are the plaques of
23 multiple sclerosis.

24 I should mention also just for reference
25 that it's been found that in these plaques there are

ZWEIMAN - DIRECT

1 immunoglobulins, that is, Ig, that contain antibodies.

2 In contrast, I'm no expert in the MRI of
3 autism, but from everything I have been told and read
4 one does not see these areas of demyelination in the
5 brains of individuals who have autism, even of some
6 years duration.

7 Q So I take it from these slides that you've
8 just shown that myelin damage is typically or almost
9 always visible on an MRI?

10 A If one has a sufficiently sensitive one, it
11 is seen in the large majority if not almost all
12 individuals who have it.

13 Now, the question comes up could one have
14 leakage of myelin in the absence of visible evidence
15 of myelin damage, and that's a matter of some debate
16 at the present time, but since one can find even in
17 normal individuals the presence of anti-myelin
18 antibodies in some normal individuals, one does not
19 have to see this in every case, although one sees
20 that's the most common pattern that one sees.

21 Q And I believe you mentioned earlier that you
22 have done some research and run, I think, a lab that
23 does antibody testing.

24 Have you personally tested for anti-MBP?

25 A Yes, we have.

ZWEIMAN - DIRECT

1 Q Is that testing easy to do?

2 A We certainly found that it was not easy to
3 do. We were one of the first groups in the United
4 States to do such testing, and it required some
5 particular laboratory manipulations and controls in
6 order to get specific immunologic finding of anti-MBP
7 measures.

8 Q And from what you have seen in your
9 practice, are anti-MBP levels variable?

10 A They certainly are variable from time point
11 to time point in individuals in which they are
12 present. I should mention and perhaps I could mention
13 this in one of the next slides, that anti-MBP
14 antibodies are measured by a number of different
15 approaches, and that's why it's difficult at times to
16 compare findings from one report to another.

17 Anti-MBP antibodies of a group of antibodies
18 against different components of the neuro or the
19 central nervous system, they are generally by very
20 sensitive binding techniques. By that I mean what the
21 call Western, W-E-S-T-E-R-N, blot techniques or very
22 sensitive ELISA, E-L-I-S-A, I believe, these are very,
23 very sensitive and it does not necessarily prove that
24 you have a lot of antibodies because they are so
25 sensitive, so therefore one does not necessarily find

ZWEIMAN - DIRECT

1 the same results reported by one technique as by
2 others.

3 Myelin basic protein and myelin
4 oligodendrocytes protein, nerve filament proteins have
5 all been used as targets for measuring of these
6 antibodies. Some groups use tissue sections of brain,
7 either brain taken from animals, brain taken from
8 human fetuses, occasionally from adult human brain,
9 and therefore again that's another reason why one
10 finds difficulty sometimes in comparing the results
11 from one group to another.

12 The techniques generally are not
13 standardized laboratory techniques. These are not
14 like a blood sugar determination where if you had it
15 done in 100 laboratories, probably 95 laboratories
16 would come up with quite similar results. These are
17 more what I call a research type technique.

18 Therefore, I have to look very carefully
19 when you say the results vary from time -- they
20 certainly are -- I didn't know whether it's the
21 technique, whether or not it's a natural course of the
22 antibodies in the body.

23 Q Doctor, are you familiar with research that
24 suggests that elevated anti-MBP levels have been found
25 in patients with ASDs?

ZWEIMAN - DIRECT

1 A Yes, I am. Anti-MBP antibodies have been
2 reported in the serum of patients with the ASD
3 spectrum. The frequency of such antibodies being
4 reported has varied reports, again possibly related to
5 the techniques being used, as high as 50-60 percent in
6 some series, but they have also been reported in other
7 neurodegenerative diseases, and Connolly's group has
8 reported present in a sizable percentage of those with
9 epilepsy. Berger has found anti-MBP and anti-MOG in
10 62 percent of those with multiple sclerosis. It's
11 been reported in normals in one series, about 25
12 percent of normal sera, and anti-MBP antibodies. One
13 group was found in 50 percent for patients with active
14 rheumatoid arthritis.

15 So the presence of such antibodies is
16 certainly not specific for autism or ASD. It's not
17 found in all patients with ASD.

18 Q And when you say that it's sometimes found
19 in normals, what do you mean by normals?

20 A Well, individuals who -- most institutions
21 what they use are age match individuals who are coming
22 to donate blood, for example, and have no ostensible
23 clinical disease of any sort.

24 Q So do I understand you to mean that elevated
25 anti-MBP levels are not always a sign of neurological

ZWEIMAN - DIRECT

1 dysfunction?

2 A That is correct.

3 Q Doctor, is there any relation between the
4 MMR vaccine and anti-MBP antibodies?

5 A Well, let me mention one thing before I
6 answer that question if I may.

7 Q Sure.

8 A The question that has been raised, what is
9 the clinical relevance of finding anti-MBP antibodies,
10 and several groups have commented on this. Most
11 recently the group at Davis, who have been studying
12 ASD from several aspects, whose comment in a review by
13 Wills, et al., that they emphasize that there is no
14 evidence that the anti-MBP antibodies are associated
15 with pathology in ASD.

16 They comment that studies have not found
17 such antibodies in the tissues in a number of cases
18 where they have had tissues to examine in the central
19 nervous system, and they have acknowledged the
20 possibility that the presence of antibodies might be a
21 marker of myelin damage due to other reasons, that it
22 certainly is not pathogenic.

23 We know that taking anti-MBP antibodies and
24 injecting them in sizable amounts into experimental
25 animals does not by itself induce neurologic disease,

ZWEIMAN - DIRECT

1 and some individuals, some authorities have even
2 raised the possibility that the formation of anti-MBP
3 antibodies may actually be part of a healing process.

4 Therefore, I think it's still an unanswered
5 question of what the clinical relevance of the
6 presence of anti-MBP antibodies are.

7 Q Okay. And then getting back to my question
8 of whether there is any relation between anti-MBP and
9 an MMR vaccine.

10 A If one speculates or postulates that the MMR
11 vaccine in some way induces the formation of anti-MBP
12 antibodies, one could postulate several possible
13 reasons. One is that there might be some MBP as a
14 contaminant in the vaccine itself, and that one is
15 inducing an immune response to such a contaminating
16 MBP protein. After all, the viruses are grown in
17 tissues and one could speculate that maybe some myelin
18 basic protein was in the culture medium, et cetera.
19 This has been looked for extensively by several groups
20 and no MBP has been found in vaccine.

21 Another possibility is that there is some
22 sort of molecular mimicry between the measles virus
23 proteins and myelin basic protein. Again, this has
24 been looked at and investigated extensively, and
25 indeed the Institute of Medicine Immunization Safety

ZWEIMAN - DIRECT

1 Committee concludes that there is no evidence of
2 molecular mimicry between these viruses and myelin
3 basic protein.

4 Anti-MBP antibodies taken from individuals
5 who have anti-measles antibodies have shown no cross-
6 reactivity. By that I mean in individuals who have
7 SSPE, they typically have very high levels of anti-
8 measles virus antibodies. They also sometimes have
9 antibodies against myelin basic protein. Yet if you
10 take these antibodies and do what they call cross-
11 absorption studies, there is no evidence that they're
12 binding the same components. They are both there, but
13 they are not cross-reacting to one another.

14 Bernard and his group also showed that
15 antibodies taken from individuals with more garden
16 variety type of measles virus infection do not cross-
17 react with myelin basic protein.

18 So therefore one cannot postulate that the
19 measles virus itself is inducing antibodies against
20 myelin basic protein.

21 And the last line of evidence that I know of
22 is that, to my knowledge, when they looked at the
23 serum of individuals who received MMR vaccines, that
24 this does not induce the formation of anti-MBP
25 antibodies.

ZWEIMAN - DIRECT

1 Q Doctor, are you aware of the value of the
2 elevated anti-MBP level of 46 that Colten had in this
3 case?

4 A I'm aware of it, yes.

5 Q Is that value sufficient evidence to
6 conclude that Colten experienced brain inflammation or
7 some kind of brain damage as a result of his April 23,
8 1998, MMR vaccination?

9 A No, I don't think it does. The value
10 reported by Specialty Labs, I think it was in January
11 of 2000, does seem quite high. It's a puzzle to me
12 why it's so high when all the other values were not.
13 Indeed two months after that 46 was recorded by them
14 Dr. Singh's lab, which has, I think, been mentioned by
15 the Petitioner on a number of occasions, did not find
16 any evidence of anti-MBP antibodies in the serum.

17 The techniques were not the same, but yet it
18 seems quite unusual to me to see what was reported at
19 such a high level reported from the Specialty Labs and
20 two months later to find it absent in Dr. Singh's
21 analysis. So I think its clinical relevance is so
22 uncertain in my mind.

23 Q Doctor, do you know whether Colten's CSF was
24 ever tested for anti-MBP?

25 A Yes, it was in 2002, I believe, and it was

ZWEIMAN - DIRECT

1 absent in the CSF that was analyzed by Singh's lab.

2 Q In your mind, what conclusions can you draw
3 from that test?

4 A Well, the presence of antibodies against
5 anti-MBP in the CSF would be more direct evidence of
6 damage to the white matter or some processes causing
7 damage to the white matter of the brain because CSF
8 more closely reflects those local events within their
9 axis.

10 I should also mention that there were no
11 antibodies found against measles virus in that CSF
12 specimen by Dr. Singh.

13 Q So just to wrap up this topic, in your
14 opinion, in the absence of any other evidence is an
15 elevated anti-MBP level a reliable marker for a
16 measles infection in the brain?

17 A I do not think it is. One has to understand
18 that if you're talking about a measurement in the
19 serum or in the cerebral spinal fluid.

20 A And I guess in the CSF.

21 A Well, one generally does not obtain cerebral
22 spinal fluid specimens unless one suspects that there
23 is something going on in their axis, such as the rare
24 instance of SSPE, for example. But if you're asking
25 me in a routine measles virus infection, for example,

586B

ZWEIMAN - DIRECT

1 would one expect to see anti-MBP antibodies as a

ZWEIMAN - DIRECT

1 marker of measles virus infection --

2 Q If that was the only evidence that you had,
3 if the elevated anti-MBP level was the only evidence
4 that you had, would that be sufficient to conclude
5 that there was a measles infection of the brain?

6 A Oh, certainly not. No.

7 Q Doctor, moving on to my next topic. Dr.
8 Bradstreet mentioned that Colten had a decreased IgA
9 level. Do you agree with that?

10 A No, I do not. May I take a minute just to
11 explain to the Court what IgA is, and what IgA
12 deficiency is?

13 Much as I want to say -- maybe I should have
14 said earlier that there is a big difference between
15 autoimmunity and autoimmune disease, meaning that
16 autoimmunity is not a rare event. Many of us around
17 the room may have low levels of autoantibodies that
18 are kept under control so that we do not develop
19 disease.

20 The same thing applies to a degree with
21 immunoglobulin A. Immunoglobulin A is a class of
22 immunoglobulin that functions mainly by its protective
23 effect at mucosal surfaces, that is, around the mucous
24 membranes of the respiratory and GI tracts. When one
25 says that an individual is IgA deficient, it means

588A

ZWEIMAN - DIRECT

1 that one has essentially no IgA. In most laboratories
2 this is defined as levels either less than 10 or less
3 than seven milligrams per deciliter, depending on the
4 laboratory.

5 About one in every five or six hundred of us
6 is IgA deficient. That is, we have IgA levels that
7 are that low. Most such individuals are perfectly
8 healthy, the findings turns out to be an incidental
9 one that comes up because the assay was done as part
10 of a panel for another diagnostic purpose.

11 When it is associated with diseases, mostly
12 associated with an increased incidence of infections
13 of particular types, and that is infections like
14 chronic and persistent sinusitis, inflammation of
15 sinuses, middle ear, pharyngitis, but talking about
16 very frequent infections, more than the average number
17 which commonly occur in young children, particularly
18 if they have older siblings and particularly if the
19 older sibling is in school, or in daycare when they
20 bring home viruses.

21 The IgA deficient individual is not
22 particularly prone to getting more typical colds than
23 usual. It's particular types of infection.

24 And so in Colten's case, he had a modestly
25 decreased serum level of IgA. It was not down in the

ZWEIMAN - DIRECT

1 range that would be called IgA deficiency.
2 Furthermore, subsequent studies done in other
3 laboratories showed perfectly normal IgA levels in
4 Colten. For example, one done in the University of
5 Florida, Shands Medical Center.

6 Q Doctor, there has been some testimony in
7 this case regarding the issue of immune dysregulation.
8 As an immunologist, have you seen any evidence that
9 Colten had a dysregulated immune system prior to his
10 April 23, 1998, MMR vaccination?

11 A In my opinion, the term "immune
12 dysregulation" has been used very loosely. It's
13 almost as if you're watching your television news and
14 it seems every other ad these days is for some product
15 that purportedly boosts your immune responses, and I
16 think that these statements are made by the people
17 where they do not fully understand or overstate what
18 immune responses really are.

19 An individual can be immunodeficient. They
20 can be deficient in one of the major components of the
21 immune response, and an individual can have immune-
22 based abnormalities, but to say that such individuals
23 have immune dysregulation without having firm evidence
24 of it, I think is overstated.

25 For example, it has been stated and we heard

ZWEIMAN - DIRECT

1 in this hearing that individuals who get measles
2 vaccine are immunosuppressed. Our group, my
3 colleagues and I were one of the first in the United
4 States to show the immunological effects of
5 immunization with the attenuated measles vaccine.

6 What happens is that one sees a moderate
7 transient decrease in cell-mediated immunity that is
8 expressed by delayed hypersensitivity skin testing.
9 In our series, it was about half of the individuals
10 exhibited this. Along with this was a decrease in
11 cellular reactivity to certain antigens.

12 The humoral immune response, that is, the
13 antibody formation to measles vaccine, the virus
14 itself, and to other antigens was perfectly normal,
15 and indeed Dr. Diane Griffin, an expert in immunology
16 confirmed these findings, and extended them after we
17 did our studies, and said very well in her testimony
18 in the Cedillo case, which I think is on file, in
19 which she said that one paradox is that there is this
20 modest nonclinically relevant depression of delayed
21 hypersensitivity cellular immunity and a very vigorous
22 humoral immune response, including to the measles
23 virus.

24 And I think the most important point is that
25 I was able to personally observe individuals who got

590B

ZWEIMAN - DIRECT

1 the measles vaccine and exhibited decreased delayed

ZWEIMAN - DIRECT

1 hypersensitivity and they were perfectly fine. There
2 was no evidence that these individuals had more
3 infections, or were more predisposed during the five
4 to six weeks period of time when they exhibited this
5 transient decrease in delayed hypersensitivity.

6 So one has to be very careful in
7 differentiating what I just described seeing a much
8 more profound decrease in cell-mediated immunity that
9 occurs with wild measles virus infection and which has
10 been associated with an increased predisposition to
11 infection. In fact, as Dr. Griffin pointed out in her
12 testimony, this is a major cause of morbidity in wild
13 measles virus infection. That is not the situation
14 with the attenuated measles vaccine.

15 Q And based on the information that you just
16 provided, do you believe that Colten Snyder
17 experienced any clinically significant
18 immunosuppression either before or after his MMR
19 vaccine?

20 A I saw no evidence of that.

21 Q There has been some testimony about an
22 article by Dr. Weible. Do you know Dr. Weible?

23 A Yes.

24 Q Who is Dr. Weible?

25 A Dr. Robert Weible was a former faculty

ZWEIMAN - DIRECT

1 member at the Children's Hospital of Philadelphia,
2 well respected in pediatric infectious diseases. He
3 is currently associated with the VICP. He was the
4 first author in the paper to which you referred and
5 which has been mentioned several times during this
6 hearing.

7 I read Dr. Weible's paper very carefully
8 when I was reviewing the medical records of Colten
9 Snyder, and it didn't sound to me as if Colten
10 Snyder's clinical presentation was similar to that as
11 described by Weible, et al. However, I am not a
12 pediatric infectious disease person. So I called Dr.
13 Weible up and discussed the situation. He reviewed
14 the clinical information and said this is not what we
15 would describe in a group of patients that we
16 reported.

17 Q And, Doctor, yesterday the Special Master
18 asked Dr. Kinsbourne if he could identify any markers
19 for a persistent measles infection other than a
20 finding of measles virus RNA. Dr. Kinsbourne
21 mentioned anti-MBP, which we have already discussed.

22 Can you think of a negative marker for
23 persistent measles infection?

24 A Well, I first should say that I am not a
25 measles virus expert. There will be people who are

592B

ZWEIMAN - DIRECT

1 expert in this area who can comment much better than

ZWEIMAN - DIRECT

1 I. But I would like to quote a comment made by Dr.
2 Robert Fujinami, that's F-U-J-I-N-A-M-I, who is a
3 highly respected neurovirologist and viral
4 immunologist, who made the point that if one is
5 postulating persistence of a measles virus in the
6 central nervous system of an individual, one should
7 see evidence of an immune response against that virus,
8 particularly within the cerebral spinal fluid.

9 We know that in Colten Snyder's case there
10 was a serum immune response found in the serum a
11 measles virus. Dr. Singh's laboratory reported that
12 in 2002. It wasn't a huge response. Dr. Fujinami
13 would say that with persistent infection one should
14 expect an enhanced, very high immune response, but
15 there was an immune response in the serum, but there
16 was none found in the cerebral spinal fluid. And in
17 my mind, as a nonmeasles expert, I would think that
18 would suggest that there was not an ongoing
19 persistence in proliferation of the vaccine measles
20 virus in the central nervous system of Colten Snyder.

21 Q Okay. Just to make sure I'm understanding
22 you, is it your testimony that the absence of
23 antibodies to the measles virus in Colten's CSF
24 suggest that there is not persistent measles infection
25 in his brain?

ZWEIMAN - CROSS

1 A Well, in this regard I am trying to
2 paraphrase what Dr. Fujinami would say in the
3 situation. I am not an expert in that area, but that
4 would raise that possibility in my mind.

5 MR. JOHNSON: Thank you very much. That's
6 all I have.

7 THE COURT: You may cross.

8 CROSS-EXAMINATION

9 BY MR. POWERS:

10 Q Dr. Zweiman, my name is Tom Powers. I am
11 one of the attorneys representing Colten Snyder and
12 Petitioners in the omnibus proceeding.

13 My records indicate that the last expert
14 report you filed in this matter was in April 2004. Is
15 that accurate?

16 A I believe that's the last one.

17 Q So in the last three years, you have not
18 submitted any other filings that are relevant to
19 Colten's case or the omnibus proceedings as far as you
20 know?

21 A I don't think I have been requested to
22 submit anything, no.

23 Q In that report of April 2004, the focus of
24 your report is responding specifically to comments
25 made by Dr. Bradstreet, is that correct?

ZWEIMAN - CROSS

1 A That's what I was requested to do, yes.

2 Q And that is in fact what you did in the
3 report in 2004, correct?

4 A Yes.

5 Q I didn't hear your answer.

6 A Yes.

7 Q Okay. At the time you prepared that expert
8 report over three years ago, at that point was it your
9 understanding that Dr. Bradstreet was the causation
10 expert who would be testifying in Colten Snyder's
11 case?

12 A I had no understanding one way or the other.
13 I was just asked to respond to comments made by him.
14 The matter of him testifying never was raised.

15 Q And in that expert report that you prepared,
16 the comments that you are addressing are the
17 autoimmunity issues that Dr. Bradstreet raised in his
18 series of reports preceding yours, is that correct?

19 A Well, certainly that was the major focus of
20 what his comments were at that time.

21 Q Subsequent to the filing of Drs.
22 Kinsbourne's and Kennedy's expert reports in this
23 case, you have not prepared any additional report for
24 use in this proceeding, have you?

25 A Not to my knowledge, no.

596A

ZWEIMAN - CROSS

1 Q You made some comments about anti-MBP. This
2 is not in the report, so I'm not going to be referring
3 to something specifically. In your testimony here,
4 you mentioned the presence of anti-MBPs in what you
5 would describe as normal people. Do you recall that
6 testimony?

7 A Yes.

8 Q And by "normal", what you mean to say is
9 people who are not presenting with any clinical
10 symptoms of immune-mediated disease?

11 A As best I can tell from the papers that I
12 have read, these were normal controls, normal healthy
13 individuals.

14 Q And normal, I'm just trying to get to what
15 normal means. If there are anti-MBPs found in those
16 people, the definition of normalcy is based, if you
17 know, on the presentation of clinical symptoms or the
18 lack of clinical symptoms?

19 A I cannot speak for the authors of those
20 reports exactly how they chose the normal controls,
21 but I can tell you what most scientific studies that
22 are well carried out, they use as normal controls
23 people who are coming in to donate blood, in the case
24 of children, there are pounds of sera obtained from
25 well children visits, things like that, are used as

596B

ZWEIMAN - CROSS

1 normal controls.

ZWEIMAN - CROSS

1 Q And all I am trying to get to is a normal
2 control would be an assessment that's made in the
3 clinical presentation because if they found anti-MBPs
4 and the presumption is that the normal would have
5 none, it sounds like somebody who had anti-MBPs, if
6 that was the definition of normal, would not be
7 normal?

8 A I think your reasoning is circular. A
9 normal individual is one who in scientific studies the
10 individual is clinically normal. If you find a
11 particular immunologic finding, that does not make
12 them abnormal. It means that that finding is present
13 in some normal individuals.

14 Let me emphasize for the Court that an
15 antibody assay is not a black or white situation,
16 particularly with these very sensitive binding
17 techniques. I'll give you a concrete example.

18 A disease called systemic lupus, that is
19 characterized by the presence of anti-nuclear
20 antibodies. It's a very sensitive technique the way
21 our laboratories do it these days. But because of
22 that, it is not unusual to find such antibodies
23 present in a one to 40 dilution of serum from normal
24 individuals, maybe 40 or 50 percent of normal
25 individuals will have that antibody present in the one

597B

ZWEIMAN - CROSS

1 to 40 dilution of the serum.

598A

ZWEIMAN - CROSS

1 So one has to go out to either one to 80 or
2 one to 160 dilution before you get a cutoff between
3 that found in normal individuals and that found in
4 patients with systemic lupus, and the same thing
5 applies when one talks about the anti-MBP antibodies.
6 Dr. Sinclair, who is frequently quoted by the
7 Petitioners, what they do is they find it in plenty of
8 normal individuals, but if they dilute out serum far
9 enough they find they can find a distinction between
10 their study population and others, and other groups
11 have found that they can dilute out the serum and find
12 it in normal individuals.

13 So it's not is it there or not, it's how
14 much you have in there, and with the Western blot
15 techniques used by a number of laboratories, it is not
16 a quantitative measure. It's more of a semi-
17 quantitative measure of whether how much is there.

18 So when you say to me does the presence of
19 anti-MBP mean that somebody is abnormal, the answer is
20 it depends. If the individual is clinically normal,
21 it's normal.

22 Q And actually the original question was much
23 simpler, which is that when you use the term "normal",
24 did it refer to clinically normal. It sounds like the
25 answer to that question is yes --

ZWEIMAN - CROSS

1 A That's what --

2 Q -- it's clinically normal?

3 A That's what all the clinical studies have
4 done.

5 Q All right.

6 A Is clinically normally control. Now, there
7 are other control populations, but clinically normal.

8 Q Right. Yes, and that's all that I was
9 asking.

10 Now, if there are MBPs in samples taken from
11 clinically normal people, the anti-MBPs would have to
12 come from somewhere, is that correct?

13 A In the first place, it's not MBPs. You said
14 if the MBPs are normal.

15 Q Anti.

16 A Oh, anti-MBP.

17 Q If I did say, that's what I meant to say and
18 that's what I thought you said, but yes, if you have
19 anti-MBPs that are present in normals, those would
20 have to come from somewhere, isn't that right?

21 A If one gets around the technical aspects,
22 there are nonimmune binding of immunoglobulin to this
23 highly charged molecule called MBP. It would be
24 present in serum from normal -- it has to be made.
25 The reason for it being made is not clear.

600A

ZWEIMAN - CROSS

1 Q And the reason for their being made in your
2 slides was that because of the damage to the myelin
3 sheath?

4 A That is the most likely situation one finds.
5 I'm not saying that's the only one.

6 Q Understood. So if you have anti-MBPs found
7 in a clinically normal person, it still does suggest -
8 -

9 A Anti-MBPs. You keep saying MBPs.

10 Q Yes. If you have the presence of anti-MBPs
11 in samples from clinically normal people, it would
12 strongly suggest that even in those clinically normal
13 people there has been damage to the myelin sheath?

14 A No.

15 Q What would it suggest then? Where would
16 these anti-MBPs come from?

17 A I just said that damage to the myelin sheath
18 is the most common reasons, but I didn't say it was
19 the only thing that could happen.

20 Q Well, I will ask in a second what other
21 sources there could be, but would it be fair to say
22 that if not exclusive, if the presence of anti-MBPs in
23 normals is not exclusively derived from the damage of
24 the myelin sheath, it would be consistent with myelin
25 sheath damage. Is that a fairer statement?

600B

ZWEIMAN - CROSS

1 A I would want to see other evidence that

ZWEIMAN - CROSS

1 there was demyelination before I conclude that this
2 was indicative of myelin sheath damage.

3 Q What kind of evidence would you look for?

4 A Well, one is highly sensitive MRI, the
5 evidence of demyelination just as I have showed you
6 seen in multiple sclerosis and found in some other
7 demyelination disorders. Some groups actually measure
8 the MBP in the spinal fluid as a measure of myelin
9 damage. This is done by some groups to follow
10 multiple sclerosis patients.

11 Q And let me interrupt you for just a second
12 on the MRI response. You're talking about very
13 sensitive MRIs. Are you aware of MRI imaging of
14 peoples' brains who were clinically normal that show
15 the type of myelin damage that you would see in say a
16 multiple sclerosis patient with plaques?

17 A No. That's the reason why I concluded that
18 evidence of myelin damage is the most likely scenario,
19 but not the only one in which one can see evidence of
20 anti-MBP antibodies.

21 Q Okay. I understand, but the question was
22 are you aware of say peer review-published studies
23 where MRI imaging was done of the brains of clinically
24 normal people that found evidence of myelin damage
25 similar to what you would find in multiple sclerosis?

601B

ZWEIMAN - CROSS

1 A Not in taking a population of normals, but
2 it's been --

602A

ZWEIMAN - CROSS

1 but one can get areas of demyelination without showing
2 up on the MRI without having evidence of clinical
3 manifestations to go along with that.

4 A classic example is in multiple sclerosis
5 where an individual will present with an acute episode
6 frequently involving the eye, and when they do an MRI,
7 they find a area of demyelination that corresponds
8 with the clinical symptoms at that time because they
9 find an older area in another part of the brain that
10 was made six months or a year earlier, at which time
11 the individual had no symptoms. It's one of the
12 things they have learned about multiple sclerosis.
13 You can get these asymptomatic involvement areas.

14 So it's conceivable, to answer your
15 question, that an individual at that time may have had
16 some disease. So I think that two parts answer your
17 question. One says in that scenario just as I
18 described it, but there may be other reasons why you
19 can have a stimulus to the production of anti-MBP
20 antibodies. I think my final conclusion would be the
21 same. The clinical relevance of it in some
22 individuals is still uncertain.

23 Q On slide 8, if you could -- I don't have the
24 numbers in front of me, but if you could -
25 //

ZWEIMAN - CROSS

1 A What's the title of that one, please?

2 Q The title of slide 8 is "Antibodies Against
3 Myelin Basic Protein."

4 In that slide you referred -- it's on a
5 slide, you mentioned it in your direct testimony, the
6 finding that antibodies against myelin basic protein
7 are found in the serum, blood serum in several
8 disorders, including in epilepsy, correct?

9 A Dr. Connolly's group has found it in
10 epilepsy, yes.

11 Q And understanding that you're not putting
12 yourself out as an expert in autism spectrum
13 disorders, do you have any information that would
14 allow you to conclude that the incidence of epilepsy
15 among autistics is higher than the incidence of
16 epilepsy among nonautistics?

17 A I'm not an expert enough to say
18 definitively, but I should tell you that Dr. Connolly
19 and her colleagues specifically in that report
20 emphasized that these bases for epilepsy were looked
21 carefully for evidence of ASD and found not to be
22 present. These are individuals in which there was no
23 evidence of ASD, according to them, and I gather that
24 her group is respected for their studies in this area.

25 Q You also mentioned the possibility that the

603B

ZWEIMAN - CROSS

1 presence of anti-MBP might be evidence of what you

ZWEIMAN - CROSS

1 describe as a healing process. Do you remember that
2 statement?

3 A Yes. That's not my is a hypothesis or
4 postulate. Some authors have described that as a
5 possibility, that that might be part of it. I don't
6 know if they have firm evidence for it. I pointed
7 that out only to say that there is still considerable
8 uncertainty about the pathophysiologic significance of
9 anti-MBP antibodies.

10 Q Sure, but my question is going to be
11 relatively simple, I think, by this idea that it might
12 be part of the healing process, at least to me raises
13 the question healing from what. Do you have any sense
14 of what those authors or other authors or yourself
15 would think that a person who was demonstrating the
16 presence of anti-MBPs as a healing process, what they
17 are healing from?

18 A Well, again, I don't want to speculate what
19 these authors intended when they made those
20 statements. I could say that I can give you a
21 possible scenario, and that is that if we found in
22 some what they call white matters strokes, that there
23 are in some individuals increased levels of anti-MBP
24 antibodies.

25 I had mentioned earlier that there is

604B

ZWEIMAN - CROSS

1 attempt at remyelination, not always successful in

605A

ZWEIMAN - CROSS

1 individuals with new myelin layed down by the
2 oligodendrocytes, and that could be part of the anti-
3 healing process, remembering that the -- what I said
4 earlier that MBP is not externally displayed on coils
5 of myelin. It's internally on a cytoplasmic aspect of
6 the coils of myelin. So it may be that when you are
7 laying down new myelin maybe you expose it. This is
8 all speculation on my part.

9 Q And I understand that. I appreciate the
10 fact that you're allowing for the uncertainty of what
11 might be going on here.

12 The question though comes back to if new
13 myelin is being laid down, if myelin sheath is being
14 reconstructed in the brain, one would need to assume
15 that something damaged or destroyed the myelin in the
16 first place in order to initiate or requiring a
17 healing process. Isn't that correct?

18 A I would assume so.

19 Q So something would have had to happen in the
20 brain for these anti-MBPs to be present somehow?

21 A I'm not sure exactly where you're going with
22 the question, but if you're trying to imply that there
23 is some myelin damage that initiated this response,
24 I'll have to defer to those like Dr. Wiznitzer who
25 knows a lot more than I do about the neuropathology of

ZWEIMAN - CROSS

1 ASD.

2 But my understanding is that myelin damage
3 has not been an impressive finding in the limited
4 number of, you know, autopsy or other pathologic
5 sources of information about the abnormalities in ASD.

6 Q Yes, and I wasn't even speaking specifically
7 of ASD. It was just the curiosity of whether if you
8 have, again, evidence of anti-MBPs, there are at least
9 postulates that might be part of the healing
10 process --

11 A I should tell you before I --

12 Q Please let me -- I will have a question.
13 But if you have evidence of anti-MBP production in the
14 body and you've explained that that's a result of
15 damage to the myelin sheath, so some people have said
16 it might be part of the healing process, my question I
17 think is relatively simple. Doesn't that require that
18 some sort of damage to myelin must have occurred at
19 some point? And I'm not asking you to limit it to a
20 particular cause, but doesn't it mean there has to
21 have been some damage to myelin in the brain?

22 A No, because I said at the very beginning
23 that myelin damage appears to be the most common one
24 would describe, but not the only one, and I'll give
25 you a concrete example of that.

607A

ZWEIMAN - CROSS

1 Q And again, let me -- you answered the
2 question. Allow me then to narrow that question. I
3 said would it necessarily mean. Based on your answer,
4 it's the most common. So it is more likely than not
5 that --

6 A I'll give you a scientific answer. I'll not
7 give you a legal one.

8 Q Well, actually when you say "common" --

9 A No, it --

10 Q Explain to me what you mean by it's the most
11 common reason.

12 A I'll give you an example of where it's not.
13 One group has reported that when they find anti-MBP
14 antibodies, this is highly suggestive that it's due to
15 homology with certain bacteria chlamydia for example,
16 and even they found homology in those cases with serum
17 reacting against cow's milk components. Maybe that's
18 the stimulus for the production of anti-MBP
19 antibodies. It has nothing to do with myelin
20 destruction, and that's a record, I think, that's been
21 filed in this case.

22 MR. POWERS: It has. Well, I don't have any
23 further questions but before I step down, Special
24 Master, I do want to note one thing that I am a little
25 troubled by. The presentation of this witness is

607B

ZWEIMAN - CROSS

1 based on your prehearing order that made it clear that
2 witnesses who will be testifying as experts must

ZWEIMAN - CROSS

1 identify ahead of time issues, arguments and citations
2 they would be relying on.

3 There are a couple of points in here with a
4 discussion about the -- referring to whether the
5 Weible article or Fujinami that haven't -- are now
6 being put into the record here. So I just want to
7 raise it as a flag here that I hope that Respondent's
8 experts are not going to be put up here to be talking
9 about things that would be precluded by your
10 prehearing order, and I think we bumped up really
11 close against that line on this direct.

12 THE COURT: I'm not following you. My
13 prehearing order was designed to ask the parties to
14 call to my attention articles that had been filed but
15 that had not been referenced in particular expert
16 reports. I think the Fujinami article had actually
17 been filed in Cedillo, and then refiled here. But the
18 new article is here.

19 In other words, don't give me a list of 150
20 new articles without having a reference to them in the
21 expert's opinion or something else that you filed to
22 tell me why it's significant.

23 MR. POWERS: So just because you have an
24 expert report three years ago citing articles to then
25 be pulling in phone conversations and things like that

ZWEIMAN - CROSS

1 to sort of bolster those articles, I know the Rules of
2 Evidence don't apply, but I just raise that as an
3 issue of concern that expert testimony be within the
4 scope of the materials that have been filed, and the
5 reports that have been filed.

6 THE WITNESS: Special Master, may I respond
7 to that?

8 THE COURT: I would be happy to hear what
9 you have to say, Doctor.

10 THE WITNESS: Thank you. In the first
11 place, so far as the Weible article, I filed that as
12 part of my report, and the reason why I mentioned my
13 telephone conversation with Dr. Weible is that the
14 Petitioner has repeatedly raised the Weible report as
15 an example where Colten Snyder's case would fit in
16 within the framework of that report, and I thought it
17 would be helpful to the Court to give the comments of
18 the first author of that report about whether or not
19 this was similar or not.

20 Now, if that went beyond the bounds, I don't
21 know, but I'm just saying that's the truth as I know.

22 THE COURT: Well, and I know the Weible
23 report was also cited by Dr. Ward and discussed by
24 him.

25 No, I don't consider it to be out of bounds

ZWEIMAN - CROSS

1 particularly given that we've had considerable
2 discussion of that report. My concern is that I do
3 not want to have happen in this case what happened in
4 Cedillo, at the last day, the last hour of the last
5 day of trial we're handed a medical journal article
6 that no one had ever seen before, and witnesses are
7 being asked to comment on it.

8 I do not want trial by ambush. So if an
9 article has been referred to or discussed by a
10 witness, whether on the stand or in an expert report,
11 that is, your witness discusses the Weible article
12 even if Dr. Zweiman had not previously mentioned it,
13 he would be free to discuss that article as well if
14 his understanding of it were different from that of
15 another witness.

16 What I did not want is somebody dumping
17 textbooks of 18 or 20 inches a part and citing the
18 textbook merely and not telling me what part -- or
19 anyone else -- what part of that textbook supported
20 their opinion, nor did I want people attaching 20 or
21 30 or 40 articles to an expert opinion citing only six
22 of them in the opinion, and then expecting to walk
23 into the courtroom and all of us having read the other
24 40. I like to read the articles before the witnesses
25 testify about them so I have an understanding of

ZWEIMAN - CROSS

1 whether their testimony matches up with my reading of
2 the article, and so that's where we stand.

3 I have certainly read and digested the
4 Weible article. I asked questions about it yesterday.
5 So Weible is certainly fair game.

6 If we get to something that's been out there
7 that nobody has mentioned but suddenly becomes the
8 crucial article in the case, and if that is the
9 intention of anyone out there, bring it to our
10 attention now. No trial by ambush.

11 It's very difficult for me then to have to
12 go back and read the article and not have any experts
13 I can ask questions about it to ensure that my
14 understanding comports with that of the people who are
15 trained in that field. Clear?

16 Don't get me wrong, Mr. Powers. I
17 appreciate your raising the concern, but that was not
18 what I intended by the pretrial order, to say that if
19 you are going to file new stuff reference it, talk
20 about it. Don't just give me a stack of articles to
21 show how learned you are.

22 MR. POWERS: Or how much access you have to
23 Medline.

24 THE COURT: Exactly. We get bottomless
25 accounts.

612A

ZWEIMAN - CROSS

1 All right, I have a couple of questions for
2 you, Dr. Zweiman. They are not particularly tricky or
3 difficult. They are just to ensure that I understand
4 what we're talking about.

5 As I hear your testimony you are saying the
6 46 finding of anti-myelin basic protein in Colten
7 appears to you to have no particular significance.

8 THE WITNESS: I would use the term of
9 uncertain significance.

10 THE COURT: Okay.

11 THE WITNESS: And it's very puzzling to me
12 in view of the fact that the serum obtained two months
13 later was completely negative, and subsequent analyses
14 have shown that the levels where he was slightly
15 elevated or were normal. Both run in the same
16 laboratory that obtained the 46 value.

17 THE COURT: Okay.

18 THE WITNESS: To say something happened
19 clinically that were different, certainly the one from
20 two months later, at least in my perusal of the record
21 it was hard for me to distinguish if things had
22 changed that dramatically, so I'm not sure what that
23 46 meant.

24 THE COURT: Well, we have the first finding
25 of 46 anti-myelin basic protein antibodies to myelin

612B

ZWEIMAN - CROSS

1 basic protein in January of 2000.

ZWEIMAN - CROSS

1 THE WITNESS: Correct.

2 THE COURT: Several months later Colten
3 begins IVIG treatments.

4 THE WITNESS: I was told, and I stand to be
5 corrected if I'm wrong, that that serum that was sent
6 to Dr. Singh was obtained before the IVIG.

7 THE COURT: Correct. Correct. The original
8 serum -- let me go back to see if I can find something
9 set off for Dr. Singh.

10 THE WITNESS: I don't have a copy of the
11 timeline that was sent out.

12 THE COURT: And I'm not sure that it will
13 list everything on the timeline.

14 Okay, let's start this way. There is a
15 serum sample drawn on 3-8-00 that is sent to Dr.
16 Singh.

17 THE WITNESS: Right.

18 THE COURT: And that would be Petitioners'
19 Exhibit 207, page 1. Unfortunately, those were some
20 of the labs that didn't make it into my chart. So
21 that may have been taken again at the same time.

22 THE WITNESS: That was --

23 THE COURT: At the beginning of the IVIG.
24 Then there are subsequent IVIG tests -- excuse me --
25 subsequent IVIG administration and subsequent myelin

614A

ZWEIMAN - CROSS

1 basic protein results which tend to be negative or low
2 range. I think there is one that's isolated at 14.

3 So what would happen then if this specimen
4 is in fact taken before the administration of IVIG?
5 Why did we have a drop from 46 to negative in a period
6 of two or three months?

7 THE WITNESS: The difference between January
8 result of 46 from Specialty Labs and the negative
9 results of Dr. Singh's lab being the specimen of March
10 8th, is a puzzlement.

11 There was, as best as I could tell, nothing
12 going on therapeutically in that interval that would
13 have converted an otherwise strong positive to a
14 negative result.

15 THE COURT: Would Secretin in --

16 THE WITNESS: I don't think that would.

17 THE COURT: Okay.

18 THE WITNESS: If you're asking me does IVIG
19 therapy, would that convert a laboratory test, one
20 aspect I already alluded to and that is that one has
21 to know when to draw a blood specimen and that should
22 be at least three to four weeks after the last IVIG
23 administration to avoid any artifactual effects on it.

24 I cannot tell you how the timing was of
25 those specimens, and the IVIG administration. So I

615A

ZWEIMAN - CROSS

1 cannot comment about that.

2 THE COURT: Well, let me ask a question this
3 way then. Would you expect that IVIG would be an
4 appropriate therapy to treat MBP antibody level that
5 was high?

6 THE WITNESS: No, it is not -- in the first
7 place, I should say, that, and this may not be exactly
8 an answer to your question -- that the recent
9 consensus report, and I'm not trying to throw
10 something new to you, but this just came out, the
11 recent consensus report from a group of experts in
12 Canada about indications for the treatment of
13 neurologic disease with intravenous IG did not
14 recommend the use of IVIG in autism.

15 In direct answer to your question would I
16 use IVIG to decrease the level of anti-MBP antibodies,
17 I would not use that as a marker of whether I'm going
18 to use IVIG therapy or not.

19 The measurement of particularly anti-MOG
20 antibodies is recently reported as a possible
21 indication for other types of treatment of multiple
22 sclerosis, but it did not mention intravenous IG
23 therapy.

24 THE COURT: How long does it take, let's say
25 after an event that might trigger the production of

615B

ZWEIMAN - CROSS

1 anti-myelin basic protein antibodies to having those

ZWEIMAN - CROSS

1 antibodies show up in blood or CSF?

2 THE WITNESS: It's difficult to say with
3 certainty because there has not been systematic
4 sequential studies, but my supposition based on what I
5 have read is within say two to three weeks, something
6 like that.

7 THE COURT: Okay. And once elevated, do
8 MBPs stay elevated or are they cyclical?

9 THE WITNESS: They are variable, remembering
10 that when you measure the serum you're measuring it,
11 if you will, in some distance, anatomic distance from
12 where the presumed action if you're postulating it's
13 some event in the central nervous system. You have
14 heard from others that there is a blood brain or blood
15 CNS barrier that keeps the plasma protein systemic
16 compartment away from the compartment within the
17 nervous system so that, if you will, what you're
18 measuring in the serum is coming sort of indirectly
19 from what is coming say from the nervous system.

20 So that it's not surprising that one could
21 find variable levels of anti-MBP antibodies. It's not
22 a constant from week to week and from month to month.

23 THE COURT: So I take it from your
24 testimony, Doctor, that you don't consider that there
25 is any treatment necessary for an elevated myelin

617A

ZWEIMAN - CROSS

1 basic protein and that it is not a marker for guiding
2 other treatment?

3 THE WITNESS: By itself, if that was the
4 only thing that was present, it certainly in my
5 opinion would not warrant treatment for that.

6 I mentioned a few minutes ago in certain
7 settings there is suggestive evidence that the
8 presence of an anti-MBP, and particularly anti-MOG,
9 that's a myelin oligodendrocytes protein antibodies,
10 it might be a marker of potential problem in multiple
11 sclerosis. There is a recent report in the New
12 England Journal of Medicine that pointed that out as a
13 possibility.

14 But just to use anti-MBP as an indication of
15 treatment would not be warranted, in my opinion.

16 THE COURT: Did any of Colten's tests
17 suggest to you that he had a serious immune
18 deficiency, and if so, when?

19 THE WITNESS: I did not see any evidence
20 that he had a serious immune deficiency as evidenced
21 by either the pattern or severity or frequency of
22 bacterial infections, or unusual infections.

23 I should mention, by the way, if this is
24 helpful, that in wild virus, wild measles virus
25 infection the suppression of immunity is mainly

ZWEIMAN - CROSS

1 directed against cell-mediated immunity, not antibody
2 production immunity. I believe I alluded to that
3 earlier.

4 Dr. Diane Griffin described it very well in
5 her testimony in the Cedillo case, and as I told with
6 the individuals who get the measles vaccine, in our
7 experience these people were perfectly healthy, had no
8 increased incidence of infections during the time when
9 there was a decrease in their delay in
10 hypersensitivity with cell-mediated immunity.

11 THE COURT: There are medical tests that
12 show Colten had an elevated IgE levels several times.
13 What does that tell you?

14 THE WITNESS: As I commented in one of my
15 reports, there was a single determination and a very
16 high level, although some individuals have reported
17 increased serum IgE in some individuals who have ASD.
18 The levels reported here are strikingly elevated, and
19 I commented in my report that this appears to have not
20 been followed up on but that was certainly warranted
21 because in the absence of any skin manifestations of a
22 topic dermatitis or other reason for the IgE being so
23 high one would want to make sure that the child did
24 not have a parasitic infection that could be
25 responsible possibly for chronic diarrhea that was

ZWEIMAN - CROSS

1 described in his case.

2 However, I did not see results of a repeat
3 serum IgE. This does not mean that he was
4 immunodeficient. There is a condition called hyper,
5 H-Y-P-E-R, IgE syndrome, or some people call it the
6 JOB's, J-O-B apostrophe S, syndrome named after the
7 figure in the Bible, but these individuals have a
8 particular pattern of infection which was not present
9 in Colten, and therefore I think it's unlikely that
10 that is a reason for the quite elevated IgE level in
11 this case. But I think the first step would be
12 obviously to have it repeated the test repeated.

13 THE COURT: So that's what you would expect
14 a doctor who got those serum results to do?

15 THE WITNESS: I do. If I had seen that, I
16 certainly would have had it repeated.

17 THE COURT: All right. After repeating it
18 if it's still high, what would you do?

19 THE WITNESS: Investigate for parasitic
20 infection, number one. The child had, and I may be
21 going yet beyond the bounds of my expertise, but what
22 was commented yesterday about the reports on
23 intestinal biopsy, the child had, it sounds like
24 impressive numbers, and I would want to have an
25 experienced pathologist look at that, but there is a

620A

ZWEIMAN - CROSS

1 condition called -- I have to spell this one out --
2 eosinophilic gastroenteritis. It's E-O-S-I-N-O-P-H-I-
3 L-I-C, gastroenteritis, a condition characterized by
4 increased collections of the eosinophils in the mucus
5 membranes, in various parts of the intestinal tract,
6 and there is a sizable percentage of these children
7 who have reported allergies to foods or foods to
8 induce GI manifestations, which appears to be the case
9 in Colten's case, and some of those individuals have
10 pretty impressively elevated serum IgE levels. So
11 that's another condition that would have to be looked
12 at.

13 So there are others less likely but those
14 are the ones that would come to mind.

15 THE COURT: Mr. Johnson.

16 MR. JOHNSON: Just a few. Thank you.

17 REDIRECT EXAMINATION

18 BY MR. JOHNSON:

19 Q Dr. Zweiman, going back to the issue of IVIG
20 and its potential effects on anti-MBP levels. I think
21 you mentioned that the administration of IVIG through
22 a dilution process may have some effect on lowering
23 anti-MBP levels. Did I understand that correctly?

24 A If a specimen is obtained within three to
25 four weeks after the IVIG administration, obviously

ZWEIMAN - REDIRECT

1 depending what dose of IVIG used, but I'm making
2 assumptions that it's a sizable dose of IVIG.

3 Q So knowing that, if you're going to take a
4 serum sample and send it for testing for anti-MBP
5 levels, it wouldn't make sense to do that following
6 the administration of IVIG, you would want to do that
7 beforehand, is that accurate?

8 A Well, before and after for an individual who
9 is getting IVIG on a regular basis. What the usual
10 practice is in people who use IVIG therapy on is if
11 they do an assay, a serum marker of the disease, or in
12 the case of immunodeficient to measure total IVIG
13 levels, you always obtain a specimen at least three or
14 four weeks after the last infusion, and it's typically
15 done right as you're putting the needle in to
16 administer the IVIG. You get a serum specimen at that
17 time, a blood specimen, and then hook up the IVIG
18 therapy.

19 Q And you do that because you're trying to get
20 the most accurate reading?

21 A You're trying to get what is the reflection
22 of the person's own biology.

23 Q Okay.

24 A And not donated by or affected by the IG
25 that's being administered.

621B

ZWEIMAN - REDIRECT

1 Q So, for example, March 8th of 2000, when

ZWEIMAN - REDIRECT

1 Colten had not had any IVIG up to that point, if a
2 sample were taken, as you said, that would be a
3 reflection of Colten's actual anti-MBP levels?

4 A What's going on -- what's going on in his
5 own body.

6 Q And then again just as an example, the
7 records indicate that, and this is at Petitioners'
8 Exhibit 12, pages 41 to 42, that he had IVIG on June
9 11th of 2001, and then at Petitioners' Exhibit 12/473
10 through 477, it appears that his next IVIG treatment
11 was on August 6th of 2001, and apparently that same
12 day there was a sample taken to the Specialty Labs.
13 This is at Petitioners' Exhibit 12, page 461.

14 Given the span of time between June 11th and
15 August 6th, is that an appropriate amount of time to
16 be taking a sample and to have that indicate what the
17 person's anti-MBP production would be?

18 A I would think so. I'm making the assumption
19 that the blood specimen was obtained right before
20 they gave the IVIG therapy.

21 Q And that would make sense to do that.

22 A That's the way it's usually done.

23 Q And so if the value for that day were four,
24 in your opinion that would indicate what Colten's
25 normal anti-MBP production was at that time?

ZWEIMAN - REDIRECT

1 A Four you said?

2 Q Four, yes.

3 A Yes. That would seem to be a measure of
4 what his own body was doing.

5 Q And, Doctor, you've sat through the
6 testimony this week, haven't you?

7 A Yes.

8 Q And you heard Dr. Kinsbourne testify. Is it
9 your understanding that his specialty is pediatric
10 neurology?

11 A That's what I have been told.

12 Q And Dr. Kennedy, his specialty is -- he was
13 brought in as a virologist. Is that your
14 understanding?

15 A That's what I understand.

16 Q And so based on that, is it your
17 understanding that given they submitted reports after
18 your last report, that there may have been other
19 experts who responded that were more appropriate to
20 respond to what they included in their report?

21 A I'm not sure I understand what you mean.

22 Q I'm sorry. I asked the question very
23 poorly.

24 I guess what I'm asking is since you are not
25 a virologist or a pediatric neurologist, is it your

624A

ZWEIMAN - RE-CROSS

1 understanding that there may have been other people
2 that Respondent is working with that were more
3 qualified to respond to the reports of Dr. Kennedy and
4 Dr. Kinsbourne?

5 A Oh, for sure.

6 MR. JOHNSON: Thank you.

7 THE COURT: Go ahead, Mr. Powers.

8 MR. POWERS: If I could, Special Master,
9 just based on your questions could I --

10 THE COURT: Sure.

11 MR. POWERS: I think it's just one question.

12 THE COURT: Sure.

13 RE-CROSS-EXAMINATION

14 BY MR. POWERS:

15 Q Doctor, you may recall when the Special
16 Master was asking questions she asked you about the
17 time between an event that would cause the production
18 of anti-MBPs and the appearance of the anti-MBP in
19 serum or --

20 A Cerebral spinal fluid.

21 Q Or CSF. I wanted to make sure I --

22 A You said the appearance or the production
23 of?

24 Q Well, my understanding of the question was
25 there is an event that produces the anti-MBPs and then

624B

ZWEIMAN - RE-CROSS

1 there is some point later in time where they can be

ZWEIMAN - RE-CROSS

1 detected. Is that a fair restatement of the question?

2 THE COURT: It is.

3 THE WITNESS: I may have misstated it. I
4 thought you meant when does one seeing production of
5 the anti-MBP response, and that would be within
6 several weeks, I believe, maybe somewhat longer before
7 one sees appearance of that in CSF/serum.

8 THE COURT: And detectible levels?

9 THE WITNESS: Detectible levels except in
10 the serum, it might be several weeks after that
11 because of some of the factors I mentioned. You have
12 a point source of production of it, and the fusion
13 away from that area, so it might be -- this is
14 speculation on my part because I have not studied this
15 myself, but you know, it could be up to six weeks,
16 something like that.

17 BY MR. POWERS:

18 Q And that's why I was asking the question,
19 because the answer was two to three weeks for
20 detecting it on a test.

21 A I said I thought you meant when you start
22 seeing production of the immune response.

23 Q So it would be two to three weeks before one
24 would see production of the immune response, and then
25 another two to three weeks before it would arise to

625B

ZWEIMAN - RE-CROSS

1 the technical levels.

ZWEIMAN - RE-CROSS

1 A I can't give you exact because I have not
2 studied it myself, but that's what I would think. I
3 would speculate on that.

4 Q And then a quick follow up to that on the
5 detection portion of it, estimating a timeframe for
6 detecting something, is that based on what we know
7 about the process of anti-MBP production or is it
8 based on the technology and the sensitivity or the
9 type of tests that would be used to detect it?

10 In other words, are there different tests
11 that you would use that are going to produce different
12 results? Some are more sensitive?

13 A Well, there are certainly some tests that
14 are more sensitive than others. If you are referring
15 to the testing that was done in Colten Snyder's case,
16 the technology that was used, you know, I wasn't there
17 when the test was done, but you know, I read the
18 description of what they did, and one was by Western
19 blot and the other was by ELISA technology.

20 It's a cumulative matter of when one would
21 see it. If one got over a period of some time -- just
22 for example when you're immunized you start detecting
23 low levels of antibody and then the levels of antibody
24 go up even though using the same technology over to
25 measure the time, and it takes -- what I was referring

627A

ZWEIMAN - RE-CROSS

1 to was that I would speculate that, you know, up to
2 six weeks you would start getting because there's
3 increased production of it one would expect to see
4 increased levels, and it may not be to the level of
5 detection until maybe out to five-six weeks, something
6 like that.

7 MR. POWERS: That is all I have.

8 THE COURT: Okay. Anything further for Dr.
9 Zweiman?

10 MR. JOHNSON: Nothing from Respondent.

11 THE COURT: Dr. Zweiman, thank you. You may
12 be excused.

13 (Witness excused.)

14 THE COURT: It's now about 10:25 or so. Do
15 we want to make our midmorning break or do we want to
16 push on?

17 MR. JOHNSON: Let's take a break if you
18 don't mind.

19 THE COURT: Okay. Fifteen minutes, we will
20 reconvene then, make it easy, at five to.

21 (Whereupon, a short recess was taken.)

22 THE COURT: We're back on the record in the
23 Snyder case.

24 Mr. Johnson, your next witness is on the
25 stand?

WIZNITZER - DIRECT

1 MR. JOHNSON: Yes. Respondent has called
2 Dr. Wiznitzer.

3 THE COURT: Would you raise your right hand,
4 Dr. Wiznitzer?

5 Whereupon,

6 MAX WIZNITZER

7 having been duly sworn, was called as a
8 witness and was examined and testified as follows:

9 THE COURT: Thank you.

10 DIRECT EXAMINATION

11 BY MR. JOHNSON:

12 Q Doctor, please state and spell your name.

13 A Max Wiznitzer, W-I-Z-N-I-T-Z-E-R.

14 Q And you testified at the Cedillo hearing, is
15 that correct?

16 A Yes, I did.

17 Q So we will not go through your credentialsad
18 nauseum, but if you would just refresh the Court's
19 memory as to where you are currently working?

20 A I am working at Rainbow Babies and
21 Children's Hospital in Cleveland, Ohio, as a staff
22 neurologist. I am also an associate professor of
23 pediatric neurology at the International Health
24 Education at Case Western University in Cleveland,
25 Ohio.

WIZNITZER - DIRECT

1 Q And you do have a clinical practice, is that
2 correct?

3 A Yes, I do.

4 Q And you treat patients that have autism or
5 other ASDs?

6 A Yes, I do.

7 Q Approximately what percentage of your
8 practice is dedicated to the treatment of patients
9 with ASD?

10 A Up to 25 percent.

11 Q And is that a self-imposed number or could
12 you treat more ADC patients if you wanted to?

13 A The answer is yes, it is a self-imposed
14 number, and number two, I could easily have a practice
15 made up 100 percent ASD children if I wish.

16 Q And the reason that you limited yourself to
17 25 percent is?

18 A There is a demand for my services in other
19 areas, and also because I'm a child neurologist and I
20 want to make sure I maintain my skills in child
21 neurology.

22 Q Doctor, you have sat through the testimony
23 that Petitioners have presented during the trial this
24 week, is that correct?

25 A Yes, I have.

629B

WIZNITZER - DIRECT

1 Q Did you hear anything that was presented

WIZNITZER - DIRECT

1 this week that would change your opinion regarding
2 whether the receipt of an MMR vaccination combined
3 with the administration of Thimerosal containing
4 vaccine more likely than not causes any disorder that
5 is on the autistic spectrum?

6 A No, I have not heard anything to change my
7 opinion.

8 Q And just for the record, what is your
9 opinion on that issue?

10 A My opinion is that the vaccines do not cause
11 autism or ASD.

12 Q The hypothesis that Dr. Kinsbourne described
13 yesterday during his testimony, in your opinion was
14 that essentially the same hypothesis that he described
15 at the Cedillo hearing?

16 A Yes, it was.

17 Q Have you seen any new evidence since you
18 testified in June at the Cedillo trial that Dr.
19 Kinsbourne's hypothesis has gained any new support in
20 the medical community?

21 A No, I have not.

22 Q And do you still hold all of the opinions
23 that you expressed on the issue of general causation
24 at the Cedillo hearing to a reasonable degree of
25 scientific probability?

WIZNITZER - DIRECT

1 A Yes, I do.

2 Q Doctor, then I would like to turn to the
3 specific facts of the case involving Colten Snyder,
4 the Petitioner at issue here.

5 In your opinion, did Colten Snyder's April
6 23, 1998, MMR vaccination cause him to develop ASD?

7 A No, it did not.

8 Q Does the evidence in this case support a
9 finding that Colten suffered an encephalopathy as the
10 result of his MMR vaccination?

11 A The evidence does not support that
12 conclusion.

13 Q Doctor, have you seen a wild measles
14 infection?

15 A Yes, I have.

16 Q So I assume that you know then what the
17 clinical picture of a measles infection looks like?

18 A Yes.

19 Q Based on your review of the records, do the
20 records describe the symptoms in Colten Snyder that
21 are consistent with a measles infection?

22 A No, they do not.

23 Q And I believe that Dr. Kinsbourne testified
24 on his cross-examination that he saw evidence that
25 Colten may have experienced some other types of

631B

WIZNITZER - DIRECT

1 infections following his MMR vaccination. Do you

WIZNITZER - DIRECT

1 agree with that?

2 A Yes, he did.

3 Q Doctor Kinsbourne, during his examination
4 yesterday, talked about lethargy as one of the first
5 signs of autism that he noted. Do you agree with Dr.
6 Kinsbourne's assessment on the issue of lethargy?

7 A No. May I explain?

8 Q Please do.

9 A Dr. Kinsbourne represented to the Court, and
10 I'm going to paraphrase his words, that in his opinion
11 the description of lethargy as given over the Memorial
12 Day weekend in 1998 -- it may have been at least the
13 Monday of Memorial Day, then the following day, the
14 Tuesday is when I think it was when Colten Snyder was
15 actually admitted to the hospital -- that there was a
16 description of Colten Snyder being lethargic, and Dr.
17 Kinsbourne stated that lethargy could be a
18 misinterpretation of the beginning of the social
19 withdrawal or the inward in-turning as the words that
20 he used to describe the social behavior of a child
21 with autism.

22 However, the formal definition of lethargy
23 and I'm quite familiar with it because when we do
24 EEGs, which I do on a weekly basis, that's one of our
25 diagnostic codes that we use within the EEG reading,

633A

WIZNITZER - DIRECT

1 the formal definition of lethargy is actually an
2 impairment in consciousness. In other words, you're
3 not fully alert and awake. Lethargy means that there
4 is a mild diminution or decrease in your level of
5 consciousness, but you're still able to be aroused.
6 You're still able to be responsive, but if I leave you
7 alone, you will go back down to that decreased level
8 of consciousness.

9 By definition, a decreased level of
10 consciousness is not part of the diagnostic criteria
11 of any autistic spectrum disorder. In fact, if there
12 were an impairment in consciousness in a child, we
13 would be looking for alternate diagnoses. Therefore,
14 the use of the word "lethargy" to define the social
15 behavior of a child with autism is not really the
16 appropriate word to use.

17 Q Based on what was going on with Colten at
18 the time, is there in your mind a more likely
19 explanation for the cause of his lethargy?

20 A Yes, there is.

21 Q What is that?

22 A The medical records tell us that he clearly
23 had a viral illness, and the medical records also
24 document that he showed clinical evidence of
25 dehydration, the description that was given there, and

WIZNITZER - DIRECT

1 one of the things we know is that when he was put in
2 the hospital he was given IV hydration. He was
3 discharged, he was described as awake, and obviously,
4 you can't be awake and lethargic at the same time
5 which means at that point there was a significant
6 improvement in his level of consciousness, back to the
7 level that you would expect it to be, otherwise he
8 wouldn't have been discharged from the hospital, and
9 that is inconsistent with the behavior that you would
10 see of the social changes, the social behavior in
11 autism where once it starts it will become clinically
12 evident. It doesn't go away in two days.

13 Q Doctor, we have already talked about the
14 Weibel article a little bit this morning, and how that
15 has been used in support by Petitioners to support the
16 idea that Colten somehow fit the framework that's
17 outlined in the Weibel article.

18 Have you had an opportunity to review the
19 Weibel article?

20 A Yes, I have.

21 Q And can you just describe your understanding
22 of the framework that's set out in the Weibel article?

23 A If you will just give me one second because
24 I know I have it in here.

25 (Pause.)

WIZNITZER - DIRECT

1 Basically the framework that was used was
2 taking information that was reported to the VAERS
3 system and basically one of the criterion they looked
4 at features of encephalopathy, ataxia, seizures. They
5 looked at the children, but they had exclusionary
6 criteria in that paper, and if you read the methods
7 section the exclusionary criteria was that the authors
8 did not accept a case if there was an alternate
9 explanation for the features that were present at that
10 time.

11 Clearly in Colten Snyder's case the events
12 that occurred around Memorial Day of 1998, the medical
13 records tell us that there is an alternate
14 explanation. He had an acute viral infection with
15 fever with pharyngitis, and with dehydration, and
16 basically when he was treated he improved. This is
17 not a case that would have been accepted within the
18 criteria as defined in that paper.

19 Q Doctor, there have been a number of videos
20 that have been provided by the Snyder family. Have
21 you had an opportunity to review those videos?

22 A Yes, I have.

23 Q Can you just describe generally for the
24 record what is on those videos?

25 A What was provided to me was videos of Colten

636A

WIZNITZER - DIRECT

1 Snyder, and of course we also have other members of
2 his family, starting at age three weeks to age 13
3 months. Then there is a gap in the records, and then
4 the video resumes, the initial portions of the video
5 resumed, as described according to the given timeline
6 that was presented with the video, or after the video
7 was given, a chronology was finally provided of autumn
8 '99, and actually some information that was stated to
9 have been from February or March of 1999, and
10 basically running up to about the time of his third
11 birthday.

12 Q And did you review all of the videos that
13 were provided by the Petitioners?

14 A Yes, I did.

15 Q Based on the videos and of course other
16 materials, medical records that you've reviewed in
17 this case, do you agree that Colten showed signs of
18 developmental delays?

19 A Yes, I do.

20 Q Just tell us generally what your impression
21 from watching the videos was.

22 A From the limited information I had on the
23 video, but it's a recurrent thing, in other words it's
24 something that doesn't change, what becomes obvious in
25 the video is the decrease in expected language use up

637A

WIZNITZER - DIRECT

1 to age 13 months. When one basically watched Colten
2 Snyder in action during this time period either he
3 doesn't make any sounds, he screeches, he makes some
4 nonspecific noises, and he extremely rarely says
5 either ba, ma, or maybe a two-syllable sound like a
6 baba or mama, but it's not a lot. There is no
7 interpersonal babbling that I can see.

8 In other words, there is plenty of
9 opportunities, his siblings come up and talk to him,
10 he doesn't talk back to them in the way a baby would.
11 He doesn't seem to sustain any kind of a language
12 interaction that's there, and what's most impressive
13 to me -- actually one of the points that's impressive
14 to me on the video is that we have the opportunity to
15 have a - if you want to thin of it as a control, and
16 if I may identify, there is a portion of the video
17 when he is seven months old where there is another
18 child going around his playpen, basically babbling,
19 and it's not Colten, because when we look at Colten,
20 you watch his mouth, it's not moving, and I played
21 that section back multiple times, but there is another
22 baby who is basically making a lot of baby noises
23 around there, and this is not the behavior that Colten
24 manifests anytime in the video, whether it's at seven
25 months, whether it's at 11 months, which is Christmas

638A

WIZNITZER - DIRECT

1 time, whether it's at 13 months when we're taping him
2 here.

3 He looks at the camera, he plays with the
4 camera lens cover multiple times. He has inconsistent
5 responses to voice. Sometimes when he was called he
6 responds, sometimes he doesn't, and what I take from
7 that, because I also have evidence afterwards of his
8 language that's given to us, and just for people to
9 reference things, and I will reference the points for
10 you, the one at seven months is basically on what's
11 called Title 6 on the video, and it's part of what's
12 called Chapter 2 if anyone wishes to look at that, but
13 later on when looking at in portions of the video you
14 can look at Title 9, Title 10, Title 11, Title 12, no
15 babbling. Title 13, no babbling. Title 14, no
16 babbling.

17 He does make during these times -- for
18 instance in Title 12 he makes some nonspecific sounds,
19 but again there is just no babbling. That just raises
20 a concern to me that there is an underlying problem
21 with language.

22 Q And did you see anything in the medical
23 records that caused you to think that or that
24 corroborated what you saw in the videos?

25 A Yes, I did.

638B

WIZNITZER - DIRECT

1 Q And tell The Court what that was.

WIZNITZER - DIRECT

1 A Let's just first start by stating that in
2 the regular pediatric care records in the first year
3 of life there is a documentation, for instance, at six
4 months and then 12 months, the physician does not have
5 any concerns regarding development, but there is no
6 documentation on specifics, and we'll take it at that.

7 But later on when we look at the evaluation,
8 first of all, if we look at the evaluation by Dr.
9 Otegbeye on June 11, 1998, he lists in there that the
10 mother gives a history of a three-word vocabulary,
11 mama, dada and sister's name.

12 Afterwards, the next documentation we have
13 of language is in November 1998, on November 12, 1998,
14 it says "spitting out a few words" but it doesn't say
15 what they are. It doesn't say how they are being
16 used.

17 In the referral to early intervention, they
18 stated that he had a three to five word vocabulary.
19 In the mother's handwritten record of her initial
20 visit to Dr. Bradstreet that was in 1999, mother
21 documents the use of five words, all names, mama,
22 dada, and family member names, and nothing else, and
23 basically states that there was just a speech arrest,
24 there seemed to be a language arrest, again telling me
25 that there seems to be this pattern of preexisting

WIZNITZER - DIRECT

1 problem of language, and it seems to me that the
2 language stagnated sometime in the second year of
3 life, and just didn't go anywhere, and this is what
4 you can derive from viewing the video and looking at
5 the medical records.

6 Q Doctor, have you seen patients that have
7 presented with a similar picture, clinical picture as
8 Colten Snyder?

9 A Yes, I have.

10 Q Based on your review of the records, when
11 was functional improvement in Colten first documented?

12 A According to the available records, and this
13 is early intervention, after he starts his speech
14 therapy, the speech therapist documents as early as
15 July 1999 that he is showing improvements in language.
16 And if you go through her notes from that point on,
17 she documents continuing improvement in language
18 skills and play skills.

19 Q Doctor, does it surprise you that Colten
20 improved with speech therapy?

21 A No, it does not.

22 Q Why not?

23 A First of all, for children with underlying
24 language problems, whether or not they are related to
25 autistic spectrum disorder or an individual by

WIZNITZER - DIRECT

1 himself, we know that intervention helps. We know
2 that appropriate intervention helps, and obviously in
3 this case it appears that he had appropriate
4 intervention in terms of the speech therapy, and we
5 know that we see growth. In other words, there's data
6 telling us that this happens.

7 Q And do kids respond either better or worse
8 to speech therapy based on their intelligence or
9 intellectual capabilities?

10 A Well, I thought that the speech therapist
11 gave a wonderful quote, and I'm going to again
12 paraphrase her, that with the appropriate intervention
13 children improve to their own intellectual and
14 cognitive abilities, and that is basically the mantra
15 that we try to push; that if you do the appropriate
16 intervention children hopefully will get to the point
17 that they were supposed to get to.

18 Obviously in Colten Snyder it was a very
19 good point that he got to, and I'm glad that he did,
20 so that it does not surprise me the gains that were
21 present had occurred, did occur.

22 Q And just so I'm understanding you, are you
23 saying that his great improvement is based in part on
24 his excellent intellectual capabilities?

25 //

WIZNITZER - DIRECT

1 A In part, it's based on what he has available
2 to him; in other words, where his cognitive potential
3 is. He clearly has a cognitive potential to be in the
4 normal range, and he showed that, that that was there,
5 and that's important because if cognitively you are
6 destined to have an IQ of 50, you can do all the
7 therapy that you wish and you're not going to get to
8 an IQ of 125.

9 And while we look at the records, and in the
10 records you can see that there is testing done
11 initially which shows that he does not have good
12 skills, this is the typical pattern of kids with ASD.

13 First of all, the cognitive testing that was
14 done is limited because of levels of cooperation that
15 were present so you can't get the best picture in the
16 world.

17 Secondly, all that that testing tells us is
18 where his language is at that point in time, where his
19 function is at that point in time. It does not
20 necessarily tell us where he is going to end up two,
21 three, four years from now. It tells you where you
22 need to start your intervention, and I think that his
23 situation explains it very well because we see there
24 is good growth in his developmental skills and his
25 language skills from at least July 1999 onward.

Heritage Reporting Corporation
(202) 628-4888

WIZNITZER - CROSS

1 Q And I believe you testified during the
2 Cedillo hearing and presented a slide on something
3 that you called the natural history of autism.

4 In your opinion, is Colten's improvement and
5 course consistent with what you described as the
6 natural history of autism?

7 A Yes, it's one of the developmental patterns
8 that we can see, that you are worst at the second,
9 beginning of the third year of life, and then you
10 start showing improvement with the intervention, and
11 you grow to your potential.

12 Q And, Doctor, do you treat any patients who
13 you classify as having regressive autism?

14 A Yes, I do.

15 Q How many of your patients that have
16 regressive autism improve to the point of being
17 essentially normal?

18 A I don't have any who have done that.

19 MR. JOHNSON: I believe that's all I have.
20 Thank you.

21 THE COURT: Mr. Powers?

22 MR. POWERS: Thank you, Special Master.

23 CROSS-EXAMINATION

24 BY MR. POWERS:

25 Q Good morning, Dr. Wiznitzer.

Heritage Reporting Corporation
(202) 628-4888

WIZNITZER - CROSS

1 A Good morning, sir.

2 Q We have met before. Obviously we had a
3 colloquy on your direct and my cross during the
4 Cedillo case, and I do want to follow up on maybe a
5 couple of issues that were addressed in Cedillo that
6 were not covered today, but I will primarily focus on
7 your expert report and the direct testimony that you
8 have given here today.

9 I first want to talk a little bit about your
10 expert report. Early on in the report you talk about
11 some identifiable biologic underpinnings. Do you
12 recall that portion of your report?

13 A Can you show it to me where it is?

14 Q Yes. Well, yes, it's on page 1. It's down
15 at the bottom.

16 Q Which report, sir? I have two reports.

17 Q I'm sorry. It's the most recent one. I
18 think it was described as a supplemental report.
19 That's the one dated September 28, 2007.

20 A Yes, sir.

21 Q Okay. So now that we know the report we're
22 talking about, the page we're talking about is page 1,
23 and just down there at the bottom there is a
24 discussion that you have about identifiable biologic
25 underpinnings.

WIZNITZER - CROSS

1 Now, you list marker chromosome 15 syndrome,
2 Fragile X, tuberous sclerosis, and then certain in-
3 born errors of metabolism. I was just curious what
4 you were referring to by certain in-bred errors of
5 metabolism.

6 A The classic one is untreated
7 phenylketonuria, or PKU.

8 Q PKU.

9 A That's the classic. There is a
10 representation that some children with mitochondrial
11 disorders also will have an autistic spectrum disorder
12 phenotype, so that's basically two groups.

13 Q Are there any others?

14 A There are some others, but whether it's
15 directly linked to autism or whether it's just because
16 of the severe impairment in cognition that's present
17 that they also show autistic features is less well
18 defined.

19 Q Again, the reason that it's less well
20 defined is that there are other morbidities associated
21 with the condition that are beyond what you would find
22 in ASD?

23 A No. The more you have -- the more retarded
24 you are the more likely you are to just show autistic
25 features, even if you don't have autism. In other

645B

WIZNITZER - CROSS

1 words, if we look at a group of children with severe

WIZNITZER - CROSS

1 mental retardation, at least 30 to 40 percent of them
2 will show some behaviors that people would classify as
3 being within the autistic spectrum disorder.

4 Q Are there any other -- well, I should back
5 up. Are these underpinnings, biologic underpinnings,
6 are these genetically based, the ones that you list
7 here?

8 A Yes.

9 Q So they are pretty much genetically
10 determinative. If one has marker chromosome 15
11 syndrome, one would be autistic, would fall in the ASD
12 --

13 A No. You may be autistic.

14 Q You may be autistic.

15 A Yes, there is more to it than just that.
16 Thans just having marker chromosome 15.

17 Q Okay. But marker chromosome 15 is an
18 entirely genetic issue?

19 A Yes, it is.

20 Q For the ones that you list here in this
21 sentence about the disorders that begin with marker
22 chromosome 15 syndrome, are there any environmental
23 contributions that would be a biological underpinning
24 to the presentation of ASD in children with any of
25 those disorders?

WIZNITZER - CROSS

1 A The only biologic underpinning in marker
2 chromosome 15 is from which parent you inherit the
3 chromosome.

4 Q And the same with Fragile X?

5 A No.

6 Q Is there any environmental contribution?

7 A In Fragile X, we don't know of any
8 environmental contribution.

9 Q PKU, these other in-bred errors, metabolism,
10 no environmental contribution?

11 A No.

12 Q How about tuberous sclerosis?

13 A Tuberous sclerosis, it's not truly an
14 environmental contribution. It's really linked more
15 to the early onset of seizures which is not
16 environmental in itself. It's due to the underlying
17 condition.

18 Q Yes. So the seizures are caused by the
19 underlying condition, and the seizures then can create
20 the conditions under which one might be autistic?

21 A Well, it's more complicated than that.

22 Q I was afraid it was.

23 A But there is an association.

24 Q Okay, thank you. That's fine.

25 Now, you also talk about the presence of

WIZNITZER - CROSS

1 additional clinical features, certain in utero
2 exposures. You describe Thalidomide, rubella or
3 cytomegalovirus. Are there any other in utero
4 exposures that you identify as a biological
5 underpinning to ASD?

6 A I know there is a few more. I don't recall
7 what they are off the top of my head.

8 Q Aside from what you've listed here in total
9 in this paragraph, are there any other identifiable
10 biological underpinnings?

11 A There is a gigantic list, sir. I just gave
12 you examples.

13 Q And that's just what I wanted to get to.
14 This is not necessarily exhaustive. This
15 illustrative.

16 A Thank you. That's actually a very good
17 description. Thank you.

18 Q I'm glad we're agreeing on some of this
19 based on some of the things we didn't agree about in
20 Cedillo, but I do just want to make clear that this is
21 not intended to be exhaustive. It's illustrative.

22 A Yes, sir.

23 Q If you added up all the biological
24 underpinnings, the ones you have listed, the multitude
25 of ones that are out there, is there a way that you

WIZNITZER - CROSS

1 can identify among all children with autism spectrum
2 disorders what percentage of those autism spectrum
3 disorders are caused by this entire constellation of
4 identifiable biological underpinnings?

5 A The number that's proffered nowadays where
6 you can do testing or there is testing available in
7 order to identify it is probably as a minimal estimate
8 25 to 30 percent.

9 Q And that estimate of 25 to 30 percent, is
10 that an estimate that you agree with?

11 A Yes. It depends obviously on the child. In
12 other words, if I have a child who comes in with
13 significant cognitive impairment, mental retardation
14 is the relative term for that. If there were obvious
15 more features, the number is much higher. Yet even in
16 children who don't show those because of advances in
17 technology we are finding more and more genetic
18 underpinnings that are identifiable.

19 Q And that was actually a question I was going
20 to ask. Has that percentage gone up over time?

21 A Yes, it has.

22 Q Okay.

23 A And it's expected to go up even more as some
24 of the identifiable causes from the lab, if you want
25 to say it that way, are transferred into the clinical

WIZNITZER - CROSS

1 arena and the testing is made available to the general
2 practitioner.

3 Q And so the identified biological
4 underpinnings in 25 to 30 percent range, are those
5 essentially genetic contributions to the appearance of
6 autism spectrum disorders?

7 A Yes, and that does not account, for
8 instance, the rare cases of cytomegalo virus, or I
9 don't think we have seen congenitally developed, at
10 least I haven't seen it in two decades.

11 Q Well, yes, and I wasn't trying --

12 A No, no, I --

13 Q -- about that.

14 A Outside of things like prenatal viral
15 illness, we're talking about pure genetic.

16 Q Exactly.

17 A Yes, that would probably be a reasonable
18 number. At this point in time the number will go up.

19 Q Do you expect that number to reach 100
20 percent?

21 A I don't think anything reaches 100 percent,
22 and let me explain why. That even if we state with
23 certainty that you know that something is a genetic
24 underpinning, it does not mean that we have the
25 technology available to prove the exact genetic

WIZNITZER - CROSS

1 disorder, the exact genetic problem.

2 Q And when you say "underpinning," are you
3 using that in the same sense as the word "cause"?

4 A Yes.

5 Q So when we're talking about the biological
6 underpinnings to autism spectrum disorders, we're
7 talking about identifiable biological causes?

8 A Yes, sir.

9 Q And at this point it's your testimony that
10 we have identified -- we -- I say that as a lawyer --
11 as a doctor, I should be saying it as a doctor you all
12 have identified that 25 to 30 percent of ASDs can be
13 related to these identifiable causes, and aside from
14 Thalidomide, rubella or cytomegalovirus, they are
15 pretty much all genetic. Is that a fair statement?

16 A Yes. There is probably some in-utero
17 exposures that makes contributions, but we know the
18 ones such as Thalidomide. People talk about the
19 contribution of things like valproate, especially in
20 animal models, and perhaps some human data. There is
21 other data suggesting some associations but no proven
22 causation at the present time, but I expect that there
23 very well may be some -- we'll say acquired in utero
24 phenomena that would cause the autism. We just have
25 to wait and see as we get better.

652A

WIZNITZER - CROSS

1 Q Do you believe that there are any postnatal
2 environmental contributions to autism spectrum
3 disorder?

4 A Not to cause what we would typically call
5 autism, no. Not by itself, no.

6 Q What do you mean by "not by itself"?

7 A Well, there is data telling us that there
8 are some epilepsies that present very early on, second
9 year of life, third year of life, that will have
10 autistic phenotype and that with intervention and with
11 treatment of the epilepsy you can basically make the
12 autistic phenotype disappear. In my own practice, I
13 have a handful of children who have been successful
14 with this kind of management.

15 I don't know that there is any proven
16 certainty of any postnatal exposure by itself that
17 will cause an autistic spectrum disorder.

18 Q Do you believe that there are postnatal
19 environmental exposures that in the presence of a
20 genetic anomaly might cause autism spectrum disorders?

21 A Well, let's not use the word anomaly

22 Q What word are you comfortable with?

23 A Well, I think when people talk about this,
24 they say a genetic predisposition, or genetic
25 difference, whatever terminology you want to use. Let

WIZNITZER - CROSS

1 me answer it this way.

2 People have hypothesized this, it is a
3 thought that has actually run through the community
4 now. I am basically neither yes or no. I'm waiting
5 for evidence to give me more information to confirm to
6 me that this hypothesis really has legs.

7 Q And the hypothesis is that there may be
8 genetic vulnerabilities or genetic --

9 A Good word.

10 Q -- predispositions that in the presence of a
11 certain environmental exposure or -- yes, exposure --
12 can result in ASD?

13 A That is what people state. I have read a
14 lot of articles, and all it turns out to be is
15 personal opinions with no data, and it's a problem
16 when people do this. I don't know if in science
17 whether that is always the right thing to do. All you
18 want to do is give your personal opinion and because
19 of your name it carries some weight. I would like to
20 see the data, and I think right now there is nothing
21 to support that hypothesis, but I'm open-minded, and
22 willing to consider all information, and I will change
23 my opinion if information would sway me.

24 Q And that is actually -- you're getting a few
25 questions ahead of me, but that was the question I was

WIZNITZER - CROSS

1 going to ask, is obviously with IOM and NIEHS and
2 academic institutions, et cetera, looking at this,
3 I'll just describe in shorthand that the gene
4 environment interaction is a cause of autism.

5 A People are looking at that, and that's why I
6 say I am waiting for the information.

7 Q And you would be able to change your mind
8 based on data that comes out that's reliable?

9 A Yes.

10 Q But again back to where we are today, would
11 it be fair to say in your opinion between 70 to 75
12 percent of the cases of autism spectrum disorder, in
13 your opinion, don't have an identifiable biological
14 cause, is that correct?

15 A Let me back up and say I gave you the
16 genetics.

17 Q Yes.

18 A I gave you the proven genetic testing and
19 the proven let's say in utero exposure that we know
20 about. In addition to that we also have families.
21 For instance, let me give you some examples.

22 I have families where I have two or three
23 children who have autism spectrum disorders. There is
24 clearly a genetic predisposition that I haven't
25 identified. I don't know what it is, and I can't

654B

WIZNITZER - CROSS

1 convince anyone in my medical center to do testing.

655A

WIZNITZER - CROSS

1 because it's such a small family unit the chances that
2 you will find a gene is extremely doubtful. But the
3 thing is we know that that's genetic, and there is a
4 group of individuals, probably an additional 5 percent
5 or so, some people quote a higher number, I'm being
6 conservative, there is an additional 5 percent that
7 you can add to the other number that I gave you before
8 that clearly fall within that group, then in addition
9 to that we've got the larger family unit of what's
10 called the broader phenotype where there clearly are
11 the relatives -- might be that there is an
12 idiosyncratic cousin or uncle where you may not have
13 full-blown features but enough that we call it the
14 broader phenotype, and there is increased risk also
15 shown further and children with autism that would not
16 be surprising because there seems to be something
17 running in that family that seems to be genetically-
18 based, and that adds an additional number. You can't
19 really say it's the 70 to 80 percent. You might have
20 to narrow it down perhaps to more like 50 percent or
21 so where we have no identifiable reason at the present
22 time.

23 Q And then even in some of these family
24 studies, I know that in Cedillo the issue of the twin
25 studies was discussed. It was primarily by Dr.

655B

WIZNITZER - CROSS

1 Fombonne, I believe, addressed those studies. Even

WIZNITZER - CROSS

1 given a high concordance rate of ASDs within sets of
2 identical twins, in particular, that concordance rate
3 didn't get to 90 percent, correct?

4 A Correct.

5 Q So that even where you have identical twins,
6 there are presentations from the published literature
7 where one twin has a autism spectrum disorder and the
8 other identical twin does not.

9 A There is a very small number that's given,
10 about 10 percent of that cohort, but from a science
11 standpoint the idea that you have 90 percent
12 concordance is very strong evidence that it's a
13 genetic predisposition.

14 Q Certainly, and predisposition, and I
15 appreciate we can continue to use the same terminology
16 here because that number does change as one might
17 expect for fraternal twins. The concordance rate
18 drops and you have pairs where the number of ASDs and
19 the number of non-ASDs, and you would expect that if
20 you were looking at a genetic contribution, correct?

21 A Yes, sir.

22 Q And when I say expect that, the difference
23 between the concordance rates between identical and
24 nonidentical twins, you would expect that?

25 A Yes, sir.

657A

WIZNITZER - CROSS

1 Q But at this point there is no identifiable
2 biological cause of autism, and we'll take the numbers
3 that you now add up and try to keep track of somewhere
4 in the range of 50 percent.

5 A Let's just use that number.

6 Q Okay, and I'm comfortable using that number.
7 It sounds like you are. So in half the cases of autism
8 spectrum disorder right now you're not able to say
9 what the cause of the autism spectrum disorder is, is
10 that a fair statement?

11 A If you're asking if I would give the
12 specific reason outside of saying we have evidence
13 telling us that it's most likely prenatally-
14 based/genetic/some involvement with the chromosomes --

15 Q That is what I --

16 A -- genetic. No, I would state that the twin
17 studies tell us that the vast majority of those kids
18 probably have something wrong with the chromosomes, in
19 other words, with the genome that they have not yet
20 identified.

21 But if you're asking me have we identified
22 the reason, the answer is no. That's a better way of
23 answering the question.

24 Q Now, any of these biological causes that
25 we've discussed required -- I know this has turned

WIZNITZER - CROSS

1 into a fairly long list taking off what you had in
2 your report -- are any of these biological causes or
3 any other biological causes known to be associated in
4 particular with regressive autism?

5 A The tuberous sclerosis kids are, the
6 epilepsy children are, the mitochondrial disorders
7 are.

8 Q Any others? And I don't want to cut you
9 off. It looks like you're still considering it that
10 question.

11 A There are others. I can't give you them off
12 the top of my head.

13 Q And collectively with those known biological
14 causes related to regressive autism, do you have an
15 idea of what percentage of regressive autism cases
16 could be tied to one of these known biological causes?

17 A We don't have numbers, no.

18 Q Have people looked at that issue?

19 A They've looked, but the problem is that it's
20 a biased sample. It's not like you're looking at
21 population. There are papers out there saying there
22 are a large number of them that are mitochondrial, but
23 if you look you will find a mitochondrial disorder
24 that's present. But again it's a biased sample
25 because they may have been referred to a center

658B

WIZNITZER - CROSS

1 because they specialize in mitochondrial disorders.

659A

WIZNITZER - CROSS

1 I don't know of any good population surveys
2 that actually addressed your question.

3 Q And just to be cautious then, would it be
4 fair to say that based on the lack of data you cannot
5 answer the question of what percentage of regressive
6 cases are caused by these known biological agents?

7 A Yes, and one more thing because we need to
8 add there, is also how people define regressive
9 autism, whether they are using the stricter I think, -
10 - I think we had a discussion about this last time

11 Q We had a long discussion about this last
12 time.

13 A Whether they are using the stricter criteria
14 of totally normal development with a clear, defined
15 loss of things like functional language and things of
16 that nature or whether they are basing it on someone
17 coming in and just reporting it without checking the
18 specifics of it. And there are papers out there that
19 actually state, we didn't check it. Were listing it
20 but that's what were told it was. That data has to be
21 taken for what it's worth.

22 Q Now, you also in your report, and I'm just
23 flipping to page 2 of the same report, moving onto a
24 different issue here, at the very beginning the
25 statement is that between one-fourth and one-third of

659B

WIZNITZER - CROSS

1 the children with ASD had a history of autistic
2 regression is elicited. Is that a history that's
3 elicited by a treating physician?

4 I'm just trying to figure out where that
5 number -- is that your --

6 A No, that is what people have written, my
7 experience is more like 15 to 20 percent. This is
8 what people write in the literature, and I think you

WIZNITZER - CROSS

1 noticed that the history is elicited but the last
2 sentence of that paragraph says, "In retrospect of
3 evaluation, clinicians frequently identified
4 developmental abnormalities occurring before the Frank
5 appearance of apparent regression.

6 Q Right, and that's why I was asking you about
7 the history being elicited because I contrasted that
8 sentence --.

9 A But it's just the history of someone saying
10 there was an obvious regression.

11 Q In the case of Colten Snyder, you described
12 some evidence that you identified as signs of
13 developmental delay, and when I was listening to your
14 testimony and taking what notes I could, it sounded as
15 if it was all related to language use, is that
16 correct?

17 A Yes, that's really all I had a good sample
18 of on the video tape, and therefore that's all I could
19 really comment on, and that's the information that's
20 most apparent in the contemporaneous medical records
21 about language.

22 Q And now, if I recall, there are three
23 different domains that one tests in diagnosing autism
24 spectrum disorder. Language is one of those, correct?

25 A Yes. Communication is a better word.

660B

WIZNITZER - CROSS

1 Q Well, I do want to use your language. What
2 are the three domains that you identify as the

WIZNITZER - CROSS

1 diagnostic criteria for autism spectrum disorder.

2 A Significant qualitative impairment of
3 socialization, significant qualitative impairment of
4 communication and restricted interest/repetitive
5 behaviors.

6 Q Now, in your review of the videos and the
7 medical records, you've already identified what you
8 believe were some communication or language
9 developmental delays, is that correct?

10 A There were clearly problems with language.

11 Q Yes, and in the social domain, there is
12 nothing in the medical record and nothing in the video
13 that would indicate a deficit or delay in social
14 skills?

15 A There's not enough to answer that question,
16 there is some soft information about some subtle
17 differences in social behavior, but nothing concrete,
18 nothing severe, will just say they've subtle, and if I
19 may, I will even identify titles and you can go back
20 and look at the video.

21 Q Well, actually hold that thought for just a
22 second because I have some follow ups, but I am going
23 to ask you to --

24 A Well, let me answer your third one. The
25 third one is there is -- the restricted

661B

WIZNITZER - CROSS

1 interest/repetitive behavior.

WIZNITZER - CROSS

1 Q No, I haven't asked that. That was your
2 domain but not yet my question.

3 A Okay, sir.

4 Q In the social domain, you're going to give
5 us some references that you see as subtle, but my
6 question was there is nothing in the medical record,
7 there is nothing in the parents' testimony or
8 caregiver's testimony to give rise to social deficits
9 in Colten before the age of 15 months?

10 A There is nothing in the medical records one
11 way or the other, and the testimony that was given by
12 the parents, by the mother, by his mother and his aunt
13 did not have that information, I agree.

14 Q And in fact the testimony of his aunt and
15 his mother indicated that in fact he was very socially
16 interactive, have social skills, play skills with
17 other children, relational affinity towards relatives
18 and friends. You remember all that testimony, is that
19 correct?

20 A Yes, sir.

21 Q Now if you could just go ahead and just list
22 the areas where you see that there might be some
23 subtle issue with social issues.

24 A Let us start with Title 7 which is when he
25 was 11 months old, and about one minute into the

WIZNITZER - CROSS

1 video. He is called many times, doesn't respond much
2 to being called.

3 Q And how long does that sequence last?

4 A According to my notes, probably less than 30
5 seconds.

6 Q Okay. Other slides?

7 A Title 8, I have a note but I don't say when
8 it is, but when his name is called, he doesn't turn.

9 Q How old would he be at that point?

10 A Thirteen months old, and at 13 months old on
11 Title 9 it's written that there is not much of a
12 response to a hug. On Title 10, does not specifically
13 look, had to be prompted to do certain relative to
14 looking behaviors. Now in that he goes to his father,
15 to give credit. There are no sounds that are made.

16 On Title 11, nonresponsive --

17 Q I'm sorry. But I was unclear what the issue
18 was there. You said he's going to his father so he is
19 being social but there is a communication --

20 A No. There is evidence of differences in
21 social behavior but there is also evidence of social
22 behavior.

23 Q Okay.

24 A I'm just giving credit where credit is due.

25 On Title 11, there is no response to voice.

WIZNITZER - CROSS

1 On Title 13, he ignores his siblings, and that's all I
2 think we have that I have of a sample. That, to me,
3 is all subtle, and I'm just pointing out that it's
4 there, and I'm not saying anything more than just that
5 those behaviors are there.

6 Q And it would be fairly common, wouldn't it,
7 for a completely normal 13-month-old to occasionally
8 ignore his parents when they call his name, isn't that
9 correct?

10 A I agree, but if it was just once or twice
11 that I saw it on there, I wouldn't give it any
12 credence, but the thing that I saw patterns of
13 behavior

14 Q And these patterns are a couple of seconds
15 at a time, 30 seconds at a time?

16 A Some of them, yes.

17 Q You've already described the language, or
18 excuse me, communication, communication issues. Let's
19 talk about the repetitive behavior. Anything in the
20 medical record to indicate stereotypical behavior or
21 repetitive behavior that would be associated with ASD?

22 A I'm assuming you're saying -- at what time,
23 time period?

24 Q Before his MMR.

25 A Okay, sir. Let me just say there are

664B

WIZNITZER - CROSS

1 comments after, you know, in terms of Dr. Bradstreet's

WIZNITZER - CROSS

1 notes, but there is not really any explanation of what
2 they are. There is no documentation of the specifics.
3 It just says has some self-stim behaviors, but nothing
4 more.

5 Q But you did hear testimony about repetitive
6 play behavior from the family.

7 A But that's play behavior. That's not --
8 that's play behavior. That doesn't have any bearing
9 to repetitive behavior. That was there. But there is
10 nothing before that I could basically pin my hat on.

11 Q So those are the three domains, and we are
12 going to move on a little bit to talk about some other
13 issues that came up in your direct.

14 For the language issues that you describe,
15 the communication issues, particularly by the age of
16 13 months, I think you used a decrease in expected
17 languag use at the age of 13 months.

18 A There was no language use. There were no
19 words. There were some syllables that you could count
20 on one hand the number of times that you document
21 despite interactions with multiple individuals,
22 interaction by multiple individuals in the
23 environment. So there was just no -- nothing.

24 Q And that's based on your review of the
25 videos?

666A

WIZNITZER - CROSS

1 A Yes, sir.

2 Q You were here for the testimony of Colten's
3 family members?

4 A Yes.

5 Q And I assume you heard that the parent
6 report of word use by 15 months was between 15 and 20
7 words. Do you recall that testimony?

8 A Yes, sir.

9 Q Word use of vocabulary between 15 and 20
10 words by the age of 13 months.

11 A Fifteen months.

12 Q Fifteen months, yes, we're talking about
13 your view of the video is 13 months.

14 A Yes, sir.

15 Q By 15 months, 15 to 20 words, is that in the
16 range of appropriate vocalization?

17 A If the words are used for functional
18 purposes, the answer is yes.

19 Q And as you sit here today, you don't know
20 one way or the other whether they were used
21 functionally as you would just describe it?

22 A Assume that that history is accurate, yeah,
23 I don't know.

24 Q And so what you then see is the use of two
25 to three words at 13 months.

WIZNITZER - CROSS

1 A Excuse me?

2 Q So you see the use of two to three words at
3 age 13 months?

4 A I saw no words. I heard some syllables, a
5 ba, a ma, and a baha. If you play back the video and
6 watch it, and you're welcome to do so, sir, you will
7 notice that there is no real syllabic babbling or
8 polysyllabic or multisyllabic babbling such as I would
9 expect in a child of that age.

10 Q So a child at the age of 13 months then, two
11 months later is being described as having between 15
12 and 20 words.

13 A It's interesting, the description is the
14 description we heard in the courtroom in 2007, the
15 description is not what's documented in the medical
16 records of 1998.

17 Q You then went on to talk about the lack of
18 words, at this point two to three words and language
19 arrest that were noted in the reports of Dr. Otegbeye
20 and his developmental referral, and then by Dr.
21 Bradstreet's initial intake.

22 A Dr. Otegbeye did not state anything about
23 language arrest. Dr. Otegbeye just basically
24 documented a three-word vocabulary, and this would
25 have been at age 17 months.

667B

WIZNITZER - CROSS

1 Q And this would have been after the

WIZNITZER - CROSS

1 administration of the MMR?

2 A Yes, sir. And more important, Dr. Otegbeye
3 did not document any history that was given of a loss
4 of language.

5 Q And the fact that he didn't write it down,
6 the lack of any note of his is not dispositive as to
7 whether it had actually occurred or not.

8 A Well, I would agree that one note would be
9 telling, but when you look at the note from the week
10 before on the nursing admission to the hospital when
11 he was admitted on May 26th, there is no documentation
12 in the nursing admission of any loss of language
13 either.

14 Q And he was going to Dr. Otegbeye for the
15 possibility of juvenile rheumatoid arthritis. Is that
16 one of the bases for the referral?

17 A Yes, sir.

18 Q So it's conceivable that on intake they were
19 not asking questions related to the entire history of
20 this child's communication and language histories?

21 A I was impressed that he actually took a
22 history of development, and I think at that time it
23 would be an opportunity that if there was a concern
24 about the loss of skills, it would have been
25 articulated.

668B

WIZNITZER - CROSS

1 Q But again, the fact that it's not in there

WIZNITZER - CROSS

1 you can't say here right now one way or the other
2 whether it happened or not?

3 A The fact that it's not in there basically
4 just says it's not in there. That's all.

5 Q Okay. And then with the referral to early
6 intervention, there is the other referral to language,
7 the lack of language, language delay, that also was
8 post-MMR correct?

9 A Yes, sir.

10 Q And very obviously Dr. Bradstreet's records
11 and the mom's notes that are contained in those
12 records, that will all be after the MMR.

13 A Yes, but let me just say one thing, sir.
14 Yes, it was after the MMR.

15 Q Now, you talked about briefly the speech
16 therapy that he had with a professional language
17 therapist, and we've heard her testify. Your
18 testimony was that he showed an improvement in the
19 language during the course of and by the conclusion of
20 his speech therapy. Is that a fair statement of what
21 your testimony was?

22 A Yes, sir.

23 Q Now, this improvement -- in the course of
24 this improvement also saw the implementation of a
25 special diet, the GFCF diet, gluten-free casein-free

WIZNITZER - CROSS

1 diet, that's correct, isn't it?

2 A Yes, sir.

3 Q That interval in which his language improved
4 posttreatment included the IVIG administration by Dr.
5 Bradstreet, correct?

6 A Let me make sure I have your question
7 correct. You're saying that during the time period
8 that we were already seeing the improvement in the
9 language, during that time period, that was the time
10 period from July of 1999 onward when the speech
11 therapy was actually stopped, during that time period
12 IVIG was started in March of 2000, the answer is yes.

13 A And there was a course of IVIG treatment
14 that was given simultaneous with a significant period
15 of his speech therapy, correct?

16 Q There was a course of -- yes, yes, with a
17 significant time period during which he was undergoing
18 speech therapy, yes, sir.

19 Q There was also a program of nutritional
20 supplements that Dr. Bradstreet was recommending to
21 the family that Colten was using, that was ongoing
22 during the time he was undergoing speech therapy and
23 showing an improvement, correct?

24 A Yes.

25 Q And the improvement also followed after the

WIZNITZER - CROSS

1 administration of Secretin by Dr. Bradstreet?

2 A The improvement was temporally associated
3 with the giving of Secretin, yes, it was.

4 Q And I should make clear, were you here for
5 the testimony of the speech therapist?

6 A Yes.

7 Q Okay. You also recall that the speech
8 therapist reported that Colten's progress was very
9 unusual and quite striking. Do you recall that?

10 A I don't remember those exact words. But if
11 you represent them to me that way, I will believe
12 that.

13 Q And I'll be careful, I won't say those were
14 necessarily her exact words, but do you recall that
15 the tone of her testimony was that Colten made unusual
16 and fairly dramatic progress during the time that she
17 was taking care of him? Excuse me. Not taking care
18 of him, but working with him.

19 A May I change the wording? That she was
20 impressed by the amount of improvement that he made.
21 Is that a better way of saying it?

22 Q It says the same thing, but I'm fine with
23 that.

24 A And especially when she represented to us
25 with a small number of individuals with autism to whom

WIZNITZER - CROSS

1 she was actually providing services at that time, it
2 would not surprise me that she would make that kind of
3 a statement.

4 Q In your expert report, sort of jumping back
5 and forth, but I'll try to track this as closely as I
6 can, on page 2 further down there is a paragraph right
7 above the section that's entitled "Measles as a
8 Cause," et cetera.

9 A This is again the 2007?

10 Q Yes, just so you know, Doctor, if it makes
11 it easier to move among the documents you've got on
12 the stand, the only report I'll be referring to is the
13 2007.

14 A Thank you, sir. Yes.

15 THE COURT: So that's Respondent's Exhibit
16 Y?

17 MR. POWERS: Yes. Thank you, Special
18 Master, and it's page 2 of Respondent's Exhibit Y that
19 I will refer to.

20 BY MR. POWERS:

21 Q In that paragraph, it lists a number of
22 treatments that you've identified that Dr. Bradstreet
23 administered to Colten Snyder. Do you see the
24 paragraph that I'm referring to?

25 A One more time tell me which paragraph.

673A

WIZNITZER - CROSS

1 Q It is one, two, three, full paragraph number
2 four

3 A Oh, I see. Right above measles.

4 Q Yes, right above.

5 A I misunderstood you. I thought you meant
6 right below measles. Yes, I see it, sir.

7 Q Okay. Now, you list the treatments and then
8 describe that they have not been shown to successfully
9 treat the central nervous system manifestation of
10 measles virus persistence on and on. I'm not going to
11 read the whole thing. I get in trouble with the
12 Special Master if I start reading entire sections of a
13 report. But I want to focus on that issue for just a
14 moment. I want to ask a question that rephrases that
15 a little bit.

16 Have any of these treatments been shown to
17 successfully improve the symptoms of children with
18 autism spectrum disorder?

19 A Which symptoms?

20 Q A: Are you talking I'll keep it broad and
21 let you talk about the core symptoms or just the
22 behavior.

23 Q What distinction is there between core and
24 behavior symptoms then?

25 A I'm glad you asked that. I will now answer

673B

WIZNITZER - CROSS

1 your question, and it's part of your answer seriously.

WIZNITZER - CROSS

1 It's part of your answer, and let me say it this way
2 by proffering the concept which is not unique and
3 given; that children with autism spectrum disorder are
4 allowed to have other problems. I think everyone
5 would agree. They are allowed to get colds not, it's
6 caused by the autism. They are allowed to have
7 allergies that are not caused by the autism. They are
8 allowed to have food intolerances that are not related
9 to autism, and we can keep going on and on. They are
10 allowed to break their arm and it's not necessarily
11 due to the autism, and I think we had that situation
12 in this case also later on.

13 Getting put on the gluten and casein-free
14 diet and reporting that there are improvements in
15 behavior does not mean that there is a cause/effect
16 relationship between the gluten and casein-free diet
17 and the autism. In my hundreds of patients in my
18 practice who have been on the diet, the only parents
19 who reported improvements are those in whom the
20 children appear to have a problem with milk or a
21 problem with gluten product, and it would not surprise
22 me, one in 250 to one in 500 children are gluten
23 intolerant. They have celiac disease.

24 There is a much larger percentage -- in
25 fact, I will wager that if I go through this room I

Heritage Reporting Corporation
(202) 628-4888

WIZNITZER - CROSS

1 will find a few people who are lactose intolerant.
2 I'll find a few people here who had milk allergy when
3 they were younger, and Colten Snyder clearly had
4 problems with milk that's well documented in the
5 medical records -- what struck me the medical record
6 was that mom stopped this at 18 months, gets put back
7 on milk, he deteriorates. Take him off the milk, you
8 see improvements in the behavior, better responses to
9 speech therapy and such.

10 It is not saying that the gluten and casein
11 diet is treating the autism. The gluten, a more
12 practical interpretation there is that gluten and
13 casein-free diet is treating a food
14 intolerance/allergy that was preexisting and was
15 aggravating his behavior and making him miserable, but
16 he wasn't able to articulate what was going on with
17 him. I have seen this over and over and over again.

18 Now to get more specifically to your
19 question, there is clear-cut data that Secretin
20 doesn't work. There are NIH-funded studies, the NIH
21 spends over a million dollars funding several studies,
22 double-blind placebo controlled studies that show that
23 Secretin had no impact on autism. There are other
24 articles in the literature that Secretin had no impact
25 on autism. Unpublished work we did in our medical

WIZNITZER - CROSS

1 center would basically be dated and then ask for
2 documentation from individuals who didn't know that
3 the children had gotten treatment, who were unable to
4 verify the significant improvement in autism that has
5 been claimed with Secretin.

6 But I think the most telling information for
7 Secretin was that the parent of one of the children,
8 one of the children that Dr. Horvath originally
9 reported, actually got the patent to Secretin, formed
10 a company called Repligen, did Phase 3 studies. This
11 is what the FDA mandates you are going to do before
12 you bring a treatment on the market, a medical
13 treatment on the market, and these Phase 3 studies
14 failed to show any improvement in the autism in the
15 population, and I haven't heard a peep from that area
16 since then... --

17 Q Certainly in that study it wasn't that every
18 single subject in the study showed no improvement.

19 A Oh, no.

20 Q So I just want to make clear what you're
21 saying. You're saying that in the studies that have
22 dealt with Secretin, those studies have not found that
23 what is -- I mean, because some of these studies some
24 of the kids did show improvements.

25 A But the question there is, sir, again going

676B

WIZNITZER - CROSS

1 back to why did they show improvement. They may have

WIZNITZER - CROSS

1 some underlying problem. There is two possible
2 reasons. One is it could be a placebo effect. If you
3 look at the Levy's work in Philadelphia, she actually
4 documented the placebo effect that Secretin engendered
5 in the population because she went back and
6 interviewed parents about this later on.

7 We also saw a placebo effect in the work
8 that we did. Parents want to see improvement, or the
9 child was showing improvements from the natural
10 history of the disorder -- we're talking about the
11 autism -- that were proscribed a treatment that was
12 done temporally at the same time.

13 Now, I'm not talking at all about Secretin
14 doing something for your bowel, or if you have some
15 diarrhea illness and Secretin. I'm talking about
16 treating actually the autism itself.

17 Q Which then brings me back to this definition
18 of core symptoms. So the core symptoms of autism
19 would be those symptoms that give rise to a diagnostic
20 conclusion across one of those three domains?

21 A Yes, sir.

22 Q So it would be your testimony that none of
23 the treatments that are elicited here that you
24 describe have any effect on the core symptoms?

25 A None, and if I also may state, for chelation

WIZNITZER - CROSS

1 therapy there is no data one way or the other to state
2 whether it does or doesn't do anything, and number
3 two, there is not good biologic model to support that
4 the issue of heavy metal, "poisoning" or elevated
5 heavy metals in the blood have a causal relationship
6 to autism.

7 When it comes to the issue of IVIG, there is
8 no good data to support its use. There is just no
9 good data. The studies that have been done have
10 significant flaws within them from a study design
11 standpoint that is very difficult to take that
12 information and extrapolate it, and say see, it does
13 have an effect on the core features of autism, which
14 is why this canadlan group that Dr. Zweiman mentioned
15 earlier basically came to the conclusion that they
16 made the recommendation that it seems to have no
17 effect.

18 The American Academy of Pediatrics basically
19 has stated that there is no data to state whether it
20 does or doesn't work because the work that's been
21 done, the research work that's been published is
22 inadequate to support the conclusion that it does
23 work.

24 Q So in some of these cases there is research
25 data that's been published and you're saying that you

678B

WIZNITZER - CROSS

1 don't think that it establishes efficacy.

WIZNITZER - CROSS

1 A I'm not the only one who says it.

2 Q I understand. I understand.

3 A There are large groups of people who say
4 that.

5 Q I understand.

6 A I'm just basically quoting what those
7 individuals say, and again it gets down to the bottom
8 line. When you do these kinds of treatments, and I
9 think a very telling example of this is the issue of
10 nutritional supplements. There was a case report in
11 the New England Journal of Medicine.

12 Q And is this a report that's in the record?

13 A No, no, no. I'm just saying this as an
14 anecdote unless you don't want me to say it.

15 Q Yes. Let's stick to the questions that I'm
16 asking. I mean, you sort of had the opportunity to
17 wind up and go forth on direct. I just want to focus
18 on some questions that I want to ask you that are
19 specific.

20 A Okay.

21 Q On page 3 of your expert report, I'm sorry,
22 Respondent's Exhibit Y, if you look at the paragraphs
23 starting at No. 2, early in that paragraph you say
24 that Colten Snyder did not show any evidence of
25 inflammation, including any neuroimaging.

WIZNITZER - CROSS

1 Do you see the line that I'm talking about?

2 A Yes.

3 Q Now, Colten Snyder did have an MRI done in
4 2006. Do you recall seeing that in the medical
5 records?

6 A Yes, sir.

7 Q And there is nothing in the medical records
8 of any neuroimaging that was done on Colten Snyder
9 before then, correct?

10 A Correct.

11 Q So the fact that there is no evidence of
12 inflammation on neuroimaging, there is no imaging to
13 rely on. So whether there was evidence there or not,
14 we just don't know because there is no imaging done.

15 A Contemporaneous. You're saying at what
16 time? 1999?

17 Q I'm say anytime before January 2006.

18 A There is no imaging, that's right.

19 Q Skipping pretty much further ahead in your
20 report on page 4, there is a partial paragraph at the
21 top of the page. It talks about prenatal viral
22 exposure as a potential cause of ASD, but there is
23 poor support for postnatal causation. I just wanted
24 to make clear that in that sense you're talking about
25 specifically postnatal causation on viral exposure?

WIZNITZER - CROSS

1 A Yes.

2 Q It's not a more general statement about
3 other postnatal exposure?

4 A Right.

5 Q Okay.

6 MR. POWERS: Excuse me. Special Master?

7 THE COURT: Yes.

8 MR. POWERS: I still have a fair number of
9 questions to go, and I don't know if we necessarily
10 need to take a lunch break right now, but can we
11 perhaps take a 10-minute break.

12 THE COURT: If we're going to take a break
13 why don't we take the lunch break. It's now
14 afternoon. If that doesn't interfere with --

15 MR. POWERS: I have at least probably a good
16 30 minutes.

17 THE COURT: We're on point to have three
18 witnesses today. Do you anticipate that we're going
19 to have problems getting your third witness in if we
20 recess from now until about 10 after one?

21 MR. JOHNSON: I will answer that question
22 no, but it's conditioned upon the fact that Mr.
23 Matanoski is going to be doing the questioning, and
24 he's not here, but I believe that that should not be a
25 problem.

WIZNITZER - CROSS

1 THE COURT: Okay. Why don't we do the lunch
2 break now rather than take another rest break.

3 MR. POWERS: I appreciate that, Special
4 Master.

5 THE COURT: Okay.

6 MR. POWERS: So you're saying 1:10?

7 THE COURT: One-ten.

8 (Whereupon, at 12:10 p.m., the hearing in
9 the above-entitled matter was recessed, to reconvene
10 at 1:10 p.m. this same day, Wednesday, November 7,
11 2007.)

12 //

13 //

14 //

15 //

16 //

17 //

18 //

19 //

20 //

21 //

22 //

23 //

24 //

25 //

1 A F T E R N O O N S E S S I O N

2 (1:15 p.m.)

3 THE COURT: We are back on the record in the
4 Snyder case, and you may resume your cross-
5 examination.

6 MR. POWERS: Thank you, Special Master.

7 Whereupon,

8 MAX WIZNITZER

9 having been previously duly sworn, was
10 recalled as a witness and was examined and testified
11 further as follows:

12 CROSS-EXAMINATION (Resumes)

13 BY MR. POWERS:

14 Q Welcome back, Dr. Wiznitzer. We spent a
15 good chunk of the morning, obviously, asking a number
16 of questions. I'm going to pick up with some more
17 questions.

18 At the outset though I did want to go back
19 to an issue that you had talked about before and that
20 was the issue of language delays and communication
21 delays that you believe were present in Colten Snyder
22 before he received the MMR. Do you recall that line
23 of questioning and discussion?

24 A Yes, sir.

25 Q Do you recall a medical record that was

684A

WIZNITZER - CROSS (CONT'D)

1 created by Dr. Sahai where he actually received the
2 MMR, and this is Petitioners' Exhibit 8,115.

3 A 8?

4 Q Yes. It's page 115. I think there are a
5 lot of pages in that exhibit, but it's page 115.

6 A Give me a second. Okay, what page, 115?

7 Q Right.

8 A I have it.

9 Q Okay. And under "objective" you do see that
10 Dr. Sahai noted that there were no signs of any
11 receptive language disorders, correct?

12 A Yes, sir.

13 Q So that is a medical chart note reflecting
14 at some point in Colten's development the lack of
15 disorders and at least part of the domain of
16 communications, correct?

17 A That is in the office because that is the
18 objective portion. In the office, that was the
19 observation of Dr. Sahai, yes, exactly.

20 Q And then I hadn't thought to ask this
21 before, but up above there the head circumference is
22 the 45th percentile. I know that there were
23 discussions in the Cedillo case about head
24 circumference and accelerated head circumference
25 growth.

685A

WIZNITZER - CROSS (CONT'D)

1 I didn't see anything like that in your
2 report in this case. It just reminded me to ask. You
3 don't see any dysmorphologies with Colten Snyder based
4 on a review of his records suggestive of an
5 association with ASD? I saw nothing in your report
6 indicating that.

7 A I wrote nothing about that, but children
8 dysmorphology is not that certain. Dysmorphology is
9 appearance.

10 Q Yes. I should have mentioned that in two
11 separate questions. There is nothing about the head
12 circumference to suggest the rapid growth of the head?

13 A There is no information available telling us
14 that there was any kind of acceleration in this case.

15 Q Okay. And since I didn't see that in the
16 report, we wanted to confirm that that's not part of
17 your assessment of this case, and I saw in the report
18 that there were no notes of any dysmorphology. So you
19 haven't noticed any dysmorphology that would be a
20 basis of your opinions?

21 A I found no comment about that.

22 Q I want to now talk again related to this
23 language issue. In your clinical practice, and this
24 is something we talked about in Cedillo so I don't
25 want to go through it at length, but if you recall, we

WIZNITZER - CROSS (CONT'D)

1 discussed a procedure that you use to examine and
2 diagnose children in your practice. Do you remember
3 that discussion?

4 A Yes, sir.

5 Q Specifically, I would just like to ask you,
6 focusing on your diagnostic method in your practice
7 for giving an exam to children, what sort of tests do
8 you do to make a decision about communication skills
9 and language development?

10 A Test scoring or just inquiries and
11 assessments?

12 Q I was using the tests, there were test
13 variable, inquiries and assessments, questionnaires,
14 whatever it might be.

15 A I take languages -- and I think you made a
16 good observation here that on April 23, 1998, there is
17 no sign of any receptive language disorders. Mine
18 would actually be documentation in the subjectively
19 historical portion -- what the child's language
20 function is in terms of how many words this child is
21 using, what kind of words they are, and what the use
22 is, that's number one.

23 Number two is questions about comprehension,
24 level of comprehension, sophistication of
25 comprehension and used specifically based on ages.

WIZNITZER - CROSS (CONT'D)

1 Number three is other associated or
2 pragmatic skills such as waving, clapping, pointing if
3 you're at the appropriate age, things of that nature.

4 Q And if I could, so those would be things
5 that wouldn't necessarily be words, but there would be
6 other key indications skills that would be age
7 appropriate?

8 A And that's why I use the word
9 "communication" versus "language", because
10 communication is more than the spoken word.

11 Q Right.

12 A Obviously, with that we also mean things
13 like eye contact, facial expression, body language,
14 things of this nature.

15 In the office setting, I also make
16 observations, what the child does, how the child
17 responds, is the child showing attention and
18 interactive abilities.

19 Then if I have concerns, I basically set up
20 a more formal evaluation. I usually refer them to our
21 early -- because we're talking about preschooler, I
22 refer them to the early intervention team simply
23 because it's a free assessment and they give me the
24 information that I want. If they are a little bit
25 older, I get them assessed in the school system. If

WIZNITZER - CROSS (CONT'D)

1 there are more issues, I get them sent to one of our
2 speech and language people or to one of our
3 psychologists.

4 Q And in this case obviously with Colten
5 Snyder none of that happened. You don't do any of
6 that type of workup of Colten Snyder for the obvious
7 reason that you weren't seeing him as a patient,
8 correct?

9 A You're talking about at that time, in 1998?
10 I did not do that in 1998.

11 Q And your review of his case and your
12 assessment of his development is based entirely on the
13 video that you saw, the medical records that you
14 reviewed in their entirety. Anything else that you're
15 basing that on?

16 A No, I'm basing it -- and the testimony that
17 I heard in the court.

18 Q That would include Dr. Bradstreet, Colten's
19 mother, Colten's caregiver and the speech therapist?

20 A And specifically when you mention Dr.
21 Bradstreet because I know that he stated during his
22 testimony how specific he is about making sure he gets
23 good and accurate environmental information, and
24 that's what I was pointing out in the mother's
25 questionnaire that the mother filled out in the

WIZNITZER - CROSS (CONT'D)

1 office. There are no comments and quotes on the side
2 in the margin that Dr. Bradstreet -- that he's
3 questioning the language history that she provided to
4 them.

5 Q Actually, I was just looking to make sure
6 that we understand exactly what you're relying on to
7 provide your opinion, and it sounds like we pretty
8 comprehensively covered that.

9 A Yes, sir.

10 Q Okay. I now want to turn to this issue that
11 you discuss at some length in your report, your
12 critique so to speak of Dr. Kinsbourne's mechanism,
13 the excitatory and inhibitory model that Dr.
14 Kinsbourne describes at length in his report.

15 I see your summary of it and you obviously
16 disagree with the conclusion, but I want to go to Dr.
17 Kinsbourne's report, and find out from you what
18 elements of that report, specifically what mechanism
19 that you specifically agree with or disagree with. So
20 it might help here if you have -- do you have Dr.
21 Kinsbourne's report?

22 A I'm pulling it out as we speak.

23 Q Let me know when you have that out and I
24 will try to --

25 THE COURT: And you're referring to

WIZNITZER - CROSS (CONT'D)

1 Petitioners' Exhibit 29.

2 THE WITNESS: Yes, ma'am. That is the one
3 dated August 24, 2007.

4 THE COURT: Okay. Petitioners' Exhibit 29,
5 and then if you can just let us know what page you're
6 on.

7 THE WITNESS: Yes, Exhibit 29.

8 BY MR. POWERS:

9 Q Exhibit 29, and these would be pages 18 and
10 19.

11 A In his report?

12 Q In his report.

13 A Okay. Let me then get to that, sir.

14 MR. POWERS: And as you turn to that,
15 Special Master, I want to make it clear here. There
16 will be times I'm reading from this and it's not an
17 attempt to read it from the record but rather than
18 trying to --

19 THE COURT: Paraphrase.

20 MR. POWERS: -- paraphrase it --

21 THE COURT: This is a perfectly appropriate
22 time to read.

23 MR. POWERS: I'm just nervous about that
24 based on --

25 (Laughter.)

WIZNITZER - CROSS (CONT'D)

1 THE COURT: I'm sorry, I had Dr. Oldstone
2 read to me a lot, and I could have recited it from
3 memory at one point. If it's on the screen and we're
4 all able to see it, that's one thing. But if you are
5 orienting a witness to specific language, that's
6 another.

7 BY MR. POWERS:

8 Q All right, Dr. Wiznitzer, are you on page
9 18?

10 A Yes, sir.

11 Q Okay. If you go to the very, very bottom of
12 the page there is a fragment of a paragraph, and the
13 first word in that paragraph is "Glutamate".

14 So what I want to ask you is do you agree
15 with the statement that Glutamate is the predominant
16 excitatory neurotransmitter in the brain and the chief
17 inhibitory neurotransmitter is GABA?

18 A Yes.

19 Q Dr. Kinsbourne then goes on to say that the
20 balance of the levels between these two
21 neurotransmitters is a main factor determining the
22 level of excitation/inhibition balance in the brain.

23 Would you agree with that statement?

24 A Yes.

25 Q He then goes on to say that the excess

WIZNITZER - CROSS (CONT'D)

1 glutamate is harmful, the levels are normally tightly
2 controlled in the synapse.

3 Is that accurate?

4 A Yes.

5 Q He then goes on to say further that
6 excessive glutamate flow, on the other hand, depressed
7 GABA flow can lead to an overexcitation and at the
8 local level cytotoxic that can cause brain cells,
9 including neurons, to die.

10 Is that an accurate statement?

11 A No.

12 Q What about that statement do you believe is
13 inaccurate?

14 A Depressed GABA flow is not really what leads
15 to cell death. It's excessive excitation of
16 glutamine.

17 Q So would it be your belief that GABA flow --
18 let's say that glutamate flow remained the same and
19 GABA flow went down. Would that lead to
20 overexcitation?

21 A No. Not overexcitation of the type that
22 would be cytotoxic.

23 Q Okay. But would you agree with the part of
24 the statement that says "excessive glutamate flow
25 would lead to overexcitation --

WIZNITZER - CROSS (CONT'D)

1 A I don't know what flow means, but we'll say
2 excessive glutamate in the postadaptive region. In
3 other words, too much glutamate that is overexciting
4 the cell, the neuron is specifically what we're
5 talking about here, will cause cell death, yes.

6 Q He then goes on to say that pyramidal cells
7 are particular vulnerable targets for cytotoxic damage
8 due to glutamate.

9 Would you agree with that?

10 A I don't know of any data telling me that
11 pyramidal cells are more or less vulnerable than any
12 other neuron.

13 Q Aside from the presence of any data that you
14 may or may not know about, does that sound like a
15 reasonable statement to make medically?

16 A No, I would just say that the vulnerable
17 targets, and any neuron exposed to excessive amounts
18 of glutamate, in fact, especially when they have the
19 problem with glutamate receptors, can have a sudden
20 toxic death, yes.

21 Q He then goes on to say that the depletion in
22 the number for purkinje cells in the cerebellum and
23 frontal cortex that has been demonstrated in the brain
24 of individuals with autism may represent the cytotoxic
25 effect.

694A

WIZNITZER - CROSS (CONT'D)

1 Do you agree with that statement?

2 A No.

3 Q What about that statement do you disagree
4 with?

5 A I think there is no data to support his
6 conclusion that it represents a cytotoxic effect.

7 Q Do you disagree --

8 A If I can just finish. The purkinje cells
9 basically hang on the cerebellum. The frontal cortex
10 is not a typical neighborhood for it.

11 Q Do you agree with that portion of the
12 statement that says, "depletion in the number of
13 purkinje cells in the cerebellum and frontal cortex
14 have been demonstrated in the brains of autism"?

15 A No. A decrease in the number of purkinje
16 cells in the cerebellum hasn't been demonstrated in
17 the brains of individuals of autism, yes.

18 Q So you would agree with that part but you do
19 not think it represents the cytotoxin?

20 A I think that that is conjecture and
21 speculation.

22 Q Is there any evidence that you're aware of
23 that would argue that it does not represent a
24 cytotoxic effect?

25 A There is no evidence one way or the other.

WIZNITZER - CROSS (CONT'D)

1 Q Okay.

2 A The evidence that we have about this kind of
3 cytotoxic effect is that there is no evidence of
4 scarring in the region, suggesting that more likely
5 than not, the phenomenon may occur prior to birth
6 before the scarring system is in place in the brain.

7 Q And the work that you're describing on
8 there, have you filed that in Cedillo or in this
9 matter?

10 A It's listed in et al. Any pathology that's
11 written about this, this is common knowledge to anyone
12 who works with autism. Of course, I'm blanking on the
13 man's name from UCLA who wrote a paper that actually
14 describes the absence of cerebellum purkinje cells in
15 the autopsies of individuals with autism.

16 Q And the reason that you don't think it
17 represents the cytotoxic effect is the lack of
18 scarring, is that correct?

19 A No, there is no scarring, and number two, we
20 just don't know whether or not they are there. It's a
21 presumption that it's due to cytotoxicity.

22 Q Dr. Kinsbourne continues that the same may
23 apply to the loss of synaptic connections and
24 diminished dendritic growth in the hippocampus in
25 autism. Do you agree with that statement?

WIZNITZER - CROSS (CONT'D)

1 A No.

2 Q What about that statement don't you agree
3 with?

4 A Well, if you get enough cytotoxic effect,
5 you're going to kill the cell. It's not going to
6 change the number of synaptic connections. It's not
7 going to decrease the amount of dendritic growth.
8 You're going to kill the cell.

9 Q And that actually then leads into the next
10 statement I want you to take a look at where Dr.
11 Kinsbourne says that "Assuming a lower level of
12 imbalance, few, if any, cells may actually die, but
13 overexcitation will have predictable effects on the
14 functioning of the brain." Do you agree or disagree
15 with that statement?

16 A I disagree only because I think that it's
17 speculative with no data to support it.

18 Q Are you aware of any data that would address
19 that issue that contradicts it?

20 A Let me just say it this way. In science,
21 it's not that I have to contradict someone else's
22 hypothesis or speculation. They need to prove it to
23 me.

24 Q I understand that, but all I'm asking is are
25 you aware of any studies that have looked at this

WIZNITZER - CROSS (CONT'D)

1 issue and reached a negative conclusion?

2 A No. As far as I have looked at this issue,
3 let me explain that the idea that somehow you can and
4 a, balance is conjecture; that there is going to be
5 excessive glutamate in the synaptic cleft just enough
6 to overexcite the cell but not enough to kill it
7 doesn't make sense.

8 If you really have a fine-tuned mechanism,
9 which is what he says has been lost, that control
10 mechanism that has been lost, you're going to get too
11 much glutamate building up, and there is going to be
12 cell death period. It's not going to stay at a
13 certain level. It's going to get worse and worse and
14 worse because there are no cleanup components there,
15 because he stated that it's missing.

16 And we know that the glutamate transporters
17 that are in the neurons are insufficient to pick up
18 the slack because the predominant glutamate recovery
19 system that is in the brain is in the astrocyte, not
20 in the neuron. That's the one that keeps the area
21 safe. His statement that the astrocytes are no longer
22 doing what they are doing would mean that sooner or
23 later poison is going to build up, it's going to kill
24 the cell.

25 Q And we'll get to the astrocytes in a moment,

WIZNITZER - CROSS (CONT'D)

1 but with this particular point then, you just don't
2 think that cells would survive this overexcitation
3 process?

4 A Well, my impression here is that the chronic
5 overexcitation, you're not talking about an acute
6 stressor. If you just have an acute stressor, the
7 answer is yes, cells do survive.

8 Q Okay.

9 A An acute stressor. But his explanation that
10 I heard was not that of an acute stressor but of a
11 chronic process. Please correct me if I'm wrong.

12 Q I'm taking the answers based on your
13 understanding.

14 Now we move on to talk about "The obvious
15 effect is to render the brain more apt to generate
16 epileptic discharges." Do you agree with that
17 statement?

18 A Yes. Excessive glutamate will do that.

19 Q And you would also agree the epilepsy as
20 well as subclinical disturbances of the EEG are common
21 in autism spectrum disorders?

22 A Yes, but there is a caveat, and it's
23 important to bring the caveat in that he's
24 representing to the Court that this is due to some
25 glutamate imbalance. If this was due to glutamate

699A

WIZNITZER - CROSS (CONT'D)

1 imbalance where we do have some unfortunate, what's
2 the word, experiment of nature that occurred in
3 children where this happens, it doesn't take years for
4 the seizures to happen. They happen right away.

5 And in autism, the onset of seizures is not
6 at age one year, it's not at age two years, it's not
7 at age three years. In the classic, we'll call it the
8 primary autism population that we're talking about,
9 the onset is at adolescence and young adulthood. That
10 is far too long a time period for this overexcitation
11 to occur and no seizures to be present.

12 Secondly and another important point in that
13 matter is that you have to ask yourself a question who
14 are the individuals that are most susceptible to
15 seizures. In other words, if I look at the entire ASD
16 population, who are the individuals who are most
17 likely to develop seizures in adolescence and young
18 adulthood, and the individuals who are most apt to do
19 so are the ones with mental retardation.

20 In other words, the lower the IQ, the more
21 likely you are to have seizures, suggesting it's not a
22 glutamate phenomenon at all, but it's a wiring issue
23 that's directly related to the intellectual impairment
24 associated with mental retardation.

25 If we look at those individuals with normal

WIZNITZER - CROSS (CONT'D)

1 intelligence, their risk of seizures while slightly
2 above the general population is not that high.
3 Therefore, there is a good alternate explanation.
4 Actually not even an alternate. There are good
5 explanations why seizures occur, that we don't have to
6 posit a speculative hypothetical model such as Dr.
7 Kinsbourne has provided.

8 Q But given the caveat, you would agree that
9 epilepsy and subclinical disturbances are present in
10 ASD children?

11 A But the subclinical disturbances, and Dr.
12 Kinsbourne comments on subclinical disturbances, the
13 majority of the subclinical disturbances on the EEG
14 are not epileptical discharges. It's really back on -
15 - which has nothing to do with glutamate. In fact, if
16 you are going to argue that it's because that part of
17 the brain is underexcited and that's why it's behaving
18 in that manner, it's only on older individuals who
19 have the epileptical discharges.

20 Q Now moving along, you started talking about
21 the astrocytes, and we're going to discuss it right
22 here. Obviously Dr. Kinsbourne does. He says that
23 "One of the functions of astrocytes is the regulation
24 of levels of glutamate at the synapse." Would you
25 agree with that statement?

701A

WIZNITZER - CROSS (CONT'D)

1 A Yes.

2 Q Also that glutamate transporters are
3 expressed on the astrocytes. Is that something you
4 agree with?

5 A Yes.

6 Q And further the astrocytes form a sheath
7 around the glutamatergic synapse, and the glutamate
8 transporter intercepts and mops up spare glutamate.

9 A Well, I wouldn't normally use the word "mop
10 up".

11 Q I knew that you wouldn't, but do you agree
12 with just the statement?

13 A Let's use the word "recycle". The body is
14 the original glutamate recycler. It recycles because
15 it does not like to waste it.

16 Q So if we substitute the word "recycle" for
17 "mop up", you would agree otherwise with that
18 statement?

19 A Yes.

20 Q And that by doing so, it prevents it from
21 spreading to other synapses, that is, the glutamate
22 spreading to synapses?

23 A Yes.

24 Q And this is a way that the astrocyte --

25 A Let me say it's not only that it prevents it

WIZNITZER - CROSS (CONT'D)

1 from spreading to other synapses, it prevents the
2 excessive buildup of glutamate at that synapse that
3 could lead to a cytotoxic death.

4 Q Okay. So it both protects that local
5 synapse but also prevents the spread to other areas?

6 A And certainly it prevents waste.

7 Q Because it recycles?

8 A Yes, sir.

9 Q Now, "When the astrocytes malfunction or
10 die, glutamate flow may become excessive, shifting the
11 balance in the direction of overexcitation as well as
12 suppressing GABA inhibition." Do you agree with that
13 statement?

14 A No.

15 Q What about that statement do you disagree
16 with?

17 A GABA is actually also dependent to some
18 degree on astrocytes. If you don't have the astrocyte
19 that's present, the formation of GABA, it may actually
20 be too much that's there, too little that's there.
21 It's making an assumption. GABA actually is only two
22 steps down from glutamate, or actually GABA is made
23 from glutamate. And therefore if the cell is making
24 glutamate, it's also making GABA depending on the
25 enzyme, and therefore, I think that statement is very

WIZNITZER - CROSS (CONT'D)

1 simplistic and somewhat an inaccurate representation
2 of what actually happened in that local --

3 Q But it is one of it sounds like several
4 outcomes that could be happening at that local --

5 A No, it's not. That's why I said it's not an
6 accurate representation. It's much more complicated
7 than that.

8 Q And the part that's inaccurate is the idea
9 that as astrocytes die, it suppresses GABA inhibition?

10 A Yes. And also the astrocyte dies, but the
11 problem with astrocyte death is that the glutamate
12 doesn't stick around in the area, because as Dr.
13 Kinsbourne identified, he says that one of the jobs of
14 the astrocyte a few lines up is it blocks the extra
15 synapse and spreads to other synapses. The glutamate
16 may just drift away. It's not necessarily going to
17 hang on just in that neighborhood, and if it drifts
18 away, there is no glutamate.

19 And the problem is that if the astrocyte
20 dies off, there is no source of the precursor for
21 glutamate, for the neuron to do its job, so that whole
22 system isn't going to work right period. It's not
23 going to be a low level of hyperexcitation period of
24 time. Again, that's too simplistic thinking. There
25 are so many more things that may happen. I don't

WIZNITZER - CROSS (CONT'D)

1 believe that this hypothetical model would ever occur
2 in reality because of all the other things that would
3 ensue.

4 Q So that's why we'll talk about your
5 conclusion that we will get to. I really want to walk
6 through the specifics here. Now proinflammatory
7 cytokines attenuate the astrocytic clearance of the
8 extracellular or cellular glutamate, is that correct?

9 A Presumptively, I don't know -- functions, so
10 I assume that this is one of the functions that might
11 be modulated.

12 Q And then he goes on to say that astrocytes
13 can release glutamate themselves. That's correct?

14 A Theoretically yes, they can release
15 glutamate themselves in a neuromodulatory mechanism.

16 Q And then the interaction of the aggravated
17 microglia can substantially amplify glutamate release
18 from astrocytes. Do you agree with that?

19 A No.

20 Q Now that is something from published
21 literature, so you disagree with the folks who wrote
22 the paper there cited?

23 A Number one, I think it's taken out of
24 context from the paper. If you have the paper, I'm
25 happy to read it because I haven't looked at this

WIZNITZER - CROSS (CONT'D)

1 paper for quite awhile, but I'm happy to read it and
2 tell you the context in which that statement was
3 actually made.

4 Q The questions I'm asking are based on your
5 knowledge stated here today to the best of your
6 recollection, so if you can't recall the paper, that's
7 fine.

8 A I think that that is not an accurate
9 representation of what the paper is actually telling
10 us.

11 Q And then he goes on to say that "Because of
12 glutamate excess, adjacent circuitry becomes activated
13 in a manner that escalates over time." Do you agree
14 or disagree with that statement?

15 A If there truly is glutamate excess that's
16 present, yes, and as I stated before, it will escalate
17 over time to cell death. I agree with that.

18 Q Okay. And then the final part of his, and
19 it's a quote from a paper, and I think that the paper
20 speaks for itself and both sides are debating the
21 significance or the conclusions one can draw, so I'm
22 not going to ask you whether that is correct because
23 again the paper is the paper. So we've walked through
24 step by step your assessment and your critique, so to
25 speak, of Dr. Kinsbourne's model.

WIZNITZER - CROSS (CONT'D)

1 Stepping back away from this glutamate-based
2 model in a way, do you believe that there is any
3 excitatory inhibitory process that's occurring in the
4 brain?

5 A Well, everyone's brain has an excitatory
6 inhibitory process. It's always present.

7 Q And will you agree that it would be a
8 problem if, however it's caused -- we're not trying to
9 talk about vaccines or any particular cause -- that if
10 you have overexcitation of the brain, it potentially
11 can present with neurological symptoms, things that
12 would be clinically significant?

13 A Oh, yes. Neurological symptoms or signs to
14 be exact. Symptoms may be subjective, dealing with
15 the complaint that the person has. Sign is the
16 physical manifestations that they show.

17 Q And whether symptom or sign, these would be
18 things that would be clinically significant in some
19 cases?

20 A Yes.

21 Q Now, given an excitatory process in the
22 brain, overexcitation and a disequilibrium if you will
23 between excitation and inhibition, if you assume that
24 that has taken place, again not in reference to the
25 cause because obviously we are not going to spend the

WIZNITZER - CROSS (CONT'D)

1 afternoon coming to an agreement of what might be
2 causing these things, but given that state, would it
3 be reasonable to think that a child in that state
4 would avoid very stimulating circumstances in his or
5 her life?

6 A No, I don't think that you can come to that
7 conclusion.

8 Q Why is that?

9 A Well, you already mentioned to me that you
10 have symptoms or signs, but there wasn't basically
11 seizures. You basically have seizures. And we know
12 that that happens, as I said, from unfortunate
13 experiments of nature, that that's what happens when
14 the GABA is out of whack.

15 And to my recollection, for children who
16 basically get excessively distressed by the
17 environment, this is not a model that to my knowledge
18 has been proffered as the reason why the environment
19 stresses them, and I think a good example of that is
20 anxiety disorders, especially, for instance, you're
21 asking about social contact and things of this nature,
22 which would be an avoidance behavior. That's not a
23 model that's been proffered.

24 Q The overexcitation model.

25 A The overexcitation model is not one that's

WIZNITZER - CROSS (CONT'D)

1 been proffered.

2 Q And in your experience and your familiarity
3 with the literature, the overexcitation model applies
4 primarily to seizures?

5 A To seizures. And the reason why Dr.
6 Kinsbourne used his presentation and his hypothetical
7 in this situation, the example he gave specifically
8 was starring, the mannerisms or the self-stimulated,
9 whatever terminology you wish to use for autism, and
10 he stated that these behaviors are done because of
11 overexcitation, and when they get overly excited, they
12 do it more.

13 And it made me think back to my clinical
14 practice and the complaints that parents have many
15 times where the parents will say that the kids do it
16 when they have nothing better to do, and actually if
17 the parents were being more prompt or engaged them or
18 if they are in an office and we see these kind of
19 behaviors and I engage them, they stop.

20 But there I'm stimulating them by social
21 engagement, the exact scenario that Dr. Kinsbourne
22 said should provoke the behavior, but I stopped the
23 behavior in that manner. The parents are able to stop
24 the behavior, which means that from the clinical or
25 functional standpoint, the model doesn't have any

WIZNITZER - CROSS (CONT'D)

1 legs.

2 Q And when you say that the parents can
3 interrupt to change behavior, you're familiar with the
4 presentation of symptoms of patients where children
5 are nonresponsive to either clinical or parental
6 intervention on things like self-stimulatory behavior?

7 A I mean, there are some children that have
8 symptoms that present in a way that they are
9 unresponsive and avoid contact with the person that's
10 attempting to very directive behavior.

11 The issue in autism is simply that the
12 social issue of autism is not avoidance of contact.
13 That's not a core criterion. And if you look at the
14 core criteria for the social deficit, avoidance of
15 social interaction suggests more social anxiety I
16 believe than the social behavior of a child with
17 autism. In autism, it appears that they have little
18 to no interest in social interaction.

19 But when I approach the child, the child is
20 not interested in interacting with me. In fact, when
21 parents say to me that when kids come in the room, my
22 child will move to the other end of the room, I don't
23 think of autism.

24 Q Now, in looking through your CV and looking
25 through your list of publications and the abstracts, I

WIZNITZER - CROSS (CONT'D)

1 was looking to see if any of the papers in there were
2 papers that were descriptive of causation of autism
3 spectrum disorder. Have you published papers that
4 address the potential causes of autism spectrum
5 disorder?

6 A Probably within either my book chapters or
7 one of the papers we wrote about the work that we
8 would have a comment on attention causes when we're
9 talking about diagnostic evaluation, and we also talk
10 about the differential diagnosis.

11 Q Yes. Understanding that, have you done any
12 original research investigating, so, for example,
13 postulating or hypothesizing a potential cause of
14 autism and then conducted a research project to test
15 that hypothesis?

16 A No.

17 Q Have you ever worked on teams of people that
18 have conducted research? Even if you haven't
19 published, have you participated in that work?

20 A We have in a roundabout sort of way. We
21 have studies done on preschoolers and then school-age
22 kids to differentiate the features of autism and what
23 we hope will be some of the core reasons on imaging
24 and electrophysiology from children with medical
25 retardation and children with language disorders.

711A

WIZNITZER - CROSS (CONT'D)

1 Q Was your participation in that project to
2 basically supply the subjects of the research?

3 A No. I actually ran the project, the second
4 study, the school-age study. I ran that at the
5 center. I was the local investigator that ran it. I
6 also evaluated all the children, made sure all the
7 data got submitted. The electrophysiology data, the
8 imaging data, so forth and so on was submitted to the
9 central study.

10 Q But nothing that generated a published --

11 A Oh, there were publications that came out of
12 it. It's been so long I can't remember. I just know
13 the most recent one was the one that's in my CV that
14 David Mandelbaum was the first author. It had to do
15 with sensory and other issues.

16 Q So that Mandelbaum paper went to issues of
17 causation and ASD?

18 A I don't know if it went to causation there.
19 There were other ones that explored that issue. I
20 remember I was listed as an author, and I don't know.

21 Q And then just a few more questions. I know
22 that you participated in the Cedillo case. You
23 prepared an expert report and you showed up to
24 testify. I know in this case, you've submitted two
25 expert reports and obviously are here today

WIZNITZER - CROSS (CONT'D)

1 testifying. Have you participated in any other
2 vaccine program cases involving autism aside from the
3 Cedillo matter and this matter?

4 A Yes.

5 Q What would those be?

6 A Without giving names?

7 Q Yes.

8 A I can't do that. One was actually the
9 question of the timing of onset of the autism.

10 Q How long ago was that?

11 A I think last year. And I think the issue
12 that was there was more a legal issue of whether the
13 submission for claim was too late.

14 Q The timeliness of the claim and onset of
15 injury?

16 A That's beyond me. That's a legal issue.

17 Q Which side were you appearing for?

18 A I reviewed it on behalf of the government,
19 and I know I have a few records at home of other
20 children that I was told to stay my hand because I
21 think they were put in the omnibus program, and I
22 never even generated a report.

23 Q That's what I was going to ask, have you
24 generated a report or testified. So you reviewed
25 medical records or you have medical records that you

WIZNITZER - CROSS (CONT'D)

1 would be capable of reviewing. These were at the
2 request of the government also?

3 A Yes, sir. And I would be happy to review
4 for petitioners who have ever contacted me. I'm
5 sorry. I am wrong. I got contacted by one petitioner
6 attorney about the feasibility of actually filing, and
7 they asked me to review the information, which
8 included a videotape of the child, and then I gave a
9 review.

10 Q And then finally, have you appeared in any
11 civil cases involving claims of autism outside of this
12 vaccine program?

13 A Yes. There was a claim, Doe v. I guess it
14 was McNeil or Johnson & Johnson or one of those
15 companies, that was in federal court in North
16 Carolina.

17 Q Was that the Rhogam case?

18 A That was the Rhogam case where there was a
19 claim of autism. It was a Daubert hearing. The
20 plaintiff's experts were excluded by the Judge.

21 Q But my question is your participation, did
22 you prepare an expert report in that case?

23 A Yes, I did.

24 Q Did you testify at depositions?

25 A I testified at a deposition. I must have

WIZNITZER - CROSS (CONT'D)

1 given a deposition because I know I actually testified
2 in court, but I don't remember. Whether I gave a
3 deposition, I don't remember, but I remember I
4 testified in court.

5 Q And that would have been during the Daubert
6 hearing?

7 A That would have been during the Daubert
8 hearing, yes, sir.

9 Q And then aside from the Rhogam case, any
10 other civil cases that you have been involved with
11 where the claim at issue was autism?

12 A No, not to my recollection.

13 Q And then the North Carolina case, presumably
14 you were an expert witness being paid by the drug
15 companies that were involved or their lawyers?

16 A I don't remember which. I know I was
17 contacted by the lawyers.

18 Q But the check did come?

19 A It did come, yes, sir.

20 MR. POWERS: No further questions.

21 THE COURT: One moment.

22 (Pause.)

23 THE COURT: Doctor, I don't know how much of
24 this was mentioned but, let me try. You've described
25 that in about 25 to 30 percent of kids on the autism

WIZNITZER - CROSS (CONT'D)

1 spectrum, we can identify some cause.

2 THE WITNESS: Yes.

3 THE COURT: Is that correct?

4 THE WITNESS: Yes, we have the test
5 capabilities to show cause.

6 THE COURT: So we show that the child has
7 tuberous sclerosis or we show that there is Fragile X
8 or we show Rett syndrome or we show something like
9 that.

10 THE WITNESS: Yes, ma'am.

11 THE COURT: Or we have a history of
12 congenital rubella or a history of Thalidomide use in
13 pregnancy?

14 THE WITNESS: Yes, ma'am.

15 THE COURT: And those children are all
16 classified as having the same disorder, I won't call
17 it a disease, the spectrum disorder.

18 THE WITNESS: They show similar clinical
19 features.

20 THE COURT: Okay. And that's what I was
21 working for.

22 THE WITNESS: Which really gets to the point
23 if I may be presumptuous.

24 THE COURT: Go ahead.

25 THE WITNESS: What it really is, you're not

716A

WIZNITZER - CROSS (CONT'D)

1 talking about autism, you're talking autisms. In
2 other words, there are several ways to get to the same
3 end result.

4 THE COURT: You are talking about a group of
5 behaviors, some core behaviors must exist in each case
6 in order for children to receive this diagnosis?

7 THE WITNESS: Yes, ma'am.

8 THE COURT: But there may be a wide variety
9 of behavior outside those core behaviors. In other
10 words, people may have some social avoidance. You can
11 have two children, for example, that have the same
12 core behaviors, but one might be on the -- end of it,
13 that is, mentally, intellectually functioning well,
14 and one might be -- one of the poor children with IQs
15 of 70 or below?

16 THE WITNESS: Yes. And what happens there
17 is if you actually look at those children, it doesn't
18 matter if they have Asperger's Disorder or they have
19 autistic disorder, say 50 because it's a nice, easy
20 number to use.

21 THE COURT: Okay.

22 THE WITNESS: You're going to still find the
23 same core deficits, but the manifestations are subtly
24 different because it depends on their intelligence.
25 They are both going to have issues with social

WIZNITZER - CROSS (CONT'D)

1 interaction, but I would expect a child on the lower
2 end to have greater impairment, in other words, the
3 quantity is more, not the quality, the quantity is
4 more than a child with Asperger's Disorder, who still
5 have a social deficit as described quantitatively.
6 While it's still an impairment, it's not as severe.

7 If you come to my office and see the
8 children march through, I mean, I can see 10 kids in a
9 day and you will see different levels of social
10 ability, but they are all significantly impaired in
11 the same way. They have problems with initiation and
12 maintenance of the social interaction. They have
13 problems with the use of social abilities and social
14 cues. There are not verbal aspects of language, of
15 socialization, reading peoples' actions, reading
16 faces, reading body language. It's not enough.

17 I mean, there are some people who just have
18 no interest in socialization. The other ones when you
19 approach them will interact with you, but they don't
20 maintain interaction after you break off that social
21 contact. But it's all within the spectrum of social
22 dysfunction that we know occurs within the autistic
23 disorders.

24 The reason is that just taking some -- all
25 these problems are not only severity, but they are

WIZNITZER - CROSS (CONT'D)

1 also age-dependent, because I think you had asked that
2 question does the brain continue to develop. Of
3 course, it does, and therefore, the manifestation at
4 two years is not the same manifestation that you would
5 see in say seven years, but they are still going to
6 show a qualitative impairment in socialization. Does
7 that answer?

8 THE COURT: Yes, it gets me a little closer
9 to where I am trying to go. When, for example, we
10 have a child with Rett syndrome, a girl who engages in
11 the hand-wringing that we see classically in Rett
12 syndrome, and we have a child, let's say a boy who
13 engages in some similar conduct, some stereotypic
14 behavior with his hands, it may not be the wringing,
15 it may be something else, we have two children who
16 have diagnoses on the same spectrum.

17 We have a cause for one, and I use "cause"
18 in the sense that we identify the Rett's child with a
19 genetic defect, the specific genetic defect. And then
20 we have a similar behavior by a child who does not
21 have that genetic defect. Say it's a boy and we've
22 tested him just to be on the safe side.

23 Well, from that, we know that something in
24 the brain besides simply having this misformed or
25 malformed or extra copies of the genetic defect is

WIZNITZER - CROSS (CONT'D)

1 causing the brain to develop in such a way that these
2 children display the same or very similar stereotypic
3 behaviors.

4 THE WITNESS: If I cannot use the Rett girl.

5 THE COURT: Okay.

6 THE WITNESS: Let me explain why. But girls
7 with Rett syndrome are actually social, they are
8 interactive. They are very friendly, and they all
9 come up to me and they stare at me and they want to
10 interact with me. They are just incapable because of
11 their --

12 THE COURT: Sort of the eye expressive.

13 THE WITNESS: Yes, they are very expressive
14 with their eyes. One of my patients now is in the
15 hospital and one of the reasons she is in is because
16 her behavior changed. We know she's sick, and we have
17 to figure out why.

18 And the hand-wringing in Rett syndrome
19 probably has a sensory base to it because when they
20 wring their hands, you can measure EEG discharges. If
21 you do some sensitive testing, there is some feedback
22 that goes there and we don't quite understand what is
23 it that drives that behavior, but let's just take a
24 stereotypic behavior in children. They might have
25 finger-flicking in front of the eyes.

WIZNITZER - CROSS (CONT'D)

1 I'm not presumptive enough to say because a
2 boy does this and a girl does it that it's the same
3 biologic mechanism. That boy may have a neurologic
4 deficiency that's driving that behavior, and the girl
5 may have tuberous sclerosis that's driving the
6 behavior. We're just seeing the same physical
7 manifestation for whatever reason that it occurs.

8 THE COURT: The physical manifestations we
9 see, the signs if you will of behaviors, core
10 behaviors of the autism spectrum, may have a variety
11 of causes.

12 THE WITNESS: There is a variety of
13 underpinnings, but it's probably somewhat similar
14 areas of the brain that are dysfunction for driving
15 it. I think, as Dr. Kinsbourne already stated, there
16 were these very simplistic models in the old days that
17 it's a problem with inflammation going from the brain
18 stem up to the brain, the upstream deficit, but that
19 was proven not to be true.

20 Then there was the downstream deficit and
21 that was proven that it was too simplistic. Then
22 there is the limbic system dysfunction, and that's
23 proven not to be sufficient. And probably the
24 prevailing model nowadays is the neuro network model
25 that's been known for quite awhile. I have known

WIZNITZER - CROSS (CONT'D)

1 about it for about 10 years, but now it's becoming
2 more familiar to the rest of the world.

3 What it means is that some of the nerve
4 cells won't talk to each other and can't synchronize
5 their activity taking simple things. For instance, I
6 can move my finger, that's a simple thing, but to
7 coordinate the whole hand use is a much bigger issue
8 because they involve multiple areas of the brain
9 working together.

10 And the same thing with social behavior. I
11 might be able to see you, but the social behavior
12 responding in an socially appropriate manner, which is
13 a much more sophisticated thing, is a bigger problem.
14 The simple test to be done is the more complex task
15 that requires synchronization of the brain engines, of
16 the neuro network. One, that's the impairment, and
17 there are lots of ways of getting there, and there are
18 lots of different things that you can interrupt with
19 the appropriate formation of a neuro network.

20 The brain is very sophisticated. Just to
21 give you an idea, I can interfere with dendritic
22 development, which is the receptor side where an axon
23 will end up. If that dendrite is not as well-
24 developed as it should be, it's not going to function,
25 and we see that in Fragile X syndrome where the

722A

WIZNITZER - CROSS (CONT'D)

1 dendritic development isn't right. I may not be able
2 to have good -- and it doesn't go where it's supposed
3 to go, and we know that because of some of the
4 neuroimaging work that's been done when you measure
5 volumes and you look at things.

6 There may be issues where there is too much
7 brain tissue, in other words, there are too many
8 synapses that are present, and therefore, I call it
9 too much static, and therefore, systems don't work
10 right.

11 Someone mentioned mini-column yesterday.
12 The mini-columns are the wrong size, and therefore,
13 they are not doing the job they are supposed to do
14 because the system wasn't built right.

15 THE COURT: And one thing doesn't have to
16 cause that mini-column.

17 THE WITNESS: There are a lot of different
18 reasons why that mini-column -- there is a lot of
19 things that are -- let me give a simple example. The
20 brain is too complex. In the heart development, there
21 are oodles of different signals that are sent to the
22 heart to develop, and if any of those signals go awry,
23 you're going to get heart malformation. There are
24 lots of different signals during brain development.

25 THE COURT: And that heart development could

WIZNITZER - CROSS (CONT'D)

1 happen congenitally?

2 THE WITNESS: It's only congenital. By the
3 time you are born, the heart is developed.

4 THE COURT: All right. I'm getting at you
5 may develop a valvular defect, for example, rheumatic
6 fever.

7 THE WITNESS: Yes, that would be an acquired
8 problem. But looking at the brain, there are lots of
9 signals that have to be sent, and there has to be a
10 cascade of signals. There is a sequence of signals
11 that occur. Destruction of that sequence in different
12 locations, for instance, in neurolike disorders, these
13 are actually scaffolded in probes. These are probes
14 that hole up into the nerve itself and it's supported.
15 There are lots of different scaffolding probes, any of
16 which if it's dysfunctional theoretically can lead to
17 the same result. But that's predestined in terms of
18 if you don't -- it's set up in that the signals aren't
19 going to be sent.

20 And I think you used the example of Rett
21 syndrome. The reticulars are doing perfectly okay,
22 but signals aren't being sent right, and the signals
23 do involve the Rett's picture because biologically you
24 were predetermined to get there.

25 Fragile X, when we look at the kids, the

WIZNITZER - CROSS (CONT'D)

1 milder kids, initially you can't tell the difference.
2 It's only as they get older that these features show
3 themselves.

4 THE COURT: I recall reading a couple of
5 case studies, and I apologize that I can't turn them
6 off the top of my head here, but of individuals who
7 developed autisticlike symptoms in adulthood. What's
8 going on there?

9 THE WITNESS: Well, I think your wording is
10 correct, ma'am. I think it's autisticlike, and the
11 real question is if one of us came along with some of
12 the DSM IV criteria and we rigorously applied it to
13 that individual, would they actually apply?

14 THE COURT: Okay.

15 THE WITNESS: And may I explain?

16 THE COURT: Sure.

17 THE WITNESS: First of all, the one you're
18 describing classically is individuals with herpes
19 simplex encephalitis. It's a viral encephalitis. Big
20 holes, big holes, anterior temporal holes are wiped
21 out, don't make new memories. We know that because
22 there is a congenital model, and I have a few patients
23 with this.

24 THE COURT: Right.

25 THE WITNESS: And they are just

WIZNITZER - CROSS (CONT'D)

1 developmentally not right. When I look at these kids,
2 they are autisticlike, but they feel different. Do
3 you understand what I mean from a microcondition
4 stand?

5 THE COURT: Yes.

6 THE WITNESS: They are not like the rest of
7 my autism patients, but they manifest some of the
8 behaviors. And I think we are seeing the same thing
9 in here. Because of the damage, they can't process
10 certain signals.

11 Another example would be if you develop an
12 intractable epilepsy, or let's call it epileptic
13 encephalopathy. There is a lot of static that's
14 there, and people that use the determinative autism
15 spectrum disorder features, the issue there is that
16 the epilepsy all goes away. That's a superficial
17 glaze. To me, actually they look dull and glazed.
18 They are not bright-eyed and bushy-tailed like my
19 typical autism patients. So there are subtle
20 differences to me.

21 THE COURT: Although some of the behaviors
22 are the same.

23 THE WITNESS: Some of the behaviors are the
24 same, but they are not exactly the same. And that
25 leads to the whole issue of how rigorous you need to

726A

WIZNITZER - CROSS (CONT'D)

1 be in order to define things in the literature, and it
2 was interesting. Dr. Zweiman's point is that it
3 depends on the lab that runs the tests and the
4 methodology as to how you are going to be able to
5 interpret the information.

6 The same thing here. Medical Center A may
7 have much looser criteria for defining autism spectrum
8 disorder than Medical Center B even using standard
9 tests, the ADI and the ADIS. I have seen individuals
10 who had these tests electrically -- these scales
11 filled out for them. Everyone agrees they don't have
12 ASD, they have some other condition, but the
13 individuals who used it were much looser in their
14 criteria and inadvertently classified them that way
15 until we were able to reverse it, and it was simple to
16 virtually treat the underlying condition and make it
17 just go away.

18 Therefore, I have to stick to my simple
19 autistic, this kid has autistic disorder. Those kids
20 are clear to define. When you get out to those
21 borders, it gets a lot of controversy on how you
22 define them.

23 THE COURT: So a finding that herpes
24 encephalitis can introduce autisticlike behavior in an
25 adult does not mean that it is a viral influence that

WIZNITZER - CROSS (CONT'D)

1 causes --

2 THE WITNESS: Oh, that's pure brain damage.

3 THE COURT: Okay.

4 THE WITNESS: It's not viral influence.

5 THE COURT: Okay.

6 THE WITNESS: If you read the articles, they
7 will tell you.

8 THE COURT: Yes.

9 THE WITNESS: That's actually what these
10 individuals are left with. They are left with
11 assistic encephalable dysplasia, just holes where
12 brain tissue used to be.

13 THE COURT: And I understand that. What I
14 was getting at, however, the point I'm trying to
15 understand is that the behaviors that people with
16 autism demonstrate, those behaviors can be mimicked in
17 some way by people who do not have autism, and I'm not
18 making it clear.

19 THE WITNESS: Let's take the epilepsy.

20 THE COURT: Okay.

21 THE WITNESS: Epileptic encephalopathy kids
22 who even though they classify them as having it, I
23 don't think they really do. They can be mimicked by
24 things that adversely affect the brain, yes.

25 THE COURT: Now let's move to strict autism.

728A

WIZNITZER - CROSS (CONT'D)

1 We're not talking about these behaviors that mimic it.
2 Within autism, you've talked about the classic
3 autistic child, the regressed autistic child, and you
4 recognize, do you not, that there are differences or
5 do you not recognize differences?

6 THE WITNESS: Differences of?

7 THE COURT: Well, in terms of how the
8 disease manifests and the prognosis for the children.

9 THE WITNESS: Yes. Well, if I will take a
10 child with classic autism and I will take a child with
11 regressive autism at two and a half years, they will
12 be exactly the same. That's why it's important to
13 have a history. And I think you are right, prognostic
14 is --

15 THE COURT: And then at age 10, how are they
16 going to look different?

17 THE WITNESS: The children with regressive
18 autism classically don't do as good. They just don't
19 do as well. That's the traditional teaching. The
20 children with regular autism, the children still don't
21 do well. I mean, you only have less than 10 percent
22 who will have a functional outcome even though about
23 30 percent will probably have normal intelligence but
24 not enough to have a good functional outcome. Do you
25 understand what I mean by that?

WIZNITZER - CROSS (CONT'D)

1 THE COURT: I do. I understand.

2 THE WITNESS: Because the autistic features
3 may be of sufficient severity even in an individual
4 with normal intelligence to function adequately in
5 society.

6 THE COURT: Someone can sit in a room and
7 calculate prime numbers but can't manage to make
8 dinner for himself?

9 THE WITNESS: Yes, ma'am.

10 THE COURT: Okay. And we do not know
11 anatomically, brain anatomically, what differentiates
12 that classic autistic child from the regressed
13 autistic child?

14 THE WITNESS: No. First of all, we don't
15 even know if there is a difference. We don't have
16 enough information. For all I know, their problems
17 are the same. There are different ways you can build
18 up models. One model will be that you're talking
19 about two totally different conditions that perhaps
20 affect some different areas of the brain and manifest
21 at different times.

22 Number two is that the problem is in the
23 same areas of the brain, but just whatever goes awry
24 goes awry later in the regressive form compared to the
25 classic autistic form of their symptoms. They are

730A

WIZNITZER - CROSS (CONT'D)

1 easily identifiable at 12 to 18 months, and when you
2 look at them, you will see that there were issues that
3 were present, and that's why I was stating that in
4 reading the more recent literature, people are raising
5 questions that in these children with regressive
6 autism at least some of those kids have more than a
7 common disorder.

8 It might contra the energy boxes in the
9 cell, and if you have a battery in your flashlight, it
10 may die tomorrow, it may die in two weeks or it may
11 take a year to die. It depends on when the battery
12 dies. The same thing as the energy boxes not working
13 right. They can function mechanically up to a point
14 and then get overwhelmed with demands and the cell
15 dies.

16 And it's believed that at least a group of
17 regressive kids have some sort of mitochondrial
18 dysfunction that produces this regression where
19 previously he looked okay. I think that is a biologic
20 possibility because that makes sense because it fits
21 the other mitochondrial disorders we see, but it gets
22 percolated. If you don't mind my giving you an
23 example.

24 THE COURT: No, go ahead.

25 THE WITNESS: I admitted a girl to the

Heritage Reporting Corporation
(202) 628-4888

WIZNITZER - CROSS (CONT'D)

1 hospital last week. She has a family history of
2 mitochondrial disorder. She was actually doing pretty
3 good. Then if you examined from a neurology
4 standpoint, the girl's examination was good until she
5 had her first stroke at 19 years due to the
6 mitochondrial disorder. Now she has balance issues
7 and such, but we know the genes. It just took awhile
8 for her gene to express itself. There was no outside
9 influence. This is just the way in her it happened,
10 and it has to do with how much of the mutated genes,
11 mutated mitochondria in the cell, how much normal
12 mitochondria in the cells as to when things will prove
13 up and lead to problems.

14 Yet her cousin, the same genetic
15 predisposition but a much more adverse mitochondrial
16 load of mutated mitochondria, we weren't able to test
17 it, presented in the first few years of life with
18 scrofula and unfortunately subsequently died. It's
19 the same condition that has variability in
20 presentation. That's just to give you one model of
21 what may be causing the regressive. We just don't
22 know the rest.

23 THE COURT: Questions, Mr. Johnson?

24 MR. JOHNSON: I actually just have one.

25 //

WIZNITZER - REDIRECT

1 REDIRECT EXAMINATION

2 BY MR. JOHNSON:

3 Q Doctor, on cross-examination when Mr. Powers
4 was asking you about Dr. Kinsbourne's theory or his
5 hypothesis and there was a sentence that related to
6 the depletion of purkinje cells in the brains of
7 autistic individuals, how that may result from
8 cytotoxic effects of the excess glutamate, you used
9 the term "presumption" with respect to that, and I
10 just wanted to make sure that you were saying that
11 that was Dr. Kinsbourne's presumption and not your
12 presumption.

13 A No, that was Dr. Kinsbourne's idea or
14 hypothesis that has been represented by cytotoxic
15 effects, not mine.

16 MR. JOHNSON: Okay. Great. Thank you.

17 THE COURT: Mr. Powers?

18 MR. POWERS: Nothing further, Special
19 Master.

20 THE COURT: All right. Thank you very much,
21 Dr. Wiznitzer.

22 (Witness excused.)

23 THE COURT: Given the time, do you want to
24 go ahead and start with your witness?

25 MR. MATANOSKI: Yes, ma'am.

MCCABE - DIRECT

1 THE COURT: Okay.

2 MR. MATANOSKI: I think it will take a few
3 minutes to get him set up.

4 THE COURT: Not a problem. If you want to
5 just take a very quick five-minute recess.

6 MR. MATANOSKI: That's great.

7 THE COURT: All right.

8 (Whereupon, a short recess was taken.)

9 THE COURT: Let's go back on the record in
10 the Snyder case, and we have I believe Dr. McCabe on
11 the stand. Dr. McCabe, if you would raise your right
12 hand.

13 Whereupon,

14 MICHAEL J. MCCABE, JR.

15 having been duly sworn, was called as a
16 witness and was examined and testified as follows:

17 THE COURT: Please be seated.

18 You may proceed, Mr. Matanoski.

19 MR. MATANOSKI: Thank you.

20 DIRECT EXAMINATION

21 BY MR. MATANOSKI:

22 Q Good afternoon, Dr. McCabe. Let me just say
23 that you're going to need to speak up. I don't think
24 that will be a problem for you. The pickup on the
25 audio is pretty good in this courtroom.

MCCABE - DIRECT

1 Could you state your name for the record,
2 please, and spell it also?

3 A Michael Joseph McCabe, Jr. Last name is
4 spelled M-C, capital C-A-B-E.

5 Q And what is your position and area of
6 expertise?

7 A I am an associate professor in the
8 Department of Environmental Medicine at the University
9 of Rochester School of Medicine and Dentistry. My
10 area of expertise is in immunology, immunotoxicology.

11 Q Have you ever testified before in a lawsuit?

12 A No, this is my first time.

13 Q Welcome. Could you please explain or just
14 give us a brief overview of your educational
15 background, starting with your undergraduate
16 education?

17 A Yes. I received a Bachelor of Science in
18 biology from Siena College. It's a small college
19 outside of Albany, New York. Then I went on to
20 graduate school starting in 1985, completed my thesis
21 work in late summer of 1990. My actual Ph.D. Degree
22 was awarded in 1991 in microbiology and immunology,
23 and that was at the Albany Medical College, also in
24 Albany, New York.

25 Q And did you go anywhere after that for more

MCCABE - DIRECT

1 advanced training?

2 A Yes. So starting in the fall of 1990, I
3 traveled across the great pond to Sweden, did a two-
4 year postdoctoral training period at the Karolinska
5 Institute.

6 Q I'm just going to stop you for a second. I
7 probably warned you a little too vigorously about good
8 pickup. You need to step back from the microphone a
9 little bit.

10 A Right.

11 Q You were telling us about the Karolinska
12 Institute.

13 A Yes, I did. Now is it?

14 Q Well, I think it's somewhere between the
15 two. A little closer but not too close. That's just
16 right.

17 A Just right. Is that good?

18 Q That's good.

19 A So yes, I did a two-year postdoctoral, a
20 fellowship with the Karolinska Institute, which is a
21 university located in Stockholm, Sweden.

22 Q What kind of work do they do at the
23 Karolinska Institute?

24 A It's a major, just like any American medical
25 center, medical college. They do all sorts of work.

MCCABE - DIRECT

1 What attracted me to go there was I was interested in
2 a particular area of research known as apoptotic cell
3 death, and there was a leading laboratory located in
4 Stockholm doing this sort of work, and that's what
5 attracted me there.

6 Q And following your sojourn to Karolinska
7 Institute, where did you go thereafter?

8 A In the fall of 1992, I came back to the
9 United States and started my first academic position
10 as a faculty member at a placed called the Institute
11 of Chemical Toxicology located in Detroit, Michigan.

12 Q Probably appropriate place, and New Jersey.
13 (Laughter.)

14 A I was there for seven years, advanced from
15 the rank of research assistant to assistant professor.

16 Q And after your stint at that location, where
17 did you go next?

18 A In 2000, I was recruited to the University
19 of Rochester, the Department of Environmental
20 Medicine. I've been there ever since.

21 Q What is the field of immunotoxicology?
22 Could you describe for me what it is?

23 A Sure. Immunotoxicology, as the name
24 implies, is the merger of two disciplines, immunology
25 and toxicology, and the scope of that area,

737A

MCCABE - DIRECT

1 particularly subdiscipline research, involves research
2 involving examining adverse effects of environmental
3 chemicals, occupational exposures, drugs, other
4 immunomodulators on immune response. The scope
5 includes issues relevant to mechanistic research to
6 understand how these agents work, to exposure
7 assessments, risk assessments to try to understand how
8 can some of this work be extrapolated, translated into
9 issues relevant to human populations.

10 Q When did you begin your work in the field of
11 immunotoxicology?

12 A I think I began my work in the area of
13 immunotoxicology when I was in graduate school,
14 whether I realized it or not, and I say that because I
15 went to Albany Medical College and was working in the
16 Department of Microbiology and Immunology. I was
17 attracted to that particular department because I
18 wanted to receive training in cellular immunology, so
19 I think I was trained as a cellular immunologist.

20 I was working in the lab, interested in the
21 effects of heavy metals and the use of heavy metals as
22 tools to modulate effects. It wasn't really until I
23 came back from the Karolinska and started working at
24 my first faculty position charged with deciding what I
25 want to be when I grew up, and write my own grants and

MCCABE - DIRECT

1 start writing my own lab that I think I stepped and
2 thought this would be a niche based on my experiences.

3 Since I had been trained as an immunologist,
4 it would be an easier fit for me to work in the area
5 of immunotoxicology trained as an immunologist rather
6 than trained as a toxicologist. So that's essentially
7 where things started.

8 MR. MATANOSKI: This is not directed to you.

9 I have to apologize for the record because I
10 just realized that Special Master Hastings is sitting
11 here, and I made that offhanded comment about Detroit.

12 THE COURT: You forgot that I too grew up --
13 (Laughter.)

14 MR. MATANOSKI: Now I'm in trouble. I hope
15 you're not about to entertain a motion at this point.

16 THE COURT: The Michiganders will be
17 visiting you.

18 (Laughter.)

19 BY MR. MATANOSKI:

20 Q Doctor, I'm sorry for that side comment.

21 Right now working in the field of
22 immunotoxicology, you are in the department -- what's
23 your posting right now at the University --

24 A Department of Environmental Medicine.

25 Q What work does that department do?

MCCABE - DIRECT

1 A It's a relatively small department. There
2 are around 10 to 15 investigators researching in a
3 number of different areas I would describe as areas in
4 neurotoxicology. University of Rochester and its
5 department has a longstanding expertise of
6 investigators in that particular area. We have
7 interests and faculty working in the area of
8 immunotoxicology. Those are my colleagues. They are
9 all my colleagues.

10 But we have a group interested in
11 osteotoxicology, influences of toxic chemicals on
12 bone. This is probably the only group in the country
13 working in that area. And we have another group
14 interested largely in pulmonary function, pulmonary
15 biology, pulmonary toxicology, particulate matters and
16 things of that nature.

17 Q What attracted you to the University of
18 Rochester?

19 A So in addition to a longstanding program in
20 neurotoxicology, and I should also mention the
21 pulmonary group has historically been strong at the
22 University of Rochester, the immuno group, the osteo
23 group are merging within the department at the
24 university. But the University of Rochester has had
25 longstanding expertise, investigators working in the

MCCABE - DIRECT

1 area of metal toxicology.

2 Also in the last few years, the last decade
3 or so, the immunology group at the University of
4 Rochester has become very strong, stronger. I think
5 it would be a detraction to infer that it wasn't
6 strong prior to that. But it's a very strong group of
7 investigators working in the area of immunology.

8 So remember I told you that my area of
9 expertise is immunotoxicology with a particular
10 emphasis on metal toxicology, so coming to a
11 university and having colleagues that I could talk to
12 about issues of metal toxicology as well as issues
13 relevant to immunology made it an attractive place for
14 me to be.

15 Q Do you teach in your current position?

16 A I do.

17 Q What do you teach?

18 A I teach several areas to graduate students,
19 topics related to metal toxicology, topics related to
20 immunotoxicology, so topics related to my expertise.
21 I lecture on autoimmunity, introductory lectures on
22 autoimmunity to toxicology graduate students. I
23 lecture to medical students on issues relevant to lead
24 toxicity and lead poisoning. I also run a colloquium-
25 style course that comes up as an elective for graduate

MCCABE - DIRECT

1 students in the spring every other year, and that's a
2 topics survey course in the area of immunotoxicology,
3 so this is a paper discussion type course with
4 graduate students. That's usually a lot of fun, a lot
5 of back and forth on that type of venue, different
6 than deductive lecturing.

7 Q Do you run any laboratories?

8 A I do. I run my own laboratory, and I am the
9 principal investigator and chief of that laboratory.

10 Q And what work does that laboratory do?

11 A We work in the general area under the
12 umbrella of metal immunotoxicology. We have several
13 projects ongoing. So my background interest has
14 always been in lymphocyte activation, lymphocyte
15 signaling modeling. So as you heard from the
16 testimony this morning, lymphocytes, B-cells and T-
17 cells are the cells that are mainly responsible for
18 adaptive immunity.

19 So my longstanding interests, I am trained
20 as an immunologist, have been studying and
21 understanding signal transduction about the guard and
22 function of these cells, the signal transduction being
23 how is the information transmitted from outside the
24 cell through the biochemical reactions that allow
25 information to be transmitted from outside the cell

MCCABE - DIRECT

1 into the cell, to the direct cell to express the genes
2 to divide, to differentiate.

3 So my lab has been interested in how are
4 these processes modulated by exposures to metals, how
5 we can use metals as tools to provoke changes in these
6 signaling patterns.

7 One project in particular focuses on mercury
8 and it analyzes how does mercury interfere with T-cell
9 syndrome, how does it interfere -- first of all, does
10 it, and it does, and how does it interfere with depth
11 receptor signals, the processes of physiological cells
12 that are still working in the immune response.

13 Another project with arsenic deals with
14 understanding how arsenic modulates the cell cycle.
15 Arsenic is used as a chemotherapeutic agent, so we're
16 interested in how does arsenic dysregulate the control
17 cell.

18 Q How is your time divided between the various
19 duties at the university?

20 A I would say I spend about 50 percent of my
21 time as a researcher. Unfortunately, as I've
22 progressed, that time as a researcher is not spent in
23 the laboratory at the bench but still as a researcher
24 in terms of reading papers and writing papers and
25 writing my own grants and designing experiments,

MCCABE - DIRECT

1 things of that nature.

2 About 15 percent of my time is spent
3 teaching as I described to you. Another 15 percent of
4 my time is spent on administrative duties, other
5 scholarly activities, going to meetings both within
6 the building and outside the building nationally,
7 reviewing other peoples' work, reviewing manuscripts
8 and grants.

9 Then I would put a category -- if I'm
10 following my math in my head correctly, it should add
11 up to about 100 percent -- about 20 percent of my time
12 is spent mentoring graduate students, postdocs,
13 technicians, and that mentoring crosses teaching
14 responsibilities because it's a form of teaching, but
15 it also crosses research because it crosses into the
16 research realm as well.

17 Q Just cleaning up and then we'll go forward
18 from educational, let's talk about some of your
19 professional involvements. Are you on the editorial
20 boards of any journals that would be appropriate to
21 the issues we're discussing here?

22 A Yes, I am. I am associate editor of
23 Toxicology and Applied Pharmacology. I'm also on the
24 editorial board of the Journal of Immunotoxicology.

25 Q Just a second. You said associate editor

Heritage Reporting Corporation
(202) 628-4888

MCCABE - DIRECT

1 and editorial board. Is there a distinction?

2 A Yes. I've been on the editorial board of
3 the Toxicology and Applied Pharmacology since late
4 1990s, and I believe it was in 2002 I was invited to
5 be associate editor. So it's a peg up from the
6 editorial board.

7 Q I see. I'm sorry.

8 A And the importance of that is that
9 Toxicology and Applied Pharmacology is one of the two,
10 arguably one of the two leading journals in
11 toxicology. I am also a member of the editorial board
12 of a newer journal called the Journal of
13 Immunotoxicology, a more specialized journal. And
14 just last week, it's not on my CV because this just
15 happened, I was invited to join the editorial board of
16 Toxicological Sciences, and I'm very happy to do that,
17 the reason being that Toxicological Sciences is the
18 second, arguably the second of the two leading
19 journals in toxicology.

20 Q As part of your work on the editorial board
21 of these journals, do you work as a peer reviewer for
22 any special journals?

23 A Yes, I do. I review papers, peer review
24 manuscripts for about a dozen different journals,
25 probably on the order of about one a week, so it comes

MCCABE - DIRECT

1 out to be 40 or so a year. I get a few breaks every
2 now and again. A few of them of relevance in addition
3 to the three journals that I have already mentioned I
4 of course review papers for. I also review papers for
5 toxicology letters, other toxicology journals. I
6 review papers for the Journal of Immunology,
7 Environmental Health Perspectives on parthenogenesis
8 as examples.

9 Q And are you a member of any national or
10 international special organizations that deal with
11 immunology and toxicology?

12 A Yes, I am.

13 Q It's probably listed on your r, sum,. Why
14 don't you actually just tell us a couple.

15 A A couple of them. So one that comes to
16 mind, currently I am on a National Academy of Sciences
17 National Research Council Committee charged with
18 establishing a safety standard for beryllium exposure,
19 beryllium being an important metal of occupational
20 relevance. In August of 2005, I was on a U.S. EPA
21 panel charged with reviewing documents establishing
22 air quality criteria for lead. And I've been on
23 numerous NIH, National Institute of Health and
24 National Institute of Environmental Health Sciences
25 grant review panels.

MCCABE - DIRECT

1 Q Now this question when I ask it, sometimes
2 it's a little -- I'm going to ask it a certain way
3 that may make it a little easier.

4 Of the awards that you've received or the
5 honors you've received, can you just list the ones
6 that you are most particularly proud of?

7 A In 2000, I received an award from the
8 Immunotoxicology Specialty Section of the Society of
9 Toxicology. It's an award known as the Young
10 Outstanding Immunotoxicologist Award. I am
11 particularly proud of that award for two reasons.

12 The first is that was the first year that
13 that award was given, so I took some pride knowing
14 that there were some of my peers waiting in the wings
15 and I was selected first, and so it was a Sally Field
16 moment, "They like me."

17 And the second reason being at the age of 38
18 it was a "young" outstanding investigator award, so
19 that's the reason for that. And I have the plaque on
20 my wall still.

21 Q To remind yourself that you're young.

22 A I'm young at least at heart.

23 Q Have you ever been invited to present at
24 national professional meetings on immunology?

25 A Yes. I've presented my work. Every year I

Heritage Reporting Corporation
(202) 628-4888

AMCCABE - DIRECT

1 go to the annual Society of Toxicology meeting and
2 present my work in some form, whether as a poster or
3 as a platform presentation. On a number of occasions,
4 I've presented at symposia. I've been invited to
5 institutions around the United States to present my
6 work in departmental seminar series and so forth.

7 Q And with respect to what you have
8 contributed to the literature in the field of
9 immunotoxicology, can you give me an estimate of about
10 how many papers you have contributed?

11 A I would have to look at my CV. The
12 majority, if not all, the papers touch on topics
13 dealing with, directly dealing with immunotoxicology
14 or related to toxicologies. So it would be 35, 40
15 papers.

16 Q We'll turn now. We're shift focusing again
17 and now we're going to shift to your report that you
18 prepared in this case, and I just want to briefly
19 touch on your comments about Dr. Byers in the report.

20 Now most of the statements are fairly
21 straightforward in this report. There is one that I
22 want to draw your attention to to explain what you
23 meant by that statement, and that is in your
24 discussion of T-cells and T-regulatory cells in
25 particular, you described what Dr. Byers was saying

MCCABE - DIRECT

1 with respect to that as "highly provocative." What
2 did you mean by that?

3 A Yes, I did, and what I noted from Dr. Byers'
4 testimony, that in addition to the comment about the
5 regulatory T-cells was her comment that she was
6 astonished by the number of papers dealing with the
7 topic of mercury in the immune system.

8 Keeping in mind that this is the area that I
9 work in and this is the literature that I -- I wasn't
10 astonished by it. This is the literature that I
11 contribute to. This is the literature that I read.
12 These are the grants that I review prior to those
13 papers coming out and being published. These are the
14 papers that I download to my laptop and they're
15 scattered over the desk in my office, so I have a
16 pretty good handle on what the literature is in this
17 area.

18 The statement that she made about the T-
19 regulatory cells is provocative because you have to
20 understand that T-regulatory cells are an emerging
21 population of T-cells, emerging in that it only
22 started to be discussed in the last five, six, seven
23 years, provocative because it turns out that these T-
24 regulatory cells are very important in or appear to be
25 very important in controlling autoimmune diseases, at

MCCABE - DIRECT

1 least one mechanism that controls autoimmune diseases
2 as well as other hyperresponses or hyperactivities in
3 the system, including asthma and allergies. So there
4 is great interest in mainstream immunology in this
5 topic of T-reg cells.

6 So I think any time in this discipline that
7 there is an intersection, this discipline being
8 immunotoxicology, but there is an intersection of
9 toxic agents on an emerging concept in immunology,
10 that's a pretty hot topic. It's a hot topic in
11 immunology. It's something that's discussed in
12 immunotoxicology circles.

13 So if there was a sizable literature, I
14 think any literature on mercury specifically targeting
15 these T-reg cells, I would know about it, and these
16 papers just do not -- there are no papers that
17 specifically say that mercury targets T-reg.

18 Q On that same theme, in another part of your
19 report, you essentially say there is no literature to
20 support her statement that mercury influences
21 autoimmunity, causes autoreactivity in T-cells in
22 humans.

23 A That's correct.

24 Q And I believe you have taken, in fact, I
25 know that you have a number of areas of dispute with

MCCABE - DIRECT

1 Dr. Byers with respect to the studies that she was
2 looking at, but I believe you synthesized your main
3 areas of dispute with respect to one issue in
4 particular that you wanted to discuss here today, and
5 that would be --

6 A It would be our slide 2.

7 Q If we could go to slide 2.

8 THE COURT: And when we say slide 2, you're
9 referring to Respondent's Trial Exhibit No. 3, page 2.

10 THE WITNESS: Correct.

11 MR. MATANOSKI: Yes, ma'am.

12 THE COURT: All right.

13 BY MR. MATANOSKI:

14 Q Could you turn to that, Doctor, and could
15 you tell me, please, what you were trying to discuss
16 there with respect to your concerns about the use of
17 this literature that Dr. Byers had put forward?

18 A In my opinion, I felt that Dr. Byers failed
19 to understand. She is correct, there is a sizable
20 literature that reports on mercury modulating the
21 immune response in animal models. Individuals who are
22 working in that area are interested in using mercury
23 as a tool to cause the immune modulation to study
24 immune modulation. It's the outcome that they are
25 interested in.

MCCABE - DIRECT

1 Remember that I told you earlier that the
2 scope of immunotoxicology encompasses research. It
3 encompasses research that can be applied to risk
4 assessment issues. The people who are working in the
5 animal models, the mouse models of mercury modulation
6 of immunity, their work cannot readily be applied to
7 risk assessments, and I think Dr. Byers didn't have an
8 appreciation for that. She had an appreciation of the
9 outcome. It's the attributes of the disease that are
10 being studied. The individuals who are working in
11 this area are well aware that they are using very high
12 doses of mercury to elicit these changes.

13 Q They are interested in studying, as you say,
14 the immune condition that they've created. They are
15 using the mercury as a means to create conditions they
16 can study the immune conditions.

17 A Exactly. And the reason why it's a relevant
18 model outside the realm of risk assessment is because
19 it's an inducing model. It's not a genetic model.

20 Q Okay. Now you mentioned dose with respect
21 to that, high dose. What kind of doses are we talking
22 about here that they are using in these mouse models?

23 A Page 2 provides -- this is essentially in a
24 lot of papers where the approaches are similar, and
25 it's a model of mercury and immune disease where the

MCCABE - DIRECT

1 dose of mercury, and if I'm talking too close to the
2 microphone, how is that?

3 Q I think it's because you get close to the
4 microphone and you get more interested in the topic.
5 But when I get off talking about your qualifications
6 and started talking about immunotoxicology, you
7 started zeroing right in on the microphone.

8 A In these studies, the approach is to inject
9 mice with relatively high doses of mercury. You see
10 there is a dose here of 1.6 milligram or kilogram.
11 This dose typically is given subcutaneously to mice
12 two or three times a week over the course of four
13 weeks to 10 weeks, depending on the particular study
14 that's under investigation.

15 This graph takes a conservative approach.
16 It makes an attempt to take the toxicology -- remember
17 we're talking about immunotoxicology here, so just
18 take the toxicology component, how much mercury would
19 an 11 kilogram child at 15 months need to be injected
20 with over a four-week period or how much would that be
21 over a four-week period twice a week. So it's a
22 conservative application, extrapolation of what's in
23 the literature for the animal study and then comparing
24 that to what they were exposed to for vaccination over
25 the course of the first 15 months of life.

MCCABE - DIRECT

1 So it's a comparison if I remember
2 correctly. For vaccination, it's around 122.5
3 micrograms of mercury in Thimerosal in comparison to
4 1,000-fold to translate from the mouse studies.

5 Q So, in other words, the mouse studies that
6 she is looking at the dose there were essentially
7 1,000 times the dose --

8 A That's correct.

9 Now, before we move on here, just remember
10 again to be careful to talk about the
11 immunotoxicology. What I mean by that is here I've
12 just offered an intellectual exercise that gets at the
13 issue of the toxicology, the dose of mercury. I
14 wouldn't accept even if exposed to human beings to
15 this high level of mercury that we use the same
16 features of the disease that we see in mice. It
17 simply has not been shown.

18 Nor would I accept that over a long period
19 of time where an even lower dose of mercury in
20 sensitive populations, what it means, that it would
21 elicit the features of the disease. Again, it just
22 simply hasn't been shown in human beings.

23 Q Now turning to Dr. Byers' discussion, she
24 did discuss the study by Goth, and you focused on that
25 paper in your expert report. I understand that that

MCCABE - DIRECT

1 is a particular area of interest for you, what Dr.
2 Goth is doing. Could you explain?

3 A Yes, I can explain why I'm interested in it
4 and in explaining why I'm interested in it hopefully
5 captures the appeal of this study.

6 Interested in it because, as I told you, I
7 have a longstanding interest in signal transduction,
8 and Dr. Goth studied calcium signals as proven by ATP
9 as an agonist to elicit a change in the signaling
10 pathway in dendritic cells. So it's an in-vitro
11 approach to examine the signaling pathway and show
12 that it was modulated by Thimerosal.

13 And I'm interested in that because I'm
14 generally interested in signaling pathways,
15 particularly interested in it, including calcium
16 signaling pathways, and in fact have been doing
17 research on examining influences of inorganic mercury
18 on lymphocyte signals.

19 What was important in that study and that
20 the senior author, as you mentioned, is Isaac Pessah
21 at the University of California at Davis, what was
22 done that was important in the study was to link these
23 changes in signal transduction, those changes in
24 signal transduction elicited by Thimerosal to a change
25 in cellular function, which was production of

MCCABE - DIRECT

1 interleukin 6, a single cytokine. Goth linked A to B,
2 and what was novel was --

3 Q In-vitro?

4 A In-vitro. And what was novel was that it
5 was dendritic cells. We have known for some time that
6 Thimerosal provokes changes in calcium and other types
7 in other studies in-vitro. Again, remember that I
8 told you that we could use many of these chemicals as
9 tools. Thimerosal proves to be a good tool to elicit
10 changes in intercellular calcium, and we've known that
11 for sometime. That was not new in Goth's study. He
12 showed it -- I have to apologize, I don't even know if
13 Goth is a he -- showed it in dendritic cells and
14 linked A to B.

15 I commented on it from Dr. Byers' testimony
16 because from my reading of what she was doing, she was
17 linking A to B to Z, and to me as a scientist, I'm not
18 quite ready even to go to C. I accept the limitations
19 of the model, the in-vitro model that Goth and
20 colleagues were using. So what might seem like B,
21 show me that these changes in interleukin 6 production
22 have any outcome. Often cytokines are produced in
23 abundance. You may lower the level of cytokine, but
24 does that lowered cytokine level have a physiologic
25 function or an immunological function.

Heritage Reporting Corporation
(202) 628-4888

MCCABE - DIRECT

1 Show me that this modulation in cytokine
2 production, in calcium signaling in these dendritic
3 cells results in these dendritic cells, even in a more
4 complicated in-vitro system where you take dendritic
5 cells and mix them with T-cells.

6 You remember we brought in the testimony of
7 Dr. Zweiman this morning that dendritic cells are
8 antigen-presenting cells. They initiate the immune
9 response or they present antigens to T-cells. Show me
10 that in a more complex in-vitro system. Translate
11 that into an atom system. Translate that into human
12 beings. Put all of that in perspective of a human
13 disease, and then we start to change from A to B to C
14 and D and E, but you can't get there by ignoring all
15 the letters in between.

16 Q Are you aware of any evidence regarding the
17 notion that exposure to mercury would inhibit an
18 immune response to a measles vaccine?

19 A I'm sorry. Can you ask me that question
20 again.

21 Q Sorry. Are you aware of any evidence that
22 would indicate that exposure to mercury would inhibit
23 the immune response to a measles vaccine?

24 A No, I don't.

25 Q Now shifting focus again, turning to the

MCCABE - DIRECT

1 medical records in this case, did you review the
2 records of Colten Snyder?

3 A Yes, I did.

4 Q And turning now to Dr. Bradstreet and his
5 report and testimony. Since you are involved in
6 immunotoxicology, I don't expect you to step out of
7 that. Dr. Bradstreet talked about certain tests that
8 were done with respect to mercury in Colten Snyder,
9 and I would like to take you through those tests and
10 have you comment on them, please.

11 The first such test -- well, it looks like I
12 guess you would classify four different category of
13 tests that were done -- blood, hair, urine and
14 porphyrin. Let's take the blood first. Can you tell
15 me what you can with the blood tests that were done
16 with Colten Snyder?

17 A If you turn to page 7, you can see that over
18 the course of six and a half years, this table
19 captures three of those categories that you just
20 mentioned, mercury in hair, urine, and blood. On five
21 occasions, blood samples were submitted to the lab,
22 and on each of those occasions, the findings were that
23 the mercury level in the blood was essentially normal
24 in comparison to the reference range. Colten Snyder's
25 blood mercury levels were normal in comparison to the

MCCABE - DIRECT

1 reference range and oftentimes on the low end of the
2 low end of the normal spectrums.

3 Q What does that tell you? It doesn't tell
4 you anything necessarily?

5 A It tells me on those days, over the span of
6 six years or more, on those occasions, he had a normal
7 level of mercury in his blood.

8 Q You were here to hear the testimony of Mrs.
9 Snyder in terms of the dietary intake?

10 A Yes.

11 Q Was there much mercury mentioned in the
12 dietary intake?

13 A I'm not surprised that these levels are low.
14 The level of mercury they received via vaccination is
15 low, and as Colten's mother testified on Monday, she
16 doesn't eat fish, which would be a main dietary
17 source, maybe environmental source of exposure to
18 methyl mercury and mercury, and so I'm not surprised
19 that the levels were low.

20 Q I don't think those were discussed very much
21 by Dr. Bradstreet, so we'll move on to something he
22 did spend a little bit of time on, and that was hair
23 tests as a measure of mercury. We had a little
24 discussion on that I believe, and Dr. Bradstreet,
25 there were some questions asked of him.

MCCABE - DIRECT

1 First of all, can you tell us what does a
2 hair test for mercury measure? What does it actually
3 measure? Just to direct your comments a little bit,
4 it seems to be postulated by Dr. Bradstreet that hair
5 can measure body level of mercury and perhaps the
6 excretion of mercury, whether the body excreted
7 mercury properly. Now what does the measurement of
8 mercury in hair tell you?

9 A The measurement of mercury in the hair is a
10 measure of exposure to an organic form of mercury,
11 either methyl mercury or ethyl mercury, typically not
12 falo (ph) mercury, there is another form of organic
13 mercury, falo (ph) mercury that is usually metabolized
14 so quickly that it's not found in hair. So you're
15 talking about organic mercuries, methyl mercury, ethyl
16 mercury. Thimerosal, for example, can be metabolized
17 to ethyl mercury, so you might expect ethyl mercury to
18 be found in hair.

19 It's a proxy for mercury found in blood at a
20 particular time. What do I mean by that? Well,
21 remember that hair in some people, not me, grows at
22 about a rate of 1 centimeter per month, so you sample
23 the hair strands, maintain the orientation of that
24 hair strand so that you know which end came proximate
25 to the scalp and which end is distal to the scalp, and

MCCABE - DIRECT

1 then you can measure the mercury content of the hair.
2 Typically, because of issues, haircuts, things like
3 this, you'd be interested in seeing the hair that's
4 proximal to the scalp, and it gives you a proxy for
5 measure mercury in blood at that particular time.

6 Now remember that hair grows, then you
7 extend from that, and based on how far you go down on
8 the hair strand, you can get an assessment of
9 historical exposure.

10 Q Is hair a major source, major route of
11 excretion of mercury from the body?

12 A It's a route of excretion, but I don't think
13 it's a major route of excretion.

14 Q How are organic mercuries excreted from the
15 body?

16 A Typically, they are excreted in the feces.
17 They also undergo what's called enterohepatic
18 circulation, and through that process, the organic
19 mercury is converted to an inorganic form of mercury
20 that can then reenter the bloodstream and then be
21 excreted via the kidney. To be clear on this, the
22 mercury that you find in hair and other sites of
23 characterization, fingernails and toenails, is an
24 organic form of mercury, because the methyl mercury
25 sistine complex mimics amino acid, carotene being a

MCCABE - DIRECT

1 protein that puts a high demand on amino acids, and
2 that's why the organic materials appear in these
3 carotene places.

4 Q So, if I understand what you've been telling
5 us, you look at hair. It's almost like a history to
6 take a strand of hair.

7 A It can be used as a history. That's not
8 always the approach that's taken. Oftentimes it's
9 used to just give an indication of recent exposures.
10 And if I could just --

11 Q Sure.

12 A It's well standardized. We have good
13 reference values that we can then relate that hair
14 level to blood levels of mercury.

15 Q So it relates to blood levels too?

16 A It's a proxy for blood levels of mercury.

17 Q And does it tell us anything about
18 necessarily what the body level of mercury is?

19 A Not necessarily. It tells us -- at that
20 point in time.

21 Q What point in time?

22 A Which that hair sample is representing. If
23 it's close to the scalp, then it's within the last
24 month or so. If it's more distal, then there are
25 calculations that would be made to try to determine

MCCABE - DIRECT

1 where in time are we talking about. So it can be used
2 as a measure of acute exposure. It also can be used
3 as a measure of -- which I think is the main reason --
4 it can also be used as a measure of the steady state
5 distribution of mercury between the tissues and the
6 blood.

7 Q Now the last area -- well, actually you've
8 compared the slide I believe.

9 A I did want to turn to page 3 if I could.

10 Q Yes. We prepared a slide about what the
11 values were, and page 3, that's the Great Plains
12 Laboratory value for mercury. Now Dr. Bradstreet, as
13 I recall this, he stated he was surprised that this
14 was a low value. That was his view, and I guess it is
15 low on the reference range there, within the normal
16 range, right? Could you comment on the absolute, in
17 absolute terms about these values?

18 A I'm not sure if I understand what you mean
19 by "absolute values," but I will tell you that I agree
20 with Dr. Bradstreet's interpretation that this is a
21 low value for mercury in hair based on the test
22 results. Every indication here, as you prepare that
23 mercury value of .1 part per million to the reference
24 range, based on what I know about other reference
25 ranges and other laboratories and what we expect, that

MCCABE - DIRECT

1 is indeed low. So I agree with his interpretation of
2 this particular data set.

3 He also noticed there is a variety of other
4 metals that are being analyzed here, but let's just
5 focus on mercury because that's what's at issue here.

6 If we turn to the next page, page 4, I have
7 prepared somewhat of a yardstick to place this all
8 into perspective. So I agree with Dr. Bradstreet's
9 interpretation. What I don't agree with is that Dr.
10 Bradstreet indicated that he was surprised by this
11 outcome, and I'm not surprised at it. Again, there is
12 no mercury going in. There is no exposure to mercury,
13 appreciable exposure to mercury via the diet as far as
14 we know from eating fish, and the dose of Thimerosal
15 is not adequate to produce a high mercury content in
16 hair.

17 Q And just to that last point, the dose of
18 Thimerosal, how many years are --

19 A If I remember correctly, Colten received his
20 MMR when he was 15 months old, so that would have been
21 in April of 1998, so two years before, and all other
22 vaccines that contained Thimerosal would have been
23 prior to that event.

24 Q Now, just getting back to hair, it's a
25 measurement of mercury in the circulating blood,

MCCABE - DIRECT

1 correct?

2 A Correct.

3 Q And it's based on where you're sampling the
4 hair from what time you're looking at in terms of the
5 circulating blood. So unless you are looking at it I
6 guess two years ago, for example, talking about
7 Thimerosal, it's not really relevant to what you're
8 talking about and understanding?

9 A That's correct. So it's not a measure of
10 excretion of mercury into the hair. It's reflective
11 of what we have already seen in the blood data. All
12 right, the blood data, hair is a proxy for mercury in
13 blood. There is low levels of mercury in the hair.
14 There is low levels of mercury in the blood. The data
15 match. There is no mercury going in as far as we can
16 tell, and so the data match.

17 Q Now, on this chart, you've plotted out some
18 other values. These were taken from other references
19 I take it primarily.

20 A That's correct.

21 Q On that chart, I want to draw your attention
22 to the 90 percent by U.S. children.

23 A Yes.

24 Q That's an average of what you would find in
25 mercury -- U.S. children, do you know what age range

MCCABE - DIRECT

1 this was?

2 A It's not average, it's 90th percentile, and
3 it's from a study of U.S. children. I believe the age
4 ranges of those children were six to eight years old.

5 Q So in other words, in that study, 90 percent
6 of the U.S. children --

7 A .4 parts per million are below.

8 Q Ninety percent of children in that age range
9 had .4 parts per million. And on the high end, you
10 listed some, another exposure of --

11 A The Iraqi Grain accident of the 1970, is
12 that what you're referring to?

13 Q Yes.

14 A I'm orienting myself to what you mean by
15 high. I would call it wicked high just so you know.

16 Q A common New England term.

17 A Yes. So yes, and I believe we heard about
18 this through the transcript from the Cedillo case.
19 Dr. Aposhian mentioned this and putting this in
20 perspective. It was an unfortunate accident that
21 occurred in the 1970s where the grain, the seed was
22 coated with methyl mercury as a preservative. The
23 intent was to plant it, but the grain grew, and
24 unfortunately the people were hungry and they ate what
25 was sent to them, and so it's a massive exposure as

MCCABE - DIRECT

1 you can see by this chart, exposure to mercury.

2 You also see a peg down a value of 170 parts
3 per million. These are typical values that you would
4 find in sustenance fishing, people who eat extreme
5 amounts of fish or have extreme amounts of fish in
6 their diet. And the point to make there is that it's
7 a dose issue again, right. Those are the extremes of
8 what we see in rare occurrence in select human
9 populations. The majority of people as you can see at
10 the bottom there, right, are down much lower, and a
11 point to make is Colten Snyder based on his hair
12 analysis is down on that.

13 As we walk up the yardstick, we can see that
14 the majority of people, there is a very tight
15 distribution of the amount of mercury you would expect
16 to find in hair, anywhere from undetectable to 1.5
17 parts per million. Dr. Bradstreet indicated that he
18 expected Colten's hair levels would be one, maybe 10
19 parts per million, and I took note of that. I just
20 didn't expect -- I was surprised that that was his
21 expectation.

22 Let me tell you about 10 to 20 parts per
23 million. Ten to 20 parts per million based on some
24 ongoing epidemiological studies, which is the
25 Seychelles studies, also the Faroe Island studies,

Heritage Reporting Corporation
(202) 628-4888

766B

MCCABE - DIRECT

1 these are

Heritage Reporting Corporation
(202) 628-4888

MCCABE - DIRECT

1 studies examining nerve behavioral outcomes in
2 children whose mothers are eating large levels of fish
3 and in some cases may produce hair mercury levels
4 again reflective of the mercury found in the blood, in
5 the 10 to 20 part per million.

6 The issue of 10 to 20 as you see as I've
7 written here is that that's where we begin to be
8 concerned about neurological deficits due to prenatal
9 exposures. Ten to 20 means about a 5 percent increase
10 in risk of some cognitive defects. High-level
11 exposures in sustenance fish, the Iraqi Grain accident
12 in the '70s, different story. That's just toxic.

13 Ten to 20, you see more subtle effects, but
14 the issue is that it's mom's exposure. These are
15 levels of mercury found in mom. Remember, it's a
16 measure of mercury in blood that methyl mercury can
17 even cross the placenta, placental barrier, and the
18 child is exposed, the fetus is exposed.

19 Q In that range, how much mercury were they
20 taking in?

21 A I'll do it this way if you will accept this
22 answer. I mean, there are studies showing, for
23 example, in a Swedish population eating four fish
24 meals a week over an extended period of time, that
25 would reflect a hair mercury level of around 6.6 parts

MCCABE - DIRECT

1 per million, 6 or more, I think it's the same thing,
2 about 6.6. I believe it was 6.6 parts per million in
3 that particular group. So again, and if you follow
4 this issue at all, just the lay literature of the
5 issue of restricting your fish intake during
6 pregnancy, that makes sense.

7 Q So just to sum up then and make sure I
8 understand it, essentially what's going on is where
9 intake of mercury is reflected at the time in
10 circulating blood and therefore they sample the hair
11 close to the scalp, you can use the hair to measure
12 what's going on in the circulating blood which you
13 needed coming in in order for it to be reflected in
14 the hair at all, and what these are telling you, what
15 I'm taking for your testimony is the more you have
16 coming in the higher it is in the hair. The less you
17 have coming in the lower it is in the hair.

18 A Exactly what I would expect. Correct.

19 Q The third test that was mentioned by Dr.
20 Bradstreet was the urine test for mercury, and turn to
21 this now, turn to slide 5 that you prepared. What
22 does the value there indicate? Dr. Staterly had done
23 tests, and I think there is a little bit of background
24 you need to talk about here to understand this. Was
25 this mercury test sort of a steady state like usual?

MCCABE - DIRECT

1 A Sure. So let me ask you to take a quick
2 peak at page 7 again just to remind us. We talk about
3 an individual test, but let's look at the big picture
4 at the same time.

5 Q Okay.

6 A That there were three different tests of
7 mercury in the urine. The one back on page 5 is
8 notable because this is the only test, analysis of
9 mercury in Colten Snyder that the Petitioners claim
10 was abnormal. So that's why I call it out on slide
11 No. 5.

12 The test involves measuring mercury. This
13 is a measure of mercury ion in the urine, and you can
14 see down at the lower left-hand corner, which is
15 comments that this is a postprovocative challenge,
16 meaning that he was chelating. In all of these tests
17 for the urine mercury analysis, the appropriate
18 procedure would be to establish a baseline, establish
19 a baseline in the absence of chelation. What does a
20 chelator do? A chelator is pulling the mercury out of
21 the kidney and it's showing up in the urine.

22 That's not what they did here. They didn't
23 provide us with a baseline level of mercury, but
24 provide a drug that we would expect to increase the
25 mercury in the urine.

MCCABE - DIRECT

1 THE WITNESS: Special Master, on Monday, you
2 asked a question about the calculation, the
3 conversion.

4 THE COURT: I wasn't so far off as I thought
5 I was.

6 THE WITNESS: So I provide that for you, and
7 it's based on normalizing the 11 micrograms per
8 gramian (ph) to the gramian (ph) levels, which are
9 also given on the data. We have the doctor's data
10 asterisked down on the bottom left-hand corner of the
11 chart. It calculates out to be about 2.2 microgram
12 per liter, which if I remember my analytical chemistry
13 correctly, a part per million is a milligram per
14 liter, which would make this 2.2 parts per billion.

15 THE COURT: And if you were converting that
16 to parts per million?

17 THE WITNESS: It would be a thousandfold.
18 It would be 2,000 -- sorry, the other direction. Move
19 the decimal point three places to the left, so it
20 would be .00022 parts per million.

21 THE COURT: Which are lower than the
22 prechelation level in hair.

23 THE WITNESS: Sure. But we can't compare
24 levels in hair to the levels in urine.

25 THE COURT: Understand.

MCCABE - DIRECT

1 THE WITNESS: Right. So having made that
2 calculation and having described my reservation about
3 how they went about doing this as they didn't do a
4 before and after, if we turn to page 6, this is a
5 table taken from a publication of Dr. Woods, James
6 Woods. I believe it's cited as reference No. 5 in my
7 report, and you can see just to orient you to this
8 table, there is a measure of urinary mercury levels,
9 urinary porphyrin levels both before and after
10 chelation. The chelation time period here was six
11 hours, or rather the time period before and after was
12 around six hours.

13 What's important about the table is that
14 there is a comparison between an expected occupational
15 exposure to mercury of the dental technicians versus
16 presumed normal members of the population, nondental
17 personnel. If we borrow the urinary mercury levels in
18 the nondental population, you see that it's reported
19 to be 3 micrograms per liter.

20 And if we take that data and we compare it
21 to what we find in Colten Snyder postprovocation,
22 postchelation, it gives us a value, a comparison of 2
23 micrograms per liter at the time of what's being
24 claimed to be the single test showing evidence of
25 heightened mercury exposure with other presumably

MCCABE - DIRECT

1 normal people.

2 You can also see from this table the
3 approach that I'm asking for, a before and an after
4 approach, and you can also see that if we use this
5 value of 3 micrograms per liter in the before column
6 for nondental personnel, the normals, and we apply
7 that to the doctor's data values on page 5, my
8 suspicion is that the reference range used by the
9 doctor's data is not a postprovocative challenge
10 reference range. The value of Colten Snyder's mercury
11 isn't really all that high after challenge. It's
12 certainly not high if you apply the correct reference
13 range to interpret the data.

14 BY MR. MATANOSKI:

15 Q As far as reference range, you were pointing
16 out that you actually -- I take it that you're
17 pointing out that if you chelate somebody, you expect
18 to be drawing out mercury.

19 A Chelate someone, I expect to be drawing out
20 metals, including mercury.

21 Q I'm sorry. Thank you. And it appears
22 that --

23 A May I?

24 Q Sure.

25 A Expect to be drawing out metals, including

Heritage Reporting Corporation
(202) 628-4888

MCCABE - DIRECT

1 mercury independent of whether or not there is a
2 problem with the normal excretion of mercury from the
3 kidney.

4 Q Now this value then would seem actually --
5 again, it's not surprisingly high.

6 A Correct.

7 Q In fact, if anything, it's lower.

8 A I'm not impressed with that telling us there
9 was even a single measurement showing heightened
10 mercury in the urine.

11 Q Is it consistent with what we know in terms
12 of the essentially fairly low intake of mercury by
13 this child?

14 A Yes, it is. It's consistent with all of the
15 other nine measures of mercury in hair, urine and
16 blood, so it's not an outlier. It's not.

17 Q Turn to the last test that we discussed,
18 porphyrin. In toxicology, are urinary porphyrin
19 profiles used to measure the level of mercury?

20 A No, they are not.

21 Q Now, to prepare for a response to Dr.
22 Bradstreet, I understand you reviewed some of the work
23 of Dr. Woods that he had referenced. I think at the
24 end of your report, you list the three articles of Dr.
25 Woods that you were reading. Can you describe to me a

MCCABE - DIRECT

1 summary of what those articles say to you?

2 A Yes. Dr. Woods' research represents a work
3 in progress. This is something that is ongoing. I
4 know he's been at it for quite some time. At least
5 one of these references goes back to 1991. I believe
6 he's been working in this area prior to then. Much of
7 his work deals with the use of urinary porphyrins and
8 the measurement of urinary porphyrins using HPLC
9 detection method.

10 The use of the measure of urinary porphyrins
11 following prolonged high exposures to mercury in an
12 animal model, rats, and human populations, as you saw
13 the table that I showed, high occupational exposures.
14 Two modifiers that are important. Long exposure, high
15 exposures to mercury.

16 Q What else has the study shown us or these
17 series of studies shown us in terms of what he's
18 finding in his study of the population?

19 A Well, a few things, much of it interesting,
20 but there appears to be a signature profile as I think
21 you described it for porphyrins found, unique
22 porphyrins found in urine, one of which particularly
23 emphasizes -- there is a unique porphyrin called
24 precoproporphyrin, and it appears that this particular
25 porphyrin does serve as a marker of the urinary

MCCABE - DIRECT

1 mercury level and presumably the kidney mercury level,
2 again under the constraints of these high, prolonged
3 exposures, and you see that actually in the table that
4 I borrowed to make my other point.

5 If we go back to page 6, then you can see
6 that there is somewhat of a correlation between
7 urinary mercury levels measured on the left-hand side
8 and the urinary porphyrins measured on the right-hand
9 side. You can see the before-and-after approach is
10 important, before-and-after approach that he's taken,
11 that these porphyrin measurements are responsive to
12 chelation and that -- again, the porphyrin bodies can
13 reflect the mercury burden in the kidney, presumably
14 the mercury burden of the kidney because we're looking
15 at mercury in the urine as an indication of what that
16 burden would be. Again, high exposures, prolonged
17 exposures.

18 Q So looking at the population, high exposure
19 long term is finding a signature porphyrin profile,
20 primarily precoproporphyrins.

21 A Yes.

22 Q And he's also noticing after chelation there
23 seems to be a correlation of chelation drawing out
24 some of the mercury from the kidney, it's a
25 correlation between the levels of mercury and the

MCCABE - DIRECT

1 precoproporphyrins.

2 A Correct. So he's doing all the things that
3 I would expect him to do to demonstrate that this is a
4 reliable marker of mercury burden in the model systems
5 that he's working with.

6 Q So essentially it becomes one of the tests
7 then to use as a marker?

8 A It may.

9 Q Now I'm going to ask you to assume, because
10 this is what Dr. Bradstreet did, okay, and I'm going
11 to ask you to make the same assumptions Dr.
12 Bradstreet, what Dr. Bradstreet assumed, that the work
13 of Dr. Woods with respect to porphyrins is valid,
14 okay, that there is a link between burden at least in
15 kidney, and I have no doubt you'd restrict it to a
16 certain population. Dr. Bradstreet applied it to
17 Colten Snyder, so it applies to Colten Snyder. Dr.
18 Woods' work is applicable here. It's accurate, so
19 that we could expect that the precoproporphyrins or
20 the porphyrin profile in Colten would behave the same
21 way as in the population that he --

22 A Let me make sure I understand.

23 Q Okay.

24 A And make sure that you understand what my
25 reservations are in answering your question.

MCCABE - DIRECT

1 Q All right.

2 A So, first of all, I don't have any issue
3 that Dr. Woods' work is valid. I believe his work is
4 valid. You're asking me have I seen anything in his
5 work that says that this approach is applicable to low
6 level mercury exposures.

7 Q Actually I'm not, and I'm sorry I confused
8 you. What I want you to do is essentially step in the
9 shoes of Dr. Bradstreet.

10 A Okay.

11 Q What I would like you to do is to
12 essentially say, I'm going to accept that
13 precoproporphyrins, I can look at them in Colten
14 Snyder and figure out something about his mercury body
15 burden, and I can make calculations or conclusions
16 about it based on Dr. Woods' work.

17 Now that requires you as I understand not
18 only to make that leap, make that assumption with
19 respect to Dr. Woods' work as used in this case, but
20 also it means that you're going to have to take the
21 values that we have here as accurate.

22 A Right. So you're asking me to make this
23 assumption, but also I offer that I do have
24 reservations about the lab. I'll make the assumption,
25 put those reservations aside.

MCCABE - DIRECT

1 Q The reservation about the lab.

2 A About the lab side. Can we learn anything
3 from the porphyry profiles, the three porphyry
4 profiles conducted on Colten Snyder?

5 I'm not so sure that we can if I apply Dr.
6 Woods' findings to the porphyry data, and the
7 porphyry data appears on page 8, 9 and 10 of the
8 handout, and I believe these have been placed in
9 chronological order beginning with the measurements
10 that were taken on the 11th of July of 2002 on page 8.
11 Page 9 is the 15th of September in 2006, and the last
12 page is reported as July 26, 2007, but my
13 understanding is the actual samples were taken in
14 January of 2007.

15 So over the course of, what would that be, a
16 year and a half, a year and a half, over the course of
17 a year and a half, there were three samples sent to
18 his laboratory in France to perform what appears to be
19 a fairly specialized test. This is not something
20 that's done in many laboratories, which perhaps
21 explains the samples being sent to this laboratory in
22 France.

23 Based on Dr. Woods' work, in the
24 precoproporphyrin measurements over time, what he
25 showed was you take his animal studies -- again, the

MCCABE - DIRECT

1 rats were exposed typically to 10 parts per million
2 methyl mercury for six weeks if I'm remembering
3 correctly his 1991 paper that I cited -- exposed for a
4 long period of time to these high levels of methyl
5 mercury, and then the exposure was stopped. Then the
6 urine samples were collected and the porphyrin values,
7 the porphyrins were measured.

8 Over time, you would expect based on his
9 research that the porphyrin values would decrease,
10 particularly for the signature porphyrin,
11 precoproporphyrin. I mentioned a signature porphyrin
12 a couple of times here, at least I think I did, the
13 signature precoproporphyrin. You will notice on each
14 of these tests, the three dates that I am referring
15 to, is that not only does the signature porphyrin
16 change, but all of the porphyrins were changing, so in
17 the data, I'm not seeing the signature. That's one
18 issue.

19 The other issue is that over the course of
20 time, I'm not seeing the porphyrin lines change. Now
21 remember, the exposure to mercury occurred eight years
22 ago, so I'm surprised, and what's most surprising, and
23 again, this is in comparison to the table that I
24 showed you from Dr. Woods' publication, that Colten
25 Snyder was chelated during this period of time, and

Heritage Reporting Corporation
(202) 628-4888

MCCABE - DIRECT

1 you would expect the chelation will be changing his
2 porphyrin lines, and they are not changing. And so it
3 again makes me suspicious that these data are true and
4 a representative indication of the mercury burden in
5 this individual or that this approach can be used as a
6 reliable indicator of that mercury burden, and I
7 emphasize reliable indicator because I have to step in
8 Dr. Bradstreet's shoes. That's what he said.

9 Q So in other words, if you were to assume,
10 make an assumption that Dr. Woods' work can be applied
11 here, you assume this lab, this is not following the
12 pattern that should be followed based on Dr. Woods'
13 tests?

14 A Correct. And the reason why I think it's
15 not fitting the pattern is because it's not a valid
16 assumption, that it can be applied to a low level
17 exposure.

18 Q The other reservation that you have too, you
19 have another reservation with respect to the actual
20 values from the lab.

21 A Yes. I had some reservations about some
22 issues with the lab, that's correct.

23 MR. MATANOSKI: I have nothing further at
24 this time.

25 THE COURT: Break before we do the cross-

MCCABE - CROSS

1 examination?

2 MR. POWERS: You said it first. I was going
3 to ask. Yes, please. Thank you.

4 THE COURT: How about we reconvene just
5 shortly after 4 or 5 after 4?

6 (Whereupon, a short recess was taken.)

7 THE COURT: We're back on the record in the
8 Snyder case. Dr. McCabe is on the witness stand, and
9 you may cross-examine, Mr. Powers.

10 MR. POWERS: Thank you, Special Master.

11 CROSS-EXAMINATION

12 BY MR. POWERS:

13 Q Good afternoon, Dr. McCabe. Thanks for
14 being here. My name is Tom Powers. I'm one of the
15 lawyers that represents the Snyder family, and I'm
16 also one of the attorneys on behalf of the Petitioners
17 Steering Committee, and you understand that some of
18 the testimony here is being applied to cases in
19 general that are pending in the autism proceeding, is
20 that correct?

21 A Yes, I do understand that.

22 Am I close enough to the microphone?

23 Q You are absolutely close enough to the
24 microphone.

25 A Good.

MCCABE - CROSS

1 Q I'm assuming I am too.

2 A Yes, you are.

3 Q All right. Now have you been here in the
4 room for testimony throughout this proceeding
5 beginning Monday morning?

6 A Yes, for most of it.

7 Q For most of it. Were you here during the
8 testimony -- well, it might be easiest. What
9 testimony did you miss?

10 A I missed portions of this morning's
11 testimony.

12 Q Okay. Any other days?

13 A No.

14 Q And in preparation to come and testify here
15 today, it certainly sounds like you reviewed the
16 record that was developed in the Cedillo case?

17 A Yes, I did.

18 Q And in particular, you reviewed the
19 testimony of Dr. Byers, is that right?

20 A That's correct.

21 Q The testimony of Dr. Aposhian?

22 A That's correct.

23 Q The expert reports of those two folks?

24 A I don't recall that I reviewed the expert
25 reports. I don't recall that I reviewed those. I may

MCCABE - CROSS

1 have. The safe answer is I think not.

2 Q Okay. And in all seriousness, if you don't
3 know something, I'm comfortable with the answer that
4 you don't know. I certainly don't want you to be
5 speculating or guessing here.

6 A Sure. I understand.

7 Q Now you've also cited some literature, I
8 think it's five citations in your expert report, and
9 you discussed all the work in your CV. Aside from the
10 Cedillo materials that you described, the literature
11 that you specifically cite here and generally the
12 literature that's available to you in your area of
13 expertise, anything else that you were examining in
14 order to prepare for your testimony?

15 A I think nothing especially since you gave me
16 the umbrella term of the literature that's available.
17 So yes, I can do a PubMed search and find many papers.
18 Nothing specialized for that.

19 Q Okay. Great. Now I have a question about
20 this immune dysregulation issue. At what level of
21 mercury exposure would you expect to see some form of
22 immune dysregulation?

23 A Ask me the question again, please.

24 Q Sure. At what level of mercury exposure
25 might you expect to see some form of immune

MCCABE - CROSS

1 dysregulation? And this is in humans. We're not
2 talking about in-vitro, animal models. Specifically
3 humans.

4 A It's a difficult question to answer and I'm
5 not hedging in my answer. There is literature looking
6 at exposure, chiefly in occupational exposures. I'm
7 thinking of papers with, for example, the urine
8 mercury level. Remember these would be in
9 occupational exposure, so you would expect in the
10 target populations quite high levels, and those are
11 around 50 microgram per liter mercury present in the
12 urine.

13 And the studies are not very sophisticated,
14 which is why I stay away from those types of
15 approaches, because you can't ask as interesting
16 questions. The studies are not very sophisticated in
17 that the approaches to, well, count subtypes of
18 lymphocytes, subtypes of T-cells or B-cells and K-
19 cells.

20 Q And you correlate those counts through --

21 A Correlate those changed -- correct, you
22 correlate the changes in counts to the mercury
23 exposure level.

24 Now you asked me how much mercury would you
25 need to be exposed to. I can't speak to that based on

MCCABE - CROSS

1 the literature. I can speak to in those types of
2 reports what are the reported urine mercury values,
3 for example. I can tell you that in over half a dozen
4 papers that come to mind in the unsophisticated
5 approach that's taken that the data are all over the
6 place. Some of them show increases in T-cell numbers,
7 decreases in T-cell numbers. It's not a very
8 sophisticated approach as I've told you because it
9 doesn't say anything about the functionality of those
10 cells.

11 If I use a model that I discussed earlier,
12 it's essentially an A and B approach, connect A to B,
13 and it's limited in that it's difficult to take a view
14 of that. You can speculate what it might be. It's
15 difficult to put that speculation into a cogent
16 argument here because the values in individual papers
17 vary.

18 Q Do you have a sense then of what the range
19 of values are across some of those individual papers?
20 I mean, you used an example of 50 micrograms per liter
21 for urine samples, and that's 50 micrograms of mercury
22 in the urine, correct?

23 A Correct. Correct.

24 Q That's one number. Do you have a sense in
25 the published literature that you're familiar with

MCCABE - CROSS

1 what range of urine mercury would you expect to see in
2 an immune dysregulation response?

3 A I don't know that that literature supports
4 that it's an immune dysregulation, so I am not willing
5 to say that a change in lymphocyte numbers is
6 equivalent to an immune dysregulation. The immune
7 system is more complicated than that. You can't
8 simply count the cells, so that's a caveat to the
9 answer I'm giving.

10 From the literature, these are papers that
11 have been in the literature for quite some time. I
12 haven't reviewed the details of many of those papers,
13 of all of these papers recently. I don't know what
14 the range would be. I would not be surprised that
15 many of them -- two come to mind, 150 microgram per
16 deciliter, another in the 40s.

17 Q The 40s. You also mentioned that one of the
18 limitations of these studies is they generally do
19 little more than count cells.

20 A Correct.

21 Q Are you familiar with any studies that
22 examine mercury exposure and immune regulation or
23 immune response that do more than count cells?

24 A In humans?

25 Q Yes. And if so, at what sort of levels

MCCABE - CROSS

1 would you expect to see a response based on exposure?

2 A Nothing that's coming to mind right now.

3 Nothing that's coming to mind right now.

4 Q I want to shift gears a little bit and talk
5 about immune issues and talk a little bit about some
6 of the mercury issues that relate to these various
7 tests. I noticed in your expert report, you mentioned
8 that Dr. Woods' work shows that heightened urine
9 porphyrins may be a marker following exposure to high
10 level, prolonged exposure to high levels of methyl
11 mercury.

12 A That's correct.

13 Q Are you aware of publications, including
14 publications perhaps by Dr. Woods, of other forms of
15 mercury other than methyl?

16 A Yes, and I would expect based on how I know
17 that mercury is handled that other forms of mercury
18 showing up in the kidney as the mercury ion would
19 respond, would show altered profiles. In my expert
20 report, I am referring to his animal studies with the
21 rats where he had exposed the rats for a long period
22 of time, as I indicated on direct.

23 Q Yes, and that's just what I wanted to
24 clarify because it gave me the impression when I read
25 it that you were excluding other forms of mercury, but

MCCABE - CROSS

1 in fact that is not the case.

2 A You are correct. In the application of Dr.
3 Woods' research to the matters before this Court and
4 this case, the issue of speciation of mercury is not
5 an issue that I meant to make, but the issues of a lot
6 of exposure and high exposure I think remain.

7 Q Yes. Yes, and the specie issue you talk
8 about a little bit also. It is something that we
9 should at least touch on. In fact, let's start
10 talking about it now a little bit, the different forms
11 of mercury.

12 Now my understanding is that when Thimerosal
13 is injected into somebody, Thimerosal, one of the
14 breakdown products is ethyl mercury, correct?

15 A That's correct.

16 Q And ethyl mercury is an organic form of
17 mercury.

18 A That's correct.

19 Q And peer-reviewed published literature over
20 the last couple of years indicates that ethyl mercury
21 has a greater likelihood of being deposited in the
22 brain than does methyl mercury. That's the Burbacher
23 study, is that correct?

24 A I have seen the Burbacher study. I have not
25 looked at it more recently. My understanding aside

MCCABE - CROSS

1 from the Burbacher study is that you are correct in
2 that Thimerosal is broken down into ethyl mercury.
3 Ethyl mercury, the comparisons are always made between
4 the toxic kinetics, the movement of mercury in
5 different tissues of the body, the comparisons are
6 often made between ethyl mercury and methyl mercury.
7 Ethyl mercury is broken down into mercury plus 2 more
8 quickly than methyl mercury.

9 So ask me your question again just so I make
10 sure I don't go off on a tangent here that's
11 irrelevant to answer your question.

12 Q The question was, and I appreciate your
13 getting to the speciation of the Hg+2 because that
14 Hg+2 that ethyl mercury tends to break down into in
15 the body, as Dr. Burbacher points out, tends to be
16 deposited in the brain, not in the form of ethyl
17 mercury but in the form of an inner --

18 A Well, it wouldn't get into the brain as
19 mercury plus 2.

20 Q But once in the brain, it would be --

21 A Once in the brain, as in all tissues of the
22 body, ethyl mercury would break down more rapidly into
23 mercury plus 2, and mercury plus 2 being the ultimate
24 toxic species of mercury as most metal toxicologists
25 believe.

MCCABE - CROSS

1 More methyl mercury gets into the brain than
2 ethyl mercury. Since ethyl mercury breaks down more
3 quickly, if you compare the total mercury level
4 between methyl mercury and ethyl mercury, you will
5 find more methyl mercury, more total mercury in the
6 brain in the case of methyl mercury. You will find
7 equivalent levels of mercury plus 2 because the ethyl
8 mercury that gets into the brain is broken down to
9 mercury plus 2 more rapidly.

10 Q And then the mercury plus 2 that's in the
11 brain, that's mercury at that point behind the blood-
12 brain barrier.

13 A Correct.

14 Q So that's mercury that is not going to be
15 excreted through the hair, for example, correct?

16 A You would not expect that to be readily
17 exchangeable and appear back in the blood and be
18 detected in hair as a measure of the mercury that was
19 found in blood.

20 Q Okay.

21 A Correct.

22 Q I think I follow that.

23 A You're asking me about the excretion of
24 mercury at the hair, and I'm telling you that the
25 measure of mercury in hair is a proxy for the mercury

MCCABE - CROSS

1 that's in the blood.

2 Q And that's the exact term you used in --

3 A It's a proxy for the organic mercury that's
4 present, because remember it's not going to be in the
5 hair unless it's in the organic form.

6 Q And that's what I was trying to get at. And
7 I will try to ask direct questions only because we can
8 make this a lot quicker. My only question was the
9 Hg+2 that's in the brain, the presence of that form of
10 mercury in the brain will not be detected on hair
11 tests, correct?

12 A That's correct.

13 Q It will not be detected in urine tests,
14 correct?

15 A That's correct.

16 Q It would not be detected in blood tests,
17 correct?

18 A That's correct.

19 Q It wouldn't be in any other excretory
20 pathways where one might expect to find mercury,
21 feces, fingernails, that sort of thing. You just
22 wouldn't see it being excreted.

23 A That's correct.

24 Q Okay. And we know that the mercury, Hg+2
25 that's in the brain, deposited in there according to

MCCABE - CROSS

1 Burbacher has an indeterminant efflux, isn't that
2 right?

3 A Correct.

4 Q So none of the testing that you've discussed
5 today, the blood tests, the urine test, all the work
6 that Jeff Bradstreet was doing, none of these tests
7 would be informative about the presence of Hg+2 in
8 Colten Snyder at any point in his life because this is
9 all based on excretion.

10 A If I may, and if I am spiraling away from
11 answer your question, you certainly will stop me.
12 You're making the assumption that there is a
13 particular affinity for Thimerosal going to the brain
14 and not in any of these other tissues where it would
15 be metabolized, so it would be metabolized and would
16 then be readily measurable, for example, in the kidney
17 or in the urine.

18 Q Oh, yes. That was the point.

19 A I just want to make sure that in my
20 answering these questions right that there is no way
21 of assessing because it all went to the brain, and
22 there is no measure, and there is no noninvasive
23 measure to detect the mercury that's in the brain,
24 that we don't have any way of evaluating the exposure
25 of mercury that occurred.

MCCABE - CROSS

1 Q Yes, and that's my point. My question was
2 not about the exposure to mercury that occurred.

3 A Okay.

4 Q My question specifically was mercury in the
5 brain, Hg+2, we wouldn't find it anywhere in the tests
6 here. You wouldn't expect to.

7 A That's correct.

8 Q Now the porphyrins as I understand,
9 porphyrins are an intermediate byproduct of
10 hemosynthesis? Is that a correct understanding?

11 A That's my understanding as well.

12 Q Hemosynthesis is something that happens in
13 every cell?

14 A Yes.

15 Q And that would include cells in the brain?

16 A Yes.

17 Q And hemosynthesis is a biosynthetic process,
18 I guess a many step process where you take some raw
19 material and through this process you build hemo, hemo
20 is the end product of the hemosynthesis, is that
21 right?

22 A That's correct.

23 Q The idea with the porphyrins is that at
24 certain stages of that process of the various of the
25 steps, the body naturally makes excess porphyrin as an

MCCABE - CROSS

1 intermediary byproduct, right?

2 A Correct.

3 Q And that excess then gets excreted and you
4 can measure it in the urine, right?

5 A According to Dr. Woods' work, yes, you can.
6 Yes, yes, you can.

7 Q Right.

8 A Not just his work. Yes.

9 Q I'm not talking about signature stuff yet.
10 I'll get there.

11 A Yes, you can measure porphyrins in urine.

12 Q Exactly. And the hypothesis, the working
13 hypothesis of Dr. Woods' work since he first published
14 back in 1977 has been that mercury has a unique impact
15 at certain specific points of the hemosynthesis cycle,
16 correct?

17 A That's my understanding. That's correct.

18 Q And by being porphyrinogenic, it changes the
19 typically excretory profile of the porphyrins that are
20 being thrown off by this synthetic cycle, correct?

21 A Correct.

22 Q And so Dr. Woods has posited that if you can
23 then analyze the porphyrin levels of somebody who has
24 been exposed to mercury and somebody who is not
25 exposed, you'll see a different expression in the

MCCABE - CROSS

1 ratio of the various porphyrins. You have an
2 expectation for an unexposed population based on what
3 we know about the natural excess. You would then
4 see -- this is why it's a signature -- that the
5 mercury gets into some of those steps and disrupts the
6 ratio of porphyrin that are released, correct?

7 A That's my understanding. That's correct.

8 Q And that's measurable.

9 A Keeping in mind that the porphyrin profile
10 that's present in urine can also be modulated by other
11 metals and by other toxic agents.

12 Q Oh, absolutely. Absolutely. I think that's
13 really the body of this work that's very clear, that
14 in fact his mercury model actually follows upon a lead
15 model, and so we're sort of learning what we learn
16 about lead, we then learn things about mercury, and
17 this is a way to do it because this is urine testing.

18 A Absolutely correct.

19 Q And Dr. Woods has also published work
20 indicating and associating various levels of
21 porphyrinuria with some behavioral -- this is some of
22 the dental studies. Are you familiar with those?

23 MR. MATANOSKI: I'm going to object to going
24 into that just because we certainly haven't been going
25 into that on direct.

MCCABE - CROSS

1 THE COURT: Well, it's part of his report.
2 The articles that he cited in his report cover those.

3 MR. MATANOSKI: Oh, I'm sorry. Those are
4 the ones that he --

5 THE COURT: There were articles attached to
6 his report. I think they are fair game.

7 MR. MATANOSKI: Oh, absolutely, absolutely.
8 I didn't know that you were going into the articles.

9 BY MR. POWERS:

10 Q So the question was are you familiar with
11 the literature of Dr. Woods that looks to associate
12 porphyria that he claims is significant for mercury
13 exposure to behavioral in dental workers? Are you
14 familiar with those?

15 A Yes, I'm familiar with it. I'll tell you as
16 an immunotoxicologist with expertise also in metal
17 toxicology, the aspects of those papers that dealt
18 with the neuro outcomes I don't remember. That's not
19 my area that I was interested in those particular
20 papers.

21 Q So you were interested in the toxicology
22 aspect and not the neurological outcome aspects?

23 A I wouldn't say I was completely
24 disinterested. I'm telling you that if you're going
25 to ask me questions about the neurological outcomes in

MCCABE - CROSS

1 those papers, I don't remember.

2 Q I was just asking --

3 A So the answer is yes, I'm aware that he's
4 made and he's attempting to make connections between
5 the mercury exposure, the porphyrin profiles and the
6 neuro behavior outcome as is being done in this case,
7 the same.

8 Q On your slide No. 4, this is out of the
9 blue, but I'm wondering -- this is a math question.

10 A And it's asking me for a password here. Oh,
11 I see.

12 Q I don't think we need the slide. It's slide
13 4.

14 A Yes.

15 Q The hair mercury levels are being measured
16 in parts per million.

17 A Yes.

18 Q Is there any way to extrapolate from parts
19 per million of mercury in a hair sample into
20 micrograms per kilogram of body weight assuming you
21 knew how much a subject weighed?

22 A You would extrapolate these data to
23 micrograms per gram of hair. I believe you would
24 measure the hair.

25 Q But you would be able to make an

MCCABE - CROSS

1 extrapolation to body weight?

2 A You would be able to do that once you knew
3 what the body weight of the subject was and the weight
4 of the hair. I think you could make that kind of a
5 thing.

6 Q And it's not just an abstract question I
7 asked because so often in the literature relating to
8 Thimerosal and mercury that is the unit of measure.
9 It's micrograms of the target per kilogram of body
10 weight.

11 A Correct.

12 Q I'm not going to ask you to do any
13 conversions, but if you think that it's possible to do
14 that, that's informative for me down the road.

15 A Yes, and I see that in the literature as
16 well.

17 Q Okay. Now the various tests that Dr.
18 Bradstreet performed that you were discussing, these
19 tests all were two years later from the date of Colten
20 Snyder's last known Thimerosal exposure, is that
21 correct?

22 A I believe that is correct. Yes, that's
23 correct.

24 Q So none of the data that's presented in any
25 of these tables is informative as to Colten Snyder's

MCCABE - CROSS

1 body the day that he received the MMR vaccine back in
2 1998, is that correct?

3 A That's correct. I think I made that point.

4 Q One wouldn't expect to find it.

5 A That's correct.

6 MR. POWERS: I have nothing further.

7 THE COURT: Okay. Just to follow up on
8 that, if I inject a set amount of ethyl mercury in the
9 form of Thimerosal into a 11 kilo baby, and let's
10 assume none of it's excreted, it's going to be a
11 different burden than when that child weighs 50
12 pounds, a different percentage of his body weight.

13 THE WITNESS: Correct.

14 THE COURT: So what he got when he was two
15 months, four months, six months doesn't really
16 translate into what you would expect to see in terms
17 of urine level, hair level or blood level here?

18 THE WITNESS: Sure, sure. That's correct,
19 it doesn't. Right. I think the answer is yes.

20 THE COURT: All right.

21 THE WITNESS: So the issue is that I don't
22 see any evidence of high mercury burden.

23 THE COURT: Now let's talk about mercury
24 levels. You indicated, and I am aware that you cannot
25 translate urine levels into hair levels or blood

MCCABE - CROSS

1 levels, but is there a conversion measure that is
2 used, that is, blood mercury levels are generally so
3 many times greater than urine levels? That's a
4 question.

5 THE WITNESS: That's a question. Certainly
6 there are conversion factors for blood levels of
7 mercury and levels you would find in hair.

8 THE COURT: And it's roughly equal.

9 THE WITNESS: It's roughly around 1 to 250.

10 THE COURT: Okay.

11 THE WITNESS: Most of the mercury that's in
12 the blood is associated with the red blood cells. It
13 makes the plasma that's important because the plasma
14 fraction is the fraction that's readily exchangeable
15 in tissues. The plasma conversion to hair is around 1
16 to 2,500.

17 THE COURT: But you're not familiar off the
18 top of your head with any conversion of blood level to
19 urine level?

20 THE WITNESS: Off the top of my head, no, I
21 am not.

22 THE COURT: Okay. That's fine.

23 THE WITNESS: The conversion factor for hair
24 to blood I see in a number of the literature that I'm
25 reading, so I don't know. I'm not aware of it off the

MCCABE - CROSS

1 top of my head, and I don't know of one available.

2 And why would that be just if I may? Why
3 might that be and why would it make sense is that as
4 the kidney being the ultimate organ that mercury plus
5 2 is going to, right, it's going to move from the
6 kidney into the urine.

7 THE COURT: Okay. And ethyl mercury has
8 more of an affinity for the kidney, is that correct?

9 THE WITNESS: I don't know that.

10 THE COURT: Okay.

11 THE WITNESS: I don't know if that's
12 correct.

13 THE COURT: Okay. How do the methyl and
14 ethyl mercury half-lives or half-times in the body
15 compare?

16 THE WITNESS: The half-times?

17 THE COURT: That is, if I inject the same
18 amount of ethyl mercury versus methyl mercury, ethyl
19 mercury into one individual, methyl mercury into
20 another individual or group of individuals, looking at
21 excretion patterns.

22 THE WITNESS: Yes. I'm thinking of two
23 pieces of information that come to mind, and it's not
24 a side-by-side type study in answering your question.

25 THE COURT: I understand, but we usually

MCCABE - CROSS

1 don't inject either into people deliberately anyway.

2 THE WITNESS: Oh, injection of methyl
3 mercury versus exposure.

4 THE COURT: Yes. I'm looking at similar
5 modes, so whether we use ingestion, whether we use
6 subcutaneous injection, and does it differ between
7 those two.

8 THE WITNESS: So that I also have to offer
9 the two studies that I'm thinking about. So methyl
10 mercury, I have in my head that the half-life of
11 methyl mercury is about 65 days. That's my
12 recollection. The half-life of methyl mercury is
13 about 65 days. The half-life of Thimerosal is more on
14 the order of a week or two as I recall.

15 THE COURT: All right. You indicated some
16 degree of familiarity, am I correct, with the French
17 laboratory to which the --

18 THE WITNESS: Familiarity, I don't know. I
19 indicated that I had some reservations about the data
20 obtained from that lab.

21 THE COURT: Okay.

22 THE WITNESS: I don't have any familiarity
23 with the lab.

24 THE COURT: Okay. Is that reservation about
25 this particular data or data in general from that lab?

MCCABE - CROSS

1 THE WITNESS: Oh, this particular data.

2 THE COURT: And it's because this data is
3 somewhat anomalous in what you would expect in terms
4 of a chelated profile show?

5 THE WITNESS: Well, that's the start.

6 THE COURT: Okay. Where do you go from
7 there?

8 THE WITNESS: The start is being asked to
9 make an assumption that I can apply Dr. Woods' work to
10 a lower level of exposure.

11 THE COURT: Okay.

12 THE WITNESS: The assumption that the data
13 are valid if they don't fit the signature profile, as
14 I indicated. So the reservations that I have, if we
15 turn to page, I think it's page 10, page 10.

16 THE COURT: And that would be of Trial
17 Exhibit 3.

18 (The document referred to was
19 marked for identification as
20 Respondent's Trial Exhibit
21 3.)

22 THE WITNESS: This would be -- yes, and it's
23 the urinary porphyrin profile as measured or submitted
24 for measuring on July 26, 2007, and my comments I
25 think can be applied to the other two tests as well.

MCCABE - CROSS

1 I'm bothered by the column dealing with
2 interpretation, and you'll see that in each case it
3 says for the urine porphyrin on the right-hand side.

4 THE COURT: Yes.

5 THE WITNESS: The average rate, the next one
6 down, increased rate, slightly increased rate all the
7 way down. In each case, the word "rate" is used. So
8 this is a laboratory that's interpreting their data
9 and they are offering an interpretation involving
10 rate.

11 Now everyone that drives a car knows that
12 rate is a measure per unit time, and this is a single
13 point in time measuring these porphyrin levels, and so
14 I don't know what to make of their interpretation and
15 so it's a flag. Am I wrong about that? I don't know.
16 It's a flag that the interpretation is using. It's a
17 flag to me -- again, this is an exercise in math --
18 how do we go from nanomils of particular porphyrin per
19 gram of creatinine -- sorry, I'm going to do it the
20 other way. How do we go from first nanomils --

21 THE COURT: Per liter.

22 THE WITNESS: -- of a porphyrin per liter to
23 nanomils per gram of creatinine, and that requires the
24 normal answer, urinary creatinine is found down at the
25 bottom, in this case 548 milligrams per liter.

Heritage Reporting Corporation
(202) 628-4888

MCCABE - CROSS

1 If I applied that normalizer to each of the
2 values in column 1, for at least the first two, and I
3 don't have this -- the first two, the calculation is
4 different than what's reported here. Is it wildly
5 off? No. But if they can't perform a simple math
6 conversion in reporting a value, and I'm suspecting --
7 if I'm wondering if the data fit the diagnosis, I'm
8 left with trying to figure out, well, what's the skew
9 here.

10 I think the last thing to draw out is that
11 the creatinine levels, and so here is where you have
12 to go from page 10 back to page 9, the normalizer
13 changes. The urinary creatinine level changes. So
14 can urinary creatinine values change from 924 to 548
15 over a five-month period? Possibly. The 924
16 milligrams per liter, this is on page 9.

17 THE COURT: Right.

18 THE WITNESS: The 924 milligram per liter
19 creatinine value, doctor's data, there is a value for
20 creatinine taken 10 days earlier that differs by
21 hundreds.

22 THE COURT: It's 20.3.

23 THE WITNESS: It's 20.3. So if you make the
24 conversion, it becomes 203 grams per liter. Exactly.
25 It's over a 10-day period the creatinine value changes

MCCABE - CROSS

1 from 200 to 900. This is a specialized test that's
2 being sent to a lab in France, and my reservation is,
3 again I may be wrong, but it raises a flag for me.
4 It's not that they are incapable or if they reported
5 suspect creatinine values, which is something anybody
6 can measure, what does that do to the values of the
7 rest of the data?

8 The last issue to draw out, and again it's
9 in comparison between porphyrin profile in one, two
10 and three, so pages 8, 9 and 10, is that the reference
11 values change between tests. Now can reference values
12 change within a laboratory? Sure they can, and I
13 expect they could. But what's the explanation for
14 this change in reference values? It's not provided.

15 The larger issue here is that this is a
16 specialized test. We wouldn't do this test or have
17 access to do this test at the University of Rochester
18 or the medical centers around the United States
19 presumably. That's why the samples are being sent to
20 France.

21 THE COURT: So you're saying this kind of
22 testing is not being done in the United States?

23 THE WITNESS: Correct.

24 THE COURT: It's not being done by Dr.
25 Woods?

MCCABE - CROSS

1 THE WITNESS: Well, that would be a
2 question. If I got turned onto the idea that urinary
3 porphyrin profiles will be the new way to assess
4 mercury in the urine, it seems to me that I would
5 start a conversation with Dr. Woods and find out
6 what's the protocol that I should be following to do
7 this.

8 Remember the protocol itself would involve
9 again the before-and-after approach that he reported
10 in the table, and I showed you before-and-after
11 chelation. It would involve an approach where I am
12 measuring the urinary mercury levels in concert with
13 measuring the urinary porphyrin levels, right, because
14 after all I'm interested in showing that there is a
15 connection, and that's not being done here.

16 So it's a specialized test perhaps at the
17 front end of this. The reason that it's not done in
18 other labs is because we wouldn't know what to do with
19 the data. We don't know what the reference values
20 should be. So here I don't think they know what the
21 reference values should be either. They simply
22 change. Am I wrong? I may be, but again, these are
23 the issues that raise flags in the application of this
24 porphyrin approach to the question of is there
25 significant kidney burden of mercury here.

Heritage Reporting Corporation
(202) 628-4888

MCCABE - CROSS

1 THE COURT: Okay. It appears that these
2 tests, it looks to me, are being done for the purpose
3 of demonstrating that there is a body burden of
4 mercury that is not being detected on blood or urine
5 tests. Is that how you interpret it?

6 THE WITNESS: I interpret that that's what
7 their intent is, to have an alternative way of
8 measuring the kidney burden of mercury because the
9 direct measure of mercury in urine shows --

10 THE COURT: Very low.

11 THE WITNESS: -- very low, but again, the
12 problem there again was by the approach. Remember
13 there wasn't a before or after chelation, very
14 limited. If I was allowed to design this as a
15 research study, I would rather they go back and do the
16 pre- and postchelation and measure mercury in the
17 urine, directly measure mercury in the urine. Show me
18 that it doesn't respond to chelation.

19 Well, I guess show me that it does respond
20 to chelation, and then you would need a new test, a
21 new test of porphyrin values to give me an indication
22 of what the kidney mercury value was. I'm speaking to
23 the efflux issue.

24 THE COURT: Okay. And on the mercury efflux
25 disease, in your opinion, is there evidence that

Heritage Reporting Corporation
(202) 628-4888

MCCABE - CROSS

1 individuals do have a mercury efflux disease analogous
2 to Wilson's disease for copper? Do you have an
3 opinion?

4 THE WITNESS: I don't have an opinion.

5 THE COURT: Okay.

6 THE WITNESS: You're stretching my --

7 THE COURT: All right. Fair enough.

8 Let's back up then and talk about one of the
9 articles you submitted along with your report, and I'm
10 referring to the -- let's see if I can find which one
11 it is -- the Heyer, H-E-Y-E-R, article. I'll give you
12 a copy of it if necessary.

13 THE WITNESS: Yes, if I could get a copy.
14 Do you know who the senior author is?

15 THE COURT: He is the primary author. Woods
16 is also on the article.

17 THE WITNESS: Okay.

18 THE COURT: It's tab 5 to your exhibit.

19 THE WITNESS: Okay. This is the journal.

20 THE COURT: And it's the cascade analysis of
21 the interaction of mercury and porphyrin oxidase
22 polymorphous.

23 THE WITNESS: Polymorphism study, yes.

24 THE COURT: Okay. How do you interpret that
25 article with regard to whether individuals excrete

MCCABE - CROSS

1 mercury in different ways depending on their -- this
2 polymorphous? And then I'm going to ask you to
3 interpret if you have something to say, to interpret
4 that with regard to this porphyrin profile?

5 THE WITNESS: May I have a peak at the page?

6 THE COURT: I'm sorry?

7 THE WITNESS: May I have a peak at the page?

8 THE COURT: You may. Here. I'm going to
9 hand the paper over, and it's tab 5.

10 (Pause.)

11 THE WITNESS: And you're asking me?

12 THE COURT: This article seems to indicate
13 that there is a pattern of dose and time-related
14 porphyrins predictable among most human subjects
15 occupationally exposed to mercury, but about 15
16 percent of subjects from several studies display an
17 atypical response characterized by excretion of
18 substantially higher concentrations of three
19 particular porphyrins.

20 THE WITNESS: That's my understanding as
21 well.

22 THE COURT: Okay. And then so that these
23 are individuals that excrete mercury differently. Is
24 that too much of a jump?

25 THE WITNESS: I'm trying to find where in

MCCABE - CROSS

1 the paper an association is made between the mercury
2 excretion and the porphyrin.

3 THE COURT: I think that's what they are
4 looking at. They tried to associate the porphyrin
5 excretion patterns with those individuals who had the
6 CPOX-4 polymorphism.

7 THE WITNESS: I think I understood it this
8 way.

9 THE COURT: Okay.

10 THE WITNESS: Here is what I take from this
11 paper, and I think it essentially states there is some
12 percentage of the population, 13 percent, that has a
13 polymorphism in the gene that's responsible for
14 converting the porphyrins to signature porphyrin.

15 THE COURT: Okay.

16 THE WITNESS: And therefore in those
17 individuals, you see a heightened peak in that
18 particular report.

19 THE COURT: And that heightened peak would
20 be in 7CP?

21 THE WITNESS: Is it in the KICP?

22 THE COURT: And the KICP.

23 THE WITNESS: Right. So that tells you that
24 they have a special sensitivity of that enzyme to
25 mercury.

MCCABE - CROSS

1 THE COURT: Okay.

2 THE WITNESS: That's what it told me.

3 THE COURT: All right.

4 THE WITNESS: That's interesting. Why is
5 that interesting in general is because of the issues
6 of gene environment interactions.

7 THE COURT: Exactly.

8 THE WITNESS: Right.

9 THE COURT: And essentially what we have
10 heard, if you read Dr. Aposhian's testimony, he
11 testified that some people excrete mercury differently
12 than others, than a small percentage of population.
13 Now he referred to the pink disease and the 1 in 500
14 figure --

15 THE WITNESS: Yes.

16 THE COURT: -- that came from someone whose
17 cited article didn't say that at all, but that 1 in
18 500 figure has been repeated throughout the literature
19 we've been supplied.

20 If there is, as this higher study indicated,
21 some association with that polymorphism and urinary
22 porphyrins as measured, how do those measurements for
23 those individuals compare -- I mean, is that why
24 Colten's results are odd? That's what I'm asking.

25 THE WITNESS: Well, we don't know, for

MCCABE - CROSS

1 example, which CPOX polymorphism is, so I can't
2 speculate.

3 THE COURT: Well, let's not speculate about
4 whether he has it or not, but are his results
5 consistent with what Heyer found? Heyer or Heyer,
6 however you want to pronounce it.

7 THE WITNESS: Not as I recall what Heyer is
8 showing here. Now, I mean, Heyer is showing a
9 refinement --

10 THE COURT: On Woods' work?

11 THE WITNESS: -- of Woods' work, and Woods
12 is on this, but Heyer is showing a refinement of what
13 this lab has been studying, right, and the refinement
14 goes to the enzyme. Polymorphism in the gene that
15 goes to the enzyme, right, that's responsible for
16 producing this signature. It doesn't speak to the
17 mercury efflux issue and the potential for
18 polymorphism in other genes that are responsible for
19 the mercury efflux.

20 THE COURT: Okay. I understand that I may
21 be doing apples and oranges, so let's take the mercury
22 efflux out of the situation.

23 THE WITNESS: Okay.

24 THE COURT: Given this article's work on
25 this polymorphism and how it affects the excretion

MCCABE - CROSS

1 levels of the porphyrins, what I'm asking is are
2 Colten's excretion levels as reported here, and I
3 realize they are on several different levels here in
4 your charts 8, 9 and 10 on Respondent's Exhibit 3,
5 consistent with the unusual excretion, porphyrin
6 excretion pattern that Heyer observed or not?

7 THE WITNESS: Okay. I think no.

8 THE COURT: Okay. Thank you.

9 THE WITNESS: I can provide an explanation.

10 THE COURT: Sure.

11 THE WITNESS: I think the answer is no, and
12 Heyer's work still goes to a lot of high exposures.

13 THE COURT: Okay.

14 THE WITNESS: It's the application of
15 prolonged high exposure to a genetic polymorphism in
16 CPOX-4.

17 THE COURT: All right.

18 THE WITNESS: So it doesn't help in
19 explaining Colten Snyder's profile.

20 THE COURT: And Colten Snyder's profile does
21 not look like those with high exposure and CPOX-4.

22 THE WITNESS: As I testified, I don't see
23 that Colten Snyder's porphyrin profiles are acting as
24 the bulk of Dr. Woods' research has said that they
25 should.

MCCABE - REDIRECT

1 THE COURT: And that's how I read your
2 report, but it didn't specifically address this issue.

3 THE WITNESS: Yes.

4 THE COURT: Those are all the questions I
5 have. Any followup? Go ahead. No, Mr. Matanoski,
6 you get to go first. That's just the way it works.

7 REDIRECT EXAMINATION

8 BY MR. MATANOSKI:

9 Q Mr. Powers asked you about some studies with
10 respect to some values you found -- at the very
11 beginning of your cross-examination, studies were
12 conducted where there may be some in humans, and there
13 may be some unusual and a difference in you said the
14 cell count.

15 A Yes.

16 Q In those studies, now only if you recall,
17 were the individuals in those studies medically
18 immunosuppressed or clinically immunosuppressed?

19 A That's the point. Those types of features,
20 those types of issues were not addressed in those
21 studies from my recollection. It was again another A
22 and B connection, BB and lymphocyte numbers and
23 comparing the mercury exposure as indicated in the
24 urine.

25 Q They never got to the result we're

MCCABE - RE-CROSS

1 interested in about --

2 A Well, not that I recall, but the immunotox
3 literature, the metal tox literature, there are
4 studies that have examined frequency of colds, the
5 number of sick days, things of that nature. My
6 recollection of that work applies more to the lead
7 literature than the mercury literature. But in the
8 specific studies that I'm thinking about where there
9 were attempts to make correlations between lymphocyte
10 number and urine mercury, I don't recall, but I don't
11 think that other evidence of immunosuppression such as
12 frequency of infections was examined.

13 MR. MATANOSKI: Thank you.

14 THE COURT: Go ahead, Mr. Powers.

15 MR. POWERS: Thank you.

16 RE-CROSS-EXAMINATION

17 BY MR. POWERS:

18 Q Just a couple of quick things. On slide No.
19 10, you were talking about the term "rate" there.

20 A Yes.

21 Q Is there any possibility that what is meant
22 to be stated there is "ratio" and perhaps it's a
23 language issue? I noticed some other sort of grammar
24 and semantic errors in there. Would ratio be an
25 appropriate term to use where rate is not?

MCCABE - RE-CROSS

1 A It would not be. I thought about our good
2 friends in France and whether that would be indicated
3 in the report. Ratio is not the issue, and it's not
4 in my slides, but you know that each one of these
5 porphyrin profiles contain additional pages where the
6 ratios were calculated, and actually part of it's here
7 below and you can see ratio, they are using the word
8 "ratio" just fine. So no, I don't think it's ratio
9 instead of rate.

10 Q Would that be an appropriate term to use
11 given Dr. Woods' work looking at to some extent at
12 least the relative ratio of the various porphyrins
13 that are displayed?

14 A I understand that, and again, I'm going to
15 say no, and I don't think so because it's not the
16 interpretation in terms of average rate. The issue of
17 rate refers to each one of these rows that are not
18 providing the ratio. The ratio is provided elsewhere
19 in the report.

20 Q Okay. A moment ago, actually early on in
21 some questions the Special Master was asking, you said
22 there was no evidence of heightened mercury levels in
23 Colten. I did have a question on porphyrins.

24 Now hemosynthesis is happening in cells in
25 the brain. We are talking about that. So there would

Heritage Reporting Corporation
(202) 628-4888

MCCABE - RE-CROSS

1 be porphyrins even as to porphyria, even with a
2 typical porphyrin excretion pattern, the hemo process
3 in brain cells would be generating porphyrins,
4 correct?

5 A Yes.

6 Q Do those porphyrins cross the blood-brain
7 barrier and end up in urine? Do you know?

8 A I believe it depends on whether they are
9 oxidized or reduced, whether we're talking about
10 porphyrins or porphyrinogens, but again --

11 Q I'm talking about the porphyrins that would
12 be a byproduct of a typical hemo cycle where they are
13 overcreated at certain steps.

14 A Yes. Yes. I don't know. I don't know.

15 MR. POWERS: Nothing further.

16 (Witness excused.)

17 THE COURT: All right. We are finished for
18 the day and almost on time. We will reconvene at 9:00
19 tomorrow morning.

20 (Whereupon, at 4:55 p.m., the hearing in the
21 above-entitled matter was recessed, to reconvene at
22 9:00 a.m. on Thursday, November 8, 2008.)

23 //

24 //

25 //

REPORTER'S CERTIFICATE

DOCKET NO.: 01-162V

CASE TITLE: Colten Snyder by and through Katherine Snyder
and Joseph Snyder, his natural guardians vs.
Secretary of Health and Human Services

HEARING DATE: November 7, 2007

LOCATION: Orlando, Florida

I hereby certify that the proceedings and evidence are
contained fully and accurately on the tapes and notes
reported by me at the hearing in the above case before the
Department of Health and Human Services.

Date: November 7, 2007

Ron LeGrand, Sr.

Official Reporter

Heritage Reporting Corporation

Suite 600

1220 L Street, N. W.

Washington, D. C. 20005-4018