

UNITED STATES COURT OF FEDERAL CLAIMS

COLTEN SNYDER BY AND THROUGH)
KATHERINE SNYDER AND JOSEPH)
SNYDER, HIS NATURAL GUARDIANS)
AND NEXT FRIENDS,)
)
Petitioners,)
) Docket No.: 01-162V
v.)
)
SECRETARY OF HEALTH AND)
HUMAN SERVICES,)
)
Respondent.)

REVISED AND CORRECTED TRANSCRIPT

Pages: 820 through 1014
Place: Orlando, Florida
Date: November 8, 2007

HERITAGE REPORTING CORPORATION
Official Reporters
1220 L Street, N.W., Suite 600
Washington, D.C. 20005-4018
(202) 628-4888
hrc@concentric.net

IN THE UNITED STATES COURT OF FEDERAL CLAIMS
OFFICE OF SPECIAL MASTERS

COLTEN SNYDER BY AND THROUGH)	
KATHERINE SNYDER AND JOSEPH)	
SNYDER, HIS NATURAL GUARDIANS)	
AND NEXT FRIENDS,)	
)	
Petitioners,)	
)	Docket No.: 01-162V
v.)	
)	
SECRETARY OF HEALTH AND)	
HUMAN SERVICES,)	
)	
Respondent.)	

Courtroom 56
U.S. District Court
401 West Central Boulevard
Orlando, Florida

Thursday,
November 8, 2007

The parties in the above-entitled matter
convened, pursuant to notice of the Court, at 9:00 a.m.

BEFORE: HONORABLE DENISE K. VOWELL
Special Master

APPEARANCES:

On Behalf of the Petitioner:

CHRISTOPHER W. WICKERSHAM, SR., Esquire
Wickersham & Bowers
501 North Grandview Avenue, Suite 115
Daytona Beach, Florida 32115
(386) 252-3000

821A

APPEARANCES: (Cont'd)

Also On Behalf of the Petitioner:

THOMAS B. POWERS, Esquire
Williams Love O'Leary & Powers, P.C.
9755 Southwest Barnes Road, Suite 450
Portland, Oregon 97225-6681
(503) 295-2924 / (800) 842-1595

On Behalf of the Respondent:

ALEXIS B. BABCOCK, Esquire
U.S. Department of Justice
1425 New York Avenue, N.W.
Washington, D.C. 20005
(202) 616-7678

On Behalf of the Respondent:

VINCENT MATANOSKI, Esquire, Assistant Director
VORIS E. "VO" JOHNSON, JR., Esquire
KATHERINE C. ESPOSITO, Esquire
U.S. Department of Justice
Civil Division
Ben Franklin Station
P.O. Box 146
Washington, D.C. 20044
(202) 616-4136

C O N T E N T S

WITNESSES:	DIRECT	CROSS	REDIRECT	RECROSS	VOIR DIRE
Bertus Rima	824	905	933	938	--
Brian Ward	939	977	993	--	--
REBUTTAL:					
Ronald Kennedy	995	1004	--	--	--
Bertus Rima	1007	--	--	--	--

E X H I B I T S

RESPONDENT'S

EXHIBITS: IDENTIFIED RECEIVED DESCRIPTION

4	--	847	Slides
---	----	-----	--------

1 P R O C E E D I N G S

2 (9:00 a.m.)

3 THE COURT: We're on the record in the
4 Snyder case.

5 MS. BABCOCK: Oh, I'm sorry.

6 THE COURT: That's all right. It's nice if
7 we're on the record before we start calling witnesses.

8 Dr. Rima, if you'd step up to the witness
9 chair and raise your right hand.

10 Whereupon,

11 BERTUS KAREL RIMA, PhD

12 having been duly sworn, was called as a
13 witness and was examined and testified as follows:

14 THE COURT: Thank you. Please be seated.

15 DIRECT EXAMINATION

16 BY MS. BABCOCK:

17 Q Good morning, Dr. Rima.

18 A Good morning.

19 Q Would you please state and spell your name
20 for the record?

21 A Okay. My name is Bertus, B-E-R-T-U-S,
22 Karel, K-A-R-E-L, Rima, R-I-M-A.

23 Q And what is your profession?

24 A I'm a virologist.

25 Q Now could you briefly describe your

RIMA - DIRECT

1 collegiate and graduate education?

2 A I was educated as a chemical engineer in
3 Delft, the Netherlands, and graduated there in 1970
4 with what was the Anglo-Saxon equivalent of an MSC,
5 specializing in bacterial genetics. I then went to do
6 a PhD in Canada for five years in bacterial genetics
7 and then went to Dublin and to Belfast and wanted to
8 do postdoctoral work on measles virus. And I have
9 stayed there ever since and progressed through the
10 ranks.

11 Q And where are you at currently? The Queens
12 University of Belfast?

13 A Queens University, Belfast, yes.

14 Q And what is your position there?

15 A I am head of the School of Biomedical
16 Sciences. And at the moment, I am involved in the
17 reorganization of the medical faculty with a person
18 who was in my school and now is the head of the School
19 of Medicine and Dentistry. So I'm involved,
20 essentially involved, in reorganizing the whole of the
21 medical faculty there.

22 Q Now do you also teach?

23 A I do both at undergraduate level as well as
24 postgraduate level, although with the amount of
25 administration that I do at the moment, the amount of

RIMA - DIRECT

1 undergraduate teaching I do is relatively limited.
2 But I do still have about seven postgraduate students
3 in my lab.

4 Q And you alluded to it earlier, but what has
5 been the primary focus of your research, the literary
6 research?

7 A The primary focus of my research has been
8 paramyxoviruses and particularly neurovirology of
9 measles. Canine distemper and mumps virus is more or
10 less what I do at the moment as well as the
11 pathogenesis of these viruses. So that is the main
12 focus of my work at the moment.

13 I have been in measles virus work for about
14 33 years and started that off with the original SDS-
15 PAGE gel to look at proteins. We went through RNA,
16 the cloning and sequencing phase, PCR phase. And
17 essentially we are now focusing more on the
18 pathogenesis of the virus.

19 Q And about how many articles have you
20 published on measles virus?

21 A I haven't counted them accurately, but it
22 must be well over 100, plus a substantial number of
23 articles on canine distemper as well as on mumps.

24 Q And you've also written book chapters and
25 other publications?

827A

RIMA - DIRECT

1 A I have, yes, about 20 odd book chapters. I
2 am responsible primarily for the Encyclopedia of
3 Virology in mumps as well as the author of textbooks
4 in medicine on mumps as well.

5 Q And have you been an invited to lecturer or
6 given talks on measles?

7 A Oh, yes, quite a few times. Twenty, 30
8 times at least. And I've been involved in a number of
9 evaluations and WHO groups to look at measles
10 vaccination as well.

11 Q You also review scientific papers for
12 journals?

13 A I do. It's a matter of trying to limit
14 that, but I certainly will do one a week.

15 Q Okay. So that's about 52 a year?

16 A About 50 a year, yes.

17 Q Okay. Are you currently or have you ever
18 been on the editorial board of journals that might be
19 relevant to the litigation here?

20 A Yes. I'm on the editorial board of the
21 Archives of Virology, which is a relatively low-
22 ranking journal. I have been 15 years on the
23 editorial board of the Journal of General Virology,
24 which is about the third-ranked in the world, the most
25 prominent European journal. I've been editor of that

RIMA - DIRECT

1 for five years, and I'm still on the board. And I've
2 been invited just to join the editorial board of the
3 Journal of Virology, the ASM Journal.

4 Q And do you sit on any research panels?

5 A Not at the moment. I have sat on quite a
6 lot of panels in the past, but I just simply don't
7 have the time to sit on grant panels at the moment.

8 Q Do you have any learning society
9 memberships? And just the most important ones that
10 would be relevant to us here.

11 A Yes. I'm a member of the Society for
12 General Microbiology where I am also on the council of
13 the organization. That's a large-membership
14 organization, about 5,000 members in the U.K. and
15 Europe. And I am a member of the American Society for
16 Microbiology.

17 Q And you were an expert in the U.K. MMR
18 litigation, correct?

19 A I was, yes.

20 Q So it's fair to say you've spent a
21 substantial amount of time working on that litigation?

22 A I did. I was asked to come on board and
23 work with the lawyers who represented the respondents
24 in those cases, which were the vaccine manufacturers.
25 I was asked in late 1999 or early 2000, I can't

RIMA - DIRECT

1 remember, and I worked for over five years on that,
2 with different levels of intensity obviously because
3 the case took off slowly. And then in 2003, we had to
4 produce expert reports. But I was very much involved
5 in the earlier stages of that work, trying to bring
6 the legal teams up to speed in measles virology.

7 Q And as you just stated, you produced an
8 expert report for that.

9 A I did. It is a two-pronged report which
10 since has been redacted and has been made available to
11 the Court here. The first part is essentially very
12 much a general description, which I think is
13 noncontroversial as it's simply to educate people.
14 The second prong is really much more focused on my
15 assessment of the claims for presence of measles virus
16 in tissues of various claimants.

17 Q About how much were you paid for your time?

18 A I was paid about \$160,000.

19 Q And of that, how much of that went to your
20 academic institution for scientific research?

21 A After tax, I donated half of the proceeds of
22 this to my academic institution.

23 Q And before today, how many times have you
24 testified in a legal proceeding?

25 A I've never testified. As you know, the

RIMA - DIRECT

1 McCabe case never came to court.

2 THE COURT: As we said to Dr. McCabe,
3 welcome.

4 THE WITNESS: Thank you.

5 BY MS. BABCOCK:

6 Q Did you review the Snyder case materials in
7 preparing your report?

8 A I did.

9 Q And by your report, I'm referring actually
10 to what we may have called the supplemental report
11 from you, because obviously the UK report was prepared
12 for the litigation there.

13 Did you also review any materials from
14 Cedillo?

15 A I did. And obviously I submitted an
16 affidavit in that particular case. That affidavit was
17 terminated by a statement which essentially said that
18 I wished to revise my opinion if indeed I will be
19 allowed to talk more about what I had seen and what I
20 had experienced in the case. And luckily, because of
21 the redacted report now being available, I can now
22 make a complete disclosure of the content of my
23 report, which obviously was more extensive than the
24 affidavit you have in the Cedillo case.

25 Q And have you been present to hear the

RIMA - DIRECT

1 testimony of Dr. Kennedy and Dr. Kinsbourne in this
2 proceeding?

3 A I have.

4 Q Now, during Cedillo and in this case, there
5 was a fair bit of discussion about immune changes that
6 are observed after a measles virus infection
7 vaccination. Actually it was after a measles virus
8 infection, and then there was an effort to extrapolate
9 those immune changes to the MMR vaccine. Based on
10 your research and knowledge, are there any clinically
11 relevant immune changes following MMR?

12 A Well, I'm obviously not a physician. I'm
13 not as involved in this, but actually I've studied
14 this field quite a lot and I have never seen any
15 clinically relevant immunosuppression after
16 vaccination.

17 Q And can you briefly describe with MMR the
18 attenuation process that results in the MMR vaccine
19 for measles?

20 A Well, obviously there's three components,
21 and I don't know whether you wish me to go through all
22 three of them. Certainly in the case of rubella, I
23 would be a bit shaky on the actual process that has
24 taken place.

25 But as far as the measles virus is

RIMA - DIRECT

1 concerned, it was passed through a number. You had
2 first of all monkey kidney cells. And then
3 essentially this process culminated in a number of
4 passages in chicken embryo fibroblasts. And the virus
5 occasionally is grown by some manufacturers in eggs.

6 Q And is it fair to say that the intent of the
7 attenuation process is to make the virus less
8 virulent?

9 A Yes, although that is measured in a very
10 pragmatic sense in terms of the ability of the virus
11 upon infection in human beings to cause clinical
12 symptoms. So the actual molecular knowledge that we
13 have doesn't really allow us to identify at this
14 particular time which mutations are relevant. We
15 certainly know a large number of mutations that have
16 occurred during a particular attenuation process, but
17 we are not able at this stage to say this is the
18 important mutation that makes a particular virus
19 attenuate. But that's part of a very large research
20 program that I've been involved in.

21 Q Is it fair to say that the current
22 formulation of MMR is an attenuated version of the
23 Edmonston strain?

24 A It is. All measles vaccines used in the
25 world come from that particular strain.

833A

RIMA - DIRECT

1 Q Which of the clinical findings -- and again,
2 realizing that you're not a medical doctor -- but of
3 wild measles virus infections do we typically see, if
4 any, following MMR?

5 A The only one that is indicated and occurs is
6 the thrombocytopenia at a very low rate. But that is
7 rare and it is transient, but that's about it. There
8 is a certain amount of fever in some of the children,
9 but that is the main aspect.

10 When the original virus was put on the
11 market, the Edmonston virus was not that well
12 attenuated, and a more attenuated vaccine has been
13 developed since. And that particular vaccine, the
14 original vaccine actually still shows the occasional
15 Koplik spots, but that is no longer the case now. And
16 essentially what we see is a situation that fever is
17 practically the only sort of clinical symptom that we
18 see.

19 Q For about how long has this new vaccine you
20 said is the more attenuated version, how long has that
21 one been commonly administered in the United
22 States?

23 A I think that must have come out late '60s,
24 early '70s. I don't know exactly when it came to the
25 U.S.

RIMA - DIRECT

1 Q But quite some time?

2 A This is a long time.

3 Q Is pharyngitis a recognized reaction to the
4 MMR vaccine?

5 A (Nonverbal response.)

6 Q Is otitis media a recognized reaction to the
7 MMR vaccine?

8 A Of the vaccine, no. That is with the wild
9 type.

10 Q Has the MMR vaccine ever been associated
11 with SSPE?

12 A It has not.

13 Q What about MIBE?

14 A There are two cases in the literature that
15 I'm aware of, the Bidmun case which was referred to
16 earlier in the week by Dr. Kennedy. And that turned
17 out to be -- sorry?

18 Q Could I just clarify, I think it was Dr.
19 Kinsbourne.

20 A Sorry, sorry, yes. And that's the case in
21 which the child turned out to be immunosuppressed and
22 had an immune deficiency, although that wasn't
23 recognized at the time. That child throughout the
24 decades has been well described in the literature.

25 The second case is one that we have

835A

RIMA - DIRECT

1 identified about 30 years ago in Belfast with a child
2 who had received the Schwarz vaccine, which is the
3 same as the variety and strength in a more attenuated
4 vaccine. And in that case, the child died of giant
5 cell pneumonia but had infection in the brain and in
6 all the tissues that we looked at. So those are two
7 cases I know of.

8 Q Sure. Can I back up to Bitmun for a minute?
9 You said there was immune suppression? Are we talking
10 about mild immunosuppression, or was this
11 a significant --

12 A No, in the case of the Bidman case, I don't
13 know. I can't remember when the actual immune
14 deficiency was identified.

15 Q But it was significant?

16 A It was. And in the case of the second case
17 that I described, this child had -- anemia, so I
18 couldn't make out accurately.

19 Q And that child died.

20 A So these were essentially unrecognized
21 immunodeficient children which should not have been
22 vaccinated but were obviously.

23 Q Okay. And I just need to make this clear
24 now. By unrecognized immune deficient, the postulate
25 here is that to some extent, there might have been

RIMA - DIRECT

1 some unrecognized immune deficiency. We're talking
2 about far more significant.

3 A Oh, yes. Definitely in the Belfast case.
4 In the virus in the Bitmun, it was less well
5 described.

6 Q Now you described acute disseminated
7 encephalomyelitis in your report.

8 A Uh-huh.

9 Q What viruses have, you call it ADE, we
10 usually refer to it as ADEM, been associated with?

11 A The viruses that can cause that are
12 measles/mumps/rubella, vaccinia, varicella and
13 influenza. There are some classical mumps with which
14 that has been associated on occasion.

15 Q So a number of different viruses.

16 A Yes.

17 Q Has measles virus ever been shown to be in
18 the brain of children affected by this condition?

19 A No, it hasn't. But obviously studies are
20 quite limited because it is not often fatal. And in
21 that sense, it is a situation where there's not a
22 large number of material available. But in those
23 cases that have been looked at, we haven't been able
24 to find it.

25 Q So, no?

837A

RIMA - DIRECT

1 A The answer is it always difficult in science
2 to prove the absence of something. There is no
3 evidence for it, but that doesn't mean that it isn't
4 there. In essence, because the general opinion in the
5 field is that there is some form of an immune reaction
6 that is set off and essentially leads to a reaction
7 that is manifesting itself as encephalitis.

8 Q Now is measles an RNA virus or a DNA virus?

9 A It's an RNA virus.

10 Q Which is more stable?

11 A The DNA is much more stable. I mean, that's
12 well-demonstrated. In fact, we can look at the --
13 DNA, or we certainly could look at the -- RNA, it's so
14 unstable that essentially the viruses need to be able
15 to replicate constantly in order to maintain
16 themselves. And that's where there is a substantial
17 difference in terms of persistence between DNA viruses
18 and RNA viruses.

19 Q So it's possible for a DNA virus to remain
20 in a latent state for a lengthy period of time.

21 A Yes. Oh, yes. That's very well actually
22 demonstrated in the case of shingles in the elderly
23 who have had chicken pox in the very early, much
24 earlier stage of the virus stage, which you rely on
25 viruses like -- but cold sores and Epstein-Barr --

RIMA - DIRECT

1 Q But with an RNA virus such as measles, it
2 needs to be replicating?

3 A It needs to be replicating, and so in that
4 sense, it's not considered a latent virus. There is
5 an active replication process that needs to be there
6 to sustain the virus throughout the period of
7 symptoms. I think this is particularly in the case of
8 SSPE where that's about eight years. We do need to
9 recognize that there is a time that that virus has to
10 replicate in order to be able to maintain itself.

11 Q Now Dr. Kennedy discusses an R protein in
12 his report, contending that it's produced by ribosomal
13 frameshifting?

14 A Uh-huh.

15 Q Does this protein exist in measles virus?

16 A No, I've never heard of it. I've been 33
17 years in the field, attended all the conferences on
18 measles, and I've never heard of this. Ribosomal
19 frameshifting is a process that does occur in other
20 RNA viruses but not in measles.

21 Q And Dr. Kennedy also stated in his slides on
22 Tuesday that CD46 was the primary receptor for the
23 vaccine wild type measles virus. Do you agree?

24 A I don't. Its main receptor is CD150 or
25 SLAM. And even I refer to the paper that we have that

839A

RIMA - DIRECT

1 was produced in Belfast in this vaccine case, which
2 essentially is a little bit alike in that even in that
3 case, we are at this moment looking at the
4 distribution of the virus in this child's tissues
5 which are still available to us. And even in that
6 case, the virus is still entirely limited to the
7 lymphopickering (ph) system.

8 Q Now Dr. Kennedy also discussed a high-titer
9 measles virus in his report, suggesting that the
10 increased mortality in girls could be due to the viral
11 persistence and with immune factors at play. I think
12 we've already established that that's not a vaccine
13 that's ever been administered in the United States,
14 but are you personally familiar with these vaccination
15 trials?

16 A Yes. I mean, I was part of the review group
17 that WHO put together in order to look at that
18 particular issue in 1992. And we had to come to the
19 conclusion that there was indeed an unexplained higher
20 risk for girls to die after the administration of this
21 vaccine.

22 That particular evaluation was an
23 interesting one in the sense that if you put the two
24 genders together, the effect was just simply on the
25 statistical borderline. We were really quite unclear

RIMA - DIRECT

1 and unsure as to whether or not there was a real
2 effect or not, because this was looking closely at
3 cases that had occurred in various countries, and the
4 studies had been replicated in a number of cases in
5 countries.

6 And essentially you couldn't define
7 exclusion criteria after the fact. So there were
8 falls, there were traffic accidents, there was
9 anything. We couldn't really exclude anything. But
10 nevertheless, it was clear and it was replicated that
11 in girls, there was this excess mortality. And so the
12 WHO decided that these trials with high titer vaccines
13 should be discontinued.

14 The main reason for them having to try and
15 go into the children at an earlier stage with the
16 vaccine is so that there is this window of opportunity
17 for the virus to maintain itself. That is caused by
18 the fact that at some stage, children lose the
19 maternal antibody that they get.

20 If you then do a vaccination program at too
21 early a stage, you end up in a situation where a large
22 number of children simply have too much maternal
23 antibody left for them to get the good thing from that
24 vaccine. And so you have to wait with your program
25 until a time that the maternal antibody level has

RIMA - DIRECT

1 waned in almost all the children.

2 And so what we found was essentially that
3 that needed to be 12 to 15 months. But what was tried
4 was to go in with a higher titre vaccine from the
5 remnants of that maternal antibody. So that was the
6 idea behind it. And I think it was quite a sensible
7 idea, but at the same time, when this effect was noted
8 and replicated in other countries, there was really no
9 option but to stop the trial.

10 Q So do you think it's appropriate to
11 extrapolate and suggest that the reason that this
12 might have existed were because of immune dysfunction?

13 A No. I mean, there's been several attempts
14 to try to look at what the reason behind this is. And
15 essentially studies have been attempted, but none have
16 been able to be conclusive as to what happens in those
17 cases. And these cases have been followed up for
18 several years afterwards.

19 Q What are the measles antibody levels you see
20 in the CSF of patients with SSPE?

21 A The antibody levels in SSPE are extremely
22 high, and that is primarily based on the fact that
23 there are resident B cells in the brain which start to
24 make antibody that is measles-specific. And this
25 leads to the situation where in SSPE, you have

RIMA - DIRECT

1 oligoclonal bands that are the products of a set. A
2 small set of B cells make these antibodies and have
3 been put there in very, very high levels in the CSF of
4 SSPE patients.

5 Q And with SSPE, does measles virus affect
6 some areas of the brain and not others?

7 A No, it doesn't. It is diffuse, although we
8 can show anatomical spreads, that it's spreading both
9 through the sinus and also --

10 Q So it affects -- I'm sorry, you may
11 continue.

12 A Sorry?

13 Q So it affects everything?

14 A Yes. It's diffuse throughout the brain.

15 Q Any evidence it causes altered cytokine
16 levels?

17 A No really very good evidence, no.

18 Q Can you briefly discuss the clinical
19 symptoms of someone with SSPE and MIBE.

20 A Well, it starts off usually with deficits in
21 attention, difficulty to concentrate and usually is
22 followed very quickly by degeneration, and there are
23 four different stages recognized. And in the final
24 stage, the children lapse off into coma. Death
25 follows almost invariably. But there are stages with

RIMA - DIRECT

1 seizures and seizures in various levels in between
2 that that form a relatively well-defined staging of
3 the process.

4 Q And have you ever had occasion to work with
5 Andrew Wakefield?

6 A I did. As you can imagine, I worked on
7 measles for about 15 years before Andy started. And I
8 was quite interested. As a person who was interested
9 in the sequelae of measles, I was quite interested to
10 see what he had to say about the work in laboratories
11 on viral disease. And so in 1992, I attended the
12 first meeting with him where we had a number of
13 measles virologists come together with him to look at
14 material that he had produced.

15 And he was essentially asking the opinion of
16 a number of people who were fairly well-respected and
17 had had long experience in this field to see what they
18 made of the claims. And I attended two of these
19 meetings I think, and I came to the conclusion that
20 whatever material was put in front of me was highly
21 selective. When criticisms were made, they were not
22 followed up.

23 So I was confronted with so-called measles
24 viruses inside the cell which essentially turned out
25 to be clathrin-coated pits and not measles virus,

844A

RIMA - DIRECT

1 which I pointed out. The size wasn't right. That
2 sort of thing developed into a situation where I
3 became somewhat frustrated by the fact that criticism
4 that was leveled at the data that we were shown really
5 wasn't followed up.

6 And then essentially in 1995, we had a
7 situation where one of his MD students produced an
8 abstract for a meeting that I was attending and asked
9 me whether I wanted to be coauthor on it and I asked
10 so, first of all, I would like to ask what the data
11 were. And when data were presented to me in terms of
12 sequence analysis, one of Andy's students told me that
13 essentially it wasn't the Edmonston strain but that it
14 was because it had the same simple single mutation in
15 a particular position.

16 And I said, well, that's interesting because
17 that was exactly a mutation which is present in the
18 clone that I sent you, and so essentially that would
19 have indicated contamination at that time. And when
20 that wasn't retracted, then I formally withdrew my
21 collaboration with Andy Wakefield.

22 And so I have been since 1995 involved in
23 first of all looking from a different perspective of
24 his at his claims for the involvement of measles in
25 infecting inflammatory bowel disease, which was a

845A

RIMA - DIRECT

1 difficult period because it changed. We had notices
2 all the time in strains of measles, wild-type measles
3 viruses to vaccine measles viruses to measles and
4 mumps in the same year. It was a very difficult time.

5 In '92, which is in my CV, it led to a
6 situation where in 1998 I think, '99, I can't remember
7 exactly, the Medical Research Council in the U.K.
8 convened a meeting in which essentially we had
9 hearings with Andy and several experts in the field.
10 The general conclusion of everyone present was that
11 there really was no substance to the claim that
12 measles vaccine or measles virus was involved in the
13 actual infectious bowel disease syndrome that he
14 described. The only person that didn't agree was Andy
15 Wakefield, and at that time, he had started to work on
16 the autism case, but I wasn't aware of that.

17 Q So, just to summarize it, you had an
18 instance where you worked with him, you identified
19 concerns. Because of that, you didn't work with him
20 any longer.

21 A Well, yes. I mean, my main concern was a
22 rather difficult situation where I found that the
23 criticisms I made were not acted upon. Then
24 essentially you have to stop the collaboration, as
25 several others have had to do as well. I mean, there

RIMA - DIRECT

1 were people from the Westbury Group, a very well-known
2 group working with measles. They were involved with
3 Andy at the same time, and they withdrew from that
4 collaboration as well. So it involved my other
5 colleagues.

6 Q Switching gears, have you ever heard Paul
7 Dyken?

8 A No, I hadn't, not until I came here.

9 Q Okay. And switching gears again, now on to
10 the Uhlmann paper. This topic has been covered in
11 quite some depth, and so we will certainly attempt not
12 to duplicate what was already presented in Cedillo.
13 Is it safe to say that you have identified a number of
14 concerns with the Uhlmann paper?

15 A With the Uhlmann paper, yes. I mean, part
16 of that is in my original affidavit in the Cedillo
17 case and is read very well and extensively criticized
18 in my redacted report that's available to the Court.

19 Q How much confidence do you have in the
20 reported results based on those concerns?

21 A I have no confidence whatsoever.

22 Q Now Dr. Kennedy takes issue with Dr.
23 Bustin's observation of a C-to-T substitution in the
24 F-gene probe in the Uhlmann paper, asserting that this
25 was done for purposes of allelic discrimination.

RIMA - DIRECT

1 A Uh-huh.

2 Q Can you just explain quickly what allelic
3 discrimination is?

4 A Okay. I think it's best if we would go to
5 the last slide that I had.

6 THE COURT: All right. At this point, can
7 we get these marked?

8 MS. BABCOCK: I'm sorry. Would it be
9 Respondent's Trial Exhibit 4?

10 THE COURT: It would be.

11 (The document referred to was
12 marked for identification as
13 Respondent's Trial Exhibit
14 No. 4.)

15 THE COURT: And are those slides numbered?

16 MS. BABCOCK: Yes.

17 THE COURT: Okay.

18 MS. BABCOCK: So we are on page 9.

19 THE WITNESS: Okay. So --

20 BY MS. BABCOCK:

21 Q Well, let me set the groundwork here. I'm
22 just wanting in general what is allelic
23 discrimination. We'll discuss it in more detail
24 later.

25 A Okay. Well, allelic discrimination is a

RIMA - DIRECT

1 test that's been devised to see whether people are
2 having in their DNA largely just a copy of the same
3 allele, the same sequence, or whether there is a
4 mutation involving the parental chromosomes or whether
5 most of them are of the second allele, and I have
6 described that in my report.

7 It is a technology which works quite well
8 when you have two 50/50 of DNA, with 50 on one allele
9 and 50 percent on the other allele. Unfortunately,
10 the Unigenetics Lab started to apply this to RNA work
11 under conditions which are essentially experimental
12 and which I can easily demonstrate to you that they
13 actually failed to develop a proper test.

14 Q And we will get to that?

15 A We will get to that.

16 Q This is just for purposes of I wanted to see
17 when I'm asking questions about whether the suggestion
18 of the C-to-T substitution was done for purposes of
19 allelic discrimination.

20 A Oh, it wasn't an allele. In fact, it was
21 clearly a mistake. There is nothing in the Uhlmann
22 paper that deals with allelic exclusion.

23 Q Okay. Were there other techniques used in
24 Uhlmann like solution-based RT PCR?

25 A Yes. There were essentially four techniques

RIMA - DIRECT

1 used in that paper. Solution-based RT PCR, which is
2 an standard technology. There was in situ RT PCR,
3 which was an experimental technology which in my
4 opinion they failed to develop properly. And then
5 there was obviously the background data. Those were
6 the main technologies used.

7 Q What is immunocytochemistry?

8 A Immunocytochemistry is a technique that is
9 used in order to demonstrate the protein of a
10 particular virus in a particular tissue. Essentially,
11 what it does is that binds an antibody to that
12 particular protein to the tissue with a large number
13 of controls. Then we add a secondary antibody to the
14 infected antibody to see whether a particular protein
15 of a virus is present in that tissue or not. And that
16 technique was not used in the Uhlmann paper.

17 Q You answered my question, which is great.
18 We'll move on to Unigenetics. Obviously, in your
19 report, as part of your work in the U.K. MMR
20 litigation, you had the opportunity to examine the
21 tests and records used by the O'Leary Lab?

22 A What I had looked at, I must say I find
23 myself in a somewhat difficult position, and if I may
24 explain that to the Court. Obviously, my redacted
25 report is available, but there is obviously a large

850A

RIMA - DIRECT

1 amount of background material that I have looked at
2 but which I am not at liberty to discuss with you.

3 Nevertheless, my experience is described in
4 the report, and it's based on having looked at that
5 very substantial amount of material, which involves
6 probably around 300 samples that we looked at in the
7 U.K. litigation of controls as well as claims.

8 So, in essence, I have to be careful about
9 how far I go into disclosing particular material.
10 That is unfortunately the situation. But what is a
11 very big difference between the situation that I find
12 here and the U.K. litigation, the data that were
13 available to me in the U.K. case would have been the
14 top sheet or the headline figure that is the number of
15 copies of measles F gene. It would be in some cases
16 simply a number of copies. In some cases, the number
17 of copies per nanogram of RNA, so a computation had
18 taken place.

19 And I would have also then seen the actual
20 data for the cell cycle number at which the -- would
21 have had the circled CT number that -- I looked at and
22 described in detail. And for each of the samples in
23 that particular run as well, I would have seen the
24 laboratory pages that would have indicated how the RNA
25 was extracted and how successful that would be.

Heritage Reporting Corporation
(202) 628-4888

851A

RIMA - DIRECT

1 Q So you're saying that the clinic's efforts
2 in the U.K. proved their case. They provided you with
3 a lot more information on the testing that was done.

4 A Data, yes.

5 Q Obviously, Colten Snyder wasn't a part of
6 the U.K. litigation, but nevertheless, we don't have
7 that information here.

8 A No, we don't.

9 Q Nor should I say we would have any
10 information in the Michelle Cedillo case.

11 A No, the same applies. The only thing that
12 we have here is the number of copies.

13 Q And you've read materials presented by
14 Stephen Bustin in Cedillo and in his testimony.

15 A I did, yes.

16 Q And again, I assure you that as a result of
17 that, we will not be going through how PCR is done and
18 some of the more technical details, because that was
19 very technical, but we also need to cover some issues
20 with you, Dr. Rima.

21 You've also read the rebuttal opinions filed
22 by Dr. Kennedy and Dr. Hepner in Cedillo.

23 A I have.

24 Q During your career, have you developed

25 //

RIMA - DIRECT

1 expertise on PCR techniques.

2 A Yes. I mean, as soon as it came out, it
3 became quite clear this was a very, very powerful
4 technique. What wasn't immediately recognized, and
5 this was not until a substantial number of situations
6 in literature which involved data that had to be
7 rectified, was how powerful the technique actually
8 was. And certainly the experience of all of us in the
9 particular effect of using the technique is that it
10 can pick up one copy or one molecule of DNA for a
11 specific titer quite easily.

12 The RNA is a little bit less sensitive
13 because you have to do this reversion scripting.
14 That's the conversion of the RNA into DNA. That in
15 itself is additional multiplications in the whole
16 process. That's a situation where RNA is actually a
17 little bit harder to detect. This is an area where I
18 would have taken some issue with while Dr. Kennedy
19 described immunization through the discussion you
20 had -- in relation to the contamination issues in
21 relation to the -- interactions.

22 (Electronic interference.)

23 A RNA actually, it is more difficult to pick
24 up RNA in a lab, and even if you've got a virus and if
25 you are in a laboratory which has a large number of

852B

RIMA - DIRECT

- 1 plasmids around, which are used in order to make
- 2 standard RNA for the PCR tests.

RIMA - DIRECT

1 So I would observe that it is a difficult
2 situation to prove to anyone, but if you ask me what
3 is the most difficult situation, it's that if you have
4 in your laboratory a large number of plasmids that are
5 of a particular virus, then you have a much greater
6 chance of contamination than if you have the actual
7 virus itself.

8 Q Were there plasmids at Unigenetics?

9 A Yes. They made them. They grew them in
10 order to make standard RNA's for their standards
11 curves in assays.

12 Q Did you visit the Unigenetics Laboratory?

13 A Yes, on two occasions. The first time
14 primarily to look at the IS RT PCR data.

15 Q Which IS stands for?

16 A The in situ RT PCR. And I was allowed into
17 a small room. Maybe I was a naive scientist at the
18 time, not having been involved in any legal cases at
19 all, and essentially ended up in a situation where I
20 thought, well, I'm going there and I'll talk this over
21 with John O'Leary and see what we can come up with.

22 But the only contact I had with John O'Leary
23 was he came in the room and read me a legal statement
24 and said he couldn't talk to me. I said okay. Then I
25 just simply looked at the slides myself. And my only

RIMA - DIRECT

1 interaction was with Dr. Shiels, who whenever I said,
2 look, I don't see what it is I'm supposed to see in
3 this particular slide and I see in this red circled
4 area which you say is positive, I see exactly the same
5 outside that red circled area, the only response I
6 got, he said, well, this was Dr. O'Leary's invitation.
7 So we couldn't really discuss this any further.

8 But being mindful of the fact that we were
9 then getting into a legal situation, I ended up saying
10 to the solicitors that acted for the respondents,
11 well, I'm not a pathologist, so it would be very easy
12 to say in court that what I saw was of course simply
13 based on inexperience in the situation.

14 So I then went back a second time with Dr.
15 McDonald, who I understand has testified to the Court,
16 essentially to look at IS RT PCR and Tom I think also
17 he took quite a few slides home with him in order to
18 photograph them, and I hope you are aware of that. I
19 haven't read the transcript of his testimony, but I
20 assume that's the area that was covered.

21 Q Certainly. So it's safe to say you did
22 review the Unigenetics of data?

23 A I did that directly in the IS RT PCR. I
24 mean, the -- data, I obviously reviewed what I already
25 said, the material that was disclosed to us in the

854B

RIMA - DIRECT

1 U.K.

RIMA - DIRECT

1 litigation and presented through the allelic
2 discrimination assay.

3 Q Now Dr. Bustin during his testimony and in
4 his written report discussed concern with the
5 laboratory notebooks.

6 A Uh-huh.

7 Q He discussed one example in particular. An
8 attempt has been made to adjust that the problem, this
9 problem he identified, was an isolated problem and was
10 later corrected. Was this your experience in review
11 or your knowledge of that particular lab notebook?

12 A In terms of the lab notebooks, I have seen
13 that particular alteration that has taken place, the
14 fact that after P28 full stop, material was added
15 later on. Because we didn't get too many cases in
16 which particular samples were disputed or where
17 particular samples were repeated, I haven't been able
18 to myself see any further instances of direct notebook
19 alterations of that kind, okay? So that thought in
20 regard to a first look at the evidence in this case,
21 that's the only evidence that I have seen of that
22 particular instance of the alteration of lab
23 notebooks.

24 Q Based on your knowledge of that particular
25 //

RIMA - DIRECT

1 one, the circumstances, do you think it was later
2 corrected? Do you buy the explanation that was
3 offered in the rebuttal for the lab notebooks?

4 A It was clearly later corrected. In the U.K.
5 case, we had one submission of that notebook and it
6 came back into the second submission, and then there
7 was an alteration.

8 Q Now we have the slide up actually about
9 allelic discrimination. A claim has been made they
10 were able to determine whether the measles virus they
11 were identifying is the wild type or vaccine strain?

12 A Yes.

13 Q Based on your review, do you think they were
14 reliably differentiating between vaccine strain and
15 wild-type measles virus?

16 A No, they weren't, and I think this is very
17 extensively dealt with in my report. But I have had
18 direct discussions with Orla Shiels about the way in
19 which he did that, because it wasn't very clear from
20 the material that had been disclosed to us how that
21 particular test worked. But if I may take the
22 opportunity to show a diagram that is in my report?

23 Q Just one moment. I'm just going to say this
24 is in color at one point, because you'll see according
25 to the legend, different colors are supposed to

RIMA - DIRECT

1 represent different things. We may ask leave of the
2 Court to later file a color version so it will be
3 easier to understand.

4 A Okay. Is this in color?

5 Q It's tough to tell.

6 A Okay. I need to see some colors.

7 Q What I need you to explain is why this does
8 not give you confidence in allelic discrimination
9 assays.

10 A Okay. Well, the assay works as follows.
11 There are two probes in the RT PCR, one that can
12 interact with DNA that is coming from the vaccines if
13 there was a vaccine present in a particular sample and
14 one that can interact with DNA that would be amplified
15 from wild vaccines. And this gives rise to two
16 different fluorescence values, which are measured in
17 the "Y" axis or the "X" axis.

18 And so the assay is set up in the following
19 way. A number of tests are being done on material
20 that has been spiked with DNA, actually RNA that is
21 contained in the vaccine sequence. There are also a
22 number of tests that are set up in blue here if you
23 can see it that are spiked with RNA that contains the
24 wild vaccines.

25 THE COURT: All right. Doctor, I want you

RIMA - DIRECT

1 to stop for a minute and describe where on the slides
2 you were using your pointer for the vaccine strain and
3 for the wild-type strain.

4 THE WITNESS: The vaccine tests material
5 would be these right here.

6 THE COURT: And that would be the upper
7 right part of the lower right square.

8 THE WITNESS: It is indeed here, yes. So a
9 cutoff point is defined in that test. Based on the
10 highest point in this set where the vaccine is spiked
11 with the samples, and the value of that is determining
12 where you make the cutoff between the vaccine and wild
13 vaccines.

14 THE COURT: And by that, you mean the line
15 that divides this slide?

16 THE WITNESS: That's the line that divides
17 that particular diagram into four.

18 THE COURT: And that's the horizontal line.

19 THE WITNESS: The horizontal line, yes.

20 THE COURT: That's not quite at the halfway
21 mark in the square.

22 THE WITNESS: That's right. Okay? And the
23 same is then done in terms of the left/right
24 discrimination with a number of samples that are
25 spiked with wild-type RNA.

RIMA - DIRECT

1 THE COURT: And you're referring there to
2 the cluster of dots at the upper part?

3 THE WITNESS: At the top of the diagram.

4 THE COURT: Of the diagram, along the
5 vertical line.

6 THE WITNESS: Yes. And so essentially then
7 the most right-handed point of that set of samples
8 spiked with wild-type RNA defines the second cutoff
9 for wild-type or not.

10 THE COURT: And by "second cutoff," you're
11 referring to that vertical line?

12 THE WITNESS: That's right. So if you spike
13 them with both, then you get your "Y" data,
14 essentially your indication of the amount of wild-type
15 RNA that is there, and you get that in the upper right
16 quadrant as a set of samples.

17 THE COURT: And you're circling that more
18 dispersed cluster of dots next to both.

19 THE WITNESS: That's right. And so here's
20 the wild-type spiked samples. The vaccine-spiked
21 samples appear on both. Then we have a cluster of
22 patient data. This cluster of patient data is
23 actually populated very heavily with one single case
24 of an SSPE that was amongst the litigants in the U.K.

25 THE COURT: And by that, you are referring

RIMA - DIRECT

1 to the more dispersed cluster of dots along the
2 vertical line, below the wild-type cluster you
3 described before.

4 THE WITNESS: That's right. The controls,
5 the no template controls, or irrelevant templates, are
6 here.

7 THE COURT: And you're circling?

8 THE WITNESS: I am now circling the sample
9 in the bottom left quadrant, several of which are open
10 circles are --. And essentially then where we see
11 most of the claimant samples are in this particular
12 position here. They are in this particular cluster,
13 but some of them are on the right-hand side of that
14 vertical line. Others are on the left-hand side of
15 that vertical line.

16 THE COURT: And you are there circling the
17 cluster of dots in the upper left-hand corner of the
18 box labeled "vaccine".

19 THE WITNESS: That's right. Thank you for
20 helping.

21 THE COURT: Lots of experience in describing
22 things.

23 THE WITNESS: Thank you for making this as
24 correct a transcript as possible.

25 And essentially what we then have is a

861A

RIMA - DIRECT

1 situation where you see that most of the claimants
2 sampled, apart from one case which clearly has a wild-
3 type virus in there, sit in this particular position.
4 And essentially when I started to look at the actual
5 raw data, I came to the conclusion that several of
6 these samples had been miscalled, and that is
7 identified in great detail in my report on pages 32
8 and 33, and page 32 has been filed, now, has it?

9 MS. BABCOCK: Yes.

10 THE COURT: Yes. We have it filed as a
11 separate exhibit.

12 THE WITNESS: Okay. So essentially there
13 were a large number of instances where when I started
14 to look at the data, they had certainly been
15 miscalled, because particularly now the "X" or "Y"
16 data was mistaken as to where the line should be, and
17 then some of them were actually on the wrong side of
18 the line but were nevertheless called vaccines.

19 And in many cases, as you can imagine with a
20 distribution like this, a lot of the replicates would
21 have been on that side and the other replicas would
22 have been from that side of the line. And so it ended
23 up in a situation where we then called these vaccines
24 but essentially they were undeterminable.

25 //

RIMA - DIRECT

1 BY MS. BABCOCK:

2 Q So let me be clear with that. If they did a
3 replicate and one showed up on the vaccine side and
4 one showed up on the undetermined side, they could say
5 they have isolated the vaccine strain?

6 A In the reports, they would have said
7 consistent with vaccine and I'll come back to that
8 later, because they could not by the fact that they
9 had not analyzed the F-gene sequences, the H-gene
10 sequences that they used for this, they had not been
11 able thereby to come forward with a proper allelic
12 discrimination test between all wild-types and all
13 vaccine. And so they had to change their claim to not
14 vaccine but consistent with vaccine.

15 But indeed there are a number of cases where
16 the replicates were on either side of this line. To
17 my mind, this is a single distribution. There's a bit
18 of spread in it, and maybe we can come back to
19 describe and we will come back if we are going into
20 further detail about the fact as to how that can come
21 about. But this is a single distribution. And
22 essentially in some cases, they simply fell on one
23 side of the line and in some cases on the other, and
24 in some cases, even patient samples would have had to
25 be called wild-type when they would be sitting

RIMA - DIRECT

1 basically here or here, for example.

2 THE COURT: And when you are saying this is
3 a single distribution, you are circling the cluster of
4 dots in both the undetermined and the vaccine boxes
5 that coincide.

6 THE WITNESS: Contain samples from
7 claimants.

8 THE COURT: And these are the samples that
9 appear on either side of the vertical line.

10 THE WITNESS: So I didn't consent that they
11 had succeeded in making a test that really was working
12 properly. Essentially, I think that particular test
13 has never really been published as it had not really
14 been verified, and other laboratories have not begun
15 to follow it, because obviously the question as to
16 where does this signal come from is an interesting
17 one, and we can come back to that if we look at in
18 greater detail the technical RT PCR. So it is not as
19 if there is no signal. We see if they are negative,
20 there is signal. It's just a matter of how much
21 signal is there as to whether they were considered
22 positive or negative.

23 THE COURT: And by "signal," you're
24 referring to those same dots we just described.

25 THE COURT: I'm referring to that same

RIMA - DIRECT

1 cluster of dots, right.

2 BY MS. BABCOCK:

3 Q Stepping away from allelic discrimination
4 for a moment, in the Hepner and Kennedy rebuttal
5 opinions, they both seem to suggest a number of
6 problems can arise in PCR tests where you have low
7 detectable levels of whatever you're targeting. Would
8 you agree?

9 A Uh-uh.

10 THE COURT: And that was a yes?

11 THE WITNESS: Sorry. Sorry. No, I don't
12 agree with that particular interpretation, because it
13 goes back to the point I made earlier about what
14 material is available to us. Both Dr. Kennedy and Dr.
15 Hepner in my mind made an assumption, namely, that the
16 actual headline figure that was reported, in the case
17 of Cedillo 1.67 times 10 to the fifth copies per
18 nanogram, in the case of Colten Snyder 3.4 times 10 to
19 the fourth copies per nanogram, is indeed something
20 that must indicate that the copy numbers in the tests
21 were high. That is not necessarily the case.

22 BY MS. BABCOCK:

23 Q And that leads right into my next question.
24 Did you observe discrepancies in the way Unigenetics
25 was reporting their copy numbers?

865A

RIMA - DIRECT

1 A Yes, we have had several discrepancies in
2 that particular area. The first one is the following,
3 and that is the most disturbing in my mind. On
4 several tests, I have seen the data for the copy
5 number in one lab, because it might have been 2,400
6 and a copy done where in the second lab it might have
7 been zero. What then was reported to us was the value
8 of 2,400.

9 Now a bad scientist would say it's 2,400.
10 Slightly worse scientists would make the average of
11 2,400 and zero as 1,200. But a good scientist would
12 have said there must be something wrong with my test
13 if one is 2,400 and the other one is zero. But this
14 particular method of reporting was widespread IF
15 tables in which data that have occurring 30 out of 40
16 samples, and so zero values were ignored.

17 Q Now accepting for a moment that the high
18 copy number is what it is, it was actually a high copy
19 number, can laboratory problems still exist when you
20 have high copy numbers?

21 A Well, obviously I think contamination
22 problems have been identified by Steve Bustin and
23 which I have seen and also have been documented quite
24 well in the report by Professor Simmonds. We came to
25 the same conclusion, that there were a series of

866A

RIMA - DIRECT

1 problems with the actual contamination that was in the
2 laboratory. That is something that I think is most
3 aptly demonstrated in one of the slides that I brought
4 by and produced for you, and that is, for example,
5 this.

6 Q Slide 2?

7 A This is a slide from actually it appears Dr.
8 Simmonds' report, page 72, which indicates the sort of
9 replicance between the two samples that would have
10 been put into a GAPDH of the age determination of a
11 particular sample and of the measles "F" gene. And
12 this is a scatter diagram you get in which the values
13 found for replicate number one are on the "X" axis and
14 replicate number two on the "Y" axis.

15 THE COURT: And you're referring to the
16 slide on the left side, the "F" gene slide.

17 THE WITNESS: That's right. And these are
18 samples that would be negative in both cases. Here,
19 for example, we have a sample on the top left-hand
20 side of the diagram in which there might have been
21 approximately 5, 6,000 copies of the measles "F" gene
22 found, but the replicate would have been negative.

23 THE COURT: So, to make sure I understand
24 the slide, you referred to the dot at the top left-
25 hand corner in saying that that might have been 5 or

RIMA - DIRECT

1 6,000 copies.

2 THE WITNESS: From the level of replicate
3 number two, it might have been 5 or 6,000. I'm just
4 trying to interpret it on the lower-end axis here.
5 And I'm not sure who did that.

6 THE COURT: And earlier you circled the dot
7 in the bottom left-hand corner.

8 THE WITNESS: That would be a sample that
9 would be declared negative in replicate one and
10 replicate two, okay? But this sample here, for
11 example, would be a sample that would be 5,000 copies
12 in the one replicate, number two, and negative in the
13 other half.

14 THE COURT: And that's why it falls in the
15 negative column.

16 THE WITNESS: That's right.

17 THE COURT: Because the two runs did not
18 agree.

19 THE WITNESS: That's right. Okay? And so
20 this would have been a reasonable determination with a
21 reasonable conformance between the two replicates. So
22 if I look at the top right-most dot there, that would
23 have been one in which replicate number one might have
24 been again 5, 6,000 copies, replicate number two, 5,
25 6,000 copies. Replicate number one might have been in

868A

RIMA - DIRECT

1 that same class. So that would have been a reasonable
2 concordance between the two figures.

3 But all the other dots are providing the
4 difficulty to us, because they should be, as we see
5 here on the GAPDH line where the best theory works,
6 they should be on the straight line. They should form
7 a cluster around that particular straight line here.

8 THE COURT: And so what you're suggesting as
9 I understand it --

10 THE WITNESS: What I'm suggesting is that
11 while this test clearly doesn't work, your replicates
12 are very discordant, not concordant, this test worked
13 well.

14 THE COURT: So if the F-gene test worked,
15 you would expect to see the dots forming a diagonal
16 line from the bottom left to the upper right.

17 THE WITNESS: That's right. That's right.

18 THE COURT: Instead, they're --

19 THE WITNESS: They're all over the place.

20 THE COURT: Right.

21 BY MS. BABCOCK:

22 Q Now it's been suggested that high copies of
23 measles virus, a high copy number necessarily implies
24 that the threshold cycle was low.

25 //

RIMA - DIRECT

1 A Yes.

2 Q The CT was low. First, do you agree?

3 A No, I don't.

4 Q What's a housekeeping gene?

5 A A housekeeping gene is a gene that was used
6 simply because it is present in all cells at
7 relatively constant levels. And so housekeeping genes
8 like GAPDH have a relatively constantly level of
9 messenger RNA in each cell, and that is about 1,000
10 copies, okay?

11 So although there is dispute and you'll see
12 some comments in Steven Bustin's report to indicate
13 that GAPDH is not the ideal choice, and we all
14 disagree with each other about what is the ideal
15 choice because you can't always find a situation that
16 you'll have the cell type in which one of these
17 housekeeping genes is upregulated to such a level that
18 you say this is not proper, but a lot of people use
19 GAPDH as a housekeeping gene. So I have no issue with
20 the choice of that particular gene.

21 But the question that you raise is really an
22 important one, because it affects a lot of the data
23 that we have seen, particularly in the Cedillo and in
24 the Colten Snyder case. The headline figures are very
25 high.

RIMA - DIRECT

1 Q Well, the general question is, if there's
2 calculation errors involving GAPDH, that affects the
3 copy numbers, correct?

4 A It does, because it's normalized to that.

5 THE COURT: And just let me inject here.
6 The second chart to the right is labeled on your chart
7 "GADPH," but that's just a transposition?

8 THE WITNESS: That is the housekeeping gene
9 that is used in the test that Unigenetics worked.

10 THE COURT: I guess what I'm asking, are we
11 talking about the same thing? The title of the slide
12 refers to "GAPDH."

13 THE WITNESS: Yes.

14 THE COURT: The slide itself showing the
15 dots refers to "GADPH." Is that a typo?

16 THE WITNESS: That must be a typo in
17 Professor Simmons' report.

18 THE COURT: Okay. But we're talking about
19 the same thing.

20 THE WITNESS: It is the same gene, sorry.
21 I'm sorry about that.

22 BY MS. BABCOCK:

23 Q And then I think you were moving on to Slide
24 3 with the calculations.

25 A Yes. So essentially the important factor to

RIMA - DIRECT

1 recognize, and this is where I think I disagree with
2 the rebuttals of Dr. Kennedy and Dr. Hepner, is that
3 the headline figures as they are reported to us in
4 this case can be derived from a large number of
5 different situations.

6 And for that, I have to indulge you in a
7 couple of slides to take the type of suit up. Having
8 read some of the transcripts, I can see that there is
9 a potential for confusion about core CTs, high CTs,
10 low CTs, low copy numbers and high copy numbers.
11 Therefore, I will discuss only copy numbers, but
12 remember that it is always based on the CT values.

13 So, in most of the reports that we see, we
14 see, for example, in the case of Cedillo that there's
15 a reported figure of 1.67 times 10 to the 5 per
16 nanogram of RNA. Now this figure is derived by first
17 of all establishing the number of measles F copies in
18 a given sample volume. That given sample volume is
19 only in the reference data 5 microliters, and they
20 extracted RNA in 50 microliters so they have enough
21 for 10 tests.

22 And the second thing that needs to be done
23 is to decide and then look at the GAPDH housekeeping
24 gene, messenger RNA. In the same sample volume. So
25 that is how that figure is derived.

RIMA - DIRECT

1 Q Moving to Slide 4.

2 A Moving now to the next slide, so in most
3 samples that I have seen for a report of the copy
4 numbers of measles F in the sample, the actual copy
5 number in that term is from the low end of the
6 standard curve. So we are looking at the right-hand
7 side with Figure 18 in my report. But most of the
8 actual determinations of copy numbers were done in
9 this range on the left-hand range of the standard
10 chart.

11 Q The right-hand chart. Okay. It's the line
12 which, again, we're going to file this again in color.

13 A It is the blue line, correct. So this
14 particular diagram is derived from material that the
15 manufacturers of the ABI TaqMan system provide to
16 people who want to use the system, and they compare
17 their absolutely straight standard curve with that of
18 the competitor, which has curves on the outside, which
19 means that if you are working in this low copy number
20 area, you really don't get the proper evaluation of
21 the numbers of copies based on the cycle numbers. And
22 so you see this before at any cycle number over 35, or
23 40.

24 In the -- cycle, background PCR is generally
25 distrusted by experts, which allows me to make the

873A

RIMA - DIRECT

1 point that most of the values that I've seen are
2 actually outside the standard curve that Unigenetics
3 had in the sample itself. So in most cases, their
4 standard curves were stopping at 500 copies per
5 sample, and so they make the standard curve from 5, I
6 can't remember what it was. I think it was 500,000,
7 and they did indeed take it to the root of ten for
8 each time, and they stopped at 500.

9 But then they reported copy numbers on the
10 order of well below 500, so then you would be working
11 on this part of the graph where you're working to the
12 left of your last standard in, so you're extrapolating
13 your data from the standard curve, assuming that this
14 is a linear relationship.

15 THE COURT: I'm not sure I followed that.

16 THE WITNESS: Okay.

17 THE COURT: Can you try again?

18 THE WITNESS: Yes. So the standard curve as
19 determined is set up by making let's say for the sake
20 of argument 50 copies, 500, 5,000, 50,000, 500,000,
21 5,000,000, okay?

22 THE COURT: Now I think I understand what
23 you were saying.

24 THE WITNESS: Yes? But most of the copy
25 numbers that are actually reported in the data are to

RIMA - DIRECT

1 the left of that low standard point, so that is an
2 extrapolation. You just assume that the curve
3 continues in this way and thereby you end up in a
4 situation where you assume to make that assumption,
5 and then you assign a copy number to that particular
6 value, yes.

7 THE COURT: Okay.

8 THE WITNESS: So that is in itself, and I
9 make reference to that in my report, a deplorable way
10 of doing a test. Most of us like to do a test where
11 the values that we determine somewhere along the line
12 are in the middle of the range of the curve rather
13 than somewhere to the left or to the right of the
14 standard curve. So essentially then we have to look
15 at what is --

16 MS. BABCOCK: Slide 5.

17 THE WITNESS: Sorry. Are we going back?

18 No?

19 BY MS. BABCOCK:

20 Q No. We're on Slide 5.

21 A So the number of GAPDH messenger RNA copies
22 that was determined in the sample is often also low.
23 Particularly when that sample of RNA is degraded, we
24 end up in a situation where the GAPDH is low in
25 particular, and a reference has been made by Steven

875A

RIMA - DIRECT

1 Bustin to the fact that he can clearly demonstrate
2 where particular RNAs are degraded because the GAPDH
3 copy number becomes low.

4 Now the manufacturer of the Taqman kits and
5 many independent studies give us a figure of the
6 following kind in that the average cell contains about
7 10 picograms of RNA, messenger RNA, which in general
8 parlance means every cell has about 200,000 messenger
9 RNA molecules in it. And it's important to remember
10 that figure because we come back to it later.

11 So of those 200,000 messenger RNA in a cell,
12 about 1,000 of them are GAPDH. That being said, if
13 you have 100,000 copies of GAPDH, you'll say that is
14 equivalent to a nanogram of RNA simply based on the
15 idea that 100 times 1,000 is 100,000, 100 times 10
16 picograms gives you a nanogram, okay? And so 1
17 nanogram is the approximate amount of RNA in 100
18 cells. If we go on then --

19 Q Slide 6.

20 A -- we get to the following. The reported
21 headline figure could be based on very different raw
22 data.

23 Q And this is just to be clear 1.67 going back
24 a couple slides.

25 A This goes back to the headline figure that

876A

RIMA - DIRECT

1 is on the first slide, which in the Cedillo case was
2 1.67 times 10 to the 5. So you could report that
3 figure of 1.67 times 10 to the 5, which is 167,000, if
4 you had 100,000 copies of GAPDH in your samples. But
5 you would report that also if you had 1.6 million
6 copies of the F in your sample, but a million copies
7 of your GAPDH, yes?

8 THE COURT: Okay.

9 THE WITNESS: So just to digress back to the
10 CTs, that would be correct if that was your test
11 because you really would have large numbers of copies.
12 But what is more frequently the case in my experience
13 is the following, that the F copies were low and the
14 GAPDH copies are low, and still the headline figure
15 because of normalization would have been produced at
16 1.6 times 10⁵ per nanogram. They simply multiplied
17 this figure up by what you need to get from this
18 figure in order to get to 100,000.

19 And even if it was 167 MDF copies properties
20 and a 100 GAPDH, that same figure would have been
21 reported to us as 1.67 times 10⁵ per nanogram. And
22 this is where I had serious problems with what was
23 being reported in these two cases because all we have
24 seen is the headline figures. We have not seen any of
25 the underlying data that I have seen in the U.K.

877A

RIMA - DIRECT

1 litigation as a standard amount of evidence that would
2 have been provided to us.

3 BY MS. BABCOCK:

4 Q Let me just clarify that, though. Even when
5 you saw the extra data in the U.K. litigation, were
6 you satisfied that Unigenetics was calculating things
7 properly and identifying?

8 A Well, on occasion, they made calculation
9 mistakes, and they had a structural mistake in their
10 standard operating procedure because a lot of it was
11 marker-related to base variant of 660 and not 375.
12 It's very technical to go into here. It's not that
13 relevant. All of their figures are off by a factor of
14 two, but we are usually dealing with orders of
15 magnitude in this, although they have immense belief
16 and confidence in their technology so that they said,
17 well, we have 6.63 copies in this particular case.

18 Now, if we then look at that, so in my
19 experience, the headline figures that were reported
20 were largely coming from data like this. Therefore,
21 it is wrong to say for Professor Kennedy and Professor
22 Hepner that essentially the CTs must have been low
23 because the headline figure is so high. The data are
24 simply not there. There is no evidence in this
25 particular case.

RIMA - DIRECT

1 THE COURT: We don't know what the CT
2 figures were?

3 THE WITNESS: Exactly, we don't know.

4 MS. BABCOCK: Page Seven.

5 THE WITNESS: So in my experience from all
6 the data that I have seen from Unigenetics is that the
7 high reported headline figures come from the bottom of
8 the type of unreliable determinations of copy numbers
9 of the MDF and GAPDH. And many of those I even
10 pointed to outside the range of the standard.

11 I refer you to Table 3, 10 to 17 in Section
12 B of my report, where you'll see many examples of
13 lower values that are reported as high headline
14 figures simply because we had the information in the
15 U.K. litigation available to us, and I started to get
16 information that has been passed to us by the
17 understanding in both the Cedillo and the Colten
18 Snyder case, but it's not available to us.

19 BY MS. BABCOCK:

20 Q Now can contamination still be a problem
21 with a high copy number?

22 A Of course, because it is a sort of somewhat
23 random event, and so if you have contamination and
24 you're contaminating samples, then they will be able
25 to have high copy numbers.

RIMA - DIRECT

1 Q And does an entire run need to be positive
2 for contamination to be at play?

3 A No.

4 Q Why is that?

5 A Because it all depends on where you find
6 some of the samples. Again, in the U.K. litigation,
7 we were provided with data for each of the litigants
8 that showed where their samples were on a particular
9 plate, and in many cases, we found that contamination
10 was closest to the row in which the high copy numbers
11 were available for the standard curve. So there was
12 an effect of how the closer your sample was to the
13 extended curve line the more likely it was that you
14 might end up with a measured copy number. That was
15 the threshold sort of effect that can occur during a
16 test.

17 Q Is it a positive control?

18 A The positive controls that are returned in
19 the standard curve for that particular application.

20 Q Just wanted to make sure that was clear. So
21 hypothetically if you had CSF samples next to the
22 positive control, and a whole blood sample elsewhere
23 on the plate, would it be feasible for the CSF to be
24 positive and whole blood negative?

25 A Certainly so.

RIMA - DIRECT

1 Q And that would still be because of
2 contamination?

3 A Exactly.

4 Q Now did you also observe variations in runs
5 depending on the day they were done?

6 A We did, and that is very well documented in
7 my report and even better in Professor Simmons' report
8 where essentially we saw whole runs in which
9 everything was negative and we saw runs in which
10 everything was quite high, and I identified that in my
11 report as areas in which on some days out of 48
12 samples, there might be some 36 or 37 that are
13 positive and the next day nothing is positive.

14 Well, you either have biased your samples on
15 the plates somehow, or alternatively you have massive
16 contamination on one day and not on the next. So that
17 contamination problem doesn't disappear as a result of
18 that.

19 Q Now I wanted to ask you about the testing
20 that was done on Colten Snyder in this case on CSF and
21 whole blood. One was positive, one was negative,
22 correct? The CSF and the whole blood test?

23 A That's right. The headline figure reported
24 3.4 times 10⁴ for the CSF, blood was negative.

25 Q I think it's 3.7 times 10⁴.

RIMA - DIRECT

1 A It is 3.7. Sorry.

2 Q Just nitpicky. The samples were drawn on
3 the same day, correct?

4 A Uh-huh.

5 THE COURT: And that was a yes?

6 THE WITNESS: Yes. Sorry.

7 BY MS. BABCOCK:

8 Q Now, accepting for a moment the results, did
9 this make sense for CSF to be strongly positive while
10 whole blood is entirely negative?

11 A Not to me in the sense that the figure that
12 is described in the CSF of course is one that is again
13 given as a headline figure of a per nanogram basis.
14 We must assume that there must have been some GAPDH
15 copies and that we have a look at extractions out of
16 the RNA. And neither in the measles pathogenesis or
17 the normal infection or in SSPE or in any of the
18 infections do we actually see a large amount of free
19 virus in any of the tissues or in samples like serum
20 or CSF or PBMC's, so it must have come from cells.

21 And the cell types that we find in the CSF
22 would be the same as those that you would find in the
23 PBMC fraction. So assuming that you had a long-term
24 infection which had gone on for years, I find it very
25 strange that you would have the cells in your CSF as

RIMA - DIRECT

1 positive to an enormous extent, and again, we can come
2 back to that later, and the PBMC with a zero copy
3 number.

4 Q Now again accepting that its valid, how much
5 measles virus would that finding translate to in
6 Colten? Is that a high number?

7 A In his CSF?

8 Q Uh-huh.

9 A It is a very high number.

10 Q Higher than maximum viremia in wild measles
11 virus infection?

12 A No, it's very difficult to say that. I
13 mean, the only figure we have is the following, that
14 first of all, there was no measles virus found, okay?
15 All that has been found in his CSF is a copy number of
16 a DNA molecule that is supposedly coming from an RNA
17 molecule, which is supposedly coming from a measles
18 virus infection, so there are a number of suppositions
19 in that.

20 To say that is a high number is based on a
21 very simple sort of calculation. I've already given
22 the Court the sort of guesstimate that we work with in
23 molecular biology that a cell is not doing 1,000
24 copies of messenger RNA, but in an acute infection, if
25 I set up one of my best growing viruses, measles

883A

RIMA - DIRECT

1 viruses, in one of my easiest to grow cells like the
2 vero cell, I can get about 3,000 copies of measles F
3 gene per messenger RNA per cell. That's the best I
4 can get, okay?

5 So if you get to figures like 3 times 10⁶
6 per nanogram, that means that you have three times 10⁴
7 copies of that particular RNA per cell, and that is
8 three times 10⁴ would be 30,000, okay? So any figure
9 at that level I immediately suspect as completely and
10 utterly wrong in the sense that that is very
11 implausible biologically because it would indicate
12 that that cell would be stuffed with measles F.

13 And as Dr. Kennedy rightly pointed out, that
14 would have also in order for that to be biologically
15 correct would have also meant that there will probably
16 be 10 times more copies of the measles F, about 80
17 percent of that figure, measles N, another 80 percent
18 of that with measles M, et cetera, because we have
19 this gradient gene expression that he well described,
20 which I have absolutely no problem with.

21 So if you get to figures of that order of
22 magnitude, you know that it would have indicated that
23 every cell would be stuffed with measles virus, okay?
24 If that's the case, we don't need to go to any of the
25 //

RIMA - DIRECT

1 sort of TaqMan technology or any of the technologies
2 that have been used by Unigenetics in order to
3 demonstrate the presence of the virus in these
4 children because it would have been a double. You
5 would have had positive solution phase. You could
6 have done the immunocytochemistry. You might have
7 even been able to isolate the virus, or it would have
8 been fairly simple. Anyone competent in this
9 particular field would have been able to pick up the
10 virus because it would have been in every cell in very
11 large quantities. So that is where we are in the
12 situation that essentially the headline copy numbers
13 that I described to us are biologically implausible.

14 Q Did you also review Dr. Bradstreet's 2004
15 paper?

16 A I did.

17 Q Looking at his paper and comparing it to
18 your UK report that was filed, did you determine that
19 several of those children are included?

20 A That's right, and I have prepared a slide
21 for that.

22 Q Slide 8.

23 A So, on the top of that slide, we see that
24 the --

25 THE COURT: All right. Our copy of Slide 8

RIMA - DIRECT

1 looks very different from this.

2 THE WITNESS: Yes, that's right. Special
3 Master, there is a problem, and that is that I in my
4 somewhat inexperienced method of operation produced an
5 animated slide, so what you see there is a printout of
6 the final animation, and we'll come to that animation.

7 THE COURT: Okay.

8 THE WITNESS: The top of this particular
9 slide as we see it there is the Table No. 2 from the
10 Bradstreet paper.

11 THE COURT: Okay. If you'll give me just a
12 moment then so I can find the Bradstreet paper?

13 (Pause.)

14 THE COURT: Mr. Wickersham, can you identify
15 the exhibit number for the Bradstreet paper?

16 MR. WICKERSHAM: It's Petitioners' Exhibit
17 188.

18 THE COURT: 188? Thank you very much.

19 All right. Thank you. I'm prepared.

20 THE WITNESS: So in Table 2 of that, this is
21 part of Table 2 only, I haven't shown the controls
22 because the bottom line from the controls is just
23 simply a straight set of negatives. The essential one
24 is autistic spectrum disorder. Do you want me to
25 explain?

886A

RIMA - DIRECT

1 MS. BABCOCK: Let me stop for you a moment.
2 I'll just note that Table 2 is on page 42.

3 THE WITNESS: Okay. First of all, I was
4 able in Table 3 of my report to identify the other two
5 children, and Table 3 in my report deals with the CSF
6 cases in the American cases, and obviously I have only
7 seen these anonymized data. Unfortunately for us, I
8 have not been able to find the data that might have
9 been anonymized but might have referred to Colten
10 Snyder.

11 BY MS. BABCOCK:

12 Q So these refer to the other two children?

13 A The other two children are --

14 Q In the Bradstreet paper?

15 A -- No. 265 and No. 498 in my table, which I
16 show an excerpt on this particular slide. And these
17 children there, Child No. 1 is 490 of which a CSF
18 determination was done, and what you see at the bottom
19 table is that the CSF and the GAPDH was 2.9 times 10¹
20 and 5.5 times 10¹, 29 and 55 respectively, and so
21 presumably a figure of 37 or thereabouts would have
22 been used as the figure.

23 For the measles F, they would have come
24 forward with a determination of 1.1 times 10⁴ and 9.5
25 times 10³. Very high numbers, but as I indicated, my

887A

RIMA - DIRECT

1 interpretations are based on contamination. Then
2 multiplying the average of 1.1 times 10^4 and 9.5 times
3 10^3 , let's say 10,005, whatever you have to multiply
4 to get from 100,000 to 37, 7,000, you end up in a
5 figure of 2.42 times 10^7 copies per nanogram.

6 So Child No. 1 in the CSF had 2.42 times 10^7
7 copies per nanogram. That's the sort of figure that
8 you would have seen if you had no other data, that's
9 the headline figure that we're dealing with in this
10 particular case, and that gives rise to that
11 particular headline figure. That headline figure
12 means that every messenger RNA in those cells is
13 measles F, and they're still stuffed with that. It's
14 still a higher number than 200,000 per cell.

15 So essentially we're in a situation where
16 this is completely and utterly implausible as a
17 phenome. What's interesting is that the other child
18 is 265, had a GAPDH of 9.8 times 10^1 and 7.4 times 10^1
19 if I see that correct. I haven't got a slide on my
20 screen.

21 Q Yes, that is correct.

22 A Okay. And given a figure of 6.2 times 10^3 ,
23 5.2 times 10^3 , and the figure is 6.60 times 10^6 , which
24 is the figure that you see in the Bradstreet paper,
25 hence this is the type of data that convinces me that

888A

RIMA - DIRECT

1 I'm looking at the right child. We hadn't seen that
2 figure anywhere else. I looked at this fresh frozen
3 biopsy.

4 Now fresh frozen biopsy, you expect good
5 messenger RNA extractions, and indeed you see the
6 headline figure is going out to 8.2 times 10⁴, 6.4
7 times 10⁴. The technical figure for the measles F is
8 zero, and maybe you can see that in the copy you have,
9 or 770. And then you see the figure that is then
10 determined, you ignore the zero as per standard
11 treatment of Unigenetics, and you end up with a figure
12 of 1 times 10³, and that's the figure that you see in
13 the table here.

14 Q Okay. So in that middle box, where the
15 black mark is is supposed to be a zero?

16 A That is a zero, yes. It's red in my
17 original report. I don't know whether a copy, a color
18 copy of my redacted report is available or why that
19 was redacted in such a fast way that it didn't --

20 Q Do you have color copies?

21 A Yes, I have color copies.

22 Q Okay.

23 A And then the whole blood, a different story
24 again. We see in this particular case whole blood.
25 The reasonable GAPDH had a low number of this certain

RIMA - DIRECT

1 set and very high copy number for measles F, four
2 times 103, 2.1 times 103.

3 Q That's 102.

4 A Sorry? Is that 102?

5 Q Two.

6 A I'm sorry. I can't see them on my screen
7 here.

8 Q It's okay.

9 A And essentially that is now in this case
10 done because this is such a high number. This becomes
11 2.1 copies per nanogram.

12 THE COURT: And all of this information is
13 from No. 265 on your slide?

14 THE WITNESS: That's right. That's right.

15 THE COURT: So whatever claimant number.

16 THE WITNESS: And what we see in this
17 particular case, 265 is measles F. It gets in the
18 ileal biopsy coming from this information and copy
19 number being this, in the blood PS copy number being
20 2.1 per nanogram and then in the CSF, 6.6 times 106.

21 BY MS. BABCOCK:

22 Q You're referring to Row 2 in the top chart?

23 A I'm referring to Child No. 2 in that table.

24 THE COURT: And this is Child No. 2 from the
25 Bradstreet paper.

890A

RIMA - DIRECT

1 THE WITNESS: I haven't been able to find in
2 my records where this figure comes from yet, but less
3 than one copy per nanogram. Then one copy per
4 nanogram, let's assume that you have a good infection
5 in one cell that gives you 3,000 copies of measles F
6 per nanogram if you have one in 100 cells infected.
7 So you can see that one copy per nanogram actually
8 means that one in 100 times 3,000 cells, so 100 times
9 3,000 is 3,000,000 cells is infected.

10 We've had a lot of debate about that
11 particular type of argument because what it means is
12 to say, well, there are very few systems in the body
13 which will destroy pathogenic effect in which if one
14 out of 300,000 cells wasn't doing what it was supposed
15 to be doing, it is a simple chance of if that was the
16 case, our body wouldn't really work all that well, so
17 in those cases, we have substantial redundancy in all
18 of the functions. And so that is where that figure in
19 itself is not going to give you any explanation for
20 pathology or for clinical effect.

21 So let's then look at Colten Snyder's case.
22 He was identified as the third child in this
23 particular paper, and the headline figure for him is
24 3.7 times 10^4 in CSF. The blood as we've already
25 established was negative, although I have already

891A

RIMA - DIRECT

1 indicated why I found that surprising. And then in
2 his ileal biopsy, we have a new type of report in this
3 litigation that says greater than seven.

4 Now, scientifically, it's very hard to know
5 what greater than seven means. I can understand what
6 less than seven means in a particular instance. It
7 means that it's below viral detection level. But
8 greater than seven was a new form of reporting that
9 Unigenetics came up with, and we asked on several
10 occasions what does this mean. And we never received
11 a proper answer to that particular question. It is
12 still a mystery to me how you could get to greater
13 than seven.

14 Now there is one potential explanation.
15 That's the following: If you say I have less than one
16 copy or less than 10 copies of GAPDH, so in my
17 denominator, it is less than 10. Then if you divide
18 your numerator by a denominator which is less than,
19 then you get to a figure that is greater than. But if
20 that's the case, you should say there is no RNA in
21 this sample and I shouldn't report it at all.

22 And one of the most I must say difficult to
23 understand examples I've had is where I have seen the
24 report from Unigenetics where it blithely was reported
25 zero copies of GAPDH, zero copies of measles F, where

Heritage Reporting Corporation
(202) 628-4888

RIMA - DIRECT

1 the headline figure is zero copies of measles F per
2 nanogram of RNA, which essentially makes no sense.
3 The proper way of reporting that is say I have no RNA
4 to write out all these layers because there was
5 nothing in the samples.

6 THE COURT: So rather than per RNA, it
7 should have been no RNA.

8 THE WITNESS: There was nothing.

9 THE COURT: There was no RNA?

10 THE WITNESS: There was no RNA, right. And
11 so I think this is where I want to emphasize this
12 particular point, because I think it is important to
13 recognize the paucity of the data that we have here.
14 We have only a headline figure for both Cedillo and
15 for Colten Snyder, and essentially that could have
16 been derived from zero, and five sum copies could be
17 divided from zero and 50 copies divided by 10 copies
18 of GAPDH, that's just a very small copy number of
19 GAPDH.

20 So, with the absence of that data, it is
21 very difficult for us to know exactly what this
22 particular claim that there is measles virus in his
23 CSF and therefore in his brain is actually based.
24 It's based on a single sheet of paper that comes from
25 a laboratory, which I've already indicated there are a

892B

RIMA - DIRECT

1 number of questions first of all about the calculation

RIMA - DIRECT

1 methodology, secondly, about the fact that essentially
2 we are in a position where not knowing what the GAPDH
3 was and what normalization factors that have been
4 applied actually allows us to interpret this headline
5 data in any way, shape or form. That is where I think
6 both cases in my opinion are based on evidence which
7 is much less strong than I would have expected to see.
8 That is a disappointment in this particular situation
9 to me.

10 Now there's a third aspect of this that is
11 relating to the Bradstreet paper, and that is that Dr.
12 Bradstreet refers in the paper to the fact that there
13 has been a demonstration of the nucleocapsid protein,
14 not the RNA but the protein of measles in these cases,
15 and in the paper, he refers to the paper, reference
16 No. 25 by Andy Wakefield, and if you look at that
17 particular reference, there are no data in it. There
18 are only assertions that things have been found.

19 And what is surprising and astonishing to me
20 that if such data would have been available that the
21 claimants would not have presented them to me in the
22 sense that I would have expected that if you based
23 your claim that there is measles virus in the CSF and
24 you state that these children have been shown to have
25 nucleocapsid protein of measles virus in the tissues

RIMA - DIRECT

1 that you then don't actually supply the data that
2 would support that particular aspect of your claim.

3 So that is surprising to me, but it does
4 highlight to me the rather weak basis on which these
5 cases have been put in front of you, a basis which I
6 think is much weaker than the ones that I have
7 certainly seen in a number of the U.K. claimants'
8 cases where all that data was available. And it is
9 astonishing to me that that data hasn't been provided
10 to us so we can make the proper interpretation of the
11 data.

12 BY MS. BABCOCK:

13 Q Now, during his testimony on Tuesday, Dr.
14 Kennedy discussed a gentleman named Professor Cotter?

15 A Yes.

16 Q Professor Cotter is also discussed in
17 Stephen Bustin's report and I believe Professor
18 Simmonds' report, and I know Steve Bustin discussed
19 him during his testimony.

20 A Yes.

21 Q Who is Professor Cotter?

22 A Professor Cotter is a professor at one of
23 the London colleges. I think it is The Barts and
24 London Hospital, and he runs a diagnostic laboratory
25 and uses Taqman RT PCRs. He was approached by the

RIMA - DIRECT

1 claimants in the U.K. litigation to actually provide a
2 backup and confirmation of the Taqman RT PCR data from
3 the Unigenetics Lab.

4 Q And based upon your understanding of this
5 specifically through Dr. Bustin's testimony and
6 Professor Simmonds' report, what were Professor
7 Cotter's experiences in attempting to replicate the
8 Unigenetics work?

9 A Well, there were original problems, which
10 have been identified and which were referred to by Dr.
11 Kennedy, but at the end of the day, Professor Cotter
12 was not able to confirm the data that were provided by
13 Unigenetics. And both a number of Professor Simmonds'
14 data -- let me go back. We had a long discussion in
15 the U.K. case as to whether or not we should try to
16 reproduce the actual data and do the testing again.

17 And at the end of the day, it came down to
18 this deliberation that essentially none of us could.
19 Having seen the quality of the data that Unigenetics
20 had provided, having seen the sort of questions that
21 we raised about them, we were not in a position to
22 convince ourselves that it would be reasonable to
23 subject the children to the rather invasive
24 technologies of taking ileal biopsies and taking CSFs
25 in order to simply provide ourselves with backup

RIMA - DIRECT

1 testing material.

2 So the respondents never tested the children
3 for the very simple reason that they could not see
4 ethically that this would be the steps you take,
5 although it might well have been a very quick and easy
6 way out of the Court. And so we then had a later
7 series of data, and this is the so-called E-series,
8 which I refer to in my report, I think Steve Bustin
9 refers to and Professor Simmons refers to as well
10 where that actually was split, the samples were split
11 over the respondents and the claimants.

12 And essentially Professor Simmons not having
13 access to Taqman but having validation of the
14 sensitivity of his techniques which was based on a
15 nested RT PCR approach, and that is essentially why
16 your PCR up was one set of primers, and then you take
17 a set of primers further in and you PCR up again. A
18 very, very tricky technique to perform without getting
19 contamination, but all the data in Professor Simmonds'
20 report indicate that he managed to do that.

21 And we went as far as I supplied him with a
22 measles strain, a standard material strain which is
23 extinct, which is no longer around so that we couldn't
24 be confusing any sample of any results from his data
25 that is currently circulating and those strains of

RIMA - DIRECT

1 measles.

2 Now Professor Simmons was not able to
3 replicate the data. He did nested PCR on the N gene
4 and the H gene. The N gene would have been the choice
5 for everyone who wanted to prove that measles is
6 anywhere, because in an acute infection, measles N
7 gene is present in about 30,000 copies per cell
8 whereas F is almost seven or eight fold below, and so
9 we end up in a situation where he tried with the best
10 and most likely gene and he fails to find any samples
11 positive, whereas Unigenetics reported some positive.

12 I can only say that Dr. Cotter, when he
13 extracted his RNA in his own lab, he did not find any
14 positive data. And there were two possibly borderline
15 positives, and it turned out that those have been RNAs
16 extracted from Unigenetics. So the conclusion that we
17 drew from that was that the Cotter laboratory and the
18 Simmons laboratory were not able to confirm the
19 Unigenetics data, and they were indeed having some
20 modestly weak data to show that contamination had
21 occurred there.

22 Q At the end of Steve Bustin's testimony, we
23 asked him to identify his top three biggest issues
24 with Unigenetics, and I'll give you that opportunity
25 in a minute, but first I wanted to just go through the

897B

RIMA - DIRECT

1 three things he picked out. He picked out that on at

RIMA - DIRECT

1 least one occasion, the lab had forgotten to do the RT
2 step and still got a positive result. Clear
3 indication of contamination?

4 A (Nonverbal response.)

5 Q Can you say yes or no?

6 A Pardon?

7 Q You have to say yes or no, not nod for the
8 purposes of the record.

9 A Yes.

10 Q Perfect. He also discussed his observation
11 that there were instances where F gene results from
12 frozen tissue and formalin-fixed tissue had similar CT
13 counts, implying they were both amplifying at the same
14 time. Does this make sense given the different types
15 of tissue?

16 A No, it doesn't because it's much more
17 difficult to extract RNA from fixed material.

18 Q And you discussed some of this today, but
19 Steven also observed instances where the housekeeping
20 gene GAPDH wasn't amplifying properly, but Unigenetics
21 still used their results from the F gene?

22 A Yes.

23 Q And is it a problem?

24 A It is, yes.

25 Q Are these small issues or more substantial

RIMA - DIRECT

1 significant ones?

2 A I think they are extremely substantial
3 issues, and they in my mind indicate as I've already
4 testified here today that the tests that were being
5 done could not be relied upon. And I've indicated
6 that in my report.

7 Q Do you think these problems were isolated or
8 widespread?

9 A It's not difficult to find some of the
10 problems that I've identified today. I'm not sure
11 that I would agree with Steve Bustin's ranking in my
12 mind.

13 Q And what are your top three?

14 A My top would be this. I cannot understand
15 how you can do a replicate and have 34 copies, 2,400
16 copies in one and zero in another and then dare to
17 declare that this 2,400 copies is the right figure.
18 That is still my top. And if you look at that, and we
19 might have to go back to this particular slide as
20 well, that is still my top because it is so
21 inconsistent with normal scientific procedure. Nobody
22 does that.

23 I can only provide you with a statement
24 which the Unigenetics Laboratory made, and that is
25 that it felt that there were no false positives in

900A

RIMA - DIRECT

1 this testing regime. It was not possible to have a
2 false positive. And so one ended up in a situation
3 where if there was a positive, then that is in fact
4 your belief, and I can only describe it as a belief
5 because I cannot think that I have seen any test in my
6 life that has no false positives in it.

7 If you believe that, then maybe you can make
8 what I would consider a very serious error of saying
9 2,400 and zero, and we're ending up producing a figure
10 of 2,400, not 1,200. What obviously would have been
11 the best way to say is let's do it again. The
12 opportunity existed. They extracted the RNA in 50
13 microliters. They used 5 microliters per sample, per
14 test, so two replicates of GAPDH and two replicates of
15 measles that they used up 20 microliters.

16 I would have said if I really wanted to know
17 what that is, I expect another 20 microliters of this
18 in order to make sure that I get it right, but that
19 wasn't done. So that is still my number one.

20 The fact that they didn't use the N gene and
21 didn't use an optimized assay is a second one. The
22 enzyme that they used in their kits is called Tth
23 enzyme. This is a combined reverse transcriptase DNA
24 polymerase. Most of the other people use an optimized
25 reverse transcriptase to get over the inefficiency of

901A

RIMA - DIRECT

1 that first step and then go in with a DNA polymerase,
2 and most of those work quite well.

3 Using this particular enzyme, which is
4 essentially working in the assay and under sub-optimal
5 conditions for the reverse transcription step as well
6 as sub-optimal conditions for the DNA polymerase step,
7 that in my mind is an error of judgment to use an
8 enzyme like that.

9 It has two consequences. Your sensitivity
10 isn't as great as it should be, and that's why in any
11 sort of comparative analysis, and I have been involved
12 in a number of attempts like Dr. Oldstone to bring the
13 O'Leary Lab into international comparisons of
14 laboratories that could do measles testing in order to
15 see whether their testing was much more successful or
16 not, and the Kawashima Lab that has been referred to
17 in some of the papers and some of the reports did
18 participate. It turned out to be extremely incapable
19 of detecting measles at, very low sensitivities.

20 And at the end of the day, I cannot know
21 what the sensitivity of the Taqman RT PCR is, but it
22 was done under suboptimal conditions for reverse
23 transcriptionerase. So that is something that worries
24 me. And I must say that had I been in their position,
25 I would have worked much harder than they did on

RIMA - DIRECT

1 trying to find a test that would look at the
2 nucleocapsid genes for these factors that I've already
3 indicated that in normal infections, there's about
4 seven, eight times higher in terms of the copy numbers
5 per cell than we had.

6 Q Now you've already stated, so I won't ask
7 you this again, but you do not have confidence in
8 Unigenetics' results in general?

9 A No, I don't, and I think it is that and it
10 is inconsistency. I'm sorry that we didn't develop
11 the last line completely. Maybe I can go to the
12 printed version that you have, because it illustrates
13 the sort of discussion and the sort of general lack of
14 confidence that I have in the data that had been
15 presented from the laboratory.

16 If you look at the final part of that,
17 there's the following, that yes, 20 microliters was
18 used for the GAPDH and the measles F determination.
19 Samples were set aside for allelic discrimination
20 assays. And what we see in the case of Dr.
21 Bradstreet's paper for Sample No. 490 and 265 is that
22 when the allelic discrimination tests were run a year
23 later, both samples were negative in the CSF.

24 So this sample, which in one case had 6.1
25 times 10⁶ copies had become negative as it was used in

RIMA - DIRECT

1 the allelic discrimination test. And that's simply
2 Packer's (ph) belief in a sense either you had lessons
3 on the bench for a year, which is probably not what
4 they're describing as standard operating procedure, or
5 alternatively the data are completely destroyed.

6 So the data for this Child No. 2, and 1 and
7 2 in Dr. Bradstreet's paper are already essentially --
8 I have information in my report to indicate that there
9 was no RNA in those CSFs. The only conclusion
10 therefore I can come to is that the original figure
11 was based on contamination in the original test run.

12 Q So is it fair to say that your conclusions
13 about Unigenetics in general apply specifically to
14 Colten Snyder and Michelle Cedillo?

15 A They do.

16 Q And based on your decades of experience and
17 research in the field of measles virus and MMR vaccine
18 specifically, do you have any belief that there's a
19 link between MMR vaccine and autism spectrum disorder?

20 A I have no belief of that kind at all. I
21 would say that it's not a matter of belief either.
22 It's a matter of well-documented and well-evidenced
23 research that indicates that that link doesn't exist.

24 Q And that opinion extends specifically to
25 Colten Snyder?

RIMA - DIRECT

1 A It does.

2 Q Now you alluded to this earlier, and I think
3 we sort of hinted at it. There's other things that
4 you've read and know about from the U.K. litigation
5 that you cannot discuss here today?

6 A That's right.

7 Q And those items play into your opinion that
8 the MMR vaccine cannot cause ASD?

9 A They do.

10 Q But nevertheless, you can reach your
11 opinions here today without the benefit of that
12 additional information?

13 A I think so. I think I've demonstrated to
14 you why I have doubts about the quality of the data,
15 the quality of the interpretations. I've also
16 indicated to you that both in the Cedillo case and in
17 this case, the case is brought on a single sheet of
18 paper with a headline figure without supporting data
19 and that there is no indication of any evidence having
20 been provided on the presence of measles RNA protein
21 in these samples either.

22 So I think it is rather flimsy evidence to
23 go by, and I would have expected more in a sense from
24 my experience in the U.K. There would have been other
25 data that I would have liked to have seen before. I

RIMA - CROSS

1 would have wanted the interpretive data that had been
2 provided.

3 Q And you hold these opinions to a reasonable
4 degree of scientific certainty?

5 A Certainly.

6 MS. BABCOCK: I have no further questions.

7 THE COURT: I would suggest we take our
8 midmorning break at this point then. By my watch,
9 it's about 11:00. Could we reconvene at 11:15?

10 MR. POWERS: Thank you.

11 (Whereupon, a short recess was taken.)

12 THE COURT: We're back on the record then in
13 the case of Colten Snyder. Are you prepared to cross-
14 examine?

15 MR. POWERS: Yes, I am, Special Master.

16 Thank you.

17 CROSS-EXAMINATION

18 BY MR. POWERS:

19 Q Good afternoon, Doctor.

20 A Good afternoon.

21 Q My name is Tom Powers. I know that you've
22 been in the room for at least some of the testimony
23 that you've heard here, but I haven't had a chance to
24 introduce myself. Obviously, I'm one of the attorneys
25 representing the Snyder family in this case and

RIMA - CROSS

1 representing Petitioners at large in the omnibus
2 proceeding. I have a few questions for you, and I
3 first want to go to your Slide No. 2 if you have that
4 still available on your laptop?

5 A It's not my laptop. Can you switch it back
6 on?

7 Q If it doesn't come on right away, it's not
8 going to be particularly essential. I know that we
9 have paper copies distributed, and I have just a
10 couple of quick questions.

11 THE COURT: It's like we just hit the logoff
12 issue. There we go. Okay. Now we just need to go
13 back to Slide 2.

14 MR. POWERS: And this would be Slide 2.
15 Okay. Now we don't really have to have it up there.

16 THE COURT: Okay. I have it in front of me,
17 so if you want to go ahead, Mr. Powers, that's fine.
18 We're getting close. There we go. One more. Okay.

19 BY MR. POWERS:

20 Q Just a couple of quick questions about this
21 slide. The plotting that's done here, who did these
22 plots?

23 A This is Professor Simmonds who did these
24 plots.

25 Q And what data was Professor Simmonds using

RIMA - CROSS

1 to plot the graphs that we see here?

2 A He would have been using the same data as I
3 would have been seeing.

4 Q And so the same data that you saw, and the
5 data that he is using here, where did that data come
6 from?

7 A It came from Unigenetics.

8 Q And are there any plots like this that
9 you've introduced into evidence that Professor O'Leary
10 or anybody else at Unigenetics did?

11 A No.

12 Q Now this plotting or the data that the
13 plotting is based on, do you know whether this data
14 was from any general samples that would have been used
15 to set up assays versus actual patients that were
16 being viewed?

17 A It would involve patients and controls, so
18 in other words claimants and controls.

19 Q Claimants and controls. So none of this
20 would have been for an assay as it's set up. And
21 what's your basis for knowing that?

22 A My basis for knowing that is that I looked
23 at the same data and I've seen the same results.

24 Q And this is the data that you referred to
25 that is not available?

RIMA - CROSS

1 A (Nonverbal response.)

2 THE COURT: You nodded. Was that a yes?

3 THE WITNESS: Yes. Sorry. I mean in terms
4 of I've seen data for about 300 claimants and
5 children.

6 BY MR. POWERS:

7 Q So you've seen it, Professor Simmonds has
8 seen it, but certainly none of the attorneys here have
9 seen it and the Special Master hasn't seen it?

10 A No. But as far as my concerns, I can say
11 that obviously this is the case of a normal experience
12 that I had where essentially I had in my report
13 interpretations of data that I've seen but I can't
14 discuss with you.

15 Q Now you made mention of contamination, that
16 you've identified contamination issues or claimed to
17 have in the Unigenetics work. I didn't hear you
18 describe contamination in terms of negative controls.
19 Negative controls came up negative when they shouldn't
20 have come up negative, isn't that correct?

21 A Not in all cases because as you correctly
22 remember from Steven Bustin's report, there are
23 certain indications that sometimes positives were
24 ignored under these circumstances.

25 Q And that's based again on data that we don't

RIMA - CROSS

1 have available?

2 A Exactly.

3 THE COURT: I'm sorry. I didn't hear that.

4 THE WITNESS: Exactly, yes.

5 THE COURT: Okay.

6 THE WITNESS: I'll speak up. Okay.

7 BY MR. POWERS:

8 Q Actually, before moving off the slides,
9 Slide 4, if you could turn to that, please?

10 A This one?

11 Q Yes, thanks. Now Slide 4 as I understand
12 it, these graphs and these plots, and I should be
13 clear, one is a plot and one is a graph of a standard
14 curve?

15 A Yes.

16 Q The plot and the curve are not based on any
17 data that was contained in the O'Leary work, nothing
18 to do with any data or any samples or controls for
19 this litigation, correct?

20 A I only used this particular curve in my
21 report as well to indicate the problem that there is
22 that a lot of extrapolation was being done and values
23 were determined below the lowest point in the standard
24 curve.

25 Q And this material is actually marketing

RIMA - CROSS

1 material from a company that sells PCR equipment.

2 A It is, yes. Yes.

3 Q I don't know if it's equipment or systems as
4 they call it.

5 A It sells the kits to do it as well as the
6 machine.

7 Q Right. And so the lines that they generate
8 here are essentially self-serving. I mean, they're
9 generating lines to say our curve is flatter than the
10 other guy's curve.

11 A And the competitor, you're right, is not so
12 flat.

13 Q So this is some marketing material that is
14 illustrative only.

15 A Sure.

16 Q It doesn't reflect anything about the data
17 in these cases?

18 A No. I only use it in order to illustrate a
19 point and that point is made in my report as well that
20 a lot of the data that are provided by Unigenetics
21 involve extrapolations outside the range of standard
22 curve.

23 Q I want to just for a quick moment here step
24 away from the particulars of the testing methodology
25 and PCR that you spent most of the morning talking

RIMA - CROSS

1 about. Do you believe that the presence of measles
2 virus RNA after an exposure represents continued
3 measles virus replication?

4 A That depends on under what circumstances you
5 do the testing. We've already inferred that in
6 certain instances, Diane Griffin's (ph) lab has been
7 able to do PCRs and find it positive after 60 or 90
8 days depending on what particular set of patients you
9 look at. If you do it under those circumstances, you
10 don't actually know exactly what you have because the
11 RNA itself is not that stable. For the virus to
12 maintain a persistent state, it has to replicate. But
13 you don't know whether you're looking at degraded bits
14 of genome or whether there's still a whole replicating
15 system.

16 Q Exactly. That's what I wanted to get to.
17 So if you find measles virus RNA in a sample
18 postexposure, it's possible that it would represent, I
19 don't mean to use this in a particularly technical
20 term, but an artifact of previous replication, it
21 might not necessarily be replicating, is that right?
22 If it's imbedded in the cell, it's just survived in a
23 cell that has survived?

24 A Well, to an extent, yes, but it depends
25 entirely on the circumstance that you're looking at.

RIMA - CROSS

1 In this particular case, she was looking at HIV
2 positive children, and she found that it was longer
3 than we normally have seen. But what she doesn't
4 know --

5 Q Right. And just to make clear for the
6 record, I think we're talking about the same thing.
7 This is Dr. Griffin's 2001 paper on the HIV positive
8 versus HIV negative children?

9 A That's right. Yes.

10 Q And I think that was in Cedillo. That was
11 petitioners' Exhibit 112, Tab 1. So in that paper,
12 she determined that through PCR, she identified RNA in
13 the HIV positive children and concluded that 60, maybe
14 even more than that days out, the virus was
15 replicating in the system. The measles virus was
16 replicating in the system of some of those HIV
17 children.

18 A Yes. My expectation is that she has
19 demonstrated that there is RNA there.

20 Q So the question is does the demonstration of
21 RNA there, does that suggest that replication has
22 taken place?

23 A It's a matter of some uncertainty as to how
24 long RNA that is encapsulated in the nucleocapsid
25 protein of the measles virus can survive without

RIMA - CROSS

1 replication, okay? But it is very unlikely that that
2 is a very long period. And I must say that we have
3 relatively few data that suggests to us how long long
4 and not so long is.

5 It's very clear that RNA by itself as the
6 naked RNA molecule is quite unstable. It is very
7 quickly hydrolyzed by the hydroxyl groups that are
8 present in the cell's water, and that breaks it down
9 very rapidly. So in order for a virus to stay as an
10 entity, a genetic entity that is capable of
11 replicating itself, it is probably requiring constant
12 replication over whatever, for days, maybe even weeks.
13 I can't say that. We have not really got any data to
14 give us an answer in that particular question.

15 So if you ask me is it necessary for a virus
16 like measles to persist over eight years and that the
17 average is the period between the manifestation of
18 symptoms in SSPE and in the case of the acute
19 infection, then replication must occur. It's not like
20 DNA, which is a very stable molecule.

21 Q Right.

22 A But I don't know. If you ask me the
23 specific question, I cannot tell you whether if you
24 find RNA at Day 90 in an HIV positive child whether
25 that means that there was replication until weeks ago

RIMA - CROSS

1 or days ago.

2 Q And if you had additional evidence in
3 addition to the RNA and identify specific proteins,
4 would that be helpful in determining whether
5 replication had occurred? So if you found proteins
6 that were further down the chain or beyond IV and
7 moving down that chain of proteins, if you found those
8 along with the RNA, would that bolster the case for
9 persistence in that instance?

10 A It would be helpful, but I don't think that
11 you could ever find that as conclusive evidence.

12 Q And even if not conclusive, if you did find
13 that evidence, would that be through
14 immunohistochemistry?

15 A You could do that by immunohistochemistry,
16 yes.

17 Q And I heard you mention in your direct
18 testimony earlier that the Uhlmann paper did not use
19 immunohistochemistry?

20 A That's right.

21 Q I recall a passage in that paper that says
22 that the results were confirmed by
23 immunohistochemistry?

24 A That's right.

25 Q So it sounds as if they did do

RIMA - CROSS

1 immunohistochemistry to generate the results in the
2 Uhlmann paper?

3 A They were not in the Uhlmann paper, and if
4 you read my report, then you'll see that in my
5 redacted report, I posed a large number of questions
6 of the Unigenetics Lab, particularly because it was
7 obviously there for the potential to be used later on
8 in a hearing in the U.K. and to establish what had and
9 what had not been done. And so the important element
10 of that critique that I provided there was that if you
11 confirm something, then please show it to me.

12 I mean, your case would have been stronger
13 if you had protein data, but you don't have that
14 except where we rely on the statement by Dr. Kennedy
15 and we rely on Dr. Bradstreet's paper, which obviously
16 included Colten Snyder for that particular
17 confirmation. But I have never seen any data, and I
18 have good reasons to doubt whether that was actually
19 done properly, because Unigenetics is not a lab that
20 uses immunocytochemistry.

21 A lot of the so-called confirmatory data
22 that have been provided in this area come from Andy
23 Wakefield, and in the earlier stories that he had
24 about the link between measles or measles vaccines or
25 measles and mumps with inflammatory bowel disease, he

RIMA - CROSS

1 did try to confirm it through immunocytochemistry.

2 The first case, he used a --

3 Q Let me interrupt. I was just asking a
4 simple question about whether in the Uhlmann paper
5 they say that their results of PCR were confirmed by
6 immunohistochemistry.

7 A Yes.

8 Q And my only question to you is whether you
9 believe, yes or no, that that's a true statement in
10 the Uhlmann paper? Did they in fact confirm their PCR
11 results with immunohistochemistry?

12 A How could I know it? I've never seen any
13 data. I've never seen any immunocytochemistry data
14 from Uhlmann, from Unigenetics or from Andy Wakefield
15 after the original set of immunocytochemistry data
16 that were based on his theory about inflammatory bowel
17 disease, which was then demonstrated to be wrong in
18 the sense that there is a paper by Iizuka which shows
19 that there is cross-reactivity of the antibody that
20 they had with human antigens.

21 And secondly, in the first instance, and
22 this is when I referred in my direct already to my
23 collaboration with Andy Wakefield, he used a serum
24 which was a serum generated in a mouse by infecting
25 the mouse with an adenovirus that expressed the

RIMA - CROSS

1 measles nucleocapsid gene.

2 Q And that would be the N gene?

3 A The N gene, yes.

4 Q Now a question about the N gene. The N
5 gene, is that the first gene that's produced in the
6 replication cycle of the measles virus?

7 A It is, yes. Yes.

8 Q And a step first in that series?

9 A Yes.

10 Q And the N gene is the one that again you
11 described it as being the highest count?

12 A Copy number, yes.

13 Q Highest copy number.

14 A Yes.

15 Q And that's why I just want to make sure when
16 I say count and copy number, if we're using those
17 terms, are we using the same terms? Does that work
18 for you?

19 A I mean, I would prefer to use the word "copy
20 number."

21 Q Copy number. So for the N gene then, that
22 is the gene you would expect to have the highest copy
23 number?

24 A That's right.

25 Q And you describe how in the work here they

RIMA - CROSS

1 weren't looking for the N gene, is that correct?

2 A That's right.

3 Q And that in fact they were looking for the F
4 gene. In fact, that's what Slide 2 talks about, the
5 search for the F gene.

6 A Yes.

7 Q Now the F gene is much further down the
8 chain of genes that are involved for replicating
9 measles virus, is that right?

10 A In transcription of the virus, yes.

11 Q In transcribing it. And then presumably the
12 presence of F gene would indicate that the sequencing
13 that preceded the F gene, involving N and everything
14 else in between, if you found the F, that would
15 indicate that everything preceding it was there, is
16 that correct?

17 A If you had done the proper tests, and
18 obviously I don't believe that the tests were done
19 properly.

20 Q I'm just talking about the goal.

21 A But the goal, yes. I mean, that would have
22 been the normal expectation, yes.

23 Q Right. And then presumably one might do
24 that to establish or at least make a stronger case for
25 replication so that if you have the F gene, you might

RIMA - CROSS

1 be able to make the argument at least as a goal that
2 replication had been taking place in those locations
3 where you found RNA. Does that make sense as a goal
4 approach in a study like this? Looking for the F
5 rather than N if you're looking to find replication?
6 Does that make sense?

7 A No, it doesn't. I'll tell you why. If you
8 first of all wish to establish whether there is
9 measles in a particular sample, you're not immediately
10 concerned whether the question whether it's
11 replicating or if it's transcribing or how active, how
12 much is there, but you also have to give yourself the
13 best chance of finding that particular virus. Then
14 you would go for the N gene. And the Unigenetics
15 people tried to get results for N, F and H.

16 Essentially what they then found was that
17 somehow they were not able to establish a good N gene
18 assay. Clearly they would have liked to have seen the
19 confirmation that all these RNAs would have been
20 there. So if you try in the first instance to say
21 well, is it there or not, then you must go for the N
22 gene. You must work very hard to get there. The
23 secondary goal is in terms of looking at whether
24 there's replication or transcription or replication
25 without transcription. That's impossible.

RIMA - CROSS

1 Whether you have transcription without
2 replication, that would all be reasonable to say now
3 I'm starting to look at the other genes. But to take
4 that particular gene as the first target would not be
5 a sensible approach to my mind, and so I know that
6 they tried and they failed.

7 Q And your knowledge is based again on
8 documents that we don't have available here?

9 A Let me think. I would have to check. I'm
10 not sure whether the Uhlmann paper makes a reference
11 to the fact that they tried the other genes, but
12 because as you know, the Uhlmann paper also dealt with
13 the solution phase RT PCR, and the fact that they had
14 tried to use priors for the N, the F and the H, and as
15 you know, the Uhlmann paper itself has a list of N, F
16 and H primers.

17 Q Now you talked towards the end of your
18 testimony about a meeting that happened where a group
19 of people discussed whether they wanted to proceed
20 with taking new samples from children and running
21 tests on those samples to see if the results could be
22 replicated. Do you remember that discussion?

23 A Yes.

24 Q And you reported that the upshot of that
25 group's decision was to not go forward with doing

RIMA - CROSS

1 that, and you described the reasons for that. I just
2 want to learn a little bit more about that meeting.
3 That meeting was a group of people that were
4 representing the defendants?

5 A Sorry. I might have given the wrong
6 impression about the meeting. At some stage, the
7 legal teams asked us is there any value in asking for
8 samples of these children in order to establish
9 whether the Unigenetics data are correct or can we do
10 something.

11 Q And so I'm really careful here, the legal
12 team that you're describing, you used the word plural,
13 but these weren't legal teams from both sides of the
14 case. This was the legal team that was representing
15 the pharmaceutical companies?

16 A The respondents, right.

17 Q So the direction to have this meeting was
18 not by joint agreement of the parties, but the
19 defendant pharmaceutical companies directed you all to
20 have the meeting. Is that fair so far?

21 A What they asked us was the following
22 question.

23 Q I just want to establish who the "they" is.
24 Am I correct in saying that the "they" who directed
25 you to have this meeting --

RIMA - CROSS

1 A The people who would be representing the
2 respondents in Court, the barristers asked us.

3 Q Okay. That's all I was trying to establish.
4 So those are the people that called the meeting?

5 A Now they didn't call the meeting.

6 Q Who comes to mind?

7 A They asked a question, and I said I'm sorry
8 if I misled you about there having been a meeting.
9 The situation was that a number of us were asked by
10 correspondence do you think it's worth testing the
11 children, and then all of us came to the same
12 conclusion that this was not the way forward.

13 Q And when you say all of us, these would be
14 all retained experts --

15 A That would be --

16 Q Let me finish the question, please.

17 A Sorry.

18 Q These would all be paid and retained experts
19 exclusively on the side of the pharmaceutical
20 respondents?

21 A They were.

22 Q And you all in those discussions, or it
23 sounds like there was some consideration given to the
24 children. Did anybody from your side ever contact the
25 families or the people who were responsible for the

RIMA - CROSS

1 children to get their opinion on whether testing would
2 have been appropriate and whether they would have been
3 willing to undergo that?

4 A I think those are matters that I cannot
5 discuss.

6 Q And you cannot discuss these matters because
7 of a seal or confidentiality order imposed?

8 A There's a confidentiality order on a number
9 of the discussions that obviously we had.

10 MR. POWERS: I have no further questions.
11 And Special Master, I think, I mean, we've all stated
12 this on the record. We discussed it and it's come up
13 a couple of times. We will be asking for leave to
14 file a supplemental report here in response to some of
15 the information that's been presented, presuming that
16 we can get a hold of some of this underlying
17 documentation from the United Kingdom litigation.

18 THE COURT: Let me deal with the second part
19 of that first. Are you going to request unsealing of
20 the British litigation, of additional portions of the
21 British litigation?

22 MR. POWERS: Yes, we are, Special Master.

23 THE COURT: And when are you going to do
24 that?

25 MR. POWERS: That process has begun. We

RIMA - CROSS

1 have inquiries to the court in the U.K. and we are
2 initiating that, that proceeding.

3 THE COURT: Okay. Again, I'm going to ask
4 when, because you were invited, in fact encouraged, in
5 fact all three Special Masters dealing with this
6 litigation in Court said we would join with you back
7 five months ago to get the complete data. We've had
8 five months and it appears that the Petitioners have
9 sat on their hands. So when?

10 MR. POWERS: I just honestly don't know what
11 the timeline is. I know that in the U.K. system, I
12 mean, it's taken weeks literally just to get a copy of
13 the order.

14 THE COURT: A copy of which order?

15 MR. POWERS: The confidentiality order.
16 They don't just send it over. I honestly don't know
17 and cannot represent to you today how long that
18 process will take.

19 THE COURT: Well, I'm discouraged from the
20 testimony of Dr. Kennedy, who told me that he had not
21 been asked to request disclosure of his report prior
22 to his testimony here in this case from me. The whole
23 discussion of this prior to the start of the Cedillo
24 trial is Mr. Matanoski described the process the
25 government went through to get records unsealed, that

RIMA - CROSS

1 they approached their expert witnesses and asked them
2 to join in the request to unseal that testimony and
3 that the Petitioners have not taken that step.

4 This is concerning to me because we would
5 like to get a speedy resolution of not only Colten's
6 case and Michelle Cedillo's case and Yates Hazlehurst's
7 case but all of these cases.

8 MR. POWERS: Understood.

9 THE COURT: So, while I'm putting you on
10 notice that you've got to move on this, we're not
11 going to tolerate sitting on our hands.

12 MR. POWERS: Understood.

13 THE COURT: Okay. You have no other
14 questions for this witness?

15 MR. POWERS: No other questions for this
16 witness, no, Special Master.

17 THE COURT: Okay. I have a few, Dr. Rima.
18 Let me ask this question this way. You heard Dr.
19 Kennedy's testimony about Dr. O'Leary's current
20 activities. That is, he's recently published several
21 articles involving RT PCR. I think Dr. Logan is the
22 lead author on those articles. And you've heard that
23 he was recently awarded the St. Luke's Medal by the
24 Royal Academy of Medicine and St. Luke's Hospital and
25 that he is the chair of pathology at Trinity College,

RIMA - CROSS

1 Dublin.

2 Publications, awards, university chairs
3 don't seem to square to me with the picture you've
4 painted of what happened in the Unigenetics O'Leary
5 Lab. Can you shed any light for this on me?

6 THE WITNESS: I am not on the award panels
7 that have made these awards. I have not been asked to
8 be an external examiner or a person on the Trinity
9 College appointment panel. So, of course, that
10 particular appointment took place well before
11 Unigenetics started to work, because he was appointed
12 quite a long time ago to his professorship.

13 THE COURT: The chairmanship?

14 THE WITNESS: The chairmanship.

15 THE COURT: Okay.

16 THE WITNESS: So I have no observations to
17 make. If I was on the St. Luke's award panel, then I
18 could tell you on what basis they made that decision.

19 THE COURT: Okay. Well, let me phrase the
20 question this way. We've heard that contamination is
21 not unusual in labs doing PCR, is that correct?

22 THE WITNESS: It is correct, and I certainly
23 have experienced it myself, as I identified in my
24 report.

25 THE COURT: Can you square the problems in

RIMA - CROSS

1 the O'Leary lab that you discussed and Dr. Simmonds
2 and Dr. Bustin have discussed in testimony and reports
3 with mere contamination or mere carelessness?

4 THE WITNESS: What I provided evidence of is
5 carelessness in certain instances. I provided you
6 this morning with evidence where I found some
7 practices are unacceptable as a scientist. And that's
8 all I can say.

9 THE COURT: I think that answers my
10 question, Dr. Rima. At the time Colten's samples or
11 Michelle Cedillo's samples were sent to the O'Leary
12 Lab, were there other labs doing PCR of cerebrospinal
13 fluid, whole blood for a measles virus or was this the
14 only lab doing it at the time?

15 THE WITNESS: It was the only lab. Let me
16 explain this. I mean, if the technology had been
17 validated, then Dr. O'Leary would have found me and
18 Oldstone and several other people interested in
19 measles virus at his door saying, can you help us
20 resolve issues about not only this disease. I can
21 give you other diseases where there is a question
22 about the formation of measles virus in -- disease, in
23 otosclerosis. And I'm involved in several of these
24 instances where people are struggling to try to find a
25 link or an etiology for a disease which has no known

RIMA - CROSS

1 etiology.

2 And so, if indeed that technology had been
3 validated, if that indeed had been the circumstance, a
4 lot of people would have knocked on O'Leary's lab and
5 said you can do something which we can't do. And
6 there would have been a flood of people coming to him
7 independent of the litigation of some.

8 But that flood hasn't taken place for the
9 very simple reason that everyone who has looked at it
10 said, no, actually, this technology does not work.
11 What he claims he can do he cannot do. What he
12 claims, he simply has not been able to give us the
13 sort of confidence in his technology that would allow
14 us to start looking at it from a research perspective.
15 That's a research perspective. That is a very
16 different perspective even from the perspective of a
17 diagnostic lab that is going to test children for
18 pathological conditions that there are.

19 So I would have said I would have been the
20 first at his door. I mean, he is only 100 miles down
21 the line from me and it would have been great. I'd
22 like to work with this person. But it was clear that
23 the company that was set up by Unigenetics had only
24 one trading activity and that was to test measles
25 presence in samples from the litigants in the U.K.

RIMA - CROSS

1 And so essentially when people started to
2 look at it and when experts came in, measles experts
3 came into the field, we tried to get as many people
4 involved. On both sides, attempts were made to
5 involve people. We came quickly to the conclusion
6 that some of the practices that I described here, some
7 of the sloppiness, some of the inconsistencies in the
8 data were there and they led us to the conclusion that
9 this simply does not work.

10 THE COURT: You've characterized the reports
11 of measles virus in Colten s headline reports.

12 THE WITNESS: Yes.

13 THE COURT: And in the ordinary course of my
14 work, I rely on headline reports. I mean, I don't ask
15 the lab at whatever institution has tested blood for
16 the presence of whatever we might be looking for, a
17 virus, a bacteria, whatever might be at issue in our
18 case. I mean, I look at headline reports routinely.
19 As I understand what you just told me, it is the
20 nature of or the purpose for which this lab was
21 established as well as the practices of the lab that
22 leads you to question the reliability of the results
23 in Colten's case?

24 THE WITNESS: Yes.

25

RIMA - CROSS

1 THE COURT: And that you would not routinely
2 question a headline report.

3 THE WITNESS: Well, I'm obviously not in
4 your position and so I don't know what I would
5 question. I mean, I'm a scientist. I question
6 everything that comes on my desk and --

7 THE COURT: Okay.

8 THE WITNESS: -- in the first instance do
9 not believe it until I'm convinced that I can. And in
10 that sense, it was clear experience that we had once
11 we started to look at that. It was clear that we
12 couldn't rely on what was made available to us.

13 But why I call it a headline is because that
14 is based on two figures, a numerator and a
15 denominator, which could be both small and both -- and
16 multiply up, one small figure divided by an even
17 smaller figure gives you quite a large figure, leads
18 to a completely and utterly biologically implausible
19 situation where as I said, if you come forward with a
20 situation where you have two times 10 to the second
21 copies per nanogram, that means that that whole cell
22 is stuffed with measles F messenger RNA, let alone the
23 fact that Mr. Powers has already indicated that
24 actually it also had N and P and H and L as well. And
25 so essentially what we are seeing is something that is

RIMA - CROSS

1 biologically, that's all I'll say, implausible.

2 A cell normally has about 200,000 copies of
3 message. So, if you say to me that this sample
4 contains two times 10 to the second per nanogram, that
5 is 200,000 copies of measles F. There is no space, no
6 availability for the housekeeping gene that needs to
7 be there, for the other genes of measles that need to
8 be there. So it is simply impossible to have these
9 figures. And that is where when figures like that
10 came out in the Uhlmann paper, I said this is
11 nonsense.

12 THE COURT: So, when you say "these
13 figures," you're referring to the figures in some the
14 papers and in some of the data you have seen and the
15 graphs.

16 THE WITNESS: That's right. And I gave you
17 the example of the Uhlmann paper and the Bradstreet
18 paper where figures like that became very implausible.

19 THE COURT: But in Colten's specific case,
20 are the figures beyond plausibility?

21 THE WITNESS: Well, he has 34,000 copies per
22 nanogram of RNA in his CSF. If I calculate that on a
23 cellular basis, and I have already indicated why I do
24 that, because I have no indication that free virus is
25 there, if we do that, then he has about 3,400 copies

931B

RIMA - CROSS

1 of measles F per cell.

RIMA - CROSS

1 THE COURT: Of the 200,000 that are
2 available in the cell?

3 THE WITNESS: Of the 200,000, yes. In order
4 to put that in context, I refer you to the fact that
5 there's a paper by Catanial (ph), which is in my
6 report which actually has measured copy numbers by
7 other technologies than Taqman, and they come to a
8 conclusion that in circumstances where I take my best
9 virus, the lab adapted Edmonston strains, which grow
10 much better than the wild type, in vero cells, which
11 is a cell that has no innate immunity and therefore is
12 capable of allowing the replication of a virus to
13 occur, in those conditions, I can get up to about
14 4,000 copies of measles F.

15 THE COURT: So we have a factor of seven?

16 THE WITNESS: No, we have 3,400 in Colten
17 Snyder. I had 4,000 in my best case of growing. So I
18 would say if that's the case, we have no difficulty in
19 saying take those cells, grow up the virus and look at
20 immunocytochemistry, because this would be analogous
21 to my best, to what I could grow best in the cell.

22 THE COURT: Okay. So this is just too high?

23 THE WITNESS: Too high.

24 THE COURT: Too high to be believed?

25 THE WITNESS: Indeed.

RIMA - REDIRECT

1 THE COURT: Okay. Questions?

2 MS. BABCOCK: A few.

3 REDIRECT EXAMINATION

4 BY MS. BABCOCK:

5 Q Dr. Rima, to your knowledge, have any of
6 Professor O'Leary's recent publications or awards
7 dealt with his measles PCR research?

8 A No, they haven't. They have done some
9 publications on DNA viruses, which I've already
10 indicated PCR is extremely sensitive and there is no
11 question that you can pick up one copy. And the
12 latest paper has dealt with the diagnosis of a number
13 of viruses in stools of patients, and these viruses
14 are present in immense copy numbers in the stools.
15 These are noroviruses and also viruses where
16 essentially you have 10 to the 11th, 10 to the 12th
17 copies of free virus in the stools. And therefore, it
18 is not surprising that you can use this technology to
19 make that diagnosis.

20 THE COURT: Just a second. By "free virus,"
21 you mean not present in a cell?

22 THE WITNESS: Not replicating, simply "free
23 virus."

24 THE COURT: Okay.

25 THE WITNESS: If you look at stool samples

RIMA - REDIRECT

1 of patients with that type of diarrhea, you only see
2 virus practically. It's very infectious, as all of us
3 know who go on cruises on the wrong ship.

4 THE COURT: Just ensuring I understood the
5 distinction between free virus and cellular virus.

6 THE WITNESS: Yes.

7 THE COURT: Okay. Go ahead.

8 BY MS. BABCOCK:

9 Q Now there was some discussion on cross of
10 the meeting that you mentioned on direct, and you sort
11 of cut it off because your really didn't want to go
12 into much more detail. But am I correct, on your
13 direct examination, in talking about this inquiry into
14 whether you were going to try and replicate the tests
15 on the claimants themselves that part of the reason, a
16 big part of the reason was because you didn't think it
17 was medically or ethically justified?

18 A Uh-huh.

19 Q That's correct. And that was already your
20 testimony here today?

21 A Well, it is also I think I don't know to
22 what extent it was part of the record, but a number of
23 CSF samples were sought after because the claimants
24 then wished to start to find evidence for their
25 conjecture that there was direct brain infection. And

RIMA - REDIRECT

1 so similar to the U.S. cases where CSF samples were
2 available, they were not in the original cases in the
3 U.K. And essentially people having looked at that
4 found that no laboratory in the U.K. was willing to
5 take CSF samples from these children because they did
6 not feel that there was sufficient ethical background
7 to validate or to justify taking those samples. And
8 the children had to travel to the U.S., and I don't
9 know where the sample was taken.

10 Q Now Mr. Powers also asked you about the
11 immunohistochemistry in Uhlmann, and I wanted to make
12 sure, is there anything else you wanted to add about
13 why you're not confident in the immunohistochemistry
14 done here?

15 A Well, I mean, the Bradstreet paper is sort
16 of referring to the fact that there might be
17 immunocytochemistry done, but --

18 Q And let me be clear, it sounds sort of like
19 immunohistochemistry and immunocytochemistry are
20 interchangeable?

21 A They're the same.

22 Q Okay.

23 A So, no, it hasn't been done. And it's
24 surprising to me. This is why I come back to the
25 question of the headline figure being the only thing

RIMA - REDIRECT

1 available. If you had data on the presence of measles
2 protein being in the CSF of these children, then I
3 think it should have been presented to courts.

4 Q Now your report and your testimony today
5 accurately summarize your concerns about Unigenetics,
6 correct?

7 A Uh-huh.

8 THE COURT: And that was a yes?

9 THE WITNESS: Yes, sorry.

10 THE COURT: Okay.

11 MS. BABCOCK: Thank you. Sorry.

12 THE WITNESS: I'm sorry. I'm learning
13 slowly.

14 BY MS. BABCOCK:

15 Q And this additional data that keeps getting
16 referenced and that you can't talk about, this just
17 provides more support for your opinions?

18 A On the basis of my redacted report, I hope
19 to convince the Court that there were a number of
20 questions about practices, consistency of the data and
21 questions of contamination, et cetera, that would say
22 to me there is a question about the quality of the
23 material that has been provided in addition to the
24 fact that there is not the sort of background
25 information that we have seen available to us from the

RIMA - REDIRECT

1 other claimants in the U.K. That would have specified
2 the cycle number for GAPDH in that run, what the
3 standards were doing in that run, what the standards
4 for measles F were doing on that plate where the
5 sample was, how many positives were there on that
6 particular plate on that particular day, and the
7 actual copy numbers, which would have given rise to
8 the headline figure.

9 So this is where I think first of all I
10 question the plausibility of these figures. Secondly,
11 I then question the basis on which that figure has
12 been derived. I think I simply don't have the data.
13 Part of being a scientist is trying to get confidence
14 in the tests that are being presented to you, and all
15 I have been able to say is that I from my experience
16 in that U.K. litigation without having to disclose any
17 confidential data say that I have no confidence in
18 what I saw, and therefore, I said that by extension, I
19 simply cannot take on good faith value the data that
20 we have seen in the cases of Cedillo and Colten
21 Snyder.

22 MS. BABCOCK: I have no further questions.

23 MR. POWERS: Just one quick one to follow up
24 on there.

25 THE COURT: Certainly.

1 A F T E R N O O N S E S S I O N

2 (1:10 p.m.)

3 THE COURT: We are back on the record in the
4 case of Snyder v. Secretary of HHS. I see Dr. Ward
5 advancing toward the witness chair, so apparently he's
6 your next witness.

7 MS. BABCOCK: No need for Respondent to do
8 it.

9 (Laughter.)

10 THE COURT: All right. Would you raise your
11 right hand, Dr. Ward?

12 Whereupon,

13 BRIAN WARD, MD

14 having been duly sworn, was called as a
15 witness and was examined and testified as follows:

16 THE COURT: All right. You may proceed, Ms.
17 Babcock.

18 DIRECT EXAMINATION

19 BY MS. BABCOCK:

20 Q Dr. Ward, could you please state and spell
21 your name for the record?

22 A I'm Brian Ward, W-A-R-D.

23 Q And Brian with an I?

24 A B--R-I-A-N, yes.

25 Q Okay. And you testified during the Cedillo

WARD - DIRECT

1 trial, correct?

2 A I did.

3 Q So we're not going to go through any
4 extensive rediscussion of your qualifications, but
5 could you just tell the Court where you are currently
6 employed?

7 A I'm currently at McGill University in the
8 Divisions of Infectious Diseases and Microbiology.

9 Q And you've also published and studied the
10 measles virus?

11 A I have.

12 Q Including book chapters, articles?

13 A Yes.

14 Q And have you also seen patients with measles
15 virus infections?

16 A Yes, many.

17 Q About how many do you estimate over the
18 course of your medical career?

19 A I haven't kept notches on my belt, but
20 probably many hundreds, perhaps low thousands.

21 Q What materials did you review in preparation
22 for your testimony today?

23 A I reviewed the medical records that were
24 sent to me, the expert opinions that were sent to me
25 and resorted to the medical literature when necessary.

WARD - DIRECT

1 Q And you've of course also reviewed the
2 medical records and materials in Cedillo?

3 A Yes.

4 Q And I should say as Mr. Powers did earlier,
5 do you incorporate your opinion in Cedillo by
6 reference in this testimony as well?

7 A Yes, of course.

8 Q And therefore, we're going to attempt not to
9 replicate that testimony again. But here in this
10 case, again, there's been some effort to use SSPE and
11 MIBE as models for Colten Snyder. I even think at one
12 point in Colten's medical records, they were working
13 him up for SSPE. What is the clinical picture of
14 someone with SSPE?

15 A Well, as Dr. Rima said, the most common
16 clinical picture is a period of confusing clinical
17 presentation, typically at least five to seven years
18 after wild-type measles, and often a diagnosis is not
19 immediately entertained. But after a period of
20 progressive clinical deterioration, then somebody
21 thinks of the diagnosis and the diagnosis is made.
22 And so far the individuals with SSPE progress and
23 actually lead to death.

24 Q And is there inflammation in the brains of
25 people with SSPE?

WARD - DIRECT

1 A Well, there's surprisingly little
2 inflammation. That's one of the things that people
3 don't really understand why there is so little
4 inflammation in the brains of these individuals. But
5 it's not extensive inflammation that you would see
6 from an acute viral encephalitis or bacterial
7 meningitis, for example.

8 Q Now ADEM or PIEM, can they be associated
9 with measles virus?

10 A Yes. They are also reported to occur after
11 wild-type measles virus and may very rarely occur
12 following vaccine exposures.

13 Q Is Colten Snyder's clinical picture
14 consistent with ADEM?

15 A Not at all.

16 Q Now Dr. Kinsbourne discussed a 2004
17 editorial by Paul Dyken discussing a condition called
18 MINE, which I believe is measles-induced neuroautistic
19 encephalopathy. It's a paper that was actually
20 introduced on the last day of the Cedillo trial,
21 actually during Diane Griffin's cross if I recall, and
22 hadn't been previously referenced by any of the
23 experts. Do you think this theory as offered in the
24 editorial by Dr. Dyken is scientifically sound?

25 A No. It's quite an amusing acronym because

WARD - DIRECT

1 it's sort of laying claim to an acronym that seems
2 quite possessive. But in this particular instance, it
3 seems that Dr. Dyken simply took articles that were in
4 the literature in a completely noncritical way and
5 said, well, if this is true and this is true and this
6 is true, then there might be this new thing that I'm
7 going to call MINE. And it was only subsequent to
8 that publication, which was in a fairly obscure
9 medical journal, that many of the problems with the
10 hypothesis became apparent, and Dr. Dyken hasn't said
11 anything else about this since then.

12 Q And sort of following up on that, to your
13 own knowledge, was this editorial written before
14 information came out in the U.K. MMR litigation that
15 caused funding to be withdrawn?

16 A Yes, it was. I'm not sure. I don't recall
17 when it was submitted, but it was certainly published
18 prior to the suspension of the U.K. litigation.

19 Q Now, switching topics, is IVIG a treatment
20 commonly used for wild measles virus infection?

21 A Almost never except in the very unusual
22 circumstance of a baby, a newborn baby, who is exposed
23 to a mother who develops measles either in the last
24 few days of the pregnancy or in the first weeks to
25 months after delivery of the child. And the reason

WARD - DIRECT

1 that it's used in those circumstances is because the
2 maternal antibody generally protects the child during
3 the first four to eight months of life, and if the
4 mother develops acute measles, then she obviously
5 could not have transmitted any of those protective
6 antibodies to her child.

7 Also, the mother is in close contact with
8 the baby and so there is a virtual certainty of
9 transmission to the child. In that case, IVIG is
10 occasionally used to give the baby a better chance,
11 because the mortality from natural disease is very,
12 very high in very young infants.

13 Q So when it's used there, does IVIG contain
14 measles neutralizing antibodies?

15 A Yes, it does. In North America and I think
16 also in Europe but certainly for North America, the
17 FDA requires that IVIG formulations of different lots
18 have a minimal amount of antibodies directed against
19 common childhood exanthems.

20 Q Do these levels fluctuate depending on the
21 batch or source of the IVIG?

22 A Oh, absolutely. That's why the FDA made
23 that requirement of minimal amounts, because people
24 were using these products assuming that they all have
25 lots of measles or varicella or other antibodies. And

WARD - DIRECT

1 when it was discovered that in fact they didn't, in
2 some cases, some lots had very low measles antibodies,
3 the FDA required that there be a certain minimal
4 level. But there's wide variability above that
5 minimal level so that some lots have much more anti-
6 measles antibodies than other lots.

7 Q So, because of that, if you were using IVIG
8 to treat a purportedly persistent measles virus
9 infection, would it be important to take titer levels
10 before you administer the IVIG?

11 A Sure. Well if you've got a choice of lots.
12 It would be I would think a very reasonable precaution
13 to take to use the lot that had the highest titers and
14 make sure that you had enough of it to treat the
15 individual for a period of time. Basically buy as
16 much of it as you thought you would need.

17 Q Now is IVIG ever used to treat MIBE?

18 A No, because most people don't believe that
19 that's an active measles -- oh, measles inclusion body
20 encephalitis, yes, sorry. Yes, rarely. Not really.
21 In the case of individuals with MIBE, they might
22 actually use it as a temporizing measure to see if
23 they could actually protect the individual for a long
24 enough period of time for their immune system to come
25 back.

WARD - DIRECT

1 Q And even with the use of IVIG, what is the
2 usual course for someone with MIBE?

3 A Well, most individuals with MIBE will die.
4 And IVIG can temporize for a while and if the
5 immunosuppression that allowed them to be susceptible
6 to that manifestation cannot be reversed, then even in
7 the presence of IVIG, the most likely outcome is that
8 they will probably die as well.

9 Q Is there evidence that wild-type measles
10 virus actually cures some autoimmune diseases?

11 A Yes, that's one of the sort of interesting
12 little things about measles is that there's limited
13 but some quite consistent literature of children who
14 have well-defined autoimmune conditions prior to the
15 development of wild-type measles, and then after wild-
16 type measles, the disease is either suppressed for a
17 long period of time or goes away. They're permanently
18 cured.

19 Q Now, in his original opinion, and I realize
20 he's been put forth as the treating doctor, not as an
21 official expert, but certainly he wrote several expert
22 opinions. Dr. Bradstreet cites to Dr. Singh, several
23 papers by Dr. Singh. We certainly know that Dr. Singh
24 gave some testimony on Colten Snyder here. Are the
25 tests that Dr. Singh did on Colten Snyder consistent

WARD - DIRECT

1 with what you understand Petitioners theory to be in
2 this case?

3 A Well, I said many times that I'm often not
4 really sure what the Petitioners' theories are. There
5 seem to be many of them in Dr. Bradstreet's written
6 statements. But if the simplified position is that
7 you have a persisting measles virus infection that
8 somehow causes autistic spectrum disorder, then I
9 don't see any support for this hypothesis in Dr.
10 Singh's work or in Dr. Bradstreet's arguments.

11 Q Did Dr. Singh test Colten's CSF for measles
12 virus antibodies?

13 A Yes, he did.

14 Q And what were the results?

15 A That result was negative.

16 Q Now Dr. Bradstreet's explanation for the
17 negative tests is that Colten received IVIG treatment
18 not long before the sample was drawn. Is this
19 explanation persuasive to you?

20 A Well, not at all, because the half-life of
21 antibodies is typically stated to be four weeks and
22 certainly if they're made by the individual. And so
23 if you are making antibodies, you wouldn't expect them
24 just to disappear. The mother gives a child IgG just
25 prior to delivery, and those antibodies last typically

WARD - DIRECT

1 eight to nine months. And so, if Colten had
2 antibodies in his brain, in his CSF rather, one
3 wouldn't expect them simply to turn on or off like a
4 switch with IVIG administration.

5 Q Now Dr. Kinsbourne cited to work by Dr.
6 Pardo particularly on page 17 of his report. And in
7 the middle there, he discusses it as evidence that
8 some scientists may believe that environmental toxins
9 or infections in the presence of genetic
10 susceptibility can lead to neuroinflammation and
11 autism. Is Dr. Pardo's laboratory actively studying
12 potential environmental causes of autism?

13 A Yes.

14 Q And are Dr. Pardo or his colleague, I
15 believe Dr. Swado (ph), considering the MMR vaccine or
16 measles virus in their research?

17 A No, they're not. They're not testing for
18 measles virus.

19 Q Now is the theory being proposed here that
20 measles virus persists in the human and eventually
21 results in autism consistent with any condition
22 associated with wild or vaccine strain measles virus?

23 A Sorry. Could you repeat the question?

24 Q The theory being proposed here that measles
25 virus persists in the system and eventually results in

WARD - DIRECT

1 autism consistent with any condition associated with
2 wild or vaccine strain measles?

3 A Not that I'm aware of. To my knowledge,
4 there's no convincing evidence whatsoever that
5 exposure to wild-type measles is associated with
6 autism at all. Given the number of children who
7 experience wild-type measles in the world still half a
8 million cases, and certainly it's assumed that
9 virtually everybody in the world prior to the
10 introduction of the vaccine experienced wild-type
11 disease, the silence in the medical literature on any
12 association between wild-type measles and autism is
13 striking.

14 It's not an association that would have been
15 missed because wild-type measles came in waves, and
16 normally, for example, the east coast of the U.S. had
17 an outbreak of measles with thousands of cases in the
18 1990s. The eastern province of Canada had a similar
19 outbreak at that time. Many children were infected
20 with measles in a relatively short period of time, and
21 there wasn't a sudden burst in autism in either Canada
22 or the States following those very well-defined
23 outbreaks of measles in societies that certainly had
24 the tools to do surveillance for things like autism.

25 Q Now moving on to the Unigenetics testing,

Heritage Reporting Corporation
(202) 628-4888

WARD - DIRECT

1 and again, you testified about a lot of this in
2 Cedillo. We're not going to duplicate that here. But
3 talking about Colten Snyder specifically, I believe
4 that even Petitioners' experts concede that at best,
5 Colten Snyder's gut biopsy is borderline positive, or
6 I think Dr. Kennedy actually stated he wouldn't have
7 confidence that measles virus was actually in Colten's
8 gut. Do you agree?

9 A Well, I mean, I think with what Dr. Rima
10 just explained to the Court, it's impossible to have
11 confidence in either of those results because it's
12 entirely plausible that the very high titers that one
13 saw in what were reported in the CSF were simply the
14 result of very, very low copy numbers that were then
15 multiplied enormously by a very low GAPDH copy number
16 value. So I would say that if Dr. Kennedy has
17 difficulty believing the gut results, I would hope
18 that after the testimony of Dr. Rima, he has a similar
19 level of concerns about the CSF reported values.

20 Q Now Dr. Rima talked about this this morning,
21 that with the positive, markedly positive, what were
22 reported to very highly positive CSF and negative
23 whole blood results, there might be a logical
24 inconsistency there. Do you agree?

25 A Sure. Measles is essentially a completely

WARD - DIRECT

1 cell-associated virus. There is very little evidence
2 of virus living outside of the cells. Obviously, it
3 has to move from cell to cell at some point, but it
4 does that with enormous efficiency, almost frightening
5 efficiency. And so a virus that's released by a cell
6 breaking would enter into another cell essentially
7 instantaneously. And so, when people have looked to
8 isolate virus from, for example, blood, you can't
9 isolate the virus from the plasma. You can only
10 isolate the virus from the cells.

11 In an individual with some degree of
12 neuroinflammation or even without any inflammation,
13 the only cells that are floating around in the
14 cerebrospinal fluid are the lymphoid cells, the white
15 blood cells, and, yes, those are the same white blood
16 cells that are in the blood. So, if you have
17 extremely high copy numbers in the white blood cells
18 in the brain, it is completely logically inconsistent
19 that you would not see those have the same virus in
20 the white blood cells in the peripheral circulation.

21 Q Now is it accurate to say that PCR can be
22 useful as a diagnostic tool and a research tool?

23 A Sure.

24 Q What was Unigenetics using its testing for
25 in this circumstance?

WARD - DIRECT

1 A Well, I think quite clearly they were using
2 it as a diagnostic tool. They were reporting results
3 that were specific to an individual child.

4 Q When you're using something as a diagnostic
5 tool, what's an acceptable rate of false positives?

6 A Well, I could turn that question around to
7 the Court, but really if it's me being diagnosed with
8 a serious condition, I'd like it to be as close to 100
9 percent sensitive and specific as possible. Very few
10 tests actually achieve that rate of sensitivity and
11 specificity, but all competent labs strive to make
12 their tests that sensitive and that specific. And
13 some of them come remarkably close.

14 I think if you imagine if a test gave out 10
15 percent false positive results, on the one hand, you'd
16 say, well, gosh, they got it right 90 percent of the
17 time. But, on the other hand, if that test is HIV and
18 it's you, that's a completely unacceptable rate of
19 false positives, because one in ten individuals would
20 be falsely informed that they have HIV, for example.

21 Q Have you recently had occasion to speak with
22 Michael Oldstone about Unigenetics?

23 A Well, yes, I did with some fear and
24 trepidation in fact, because as a graduate student and
25 also as a postdoctoral fellow working in Diane

WARD - DIRECT

1 Griffin's lab, I had occasion to witness Michael
2 Oldstone taking apart a fellow trainee in a session in
3 Philadelphia in fact. And I came out of the session
4 and asked in a loud whisper, is Michael Oldstone
5 always such a blank, deleted for the purposes of the
6 transcript, and it turned out my friend went like this
7 and he was standing right behind the door.

8 So he has quite a reputation for remembering
9 things like that and taking people's heads off. So I
10 was a little hesitant to call him, but I decided to
11 call him and ask because I thought he might be
12 interested in knowing the extent to which some of the
13 experts in the Snyder case were using his work to
14 support their hypothesis.

15 Q And I believe it's been noted it was also
16 used quite extensively in Cedillo, correct?

17 A It was used extensively in Cedillo. So I
18 plucked up my courage and I was both reassured and a
19 little humbled by the fact that of course he'd
20 completely forgotten who I was. And so my comment
21 after the meeting was I guess not very memorable for
22 him. It was memorable for me. But I asked him if he
23 was aware of how his data was being used and I thought
24 misinterpreted. And then he told me about his
25 interaction with Dr. O'Leary and Wakefield in the

WARD - DIRECT

1 early 2000s.

2 Q I guess you should maybe even explain what
3 exactly happened.

4 A Well, I only know what Dr. Wakefield told me
5 over the phone, which was --

6 Q Dr. Oldstone?

7 A Sorry, Dr. Oldstone.

8 Q You said Dr. Wakefield.

9 A Oh, right. Dr. Oldstone, sorry. I only
10 know what he told me over the phone. I took notes
11 during the meeting, during the telephone conversation.
12 And essentially he was approached by a politician, a
13 California politician, Mr. Rollins I believe, who was
14 associated with the MIND Institute, and at the behest
15 of Andrew Wakefield, they wanted to encourage Dr.
16 Oldstone to work with Drs. O'Leary and Wakefield to
17 assess the hypothesis of the persistence of measles
18 virus in individuals with autistic spectrum disorder.

19 Q So was this testing funded by the MIND
20 Institute?

21 A Yes. What Dr. Oldstone said was probably
22 what all researchers say: if you want me to do
23 something, can you fund me to do this. And what he
24 asked specifically was for funding for a postdoctoral
25 fellow to work in his lab for a period of time to

WARD - DIRECT

1 prepare samples and send them to Dr. O'Leary's
2 laboratory in a coded, blinded fashion.

3 Q What were the results of this exercise?

4 A Again, according to Dr. Oldstone, a number
5 of samples were prepared from different tissues and
6 also different in vitro infected cell lines so that
7 uninfected cell lines and uninfected tissues -- the
8 tissues that were used here were from -- transgenic
9 mouse model where he put the gene for one of the
10 receptors for the virus into a mouse so he could
11 infect some tissues in the mouse. And so he was able
12 to send, for example, some gut tissue, some brain
13 tissue.

14 But he used measles virus to infect some of
15 the mouse tissues and some of the in vitro cell
16 cultures at different levels of infection, and these
17 blinded samples were sent to Dr. O'Leary's lab. And
18 then both Dr. O'Leary and Dr. Oldstone together
19 unblinded the set of specimens to find out how well
20 the O'Leary Lab had done.

21 Q And how well had they done?

22 A About 80 percent accuracy. About 80 percent
23 of the samples were correctly identified as being
24 either positive or negative, but about 10 percent were
25 found to be false positive, so there was no virus

956A

WARD - DIRECT

1 present, but O'Leary Lab reported that there was. And
2 others which had virus, in some cases high titer
3 virus, were reported as negative. Dr. Oldstone's
4 recollection was that it was 50-50. About half of the
5 incorrectly classified samples were false positive and
6 the other half were false negative.

7 Q Now, as a scientist and someone who performs
8 PCR, is this an acceptable rate? Is 20 percent
9 acceptable in doing testing for the purposes
10 Unigenetics was doing it?

11 A Well, it's not even acceptable in a research
12 lab. If one had an assay that was giving you both
13 false positives and false negatives, you'd fix the
14 assay as opposed to continuing to do research with it,
15 because you're going to have a guaranteed 20 percent
16 inaccuracy in whatever you're doing. It's wildly
17 inappropriate for a diagnostic lab, any lab, let me
18 rephrase that if the only test available to you is
19 this test.

20 Then under certain circumstances, you could
21 justify doing that test. But the results of that test
22 that were only 80 percent accurate would have to be
23 sent out with a big red warning saying be aware that
24 this test is wrong 20 percent of the time. And then
25 the clinicians can make a decision based on what

956B

WARD - DIRECT

1 they're getting and based on the reliability of the
2 assay. Of course, that was never done by the O'Leary
3 Lab.

WARD - DIRECT

1 Q Did Dr. Oldstone try to publish the results?

2 A Well, actually the story wasn't finished,
3 because after the first round of testing, Dr. Oldstone
4 and Dr. O'Leary, neither of them was happy. And so
5 according to Dr. Oldstone, there was an agreement
6 again between the two them that they should do it
7 again, that Dr. O'Leary was going to try to make the
8 assays work better. And so another set of samples was
9 prepared by the postdoctoral fellow. Again, they were
10 sent to Dr. O'Leary's laboratory. And again, the
11 results were jointly unblinded by Dr. O'Leary and Dr.
12 Oldstone, and once again, the samples were found to be
13 only about 80 percent accurately diagnosed. And
14 again, there was about 50-50 false positive and false
15 negative.

16 If this wasn't troubling enough, Dr.
17 Oldstone did something that was I think quite careful.
18 He took some of the samples that had been called false
19 positive or false negative in the first go-around, the
20 same identical samples, these were not new samples,
21 but the same identical samples were given new code
22 numbers and sent back. So the same identical samples
23 were sent back, and in several instances, samples that
24 had been false positive now became false negative and
25 others that had been false negative now became false

WARD - DIRECT

1 positive. And at that point, Dr. Oldstone said that
2 he was no longer interested in collaborating and
3 suggested that the results should be published.

4 Q And was Dr. Oldstone successful in that
5 effort?

6 A He was not. He made a fundamental error I
7 think of trust in not having a pregranting agreement,
8 which is fairly standard actually, where the
9 investigator has the right to publish the results even
10 if the sponsor doesn't like them. He did not have
11 that agreement with the MIND Institute and he was
12 unable to publish these results.

13 Q Is it fair to say that officials, Dr.
14 O'Leary and people in his camp, were unhappy with the
15 results?

16 A I'm not sure how anyone could possibly be
17 happy with the results.

18 Q Now, in his testimony on Tuesday, Dr.
19 Kennedy suggested that some of the problems might have
20 actually been because of contamination in Dr.
21 Oldstone's lab. What's your reaction?

22 A I'm sure Dr. Oldstone has had contamination
23 in his lab. As Dr. Rima said, we all have. Anybody
24 who works with PCR has to deal with contamination. It
25 happens all the time. The quality of the lab is not

958B

WARD - DIRECT

1 in whether you have contamination or not, but it's how

WARD - DIRECT

1 respond to that contamination. If you respond by
2 ignoring negative controls that go positive, that is
3 not a responsible reaction. If you try to figure out
4 where the contamination is coming from and fix it,
5 then of course it's entirely possible that there may
6 have been some contamination in Dr. Oldstone's
7 laboratory at some point. But he is one of the I
8 think most meticulous scientists I know, know of, I
9 don't even know him, and has a track record of more
10 than 50 years of high-quality, high-impact publication
11 in this area using a huge variety of technologies,
12 including PCR. So, if there was contamination in Dr.
13 Oldstone's laboratory, I would have I think very close
14 to complete confidence that he would do whatever he
15 could to fix it.

16 Q And given the purpose of the exercise, which
17 is in fact to see if Dr. O'Leary could properly
18 identify positive and negative samples, do you think
19 Dr. Oldstone would have taken extra care to ensure
20 that what he was sending was in fact what he thought
21 he was sending?

22 A Absolutely. It's also I think quite
23 relevant that the issue of contamination in Dr.
24 Oldstone's laboratory did not come up in the
25 conversation between Dr. O'Leary and Dr. Oldstone

WARD - DIRECT

1 between the first and the second round of testing. It
2 was only when the O'Leary Lab failed to achieve a
3 reasonable rate of sensitivity and specificity that
4 any concerns were raised about Dr. Oldstone's
5 competence to prepare samples and send them to
6 O'Leary, Dr. O'Leary's laboratory.

7 Q Now Dr. Kennedy also suggested on Tuesday
8 that one of the reasons Dr. O'Leary might have missed
9 some of the positive tests from Dr. Oldstone's lab was
10 because the copy numbers were very low. It was low
11 detectable limits. Does that make sense given what
12 actually happened in the attempt to replicate?

13 A Well, sure. I think, to be a good test, I
14 mean, my daughter is now trying to get into high
15 school. I keep telling her to be a good test, it has
16 to be hard. And so I'm sure that Dr. Oldstone sent
17 Dr. O'Leary some slam-dunk easy samples and some
18 really low copy number samples. I think Dr. Rima
19 pointed out very clearly that a large number of labs
20 around the world would have beaten a path to his door
21 had he really been able to do this in order to
22 initiate collaborations with Dr. O'Leary, because he
23 was claiming to do something that nobody had actually
24 done yet.

25 And so I'm sure that it's plausible that

WARD - DIRECT

1 some of the low, low positive samples that Dr.
2 Oldstone sent to Dr. O'Leary's lab might be missed
3 because of lack of sensitivity. However, that doesn't
4 explain how a test can be false positive. That's not
5 an issue of sensitivity. That's an issue of
6 specificity. And it certainly doesn't explain how a
7 false positive can become false negative or a false
8 negative can become false positive. It's impossible
9 that that would occur because a low copy number was
10 there.

11 Q Now did Dr. Oldstone also discuss or comment
12 on the hypothesized link between MMR and ASD?

13 A Yes. He said that he was quite willing to
14 believe that there could be such an association when
15 he entered into this agreement with Dr. O'Leary with
16 funding from the MIND Institute. Dr. Oldstone is a
17 curmudgeon. He's a tough old guy and there's no way
18 that he would waste his time setting up a series of
19 things if he didn't think it was possible that Dr.
20 O'Leary had actually done this. He would have just
21 said no, I'm not going to be involved with this at
22 all.

23 So, by entering into this agreement, he was
24 showing a willingness to believe. It's just that as
25 Dr. Rima said, for a good scientist, it's really not a

WARD - DIRECT

1 question of belief, it's a question of what you can
2 prove to be true. And in this case, he was willing to
3 believe but only until proof could not be supplied.

4 Q And just for the sake of the transcript, did
5 he put all of this into a letter which he then sent to
6 you?

7 A Yes. What happened was I was taking notes
8 as he was talking, and so after our conversation, I
9 asked him if he would be willing to put this into a
10 letter, and he basically said send me your notes. And
11 so I sent him my notes and he wrote the letter and the
12 letter was submitted to the Court.

13 Q Yes. Respondent's Exhibit AA. Now
14 switching gears a little bit, did you read the
15 rebuttal opinions from Dr. Kennedy and Dr. Hepner
16 concerning Unigenetics and PCR?

17 A I did.

18 Q Now Dr. Hepner goes into some detail about
19 the work you did and suggests that SYBR Green is an
20 inadequate tool for comparing results from Taqman PCR
21 testing. Do you agree?

22 A No, not at all.

23 Q Why not?

24 A Well, certainly in terms of the generation
25 of amplicons, that really doesn't depend upon your

WARD - DIRECT

1 detection system. You can detect PCR results with a
2 wide range of the agents with probes, as was pointed
3 out last time, or with dyes that intercolate into the
4 DNA. And so really what is amplified in a PCR
5 reaction is driven by the primers. And if the primers
6 amplify something that is picked up either by a probe
7 or by SYBR Green, it still is amplified by the
8 primers.

9 SYBR Green is actually a good first step to
10 determining whether or not your primers are amplifying
11 what you want. And so, in this case, we chose to use
12 the primers and SYBR Green, knowing full well that we
13 were going to take any products that were amplified
14 out to the stage of sequencing to know exactly what we
15 were dealing with. And so we weren't going to rely on
16 a probe to give us the specificity. We were actually
17 going to take it all the way to the stage of
18 sequencing.

19 And so the detection system is irrelevant in
20 terms of the major observation, which is that O'Leary
21 or the Uhlmann primers result in the amplification of
22 things that in this case look like a duck, walk like a
23 duck but aren't ducks. They are human genes.

24 So, in the multilayered evaluation of the
25 amplification products, we looked at melt curve

WARD - DIRECT

1 analysis. We looked at the size of the amplicons
2 produced. And then we did sequencing on the results.
3 And some of the samples yielded things that have the
4 correct melting temperature, had the right size on gel
5 but were nonetheless human gene products as opposed to
6 viral gene products.

7 Q Now on the topic of the primers, Dr. Hepner
8 also suggested the southern blot and Taqman PCR
9 results ensured that the primers from Dr. O'Leary and
10 Dr. Uhlmann were basically doing what they're supposed
11 to do, amplifying measles virus. You suggested, do
12 you agree?

13 A Well, I think that the Uhlmann primers on a
14 positive control specimen can probably amplify the
15 correct sequence and it can be confirmed on a western
16 blot or a southern blot. So it's not the fact that
17 the Uhlmann primers are so bad that they never amplify
18 measles. It's just that they don't only amplify
19 measles. That's really the distinction. They amplify
20 measles. And so, yes, in this case, there's sort of
21 no contest. They're both true. It's just that Dr.
22 Hepner doesn't acknowledge that the primers amplify
23 more than just measles.

24 Q And can this amplification problem affect
25 data interpretation?

WARD - DIRECT

1 A Well, of course, because if your primers are
2 amplifying, are capable of amplifying both the measles
3 gene, message or measles genes and human genes and
4 human messages, then if you get a product, if you get
5 a signal, you really don't know if it's the human gene
6 that's been amplified or the measles gene that's been
7 amplified.

8 Q Now Dr. Rima testified about this at some
9 length this morning, but because you also have
10 expertise in PCR, I want to give you the opportunity
11 to comment on this point of the rebuttals, what Drs.
12 Kennedy and Hepner are saying that high copy numbers
13 eliminate concerns about contamination assay
14 inefficiency in threshold cycle. Do you agree? Do
15 you care to briefly comment?

16 A Well, I think with the caveat that Dr. Rima
17 has pointed out that in fact we have no idea what the
18 actual copy number that was amplified was. All we
19 know is the end product that's the result of in some
20 cases huge multiplication. The fact that one has a
21 high copy number does not at all rule out that you
22 have contamination. I could show you some students'
23 work in my lab where they have extraordinarily high
24 contamination and therefore have extraordinarily high
25 rates of copy numbers.

966A

WARD - DIRECT

1 So simply to say we have a high copy number,
2 therefore, there can't be contamination doesn't make
3 any sense at all but it can simply mean that you had
4 gross contamination rather than low-level
5 contamination, although I have to say low-level
6 contamination is much more common, but gross
7 contamination certainly can occur.

8 Q Now Dr. Bradstreet in his report and his
9 testimony on Monday discussed a number of test results
10 for Colten Snyder, implying that they would be
11 indicative of measles virus persistence. I want to go
12 through a few of them and just see to the extent they
13 haven't been covered by our other experts. What's the
14 significance of an elevated rheumatoid factor?

15 A In isolation, almost nothing.

16 Q What sort of conditions can it be associated
17 with?

18 A A whole range of autoimmune, inflammatory,
19 neoplastic and infectious conditions. Many, many
20 different conditions can give you an elevated
21 rheumatoid factor. It's a fairly nonspecific measure.

22 Q Now does this similar statement apply to the
23 anti-myelin basic protein tites?

24 A Yes, I would think so. In a single result
25 in isolation or pulled from a very thick chart where

WARD - DIRECT

1 hundreds of tests have been ordered, an isolated
2 result needs to be interpreted in light of that
3 clinical presentation and all of the other results.

4 Q So would this also apply to the serum
5 vitamin A and elevated IgE?

6 A Absolutely. One of the axioms in clinical
7 medicine, and Dr. Rima sort of deferred a little bit
8 because he has experience with measles but not so much
9 clinical experience, but one of the axioms in clinical
10 medicine is if somebody comes to you with a result,
11 and I'll give you an example, an extremist.

12 If a medical student comes to you with a
13 result like a potassium value of 1, now they're going
14 to come to you in a panic because that is not
15 compatible with life. And you smile because you've
16 been there before and you say, did you stop and look
17 at the patient? And the student says, well, yes.
18 Were they breathing? Yes. The lab result is a
19 mistake. It was probably drawn from the arm where
20 somebody was running in an intravenous solution that
21 has no potassium in it. And so, in isolation, any
22 given value is almost, almost, not completely, but
23 almost useless.

24 You also have to realize that all lab values
25 are based on -- normal ranges are based on 95 percent

WARD - DIRECT

1 confidence intervals. That means that the normal
2 range is determined by the population average value.
3 By definition, that means that 2.5 percent of the
4 values will be abnormally high or abnormally low.

5 And so the statistical argument then becomes
6 if you do 100 tests on any of us in this courtroom
7 right now, statistically, 5 percent of them will be
8 abnormal, half abnormally low, half abnormally high.
9 And this would be if all of us in the room are
10 completely healthy.

11 What seems to have happened with some of
12 these lab results is that Dr. Bradstreet would look at
13 a very big chart with lots of lab results and say,
14 look at that one, look at that one, that's abnormal
15 and then try to figure out a hypothesis that would
16 explain that lab result in the context of the case
17 that he was trying to build.

18 I call that cherry-picking data. So one of
19 the expert witnesses yesterday was asked about the
20 high IgE, and the answer was, well, gosh, you really
21 should pursue parasites. But that doesn't make a
22 whole lot of sense unless there's a clear
23 epidemiologic exposure to parasites. So, if an
24 individual comes from a developing world country, has
25 high eosinophilias, high eosinophils and high IgE, it

WARD - DIRECT

1 would be completely logical to look for parasites.

2 Even if they go to a daycare. They're in

3 daycare. We see kids like this all the time.

4 Daycares are filthy places. If you see a child like

5 that, you would logically look for parasites. But in

6 isolation, without any other explanation, it just

7 doesn't make sense to incorporate that into this

8 larger theory based on a single report. What you

9 should really do is say was there a clinical picture

10 that is logical, coherent and explainable on the basis

11 of normal biology.

12 THE COURT: A parasite like pinworms?

13 THE WITNESS: Absolutely. Eosinophilia and

14 elevated IGE is very rare in pinworm infection.

15 THE COURT: Okay.

16 THE WITNESS: But certainly there are other

17 parasites that are spread in daycares that can cause

18 elevated IgE and eosinophilia.

19 BY MS. BABCOCK:

20 Q I wanted to switch a little bit to Colten

21 Snyder and some specific MMR questions. Is the MMR

22 vaccine known to cause an increase in secondary

23 infections?

24 A No. So far as I'm aware, there's never been

25 any report of clinically relevant immune compromise in

WARD - DIRECT

1 any way involving that vaccine.

2 Q And are the symptoms Colten presented with
3 between his MMR vaccination and his May 26, 1998,
4 hospitalization consistent with measles virus
5 infection?

6 A No, not that I can see.

7 Q Now, on direct examination on Monday, Dr.
8 Bradstreet suggested that the small white patchy
9 exudates on April 6, 1999, might have been -- actually
10 that was probably May 6, 1999, I correct myself --
11 might have been Koplik's spots. Do you agree?

12 A I don't. Remind me how many days after the
13 MMR that was. Day 14? 14, 13? That seems to be
14 very, very late. I've looked for a lot of Koplik's
15 spots because I've been involved in several outbreaks,
16 including the one in Philadelphia in 1990. And
17 Koplik's spots are part of the prodrome of natural
18 measles, so they occur very early at the time that
19 individual, whether they be adult or children, have
20 conjunctivitis or red eyes, runny nose. Those
21 individuals have no sore throat.

22 But if you look carefully on the buccal
23 mucosa, under just the right light, you have to be
24 quite careful, occasionally you can see Koplik's spots
25 in the two to three days before the development of the

WARD - DIRECT

1 rash. But it's a very subtle, very transient
2 phenomenon except in children who are severely
3 malnourished. So I've seen them in the developing
4 world as well. And then those Koplik's spots can
5 actually coalesce and result in sloughing of the
6 buccal mucosa, sometimes with bleeding.

7 But in recently nourished individuals, they
8 are a very fleeting observation that you have to look
9 hard. And the reason we spend so much time looking is
10 that they're one of the very few things in medicine
11 that are called pathognomonic, which is if you find
12 it, you have the diagnosis. It's a guarantee.
13 There's nothing else that causes Koplik's spots. And
14 so it's one of those things that older staff people
15 like to do, because if you can find it, you can show
16 it to all of your students and say, look at this, this
17 is pathognomonic measles. It doesn't occur in
18 vaccine-strain disease.

19 Q Now backing up to again the symptoms Colten
20 had between April 23 and May 26, the time he was
21 hospitalized, do you think they're consistent with
22 measles encephalitis ADEM or PIEM?

23 A There's no way that you can stretch the
24 observations enough to make them fit even reasonably
25 into any of those diagnostic criteria.

WARD - DIRECT

1 Q Are they consistent with any other condition
2 known to be associated with measles virus?

3 A Not that I'm aware of, no.

4 Q Now you mentioned in your first report that
5 there was evidence that Colten Snyder had a bacterial
6 infection at the time of his May 26, 1998,
7 hospitalization.

8 A Yes.

9 Q What do you rely upon to make that
10 statement?

11 A Well, the fact that he was sick, that he had
12 an exudative pharyngitis and that he had an elevated
13 white count with a marked left shift.

14 Q What does that mean?

15 A Most of the elevation of his white count was
16 attributable to an elevation of his neutrophils, so
17 polymorphonucleocytes. And those are the classic
18 white blood cells that respond to bacterial
19 infections. But he also had a very marked left shift,
20 which is where the neutrophils which are normal in
21 multisegmented cells, the nucleus of the neutrophil
22 typically has four, five, anywhere up to 12 lobes in
23 its nucleus. But those lobes like wrinkles on those
24 of us who are passing 50 accumulate with age of the
25 cell.

WARD - DIRECT

1 And so a young neutrophil doesn't have very
2 many lobes to its nucleus. And a white blood cell
3 that's just released from the bone marrow, it often
4 has no lobes. It's just a single big nucleus. It's
5 just a band for a nucleus. And so those are actually
6 called band neutrophils or bands.

7 And in fact he had a very elevated, what
8 doctors call bandemia. He had an elevated level of
9 neutrophils with this band form. And that's almost
10 pathognomonic of an active, ongoing bacterial
11 infection. That's again one of those things that when
12 you find it, you bring all of your students and
13 trainees over and say, look at this, remember it,
14 because it can really help you when you're trying to
15 figure out if this is a viral or a bacterial process.

16 Q So is it fair to say that this was a
17 laboratory finding that was consistent with the
18 clinical picture?

19 A Absolutely.

20 Q Is it consistent with a viral infection?

21 A I would say almost, almost impossible. I'm
22 not aware of any viral infection that gives you an
23 elevated band count. Furthermore, this relatively
24 small number of lymphocytes, which are typically
25 elevated in viral infections, when you have an active

WARD - DIRECT

1 viral infection, many of those lymphocytes are what
2 are called atypical lymphocytes. They are big. They
3 are often angular.

4 Most resting lymphocytes are little round
5 things with dark nuclei and have very pale blue
6 cytoplasm, using the typical stains. Atypical
7 lymphocytes have a much larger nucleus, a more active
8 looking cytoplasm that tends to be a different shade
9 of blue. And so competent technologists can readily
10 say this is typical and this is an atypical
11 lymphocyte. And a high lymphocyte count with lots of
12 atypical lymphocytes would be standard for many acute
13 viral illnesses. And at that time, Colten had
14 relatively few lymphocytes, with only I think two or
15 three percent atypical lymphocytes, so very compatible
16 with a bacterial process, not at all compatible with a
17 viral process.

18 Q And is this left shift that you've just
19 described evidence of a functioning immune system?

20 A Oh, sure. It's the kind of response that
21 you don't see in individuals who have just had a bone
22 marrow transplant or who are immunocompromised because
23 of chemotherapy. That's precisely the response that
24 they can't make.

25 Q So overall, based on your own medical

WARD - DIRECT

1 experience and practice, review of the medical
2 records, expert reports, listening to testimony in
3 this case, do you place any reliance on the
4 Unigenetics results for Colten Snyder?

5 A No. I have no confidence whatsoever in the
6 results.

7 Q Do you think there's any evidence to show
8 that the MMR vaccine more probably than not caused
9 Colten Snyder's ASD?

10 A No, I do not.

11 Q Do you think the MMR part of this hypothesis
12 is biologically plausible?

13 A At some point in time, it may have been
14 biologically plausible. Hypotheses have lives. And I
15 think that this was a hypothesis that had someone as
16 prominent as Michael Oldstone willing to consider it
17 at one point. We embarked on our own study, in part
18 because we were interested to know if there was any
19 truth to this hypothesis. But it stops being credible
20 after a certain point as evidence builds up against
21 the hypothesis.

22 I mean, there are many hypotheses that
23 people consider to be too weird to be true. The one
24 that comes to mind immediately is Stanley Prusiner's
25 insistence that prions existed, and he was roundly

WARD - DIRECT

1 criticized for a number of years because nobody
2 believed his data. His hypothesis at that point was
3 just that, it was a hypothesis. But over time, he
4 stuck with it. He convinced other competent
5 scientists to work with him, and he demonstrated in
6 fact that prions were an entirely new biology. And he
7 I think quite rightly won a Nobel Prize not only for
8 his science but for his stubbornness.

9 I think in this case, so biologically
10 plausible? Yes, the hypothesis was biologically
11 plausible at some point in some ways. But no longer,
12 because the evidence that has accumulated in the time
13 since the introduction of the hypothesis is just
14 overwhelmingly against it. It was a hypothesis that
15 was biologically plausible but is no longer. It no
16 longer deserves that recognition.

17 Q Any you hold these opinions to a reasonable
18 degree of medical certainty?

19 A Absolutely.

20 MS. BABCOCK: I have no further questions.

21 THE COURT: Mr. Powers, do you want to
22 recess or do you want to launch?

23 MR. POWERS: We're going to go ahead and do
24 the recess. I don't think that we'll go long enough
25 for me to take another recess later, so we should do

WARD - CROSS

1 it now.

2 THE COURT: All right. Well, it's 5 after 2
3 by my watch, so let's reconvene at 20 after.

4 MR. POWERS: Thank you.

5 (Whereupon, a short recess was taken.)

6 THE COURT: All right. We're back on the
7 record in the Snyder case. Dr. Ward remains on the
8 witness stand. Mr. Powers, feel free to cross-
9 examine.

10 MR. POWERS: Thank you, Special Master.

11 CROSS-EXAMINATION

12 BY MR. POWERS:

13 Q Good afternoon, Dr. Ward.

14 A Good afternoon.

15 Q I wanted to ask you a few questions
16 primarily about the direct testimony that you gave
17 here related to a couple of issues that came up in
18 your most recent of a series of expert reports that
19 you filed in this case. In the latest iteration of
20 the expert report, I believe you use a term,
21 "neurovirulence," in describing why the Petitioners
22 can't make out their case. That is, there is no
23 evidence that the measles attenuated strength in
24 neurovirulence. Do you remember using that
25 terminology?

WARD - CROSS

1 A Not really.

2 Q I believe the symptoms --

3 A I don't remember that specific word in that
4 specific instance.

5 Q Well, I just wanted to raise the issue
6 because my understanding of the whole idea of an
7 attenuated virus is to make it less virulent, that is,
8 to reduce its virulence so that it can still invoke an
9 immune response but not kill or enter the host, is
10 that right?

11 A Sure, that's the whole idea.

12 Q And so that's the whole idea of it. And as
13 you work through that process of attenuating a wild
14 virus, it's a multistep process, going through various
15 cell passages, isn't that correct?

16 A Yes, that's right.

17 Q And if I recall Dr. Rima's testimony, he
18 said that as you work through that attenuation
19 process, what happens with the virus is a series of
20 mutations at each step of the way, is that correct?

21 A We presume that to be the mechanism of
22 attenuation, yep.

23 Q And what does it mean when you say you
24 presume that to be as opposed to simply saying yes,
25 that's the mechanism of attenuation?

WARD - CROSS

1 A Well, Dr. Rima also pointed out that even
2 though he knows there are lots of mutations, we don't
3 know which ones of those mutations have resulted in a
4 change in biological character of the virus. So there
5 are many things that are different about vaccine
6 strain and the wild-type virus.

7 Q And certainly there are many things that are
8 different about them. It's just that the underlying,
9 the mechanism, the underlying series of mutations, the
10 details of how that results in attenuated virus is a
11 mystery to this day from what I've heard?

12 A In my lectures, I call it a black box virus.
13 We put a wild-type virus in, we package it a bunch of
14 times and quite amazingly we take it out at certain
15 points and give it to our children. And it worked.

16 Q And the fact that it's a black box and that
17 the process and the model inside that box is opaque
18 and nontransparent, you still have an end product and
19 you are confident in the end product even though you
20 didn't know exactly what happened inside that box and
21 when I say confident I mean you know what the end
22 product is.

23 A Right. I think where we have more than 40
24 years of experience with this particular family of
25 vaccines so that we have great competence now, I think

WARD - CROSS

1 that the first few kids they gave it to, the people
2 were probably pretty nervous.

3 Q And in describing the attenuation as a black
4 box, that implies that there are other mutations and
5 other changes going on there that (a) you don't know
6 that they're happening and (b) might not be able to
7 explain the significance or the consequence of,
8 correct?

9 A I think with any living thing, you can't
10 predict what's going to happen. You can do your best
11 to minimize the change from a certain viral strain,
12 but absolutely you don't know what's going to happen.

13 Q You've also said in your report and in your
14 testimony that the measles virus is known to cause --
15 the neurological injuries caused by measles virus are
16 limited I think to two, the SSPE and the MIBE, is that
17 correct?

18 A Those are the two principal known
19 manifestations of wild-type disease.

20 Q And when you say "principal known
21 manifestations," are there other known manifestations
22 that you would add to the mix of those two?

23 A There is the presumed autoimmune process
24 called postinfectious encephalomyelitis or ADEM. So,
25 in fact, we know a great deal about the neurologic

WARD - CROSS

1 complications of wild-type measles. That's why it's
2 so implausible in some ways that suddenly there would
3 be something so different as what's being proposed
4 here.

5 Q Is there anything about the properties or
6 the structure of the measles virus that would make it
7 impossible for it to cause any outcomes other than the
8 ones that you've already described?

9 A Of course not. There's nothing that would
10 make it impossible.

11 Q So there's not anything about its structure,
12 its replication, its life cycle that would
13 biologically rule out something like the injuries that
14 are claimed here?

15 A Something like the injuries? So you're
16 asking me is it in the realm of -- I think Dr. Rima
17 has also reacted by saying you can't prove a negative.
18 There's no way that anybody could credibly answer it
19 can never happen. The fact is there's no evidence
20 that it does happen.

21 Q Now you discuss in your direct, I would say
22 it's seen in the report, I think I heard it on direct
23 for the first time, that wild-type measles virus can
24 actually cure some autoimmune diseases.

25 A Yes. There are a couple of case reports

WARD - CROSS

1 where that appears to have occurred, either a cure or
2 for a period of time made better.

3 Q When was that discovered? You're relying on
4 case reports. Where were the case reports?

5 A This is the literature from the late '60s
6 and early '70s where individuals with different
7 conditions like idiopathic thrombocytopenic purpura
8 where you have an immune disruption of your platelets
9 or a couple of kids with juvenile rheumatoid arthritis
10 would get quite remarkably better right around the
11 time that they had the natural measles.

12 And the presumption has always been that the
13 virus would target actively replicating cells and that
14 might actually delete enough of them or kill enough of
15 them that these T-cell autoimmune-mediated processes
16 might actually be resolved following measles, although
17 I'm not sure that anybody would recommend measles
18 therapy if you had JRA or any of these other
19 conditions.

20 Q So, at the time that it became discovered
21 that this was in fact a result of wild measles virus
22 exposure, at that point, it was new and it was pretty
23 novel?

24 A Pretty new and pretty novel? Yes, it was
25 novel enough to be interesting and be published, yes.

WARD - CROSS

1 Q Now you spent a significant amount of time
2 in your testimony discussing conversations that --
3 well, I don't know if it was a conversation or
4 multiple conversations.

5 A Single conversations.

6 Q Single conversation with Dr. Oldstone. And
7 in that conversation --

8 A Lots of conversations with his secretary.

9 Q In order to get the one conversation with
10 Dr. Oldstone, okay.

11 A That's right.

12 Q Now Dr. Oldstone has not appeared as far as
13 you know in any case in the vaccine program or in the
14 civil system involving the debate about MMR and
15 autism, is that correct?

16 A I didn't ask him that, so I don't know.

17 Q That's all right. Just based on as far as
18 you know.

19 A As far as I know, I don't know, yes.

20 Q And he did not appear, for example, to
21 testify in Cedillo, nor did he submit an expert report
22 in the Cedillo matter?

23 A That's correct.

24 Q Didn't appear or submit an expert report in
25 this matter?

WARD - CROSS

1 A That's correct.

2 Q And in the letter where he does make a note
3 that he sees no evidence to support a link between
4 measles virus and autism, we don't have any record of
5 what he was reading or reviewing or relied on to make
6 that statement. We don't have any indication of that
7 here in front of the Court or on the record, do we?

8 A I certainly don't.

9 Q So all we know is what his conclusion is
10 based on a telephone conversation with you but not
11 really knowing what the basis in fact and in the
12 evidence of that opinion was, correct?

13 A Well, no. I think as I say, I don't know
14 Dr. Oldstone, but I think that this is an area of
15 enormous interest to Dr. Oldstone. If this hypothesis
16 that's being forward is true, it would be of enormous
17 interest scientifically to Dr. Oldstone. And I think
18 that the fact that Dr. Oldstone has not referenced,
19 has not cited any of the publications that have been
20 produced in support of this hypothesis in any of his
21 writings in the last two decades suggests that it's
22 not that he's not aware of the hypothesis, it's that
23 he is voting with his pen to understand he actually
24 voted against the hypothesis. He doesn't believe it.
25 //

WARD - CROSS

1 Q So I understand that. That's been expressed
2 in the letter, so I'm not asking you to speculate on
3 what he might have been thinking or what his motives
4 are. I'm just trying to determine is there anything
5 that you're aware of in notes, in material that you
6 might have exchanged after the phone call, anything
7 that you can point to that tells us what he relied on
8 in order to come to the conclusion that is expressed
9 in this letter?

10 A Only the facts that he related to me in the
11 telephone conversation.

12 Q Okay. That's all I was trying to get to.
13 Now the letter itself talks about what sounds like
14 some conclusions or a summary that Dr. Oldstone is
15 making of a process of back-and-forth that went on for
16 a fair amount of time between himself and Dr. O'Leary
17 and the staff at Dr. O'Leary's lab. Is that a fair
18 statement?

19 A I don't know the exact period of time. I
20 don't know the exact period of time, but I would
21 assume it would be over a period of at least a year.

22 Q Okay. And what we have here summarizes a
23 period of at least a year's back and forth with sort
24 of the headline numbers, the headline numbers being
25 the 20 percent samples in two rounds of testing that

WARD - CROSS

1 were allegedly misidentified. We don't have in front
2 of us and I'm curious as to whether you have access to
3 it or have seen it, any documentation from Dr.
4 Oldstone's lab describing the methods and the
5 procedures that were used to generate the samples that
6 he sent to Dr. O'Leary? Do you have any of that?

7 A I have none of that.

8 Q Have you reviewed any of that?

9 A No.

10 Q Do you know of anybody who has reviewed that
11 material aside from apparently Dr. Oldstone in making
12 the presentation in this letter?

13 A I think that if Dr. Oldstone had been
14 allowed to publish the data, then the entire world
15 could have reviewed the methods and the data.

16 Q But we don't know, so we don't know what he
17 was doing about contamination in his laboratory, do
18 we?

19 A No, we do not, but that reasonably would
20 have been contained in any publication that he was
21 allowed to produce.

22 Q Yes. So I'm not asking about what
23 presumably might have happened. I'm asking about what
24 we know now today based on a letter that now today is
25 in front of the Special Master. I'm just trying to

WARD - CROSS

1 focus on that and not speculate about what might be
2 out there. So we do not know today what methodology
3 was used to generate the samples in Dr. Oldstone's
4 lab?

5 A No, we do not.

6 Q We don't know what controls were there to
7 make sure that he had confidence before they left the
8 door that the samples that were labeled positive were
9 in fact positive and the samples labeled negative were
10 in fact negative. We don't have any information that
11 would illuminate that, do we?

12 A We do not.

13 Q We don't have any information to illuminate
14 it on either the first round of the sample exchange or
15 the second round, correct?

16 A That's correct.

17 Q We don't have any information about how Dr.
18 Oldstone might or might not have handled contamination
19 at his lab, do we?

20 A You asked me that before. No, we do not.

21 Q And we do know that that lab handled a fair
22 amount of measles virus. That was a central focus of
23 his investigations, correct?

24 A Yes.

25 Q Now was your testimony that the possibility

WARD - CROSS

1 that Dr. Oldstone's samples might have been
2 contaminated, was it your testimony that that didn't
3 come up until after the second round of samples were
4 exchanged?

5 A I'm responding simply to Dr. Kennedy's
6 testimony where he raised the possibility that the
7 contamination may have been in Dr. Oldstone's
8 laboratory. If in fact there had been a concern by
9 the O'Leary Lab about contamination from Dr.
10 Oldstone's laboratory, it seems logical to me that Dr.
11 O'Leary would not have continued the collaboration
12 because he would not have had confidence in Dr.
13 Oldstone's laboratory.

14 By entering into the second round of
15 testing, I think that it is a pretty reasonable
16 assumption that at that time, Dr. O'Leary believed Dr.
17 Oldstone's lab to be free of contamination. It would
18 have been scientifically very foolish for him to
19 continue to work with what he believed might have been
20 contaminated specimens.

21 Q Or it might have been reasonable since they
22 were looking forward to working in a collaborative
23 nature to see if at both ends there might be
24 contamination, and perhaps together they could resolve
25 the contamination issue if in fact that was the issue.

WARD - CROSS

1 That seems like a reasonable conclusion to reach about
2 people collaborating.

3 A In a situation where your laboratory is
4 being tested, all of us who run reference labs deal
5 with this all the time. We get test samples sent from
6 outside. It's a requirement in the U.S. and Canada to
7 have your lab undergo external evaluation to see how
8 well you're doing. Even though we try to blind those
9 specimens as best as we can, when those specimens come
10 in, the technologists know what they are and they do
11 their very, very best to make sure that those samples
12 are treated in the very, very best way possible.

13 I think it's a reasonable assumption that
14 Dr. O'Leary's laboratory was on high alert when
15 receiving specimens from Dr. Oldstone, and they still
16 couldn't do it right.

17 Q And we still don't know because we don't
18 have the data in front of us whether Dr. Oldstone's
19 lab did it right either?

20 A Your own experts appear to hold Dr. Oldstone
21 in fairly high regard, as do I. I think his
22 reputation is pretty good.

23 Q I understand that, and this is not to impugn
24 his reputation. All I'm saying is that I think it was
25 Dr. Rima on the stand who said particularly when it

WARD - CROSS

1 comes to headline numbers, his instinct is to distrust
2 or to disbelieve the things that land on his desk, and
3 this is what's landed on the desk here. I'm just
4 raising the issue that we don't know because we don't
5 have evidence, and we can't go beyond that lack of
6 evidence.

7 A If Dr. Oldstone had been allowed to publish
8 the data, we would have that evidence.

9 Q Or perhaps if Dr. Oldstone was here to
10 testify and was willing to bring materials here with
11 him to support his testimony, that might provide an
12 answer. But that hasn't happened at this point, has
13 it?

14 A Perhaps petitioning the MIND Institute to
15 permit him to publish the data would be a
16 scientifically valid way of getting this into the
17 public domain.

18 Q Or again, you've made that point a couple of
19 times. Just without being contentious, I want to make
20 sure that you understood the question and get an
21 answer to the specific question. The question is the
22 debate about the facts in this process between Dr.
23 Oldstone and Dr. O'Leary could be illuminated if Dr.
24 Oldstone was here to testify about it and provide the
25 Special Masters and the parties with the underlying

WARD - CROSS

1 information, isn't that correct?

2 A I believe that either Dr. O'Leary or Dr.
3 Oldstone would be able to provide the Court with that
4 information.

5 Q Now you mentioned again towards the end of
6 your testimony a couple of issues that you were
7 raising with Dr. Bradstreet and the tests that he did
8 and the possibility that parasites might be involved
9 came out. I know that the Special Master had a
10 comment about parasites, and I think it was based on
11 things that you were saying that the immune labworks
12 indicated there might be parasites that were involved
13 here. Do you remember that back-and-forth?

14 A Sure.

15 Q Did you review Colten Snyder's medical
16 records before you testified today?

17 A Yes, I did.

18 Q In reviewing those records, do you recall
19 that on his admission to the hospital, he was tested
20 for parasites and came up negative?

21 A Yes.

22 Q Okay.

23 A I also run a reference lab for parasitology
24 and I know the limits of those tests.

25 Q I understand that, but I don't want to get

WARD - CROSS

1 in a collateral debate about the quality of the lab
2 work at the Ormond Beach Hospital.

3 A That's fine.

4 Q All I want to do is say, you understand that
5 he was tested at a hospital, no parasites?

6 A By a stool examination, and they found no
7 parasites in the stool examination.

8 Q You also mentioned in talking about Dr.
9 Bradstreet that he was cherry-picking data to support
10 the case he was trying to build. Do you recall making
11 that statement on direct testimony?

12 A Yes, I do.

13 Q Is it your understanding that he was
14 reviewing data to provide what he believed was
15 reasonable medical care for a very sick child?

16 A I have to believe that Dr. Bradstreet was
17 acting in good faith for a patient.

18 Q To provide clinical care and medical
19 treatment he felt was indicated for that child,
20 correct?

21 A It's my understanding that the clinical care
22 of this child was Dr. Bradstreet's responsibility,
23 yes.

24 MR. POWERS: I have no further questions.

25 THE COURT: I do not have any questions for

WARD - REDIRECT

1 Dr. Ward.

2 MS. BABCOCK: I just have one.

3 THE COURT: Followup?

4 MS. BABCOCK: Just one.

5 REDIRECT EXAMINATION

6 BY MS. BABCOCK:

7 Q Dr. Ward, though we don't understand exactly
8 how the measles virus becomes attenuated, do we
9 understand what adverse effects are associated with
10 the MMR vaccine?

11 A We have hundreds of millions of children
12 immunized with that product. So, yes, we have a very
13 good idea what the side effects are.

14 Q And is ASD one of those adverse effects?

15 A It is so far as I am aware, and the
16 Institute of Medicine is aware it is, and the British
17 authorities it -- is not a known side effect of MMR or
18 measles vaccine.

19 MS. BABCOCK: Nothing further.

20 THE COURT: Mr. Powers, anything further?

21 MR. POWERS: I have nothing further.

22 THE COURT: All right. Dr. Ward, you may
23 step down.

24 (Witness excused.)

25 THE COURT: Okay. Do we need to have

993B

WARD - REDIRECT

1 anything off the record or are you prepared to let me

WARD - REDIRECT

1 know, Petitioners, what you plan on doing insofar as
2 rebuttal?

3 MR. POWERS: Yes, we do, Special Master. We
4 anticipate a very brief rebuttal from Dr. Kennedy
5 tomorrow morning.

6 THE COURT: Is there any reason since it's
7 only a quarter to 3 today we could not proceed with
8 his rebuttal today? I'm happy to give you an hour if
9 you think an hour is necessary.

10 MR. POWERS: We can do that, Special Master.

11 THE COURT: All right. Do you need an hour?
12 If you want an hour, you've got it. If you want more,
13 you've got it.

14 MR. POWERS: Could I step away? I don't
15 want to be conferring on the record. If I could go
16 off the record to confer?

17 THE COURT: You certainly may. We'll go off
18 the record.

19 MR. POWERS: Okay.

20 (Whereupon, a short recess was taken.)

21 THE COURT: We're back on the record.

22 //

23 //

24 //

25 //

KENNEDY - DIRECT (REBUTTAL)

1 Whereupon,

2 RONALD KENNEDY

3 having been previously duly sworn, was
4 recalled as a witness herein and was examined and
5 testified further as follows:

6 DIRECT EXAMINATION

7 BY MR. POWERS:

8 Q Obviously, you have already testified on
9 direct and have been cross-examined in this matter.
10 You've been called in rebuttal because there are some
11 specific issues that arise in the expert report and in
12 the direct testimony of Respondent's expert, Dr. Rima.
13 Is that your understanding?

14 A That's correct.

15 Q And you're taking the stand here so we can
16 briefly deal with a handful of issues that you want to
17 talk on rebuttal for in terms of statements of fact
18 and discussions of your relevant experience to testify
19 in this matter, is that correct?

20 A That's correct.

21 Q So the first matter is if we can look
22 initially to Dr. Rima's expert report itself, and this
23 is Respondent's Exhibit V. And the first place I'd
24 like to draw folks' attention to is on page 4 of
25 Exhibit V. I see people turning pages, so I will

KENNEDY - DIRECT (REBUTTAL)

1 pause and let folks get to where they need to be.

2 THE COURT: Okay.

3 BY MR. POWERS:

4 Q So, Dr. Kennedy, do you have that opened to
5 page 4?

6 A Yes, I do.

7 Q If you look down at the second to last full
8 paragraph on that page, there's a paragraph that
9 begins "On page 6." Do you see where I'm referring
10 to?

11 A Yes.

12 Q And in Dr. Rima's report, he describes that
13 you expressed the relationship between two different
14 measles strains, the Schwarz and the Moraten, as being
15 closely related. Do you see that reference?

16 A Correct.

17 Q He then says that they are actually
18 genetically identical. Do you see that?

19 A Correct.

20 Q When you read that under the heading
21 "Discussion of Dr. Kennedy's relevant experience,"
22 what significance did you attach to that mention of
23 the two different measles strains by Dr. Rima?

24 A Well, I thought that perhaps it was unclear
25 on how that was cited in my expert report and there

KENNEDY - DIRECT (REBUTTAL)

1 was some confusion relative to where the statement
2 came from.

3 Q Where in fact did the statement come from?
4 Is this something that you just came up with on your
5 own?

6 A No. This statement is from the Virology,
7 Fields, a chapter by Dr. Diane Griffin. It's chapter
8 44. And if you look on page 127 --

9 Q Or would that be --

10 A 1427, I'm sorry. 1427, and I apologize, I
11 highlighted stuff in pink and your copies are coming
12 out dark. But if you see, there's a comment on page
13 1427 under "attenuated live virus vaccines," that
14 section, and if you see a No. 1 --

15 THE COURT: Yes.

16 THE WITNESS: -- near the bottom of the
17 page, it says, and I quote, "The Moraten strain used
18 in the United States was licensed in 1968 and is
19 closely related to Schwarz."

20 BY MR. POWERS:

21 Q Was that the source of the comment in your
22 own expert report that Dr. Rima then takes issue with
23 here?

24 A Yes.

25 Q Another issue that we want to address on

998A

KENNEDY - DIRECT (REBUTTAL)

1 rebuttal is if we go back to Exhibit V, which is Dr.
2 Rima's report, and turn to page 2, and at page 2 in
3 the last full paragraph, there's a discussion of a
4 subject that also came up on direct testimony about
5 the high titer measles virus vaccine work that was
6 done. And if you continue over to page 3, Dr. Rima
7 made some statements about that study and about your
8 comments on that study. Can you describe that,
9 please?

10 A Yes. Dr. Rima was concerned about some
11 confusion and it was in light of a statement that I
12 made on page 8, paragraph three of my report, which
13 was not clear. And I would like to essentially cite
14 where the clarification came as it relates to the high
15 titer measles vaccine and whether or not
16 immunosuppression did occur.

17 Q And where would you direct the Special
18 Master's attention?

19 A On page 1428, the first column, lines 9 to
20 14, and it should be label number 2, and I'll go ahead
21 and just read that paragraph. "The pathogenesis" --

22 THE COURT: Please don't read it to me.

23 THE WITNESS: Okay.

24 THE COURT: I can read it.

25 THE WITNESS: Got it. Okay. So anyway, I

KENNEDY - DIRECT (REBUTTAL)

1 use that to support my claim.

2 BY MR. POWERS:

3 Q And the claim specifically is a claim that
4 Dr. Rima describes as representing an improper
5 analysis of the literature?

6 A Correct.

7 Q Okay. And then what we want to address is
8 back on page 4 of Dr. Rima's report, which again is
9 Respondent's Exhibit V, the second full paragraph.
10 This is a paragraph that he makes comments about your
11 description of the immunosuppression and
12 immunodeficiency being contraindications for the MMR.
13 I take it that in rebuttal, you take issue with Dr.
14 Rima's statements there?

15 A Yes. The source of that statement you can
16 find on page 3 of my expert report in the second
17 paragraph, the first and second line. And I cite the
18 Physicians Desk Reference, Volume 51, in support of
19 that statement. And that was also cited and should
20 have been provided as an exhibit in the Cedillo case.
21 And I was specifically referring to the Merck MMR
22 vaccine product. And if you look under
23 contraindications, immunosuppression and
24 immunodeficiency are contraindicated as stated in the
25 Physicians Desk Reference.

KENNEDY - DIRECT (REBUTTAL)

1 Q And is it your understanding that the PDR
2 both in its authority and its literal weight is the
3 Bible that guides medical care providers in the use of
4 biological products?

5 A Yes, it's my understanding.

6 Q And that any language describing
7 contraindications for any product would be PDR's
8 language that was submitted to and approved by the
9 U.S. Food and Drug Administration?

10 A Correct.

11 Q Anything else on this point that you wanted
12 to address?

13 A I think I understand Dr. Rima's area of
14 confusion, because the MMR vaccine is recommended for
15 HIV-1 seropositive children, and he cites that in his
16 expert report. But there are some caveats to that,
17 and you can find one of the caveats that's mentioned
18 by Dr. Griffin in her textbook. If we want to go
19 there, that is specifically on page 1427, second
20 column, second paragraph, lines 3 to 6, and it starts
21 with "Progressive fatal."

22 Q And it's that statement by Dr. Griffin that
23 you believe lends support to the statement that you
24 made in your own expert report?

25 A In addition to the citation of the

1001A

KENNEDY - DIRECT (REBUTTAL)

1 Physicians Desk Reference. And then also below that,
2 she states that it is not recommended for vaccination
3 in children with low CD-4 T-cell counts.

4 THE COURT: For the clinically or the
5 serologically immunosuppressed children?

6 THE WITNESS: Correct. So I just wanted to
7 clarify that point. I understand where the confusion
8 came in, and I apologize to Dr. Rima for that.

9 BY MR. POWERS:

10 Q All right. The next point to talk about
11 again is in Respondent's Exhibit V, Dr. Rima's report,
12 still on page 4. This is an issue that also did come
13 up on direct testimony by Dr. Rima today I believe.
14 If you bring your attention to the third full
15 paragraph down on page 4 of Dr. Rima's report, that's
16 the paragraph that discusses Dr. Kennedy's reference
17 to the measles virus receptor as being a molecule
18 called CD-46. Dr. Kennedy, do you see what I'm
19 referring to here?

20 A Yes.

21 Q And you recall that issue was mentioned
22 briefly in Dr. Rima's direct testimony?

23 A Yes.

24 Q Something that you were cross-examined about
25 also?

KENNEDY - DIRECT (REBUTTAL)

1 A Yes.

2 Q Why do you take issue with particularly the
3 direct testimony of Dr. Rima?

4 A Well, I agree with Dr. Rima, that CD-150 is
5 indeed a receptor for measles virus. In fact, in my
6 single publication on measles virus, it's clearly
7 stated that CD-150 or SLAM is a receptor for measles
8 virus. The point in my expert testimony for the
9 Snyder case was that I got pretty beat up with the
10 Cedillo Court from the standpoint of mixing wild-type
11 measles virus versus vaccine measles virus, and C-46
12 preferentially is recognized by tissue culture adapted
13 in vaccine strain measles virus whereas CD-150 is
14 primarily for wild-type. So I again apologize. That
15 was an omission on my part, and I do cite my single
16 publication. But CD-150 is the primary receptor.

17 Q So just to clarify that, by talking about
18 CD-46, in no way did you mean to even imply that CD-
19 150 or the SLAM wasn't the appropriate preferred
20 receptor?

21 A That's correct.

22 Q And then one last point. I don't think it's
23 in Dr. Rima's expert report, but it did come up during
24 his direct testimony today. I should mention you were
25 here for his direct testimony, is that correct?

KENNEDY - DIRECT (REBUTTAL)

1 A Yes.

2 Q In his direct testimony, do you recall he
3 mentioned an issue about the R protein in measles
4 virus?

5 A Correct.

6 Q What is your recollection of his testimony?

7 A That he was not aware that an R protein had
8 been identified.

9 Q And what is your response to that in
10 rebuttal?

11 A If you turn to the Griffin chapter on page
12 1404 and if you look at the schematic, Figure 4,
13 you'll see that the P gene is divided into P, V, C and
14 R such that the P gene product is a multicistronic
15 gene which encodes those four proteins. Then if you
16 go to the next page, 1405, schematic Figure 6 again
17 talks about P, C, V and R proteins. And then if you
18 go to the second column, lines 9 to 12, and I believe
19 I have those bracketed, it describes the fourth
20 protein from the P gene product, the R protein, that
21 it is a ribosomal frameshifting product.

22 Q So based on what you see here and the text
23 that you refer to both in the tables and the narrative
24 chapter underneath that, what is your opinion about
25 the existence of the R gene in measles virus?

1004A

KENNEDY - CROSS (REBUTTAL)

1 A That it is present and that it's the result
2 of ribosomal frameshifting.

3 MR. POWERS: I have no further questions,
4 Special Master.

5 THE COURT: Okay. Cross-examination? Do
6 you need a minute?

7 MS. BABCOCK: No.

8 THE COURT: Okay.

9 (Pause.)

10 THE WITNESS: Glad I highlighted all my
11 evidence just to help you out.

12 MS. BABCOCK: Just a few.

13 THE COURT: Go ahead.

14 CROSS-EXAMINATION

15 BY MS. BABCOCK:

16 Q Is there a more recent edition of Fields'
17 Virology?

18 A Yes, there is several more recent editions.

19 Q Okay. And there's also a 2006 edition?

20 A There's actually one that just came out. I
21 thought it was 2007, but I think they're up to Volume
22 7.

23 Q And let me just clarify, Diane Griffin wrote
24 all of these chapters?

25 A Yes.

1005A

KENNEDY - CROSS (REBUTTAL)

1 Q Now I just want to note, we talked briefly
2 about the high titer measles vaccine for explaining
3 where your language in your report came from, and I
4 just wanted to make sure that our conversation on
5 cross-examination hasn't changed, because this
6 language clearly says it may be related to long-term
7 suppression of immune responses?

8 A Correct.

9 Q So we don't know?

10 A No, we don't know. And my inference was
11 that it may be related.

12 Q Okay. Now you were talking about the PDR.
13 Actually, I apologize. I have the current edition of
14 the PDR for MMR, and I believe the language the
15 language is the same because I looked at the 51st
16 edition as well. On the issue of HIV, and I know this
17 is just a very small point here, but I'm just going to
18 read the outline because we don't have it filed.

19 "Primary and acquired immunodeficiency
20 states, including patients who are immunosuppressed in
21 association with AIDS there are other clinical
22 manifestations of infection with human
23 immunodeficiency viruses." So, by this, they mean
24 it's contraindicated for patients that have some
25 //

1006A

KENNEDY - CROSS (REBUTTAL)

1 pretty clear symptoms?

2 A Correct.

3 Q Or full-blown AIDS?

4 A Correct.

5 MS. BABCOCK: Nothing further.

6 THE COURT: Okay. Anything else?

7 (No response.)

8 THE COURT: I have no questions. I think I
9 understood all the testimony at this time. Thank you
10 much, Dr. Kennedy. You may return to your seat.

11 (Witness excused.)

12 MR. MATANOSKI: Ma'am, if we may have five
13 minutes to determine if there's going to be any
14 surrebuttal?

15 THE COURT: Certainly.

16 MR. MATANOSKI: Thank you.

17 THE COURT: We're in recess.

18 (Whereupon, a short recess was taken.)

19 THE COURT: One moment. I'm just trying to
20 make sure we're recording. Okay, we are. We're back
21 on the record then in the Snyder case. Ms. Babcock?

22 MS. BABCOCK: Respondent calls Dr. Rima for
23 very brief surrebuttal.

24 THE COURT: Okay. Dr. Rima, if you'll
25 resume your seat on the witness stand. And I remind

1007A

RIMA - DIRECT (CONT'D) (REBUTTAL)

1 you that you are still under oath.

2 Whereupon,

3 BERTUS KAREL RIMA, PhD

4 having been previously duly sworn, was
5 recalled as a witness herein and was examined and
6 testified further as follows:

7 THE COURT: Ms. Babcock, you may proceed.

8 DIRECT EXAMINATION

9 BY MS. BABCOCK:

10 Q Dr. Rima, you were sitting in the room when
11 Dr. Kennedy came up to clarify a few points?

12 A I did.

13 Q What's your response?

14 A Well, there's a number of points that he
15 raised, and on a number of points, he obviously
16 indicated that he was sorry for creating some
17 confusion and I appreciate that.

18 In terms of the more substantial points that
19 he made, there is a difficulty with the R protein in
20 the sense that nobody has ever demonstrated it. It
21 was based on a single publication by Darryl Briedis a
22 long time ago. It has never been quoted. It has
23 never been shown. And essentially it is in the
24 textbooks, yes, but as far as people who are working
25 in the field are concerned, it is of no particular

1008A

RIMA - DIRECT (CONT'D) (REBUTTAL)

1 discussion point anymore because there is no evidence
2 that it exists. And so, in that sense, that's a
3 statement that I make in relation to that point.

4 In terms of the receptors, in terms of the
5 Schwarz and Moraten vaccine, in the 2001 edition of
6 Diane, she might have written that and it was clearly
7 there. But in the field, we know there are papers by
8 Chris Parcks at the time which actually provide --

9 Q Can you spell the last name?

10 A Parcks, P-A-R-C-K-S, in the Journal of
11 Virology, and I unfortunately haven't got the
12 reference at hand, which shows very clearly that two
13 strains are genetically identical and cannot be
14 separated and hence was my point.

15 So what it demonstrates is this, that
16 there's obviously a number of statements taken out of
17 this version of Diane Griffin's chapter in Fields'
18 Virology, but in the field, we discount the R protein
19 completely because no evidence has ever been produced
20 for its existence. And a mechanism of frameshifting
21 is actually one which is difficult to rhyme with the
22 further information that we have about the various
23 proteins that are generated.

24 And secondly, as I said, the Parcks paper
25 shows the point that I was making. In terms of the

1009A

RIMA - DIRECT (CONT'D) (REBUTTAL)

1 receptors, my main reaction in Dr. Kennedy's direct
2 evidence was that we call it a primary receptor for
3 measles. SLAM is the receptor that is actually
4 preferred both by the vaccine strain and by the wild-
5 type strains, and that is something that it is not
6 easy to make a complete and utter determination of
7 which of the two receptors is the most preferred one.
8 But certainly the vaccine strain can use SLAM as well
9 as CD-46.

10 And I refer back to my direct testimony this
11 morning where I said that even in the case of this
12 child that we are studying at the moment, and this is
13 unpublished and therefore, you could say that -- and
14 certainly it would be difficult for Dr. Kennedy to
15 know about that. But even in the case of the child
16 that has the Schwarz vaccine and was immunocomprised,
17 had -- we see that virus in that particular child.
18 Even though there's a vaccine strain which can use CD-
19 46, it still goes to cells which express SLAM and not
20 CD-46.

21 So that is where I took issue with the
22 particular thing that may well appear in the textbook,
23 but the folks in the field know that this no longer
24 current knowledge.

25 Q So is it fair to say that the statements you

1010A

RIMA - DIRECT (CONT'D) (REBUTTAL)

1 made in your report were based on your own experience,
2 knowledge and expertise in specifically studying the
3 measles virus?

4 A That's right.

5 MS. BABCOCK: I have nothing further.

6 THE COURT: Cross?

7 MR. POWERS: Nothing further.

8 THE COURT: And I just have one followup
9 question and that is, as you talked about the Parck
10 paper and someone from Live Labs, that involves
11 actually sequencing both strains?

12 THE WITNESS: That sequenced the whole.
13 That sequenced the Schwarz and Moraten, the wild-type
14 strain that we have, a reference which isn't the
15 complete wild type because it had already been passed
16 eight times in the original Edmonston as it's called.
17 He also sequenced the Edmonston and I think one other
18 vaccine strain.

19 THE COURT: Okay. Questions based on mine?

20 MR. POWERS: No, Special Master.

21 THE COURT: All right. Then it would appear
22 we can recess until 9:00 tomorrow morning. But do we
23 need to do something else in the record?

24 MR. WICKERSHAM: If I might?

25 THE COURT: Go ahead. Certainly, Mr.

1011A

RIMA - DIRECT (CONT'D) (REBUTTAL)

1 Wickersham.

2 MR. WICKERSHAM: May it please the Special
3 Master. I took to heart your comment earlier about
4 the U.K. and the reports from the U.K. and the need to
5 obtain those. Admittedly I'm here representing the
6 Snyders and we're the last in the series and to some
7 degree the new kids on the block if you'll excuse the
8 expression.

9 I'm very concerned about obtaining those
10 reports. The experts in our case are perfectly
11 willing to waive any confidentiality, but that
12 doesn't create standing in a British court for the
13 other issues. What I'm interested in is the standing
14 issue that I will need to have access to a British
15 court to have a judge there reconsider either his
16 order or another judge to overturn his order. And in
17 that regard, I would like to ask this Court to issue a
18 subpoena that I then can domesticate in the U.K. and
19 then I would have standing to attack that order. We
20 don't have standing --

21 THE COURT: Mr. Wickersham, I understand.
22 There are very specific procedures for subpoenaing
23 things from foreign jurisdictions that involve the
24 Hague Convention.

25 MR. WICKERSHAM: Correct.

Heritage Reporting Corporation
(202) 628-4888

1012A

RIMA - DIRECT (CONT'D) (REBUTTAL)

1 THE COURT: And we'll have to delve into
2 that more. But ordinarily we don't use a subpoena to
3 get them, get things that are under seal in particular
4 from a foreign court.

5 MR. WICKERSHAM: I'm just concerned about
6 the standing issue and with the briefing times that I
7 know that you're very interested in turning out a fair
8 opinion as soon and as expeditiously as possible, and
9 I don't want to leave any stone unturned that's going
10 to create a delay.

11 THE COURT: I certainly sympathize with you
12 and we'll do everything possible to assist you, as I
13 know that the government will, in this regard. Okay?

14 MR. WICKERSHAM: Thank you.

15 MR. MATANOSKI: Yes, ma'am. If I could just
16 follow up on that?

17 THE COURT: Please, please, Mr. Matanoski.

18 MR. MATANOSKI: Mr. Wickersham and I had a
19 discussion during one of the breaks about that very
20 topic and we have offered the contact points that
21 we've made. Admittedly, this was very hurried up for
22 us and sort of recreating our steps might be difficult
23 to find some of the things that we want to. But we'd
24 be happy to share whatever we can, because we came in
25 in the same way as he expressed. We did not have

1013A

RIMA - DIRECT (CONT'D) (REBUTTAL)

1 standing. We found a law that allowed us to go in and
2 file.

3 THE COURT: And it was not a law that
4 involved your government-to-government procedure as I
5 understood it.

6 MR. MATANOSKI: No. No, ma'am. This is
7 certainly not sovereign to sovereign as it has been
8 portrayed. It was, we came in, yes, our identity is a
9 sovereign, but we came into the court with no
10 different process than any other third party would.

11 THE COURT: Okay.

12 MR. MATANOSKI: Thank you.

13 THE COURT: All right. And I have not yet
14 established a briefing schedule in this case. I would
15 ask that all of the parties give some thought to that
16 this evening and that we be prepared to discuss that
17 tomorrow at the conclusion of the closing arguments.
18 Are there any other matters we can take up today?

19 MR. POWERS: Not for the Petitioners.

20 MR. MATANOSKI: Nor for the government.

21 THE COURT: All right. The Court's in
22 recess until 9 tomorrow morning.

23 (Whereupon, at 4:30 p.m., the hearing in the
24 above-entitled matter was recessed, to reconvene at
25 9:00 a.m. on Friday, November 9, 2007.)

REPORTER'S CERTIFICATE

DOCKET NO.: 01-162V
CASE TITLE: Colten Snyder by and through Katherine Snyder
and Joseph Snyder, his natural guardians vs.
Secretary of Health and Human Services
HEARING DATE: November 8, 2007
LOCATION: Orlando, Florida

I hereby certify that the proceedings and evidence are contained fully and accurately on the tapes and notes reported by me at the hearing in the above case before the Department of Health and Human Services.

Date: November 8, 2007

Ron LeGrand, Sr.
Official Reporter
Heritage Reporting Corporation
Suite 600
1220 L Street, N. W.
Washington, D. C. 20005-4018

Heritage Reporting Corporation
(202) 628-4888